

UNITED STATES PATENT & TRADEMARK OFFICE
BEFORE THE PATENT TRIAL & APPEAL BOARD

- - - - - x

COMPASS BANK, COMMERCE :
BANCSHARES, INC. AND FIRST :
NATIONAL BANK OF OMAHA :

Petitioners :

vs. : No. IPR 2014-00786

INTELLECTUAL VENTURES, II, LLC :

Patent Owner :

- - - - - x

December 10, 2014

Washington, D.C.

VIDEOTAPED DEPOSITION OF:

DR. GEORGE KESIDIS

was called for examination, pursuant to notice,
taken at Kutak Rock, 1101 Connecticut Avenue, N.W.,
Suite 1000, Washington, D.C., commencing at 9:10 a.m.,
before Misty Klapper, a Notary Public in and for the
District of Columbia, when were present on behalf of
the respective parties:

Case
Compass, et al. v.
Intellectual Ventures
IPR2014-00786

Exhibit
2019
Intellectual Ventures

Misty Klapper & Associates
703-780-9559

1 APPEARANCES:

2 JASON JACKSON, ESQ.
Kutak Rock, LLP
3 1650 Farnam Street
Omaha, NE 68102

4 AND

MARC VANDER TUIG, ESQ.
5 Senniger Powers
100 North Broadway
6 17th Floor
St. Louis, MO 63102

7 AND

GEOFFREY GAVIN, ESQ.
8 Jones Day
1420 Peachtree Street, N.E.
9 Suite 800
Atlanta, GA 30309
10 COUNSEL FOR THE PETITIONERS

11
BRIDGET O'LEARY SMITH, ESQ.
12 Knobbe Martens
2040 Main Street
13 14th Floor
Irvine, CA 92614
14 COUNSEL FOR THE PATENT OWNER

15
16 ALSO PRESENT:

17 Ellen Hebert, Video Operator
18 Don Coulman, Intellectual Ventures
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

WITNESS:	EXAMINATION BY:	PAGE:
Dr. George Kesidis	Ms. Smith	4

E X H I B I T S

NO.:	DESCRIPTION:	PAGE:
1001	Declaration	14
2007	Motion to Limit Testimony	28
1004	Dutta, et al. Patent	40
1009	Rubin Patent	44
1011	Cunningham Patent	47
1010	Norman Firewall Literature	48

Note: Exhibits retained by counsel.

1 P R O C E E D I N G S

2 (Thereupon, the video operator made a
3 statement for the record and counsel
4 introduced themselves)

5 Whereupon:

6 DR. GEORGE KESIDIS,
7 was called for examination, and, after
8 being duly sworn, was examined and testified as
9 follows:

10 EXAMINATION BY COUNSEL FOR THE PATENT
11 OWNER

12 BY MS. SMITH:

13 Q. Good morning.

14 A. Good morning.

15 Q. Okay. Could you please state your name
16 and current address for the record?

17 A. George Kesidis. I live at 692 Tanager
18 Drive, State College, Pennsylvania.

19 Q. Okay. Okay. Have you been deposed
20 before?

21 A. I have.

22 Q. All right. So you're familiar with the

1 procedures.

2 So you understand that you're testifying
3 under oath today?

4 A. Yes.

5 Q. Okay. And you understand your testimony
6 has the same effect as if you were testifying in
7 court?

8 A. Sorry?

9 Q. You understand that your testimony has
10 the same effect as if you were testifying in court?

11 A. Okay. I wasn't sure an IPR is considered
12 court --

13 Q. Well --

14 A. -- but, sure.

15 Q. -- okay. And you understand that a Court
16 Reporter is recording a transcript of your testimony?

17 A. I do.

18 Q. Okay. And a video -- videographer is
19 recording a video of your testimony?

20 A. I do.

21 Q. And do you understand that a transcript
22 or video may be given to the panel of administrative

1 patent judges at the Patent and Trademark -- Patent
2 Trial and Appeal Board?

3 A. I -- I do.

4 Q. Okay. Any reason you can't provide
5 truthful and accurate testimony?

6 A. No.

7 Q. Okay. Good health?

8 A. Yes.

9 Q. Okay. Any medications that would impact
10 your ability to testify today?

11 A. No.

12 Q. Okay. I'm embarrassed to ask this, but
13 have you ever been charged or convicted of a felony?

14 A. No.

15 Q. Okay. That was easy.

16 Are you receiving any compensation for
17 your time today?

18 A. I am, yes.

19 Q. Okay. How much?

20 A. For testimony it's \$600 an hour.

21 Q. Okay. And from whom are you receiving
22 the compensation?

1 A. From -- I guess directly from, I -- I
2 think, Cahn & Associates, who recruited me as an
3 expert. I -- I think it's C-A-H-N.

4 Q. Okay.

5 A. And I think they, in turn, bill the --
6 the Plaintiffs, I suppose.

7 Q. Okay. Okay. So did you sign an
8 engagement agreement pertaining to your involvement in
9 this IPR?

10 A. With -- with Cahn.

11 Q. With Cahn, okay.

12 A. Yeah, with Cahn, not directly with
13 counsel or the -- the Plaintiff.

14 Q. And so somebody from Cahn countersigned
15 the engagement agreement?

16 A. That's right.

17 Q. Okay.

18 A. I don't have it with me, but I'm sure I
19 can make it available.

20 Q. That's okay.

21 Were there any companies identified in
22 the engagement agreement?

1 A. Yes.

2 Q. Okay. Which --

3 A. I'm -- I'm sorry. I guess. I'm not
4 sure. I -- I haven't looked at it in six months.

5 Q. Okay.

6 A. I'm assuming that the -- the three banks
7 were -- were named.

8 Q. Okay.

9 A. And maybe the case itself was named. IV
10 may have been named, but I don't -- I don't recall. I
11 haven't looked at the form in months.

12 Q. Okay. And I assume you're being
13 compensated for your time in this IPR proceeding?

14 A. I thought you already asked that, but
15 yeah. Yeah.

16 Q. For your time today. Just in this
17 proceeding --

18 A. Oh, yes.

19 Q. -- in general.

20 A. In general, yes.

21 Q. Okay. Okay. And how much -- how much
22 have you received to date?

1 A. I -- I think about \$20,000, but I'm not
2 sure.

3 Q. Okay.

4 A. Maybe -- about \$20,000.

5 Q. And does the -- the compensation
6 agreement -- the compensation arrangement the same,
7 you received the payment from Cahn?

8 A. Yeah, I -- I bill Cahn.

9 Q. Okay.

10 A. And then what he does, I guess, is add
11 the service fee and so on.

12 Q. And is your compensation rate different
13 for non-testimony work?

14 A. Yeah.

15 Q. Oh, okay. What's that?

16 A. The -- I've forgotten the number. I
17 believe it's -- I believe it's 450 --

18 Q. Okay.

19 A. -- for non-testimony.

20 Q. So before becoming involved in this
21 proceeding, were you ever a consultant or employee of
22 a bank?

1 A. Ever?

2 Q. Ever.

3 A. Let's see, when I was a kid I -- I worked
4 for IBM on a work term and -- in the downtown Toronto
5 office. And I believe the work involved a client that
6 was a bank. So to the extent that that is --

7 Q. Okay.

8 A. -- yes or no, but this would be 1980s.

9 Q. Okay. And so other than that --

10 A. I don't think I've worked for a bank
11 other than that, no.

12 Q. Okay. So other than that arrangement
13 that you had mentioned back in the '80s, any other
14 work for IBM?

15 A. The arrangement was -- yeah, I was on a
16 -- I was on a work term. I actually was a co-op
17 student as an undergraduate. So that was why I -- I
18 worked for IBM for one or two semesters.

19 Q. Okay.

20 A. In the '80s.

21 Q. Okay. So nothing after that?

22 A. No, I didn't work for IBM after that.

1 Q. Okay. And were you ever a consultant --
2 do any consulting or were you ever an employee of
3 Intellectual Ventures?

4 A. No.

5 Q. Okay. Do you have any ownership interest
6 in a bank, such as stock or options?

7 A. I don't think so, no.

8 Q. Okay. What about IBM?

9 A. No.

10 Q. Okay. Have you ever received any
11 compensation from or on behalf of IBM?

12 A. No.

13 Q. Do you know -- so you -- you mentioned
14 that you're affiliated with Penn State, correct?

15 A. I don't think I mentioned that, but I am
16 affiliated with Penn State, yes.

17 Q. Okay. Do you know if your department
18 receives funding from IBM?

19 A. I don't know.

20 Q. Okay.

21 VIDEO OPERATOR: Sorry, Doctor, can I ask
22 you to slide your microphone up?

1 THE WITNESS: Is that what happened? Is
2 that what you're saying? Sorry, you were giving me
3 hand signals and I wasn't listening. I wasn't paying
4 attention. Sorry.

5 VIDEO OPERATOR: Thank you.

6 BY MS. SMITH:

7 Q. Have you discussed IBM with anyone within
8 maybe the last two years?

9 A. How do you mean discussed?

10 Q. Just -- just in general.

11 A. IBM comes up.

12 Q. Okay.

13 A. Students go work there and colleagues
14 work there. I meet people from IBM all the time.

15 Q. Does the name Eugene Goryunov mean
16 anything to you?

17 MR. JACKSON: Objection, scope.

18 THE WITNESS: I don't know that I've
19 heard the name before.

20 BY MS. SMITH:

21 Q. Okay. Does the name Ken Adamo mean
22 anything to you?

1 A. No.

2 MR. JACKSON: Objection, scope.

3 BY MS. SMITH:

4 Q. Does the name Steven Bellovin mean
5 anything to you?

6 A. Steven's name I've heard before. I
7 haven't met the man, but I -- I've heard of him and
8 read his papers.

9 Q. Okay.

10 A. Goryunov, I may have heard the name
11 before, but I -- I don't know who he is or --

12 Q. Okay. Have you ever had written
13 communication with Dr. Bellovin?

14 A. I don't think so.

15 Q. And I think you mentioned you've never
16 spoken with him, correct?

17 A. I don't think I've spoken with him, no.
18 I mean, we may have been on a -- there may have been
19 an E-mail that was mailed to a bunch of people and we
20 were on the mailing list together, but that -- that
21 doesn't constitute direct communication I don't think.

22 Q. Okay. Have you ever heard of

1 Intellectual Ventures prior to becoming involved in
2 this proceeding?

3 A. I have heard of IV, yes.

4 Q. Okay. In what context?

5 A. I do patent cases, so I understand IV is
6 a patent owner.

7 Q. Did you have an opinion of Intellectual
8 Ventures before becoming involved in this case?

9 A. Clarify what you mean by an opinion.

10 Q. Just a personal opinion. Do you have any
11 feelings about Intellectual Ventures?

12 A. They -- they own a lot of patents and
13 they litigate, so that's -- that's all I know, but
14 I --

15 Q. Okay. I'm going to give you a copy of
16 Exhibit 1001.

17 A. Thanks.

18 Q. Thank you.

19 (Thereupon, Exhibit Number 1001,
20 previously marked, was identified.)

21 MS. SMITH: Here's a copy for you.

22 THE WITNESS: Do you want to put a

1 sticker on this?

2 BY MS. SMITH:

3 Q. No, actually, they're all premarked in
4 this one, so we get off easy today.

5 A. Okay.

6 Q. So this is a copy of the Declaration you
7 submitted in this IPR proceeding?

8 A. That's correct, yes, I believe.

9 Q. Okay. And could you turn to the last
10 page?

11 A. Okay.

12 Q. All right. Is that your signature?

13 A. I'm sorry, yes, that's my signature.

14 Q. Okay. So from time to time I may refer
15 to this document, Exhibit 1001, as your Declaration.
16 I'll try to give you the exhibit number, but sometimes
17 I forget. So just so the record is clear, if I'm
18 using the word Declaration, I'm referring to Exhibit
19 1001. And I am imprecise, so I may slip up from time
20 to time and call it your report or expert report. And
21 if I do, just to be clear, report refers to the
22 Declaration of Exhibit 1001.

1 And who drafted this Declaration?

2 A. I did.

3 Q. Okay. Was anybody else involved?

4 A. Versions of the draft went back and forth
5 between counsel and myself, so counsel was involved.

6 Q. Did you work with anyone who is not an
7 attorney?

8 A. I don't think so.

9 Q. Did you work with anyone associated with
10 IBM?

11 A. No. Oh, for this -- for this, no.

12 Q. Can you explain the process of drafting
13 this Declaration?

14 MR. JACKSON: Objection, privileged.

15 You can answer the question, just be sure
16 not to reveal any attorney/client --

17 THE WITNESS: Okay.

18 MR. JACKSON: -- or work product
19 communication.

20 THE WITNESS: I believe I was retained
21 about -- in April time frame this year and I was given
22 some instruction as to how to -- you know, generally

1 what should go in the report, how it's different from
2 an expert report for a patent trial.

3 And there was prior art that was made
4 available that was suggested to me and I
5 considered it. Like I said, my memory is not that
6 great, but April, May, but my understanding was
7 that, you know, I drafted some things and it went
8 back and forth with counsel and we converged on a
9 final draft, I believe, sometime late May.

10 BY MS. SMITH:

11 Q. And did -- did you prepare the first
12 draft?

13 A. I prepared a preliminary draft, right.

14 Q. And did you type -- type the preliminary
15 draft?

16 A. Oh, yeah.

17 Q. Okay.

18 A. I mean, I -- it was a draft in electronic
19 form.

20 Q. Okay. So can you describe how you were
21 first contacted to work on this project?

22 A. Well, as I said before, I wasn't

1 contacted directly from -- by the banks or their
2 counsel. I was contacted by this fellow Cahn. So I'm
3 assuming that he was retained by counsel to look for
4 experts.

5 Q. Okay.

6 A. How he found my name, I have no idea. It
7 may have been through a referral, in fact. Maybe
8 that --that was likely what happened.

9 Q. And this was around April I think you
10 mentioned?

11 A. Again, around that. It could have been
12 late March, but I'm not sure.

13 Q. And what did Mr. Cahn tell you?

14 MR. JACKSON: Objection, privileged.

15 You can -- you can reveal the
16 generalities of your relationship with Cahn, but
17 don't go into specific communications.

18 THE WITNESS: I believe -- I believe that
19 he sent me an E-mail with the patents, the '084 and
20 '694 patents. This normally happens when an overture
21 occurs to an expert because, you know, the -- the
22 question is do I -- can I read these patents and

1 understand them.

2 I don't think the initial overture
3 had any more information than that, other than
4 maybe the parties in suit, but I don't remember
5 exactly. But I believe the patents were -- were
6 sent to me -- before I signed anything, I mean.

7 Q. Okay. What did you do to prepare for
8 this deposition?

9 A. Again, it's been some time and it's been
10 a busy year, so I really just began with my -- I'm
11 going to use the worth report too -- so I began with
12 my reading my report and the references cited in it.
13 I shouldn't say began. That's pretty much all I did.

14 Q. Okay. Did you meet with counsel?

15 A. Sorry, you're right, I did meet with
16 counsel yesterday.

17 Q. Okay. Who was present?

18 A. Jason and the two Marks, C. and K.

19 Q. Okay. And about how long did you meet?

20 A. And Geoff was on the phone.

21 Q. Okay.

22 A. Met yesterday for about six hours, maybe

1 more, maybe a little more.

2 Q. Did you review any documents besides
3 the -- the Declaration and the -- and the cited
4 references?

5 A. No.

6 Q. Did you bring any documents with you
7 today?

8 A. No, I did not.

9 Q. Did you discuss your deposition with
10 anyone?

11 A. The fact that I was going to give a
12 deposition?

13 Q. Okay.

14 A. How do you mean?

15 Q. Anything. In any way.

16 A. I think people know I was in D.C. to give
17 a deposition. Several of my friends know I was in
18 D.C. to give a deposition.

19 Q. Did you discuss the substance of your
20 testimony with anybody --

21 A. No.

22 Q. -- besides counsel?

1 MS. SMITH: Okay. All right. So if you
2 can just pass this to him.

3 THE WITNESS: That's a better idea.

4 MS. SMITH: Yeah.

5 MR. JACKSON: Thank you.

6 MS. SMITH: You're welcome.

7 THE WITNESS: My CV.

8 BY MS. SMITH:

9 Q. So this is your CV.

10 A. Okay.

11 Q. And I'm assuming you've seen this
12 document before today?

13 A. Sure.

14 Q. Okay. So your CV identifies some
15 consulting work relating to various litigations.

16 A. Um-hmm (affirmative).

17 Q. Right? Okay. Can you describe who you
18 represented and the outcome of those engagements?

19 A. All right. Let me just turn to the
20 section.

21 Q. I think they start around page 13.

22 A. Right, under the banner grants and

1 contracts.

2 Q. Yep.

3 A. I'm sorry, I'm just going to have to go
4 through. So I represented -- I'm not sure represented
5 is the right word -- I was the expert for the defense
6 for a case involving a company called Spodify. That
7 case settled in September. It involved work in the
8 same time frame of the work for this case, so
9 spring/summer time frame.

10 There are actually two cases with another
11 law firm, both settled. So I -- I don't have it
12 listed here. I didn't really do much work on the
13 other case at all.

14 The litigation with -- so involving AT&T,
15 AT&T -- I -- I worked for -- that wasn't a patent
16 case. That was litigation involving AT&T's business
17 practices and there were suits involving states, I
18 believe California and Illinois.

19 I was deposed in that case. I only
20 testified at trial in one case, just in case I forget
21 to say that and you wanted to know.

22 In 2011 I worked for a company called F5

1 Networks. The law firm is listed there.

2 Q. Okay.

3 A. I was an expert for F5 I should say.

4 Q. I'm sorry, I interrupted. Were they the
5 plaintiff or defendant?

6 A. That's a good question. There was a
7 countersuit, so I'm thinking initially it was
8 defendant and then when they countersued I was also
9 expert as a --

10 Q. Okay.

11 A. Just a minute. Let me try to remember.
12 Initially F5 was the defendant and then they
13 countersued on a set of patents that they held.
14 The -- the other side was A10, a company called A10,
15 which also does sort of load -- networking and load
16 balancing.

17 For the Spodify case I didn't mention the
18 plaintiff was, I believe, Nonend.

19 The next page, so in 2007, 2008 I was
20 expert for Extreme Networks, a company that makes
21 internet switches.

22 Q. Would they be a plaintiff or defendant?

1 A. They were defendant and I forget the name
2 of the plaintiff. It was an old DEC patent, but I
3 forget the name of the plaintiff.

4 In the -- 2005, 2008 I was an expert for
5 SRI. The defendants in those cases -- sorry, that was
6 the plaintiff in that case and the defendants were
7 ISS, which was -- by the time the trial came along, it
8 was, I believe, purchased by -- by IBM, so maybe IBM
9 was the defendant. And the other defendant was
10 Symantec.

11 Am I giving you enough information or is
12 it -- so I'm just walking through --

13 Q. Yeah. Yeah, perfect.

14 A. -- so in '04, '05 I worked for -- I was
15 expert for Riverstone and I believe the -- the -- the
16 plaintiff was some -- was a Japanese company. It may
17 have been Toshiba, but I'm not 100 percent sure. And
18 it was for something called MPLS technology, kind of a
19 network protocol.

20 Q. Okay. So Riverstone was the defendant?

21 A. Riverstone was the defendant, right.

22 Do you want me to just discuss litigation

1 consulting activity or any consulting activity or --

2 Q. Just -- well, starting with litigation
3 for right now.

4 A. I think that's -- I -- I may have written
5 a report in a patent case when I was working in
6 Canada. I think I did that once, but I -- I've
7 forgotten. Yeah, I've forgotten.

8 Q. Okay.

9 A. Yeah. No, sorry, there is one in '98
10 where I wrote a -- I wrote -- I wrote a report. I
11 don't even believe I was deposed in that case. I'm
12 not sure what happened with it.

13 Q. Okay. And do you -- do you know if -- so
14 this is the Newbridge one --

15 A. Yeah, '98.

16 Q. -- is that correct?

17 All right. And so were they the
18 plaintiff or defendant?

19 A. They were defendant.

20 Q. Defendant, okay.

21 A. But I -- I just don't remember the -- I
22 don't remember much about the case and I for sure

1 wasn't deposed at that point.

2 Q. So at the beginning you had mentioned --
3 I think it was just after you had discussed Spodify --
4 that there was another case where you were retained as
5 an expert and you mentioned that it wasn't listed
6 here.

7 Are -- are you allowed to disclose whom
8 you represented?

9 A. That was also Spodify.

10 Q. Oh, it was also Spodify.

11 A. There were two Spodify cases.

12 Q. Oh, okay.

13 A. One involved -- I mean, probably spent 98
14 percent of the time on the first case and I was kind
15 of being held in -- in position for the second one,
16 but then they both settled out, so I did very minimal
17 work on the second case --

18 Q. Okay.

19 A. -- which is why it's not listed there.

20 Q. Okay. So are there any expert witness
21 engagements that are not on your CV besides that one
22 Spodify one that you mentioned?

1 A. No.

2 Q. Okay. And have you ever done -- besides
3 this proceeding, have you ever done consulting work
4 related to administrative proceedings, such as IPRs or
5 covered business method --

6 A. No.

7 Q. -- proceedings?

8 A. Not before this --

9 Q. Before this.

10 A. -- IPR, no.

11 Q. Okay. So you've testified under oath
12 before. I think we established that. So you
13 mentioned once you've -- you've testified in court?

14 A. That's right.

15 Q. Okay. And which -- which engagement was
16 that?

17 A. That was the SRI case.

18 Q. That was SRI. And in which of these
19 proceedings were you deposed?

20 A. All of them, except the first one I
21 mentioned, the one in '98.

22 Q. Okay.

1 A. And the one I -- the one I neglected to
2 clarify. There were two Spodify cases. So the other
3 one there was no report written, so there was nothing
4 to be deposed about.

5 Q. Okay. Any hearings that you might have
6 been -- testified under oath in a hearing?

7 A. No, I don't think so.

8 Q. Okay. So I'm going to give you a copy of
9 a new exhibit. This one has been marked as Exhibit
10 2007.

11 MS. SMITH: This is the service copy for
12 you.

13 MR. JACKSON: Thank you.

14 (Thereupon, Exhibit Number 2007, previous
15 marked, was identified.)

16 BY MS. SMITH:

17 Q. Okay. Have you seen this paper before
18 today?

19 A. Yeah. I guess, yes.

20 Q. Okay.

21 A. I have no memory of it though.

22 Q. Okay. So you understand that Symantec

1 and ISS moved the District Court to limit your
2 testimony under Federal Rule of Evidence 702 in the --

3 MR. JACKSON: Objection --

4 MS. SMITH: -- SRI case?

5 MR. JACKSON: -- foundation.

6 THE WITNESS: I have no memory of this,
7 so I -- I guess I -- can I sit and read it?

8 BY MS. SMITH:

9 Q. Sure, of course.

10 A. Okay. I'm -- I'm beginning to remember
11 this. I'm not familiar with Rule 702, but I remember
12 the issue.

13 Q. Okay. So what do you remember about this
14 issue?

15 A. This case had to do with -- with patents
16 that were issued around 1998. At the time in 1998 I
17 was not working in the area of security.

18 Q. Do you know the outcome of this motion?

19 A. I believe it was denied, yeah, but I --
20 you know, I'm not sure.

21 Q. Do you know of any other instances where
22 a party has moved to limit or exclude your testimony

1 before a district court?

2 MR. JACKSON: Objection, foundation,
3 relevance.

4 THE WITNESS: I -- I don't. I had
5 forgotten about this motion, so.

6 BY MS. SMITH:

7 Q. Okay. So I would like to refer again to
8 your Declaration.

9 A. Okay.

10 Q. Okay? This is Exhibit 1001.

11 Do you consider yourself an expert on
12 firewalls?

13 A. Yes.

14 MR. JACKSON: Objection, form.

15 THE WITNESS: I'm sorry.

16 To the extent that I'm serving in
17 this capacity, yes.

18 BY MS. SMITH:

19 Q. Is there an extent that you don't
20 consider yourself an expert on firewalls?

21 A. I don't really practice. I don't run
22 firewalls and practice them, although I've taken a

1 course on how to configure a pix firewall with Cisco
2 ten years ago, so I have some experience with the
3 software, but typically I interact with students and
4 people in industry that do this kind of work. Of
5 course I read a lot of papers on the subject matter.

6 Q. So I want to turn to paragraph 31.

7 A. Sorry, where? In which?

8 Q. Paragraph 31. It's on page 15.

9 A. Of -- of which document?

10 Q. Exhibit 1001. We're still on 1001.

11 A. Oh, okay. I'm sorry, 1001 is my report?

12 Q. Yes, that's right.

13 A. Okay. Paragraph 31?

14 Q. Um-hmm (affirmative).

15 Okay. So in paragraph 31 you gave your
16 opinion on the qualifications of a person having
17 ordinary skill in the art, correct?

18 A. Yes.

19 Q. Okay. How did you arrive at this
20 definition?

21 A. Well, I -- I considered the -- the
22 patents-in-suit, maybe that's not the way to describe

1 them, the patents, '694 and '084 patents, particularly
2 the '694 patent here, and the prior art that was cited
3 and I asked myself one of ordinary skill what's --
4 what is their required background to be able to read
5 and understand these references.

6 Q. Okay. When did you first start working
7 on firewalls?

8 A. Let's see, I -- around 2000, 2001 time
9 frame I began working in security by sort of immersing
10 myself in the literature. And I got my first NSF
11 grant in the security area around 2003, my first Ph.D.
12 student working in security around that same time. So
13 prior to 2000 -- prior to coming to the U.S. I -- I
14 wasn't really working in security. I was familiar
15 with some of the concepts and -- but not -- not really
16 working in the area.

17 Q. So I want to take us back to October
18 1998.

19 A. Okay.

20 Q. And in October 1998 would you have
21 considered yourself an expert in firewalls?

22 A. No, I -- I don't think in October '98 I

1 was, I mean, apart from, you know, a super --
 2 superficial understanding of their -- of their --
 3 their functions; but certainly in October '98 I
 4 could -- I could read and understand these references.

5 Q. In October 1998 would you have considered
 6 yourself a person of ordinary skill in the art under
 7 your definition of -- in paragraph 31?

8 A. Yes.

9 Q. Okay. Why is that?

10 A. Sorry. Essentially I had been working in
 11 networking since 1990 before the web. And by '98 I --
 12 you know -- I had my bachelor's degree in electrical
 13 engineering and graduate degrees in electrical
 14 engineering and computer science in 1990/1992.

15 Q. Okay. Did you have one or two years of
 16 work experience in firewalls in October '98?

17 A. I did not.

18 Q. So --

19 A. I'm sorry, is that my phone or is that
 20 somewhere else?

21 Q. I think it's outside.

22 A. It's outside, sorry.

1 Q. In -- so now going to one year later,
2 October 1999 --

3 A. Okay.

4 Q. -- okay, would you have considered
5 yourself an expert in firewalls in October 1999?

6 MR. JACKSON: Object to form.

7 THE WITNESS: Like I said earlier, I -- I
8 didn't really start reading security literature in
9 about 2000.

10 BY MS. SMITH:

11 Q. Okay.

12 A. In retrospect, if I were to begin reading
13 references on firewalling I -- I think I would have as
14 easily digested them in '98, '99 as I did beginning in
15 2000.

16 Q. Okay. Did you use a firewall before
17 October 1998?

18 A. Sorry, can you clarify use?

19 Q. In any way. There's no -- there is a
20 very broad question.

21 Did you ever use a firewall before
22 October '98?

1 A. If I --

2 MR. JACKSON: Object to form.

3 THE WITNESS: I'm sorry.

4 If I'm -- if I'm typing away on a
5 workstation and that workstation is protected by a
6 firewall, does that mean -- does that satisfy that
7 condition?

8 BY MS. SMITH:

9 Q. It -- it could be.

10 A. Well, in that case yes.

11 Q. Okay. And did you ever configure a
12 firewall before October '98?

13 A. I did not.

14 Q. Okay. October '99?

15 A. I did not.

16 Q. Okay. Have you ever been involved in
17 implementing a -- a firewall?

18 MR. JACKSON: Object to form.

19 THE WITNESS: About ten years ago I
20 participated in a -- sort of a short course offered by
21 Cisco on how to configure their PIX firewall. So in
22 that sort of laboratory setting I -- I did mess around

1 with the -- the PIX firewall, but beyond that, no.

2 BY MS. SMITH:

3 Q. Were you working in the field of security
4 before 2000?

5 MR. JACKSON: Object to form.

6 THE WITNESS: I believe I already
7 answered that. I -- I didn't really start working in
8 security, specifically in security, until about 2000.

9 BY MS. SMITH:

10 Q. Okay. And I'm not positive that I asked
11 this question. When did you first begin working in
12 the field of firewalls?

13 A. Well, firewalls are a place where certain
14 ideas in security could be implemented. So as soon as
15 I started working on my grant, my first grant in
16 security, and with my first grad student, some of the
17 ideas could have been implemented in firewalls. So
18 you could say as of around 2002, 2003, specifically
19 producing ideas that could be deployed in a firewall.

20 Q. When did you first publish in the field
21 of security?

22 A. Pardon me, let me just peruse my

1 conference publications real quick.

2 Q. Sure.

3 A. It's likely paper number 88 under my
4 conference list I might identify as my first
5 security-related publication.

6 Q. Okay.

7 A. The first topic I worked on was
8 traceback, so -- and -- and the first -- the first
9 student in security that I mentioned is -- is -- the
10 last name is Hamadeh, so 86 -- the paper is 86 and 88.

11 Q. Okay. And when did you first publish in
12 the field of firewalls?

13 A. Like I said, it's sort of a peculiar
14 question because, you know, some of these ideas in
15 traceback could be implemented in a firewall, so --
16 but did we advocate for an implementation in a
17 firewall in those papers? Likely not.

18 So I'm -- I'm not sure that I
19 specifically advocated for an implementation of -- of
20 any of the things that I published in security
21 specifically at a firewall. You know, the -- I mean,
22 there were papers on network security, so an intrusion

1 detection system might involve a firewall, like
2 publication 61, but -- yeah, but that specifically
3 advocate in this paper for implementation in a
4 firewall, likely not.

5 Q. Okay. And I would -- I just wanted to
6 return to Exhibit 2007.

7 A. Okay.

8 Q. Page 8 of 20 -- it's marked at the
9 bottom.

10 A. Oh, I see.

11 Q. Okay. And I'm looking at the first
12 sentence of the second paragraph there, and it says
13 Dr. Kesidis, however, has admitted he was neither an
14 expert nor a person of ordinary skill in the art in
15 the field of cyber security or intrusion detection
16 prior to November 1998.

17 Do you see that?

18 A. Yes.

19 Q. Did you, in fact, testify that you were
20 neither an expert nor a person of ordinary skill in
21 the art in the field of cyber security or intrusion
22 detection prior to '98?

1 MR. JACKSON: Objection, relevance,
2 hearsay, foundation.

3 THE WITNESS: I -- I really have no
4 memory of the circumstances. By the definition of
5 ordinary skill in this -- in -- for this case that I
6 agreed to, which is different, and I believe it's
7 different because of the -- again, counsel advised me
8 one of ordinary skill -- you know -- one of the tests
9 is that they have to be able to read and understand
10 references that were in play for this case at that
11 time. And I believe the definition of ordinary skill
12 was informed by that.

13 And that's why -- I'm not sure where
14 the definition of ordinary skill is in this
15 document, if it's recited, the one I agreed to,
16 but if -- if that was the case and -- and that
17 definition of ordinary skill for this -- in this
18 context involved experience with -- with security,
19 then I had already said that I had no experience
20 with security prior to 2000.

21 BY MS. SMITH:

22 Q. Okay.

1 A. Again, I -- it's in the context of the
2 case.

3 Q. Okay. All right. All right. I'm going
4 to next give you a copy of Exhibit 1004.

5 (Thereupon, Exhibit Number 1004,
6 previously marked, was identified.)

7 THE WITNESS: Can we just pause a minute
8 to let this go by?

9 BY MS. SMITH:

10 Q. Sure. That's a good idea.

11 A. Is this the President going by? Is
12 that -- it just seems like about 100 cars out there.

13 MR. JACKSON: There are fire engines as
14 well, so it's likely not the President.

15 BY MS. SMITH:

16 Q. All right. All right. That's better.

17 A. That's better.

18 Q. Thank goodness.

19 All right. So you've seen this document
20 before today?

21 A. Of course, yes.

22 Q. I should hope so. Okay.

1 When was the last time you reviewed it?

2 A. Yesterday.

3 Q. Okay, great. So I may periodically refer
4 to this document as the '694 patent --

5 A. Very good. Very good.

6 Q. -- okay?

7 All right. I'll try to give you the
8 exhibit number, but I usually forget to do that so
9 you'll understand what I mean --

10 A. Okay.

11 Q. -- when I say the '694 patent.

12 When did you first become aware of this
13 patent?

14 A. Like I said earlier, it was likely in the
15 E-mail sent by the expert witness recruiter,
16 March/April time frame of this year. I can't be sure
17 of that, because just what customarily what these
18 recruiters do is that they just -- they send you
19 the -- the patents in question.

20 Q. Okay. Did you ever hear of any of the
21 inventors on this patent?

22 A. No. No, I don't know them.

1 Q. So you had mentioned before when we were
2 talking about your consulting arrangements, you had
3 mentioned some work for AT&T.

4 What was -- what was the work that you
5 did for AT&T?

6 A. So for AT&T the -- AT&T -- just a minute
7 here. I'm not sure -- I'll try not to say anything I
8 shouldn't. But the cases in question had to do with
9 their IPTV business.

10 Q. Okay.

11 A. So AT&T provides IPTV services,
12 essentially broadcast television, over internet.
13 Actually, everyone does these days. In addition,
14 they -- in so doing, they also provide for delivery of
15 what's called PEG, Public Education and Government
16 channels. These are your -- your local channels. I'm
17 not sure what it is in D.C. but anyway, think high
18 school football games.

19 And the way they -- that AT&T was
20 delivering the PEG channels was a little bit different
21 than the way PEG channels were delivered in legacy
22 broadcast television format. And so the states were

1 concerned about this and that's what the litigation
2 was about. It was basically IPTV technology.

3 Q. Okay. And you were providing consulting
4 services on behalf of AT&T?

5 A. Right. Mayer Brown was AT&T's counsel in
6 this so-called PEG litigation.

7 Q. Okay. Have you ever performed any
8 infringement analysis of the '694 patent?

9 MR. JACKSON: Objection, scope.

10 THE WITNESS: No.

11 BY MS. SMITH:

12 Q. And did you ever see any documents
13 relating to potential infringement of the '694 patent?

14 A. No.

15 MR. JACKSON: Objection, scope.

16 THE WITNESS: I'm sorry. Sorry about
17 that.

18 No, I have not.

19 BY MS. SMITH:

20 Q. Okay. Are you aware of any commercial
21 products at all incorporating or embodying the subject
22 matter of claim one of the '694 patent?

1 MR. JACKSON: Objection, form, scope.

2 THE WITNESS: I believe that nowadays
3 current -- currently firewalls perform what's called
4 stateful inspection of packets. What -- one of the
5 references I cite I call dynamic firewalls, but --
6 that include consideration of payload information.
7 So.

8 I think most firewalls, commercial
9 firewalls, have some ability to examine payloads
10 and -- and consider stateful signatures. I can't
11 really recite modern firewalls because I haven't
12 looked at prospectuses and, you know, the firewall
13 that I cite, the only product I cite as a product
14 is -- is the Norman firewall in my report.

15 Q. Okay. I am going to give you a copy of
16 Exhibit 1009.

17 (Thereupon, Exhibit Number 1009,
18 previously marked, was identified.)

19 BY MS. SMITH:

20 Q. Okay. You've seen this document before
21 today, correct?

22 A. Yes.

1 Q. Okay. When was the last time you
2 reviewed it?

3 A. Yesterday.

4 Q. When did you first become aware of this
5 publication?

6 A. I believe April/May time frame of this
7 year.

8 Q. Okay.

9 A. I may have read it long before that, but
10 I have no memory.

11 Q. Did you ever hear of any of the authors?

12 A. I know -- I know of Avi Rubin. I may
13 have met him once, but I know of him. I've also heard
14 of the other two authors before, but I -- I don't know
15 them as --

16 Q. Okay.

17 A. Yeah.

18 Q. So you -- you've heard of them, but you
19 don't personally know them?

20 A. No, I don't personally know them.

21 Q. Okay.

22 A. I mean, I -- I met -- I met Avi Rubin.

1 So I shouldn't say I just heard of him, but, you
2 know --

3 Q. Oh, okay. When did you meet him?

4 A. I -- I couldn't tell you really the first
5 time, but I attend principal investigator meetings for
6 the NSF SaTC program. So these are like biannual
7 meetings that have been going on for some time, so the
8 community kind of meets each other. And then I
9 haven't been on a panel -- I don't believe I've been
10 on a -- a panel with him before, but -- in fact, I'm
11 pretty sure I haven't, but I may have been on a panel
12 with him too.

13 Q. Have you ever spoken to him before?

14 A. I don't think I've had a meaningful
15 conversation. I mean, it may have been just shake
16 hands and say hello, but that's pretty much it. Yeah,
17 I don't think I've had a meaningful conversation with
18 him before, but I may have met him at a panel at one
19 of these PI meetings. It's hard not to meet people at
20 these PI meetings.

21 Q. When was the last time you saw him?

22 A. I couldn't tell you. Likely met him two

1 years ago at the -- the last PI meeting. There's
2 another one in January, but the last one I think was
3 in 2012.

4 Q. Okay. So more than a year ago?

5 A. Oh, yeah. Yeah.

6 Q. Okay.

7 A. And, again, if I had met him then, it
8 wouldn't -- it wasn't a substantive exchange. I have
9 no direct memory of anything.

10 Q. Okay. All right. And now I'm going to
11 give you a copy of Exhibit 1011.

12 (Thereupon, Exhibit Number 1011,
13 previously marked, was identified.)

14 THE WITNESS: Do you mind referring to
15 Rubin as Rubin? I've already forgotten all the
16 numbers.

17 BY MS. SMITH:

18 Q. Sure. Absolutely. This one is -- well,
19 we'll refer to this one as Cunningham.

20 A. Very good. Thank you.

21 Q. And you've seen this document today?

22 A. Just now. Before you mean.

1 Q. Yeah, before today.

2 A. Right.

3 Q. Okay. And when was the last time you
4 reviewed it?

5 A. Yesterday.

6 Q. Yesterday, okay. When did you first
7 become aware of this patent?

8 A. Again, it's around the same time,
9 April/May time frame.

10 Q. Did you ever hear of any of the
11 inventors?

12 A. No.

13 Q. Great. All right. And here is a copy of
14 Exhibit 1010. I guess we'll refer to this one as
15 Norman.

16 (Thereupon, Exhibit Number 1010,
17 previously marked, was identified.)

18 BY MS. SMITH:

19 Q. And have you seen this document before
20 today?

21 A. Yes.

22 Q. Okay. When was the last time you

1 reviewed it?

2 A. Yesterday.

3 Q. Okay. And when did you first become
4 aware of it?

5 A. Around April/May --

6 Q. Okay.

7 A. -- time frame.

8 Q. Had you ever heard of the Norman company
9 before?

10 A. Not -- no.

11 Q. Okay.

12 A. It's -- let me just -- I'll just back
13 that up. In the previous SRI case I considered a lot
14 of prior art before 1998 and the Norman firewall may
15 have been among the prior art that was in play. I
16 don't think it was, but I may have been -- I may have
17 seen something from this company --

18 Q. Okay.

19 A. -- in a previous case, but I -- I don't
20 have any memory of it.

21 Q. So do you know any individuals involved
22 in writing this paper?

1 A. I do not.

2 Q. Okay.

3 A. I -- wait, let me back up. The authors
4 are not mentioned, so I don't know that I don't know.

5 Q. Okay.

6 A. I may know them. I may not. I don't --
7 I can't say. I can't answer that question.

8 Q. All right. Okay. I'm going to refer
9 again to your report or Declaration, Exhibit 1001.

10 A. Okay.

11 Q. Okay. So on pages three and four, it's
12 paragraph seven, you refer to a couple of other
13 references that I'm going to just call Abraham, I
14 think that's Exhibit 1008; RFC 791, Exhibit 1006 and
15 RFC 1122, Exhibit 1007.

16 A. Yes.

17 Q. Okay. So I guess we'll take them one by
18 one.

19 Were -- were you aware of any of those
20 references before April/May of this year?

21 A. Certainly the RFCs.

22 Q. Okay.

1 A. I've been aware of those RFCs for a very
2 long time, in fact. Anyone working in networking
3 would have encountered them. Abraham, no.

4 Q. Okay. When did you first become aware of
5 the RFCs? Ballpark is okay.

6 A. I don't -- early '90s.

7 Q. Early '90s, okay.

8 A. I believe they're both -- sorry, where --
9 where are the RFCs mentioned?

10 Q. I think they're the last two.

11 A. Oh, I see, on page four?

12 Q. Yeah.

13 A. Yeah, I -- I guess early '90s, maybe even
14 late '80s.

15 Q. Okay.

16 A. It -- let me just clarify that last
17 answer. If -- if -- not -- certainly I looked at the
18 RFCs, if not -- a lot of -- particularly the first
19 one, it's cited extensively in textbooks.

20 Q. All right.

21 A. So whether I looked at it directly or --
22 or read captions of it from textbooks, but I -- I

1 believe one of the first things I did was look at the
2 original source of some of these things when I started
3 working in networking around 1990.

4 Q. Okay. So we're going to start a new line
5 of questions, so I think it might be a good time for
6 our first break.

7 THE WITNESS: Okay.

8 MR. JACKSON: Sounds good.

9 VIDEO OPERATOR: This marks the end of
10 tape one in today's videotaped deposition of
11 Dr. George Kesidis.

12 Going off the record, the time is
13 10:12 a.m.

14 (Thereupon, a brief recess was taken.)

15 VIDEO OPERATOR: This marks the beginning
16 of tape two in today's videotaped deposition of
17 Dr. George Kesidis.

18 Going back on the record, the time is
19 10:29 a.m.

20 BY MS. SMITH:

21 Q. Okay. Welcome back.

22 A. Thanks.

1 Q. Did you discuss the deposition at all
2 during the break?

3 A. No.

4 Q. Okay. So I am going to be referring now
5 to your report and to Rubin. So that's exhibits 1001
6 and 1009.

7 A. I got it.

8 Q. Okay. And I'd also -- if you want to
9 refer to it, you might want to have the patent out.
10 So that's Exhibit 1004.

11 A. Okay. Got it.

12 Q. Okay. Great.

13 So the preamble of claim one of the
14 patent recites a packet.

15 Where in your Declaration did you
16 identify the element of Rubin corresponding with the
17 packet of claim one?

18 A. So if you look at the chart for Rubin in
19 combination with Abraham on page 27, there's a caption
20 from -- a quote from Rubin that uses the word packet.
21 I'm sorry, let me flip over to the chart, applying
22 Rubin with an anticipation argument and it furthermore

1 refers to terms such as TCP and UDP and IP packet, the
2 preamble. This is on page 32. And other quotes in 33
3 that refer to IP, TCP, et cetera.

4 Q. Anything else?

5 A. I'm referring directly to the charts.
6 I -- I -- I -- what -- I'm quoting from Rubin, but
7 Rubin is suffuse with -- the context of Rubin is --
8 is -- is internet operations and firewall, internet
9 operations and so they're referring to IP packets,
10 TCP/IP packets.

11 Q. My question was, was there anything else
12 in your Declaration, any other paragraphs, that would
13 identify the element of Rubin corresponding with the
14 packet in claim one?

15 A. Oh, elsewhere in the Declaration?

16 Q. Yeah.

17 A. I'm sorry, I do have textual descriptions
18 outside of the claim charts where I refer to Rubin.
19 So, for example, in paragraph 41 I'm quoting Rubin and
20 the expression packet filters. Packet is -- is
21 mentioned there, paragraph 41. That's in the context
22 of -- of a combination argument. Obviousness by

1 combination argument with Abraham.

2 Also in paragraph 42, again, the word
3 packet is used in a quote from Rubin. It -- it's kind
4 of hard not to quote a paragraph or two from Rubin and
5 not get the word packet in there somewhere.

6 Q. Anything else?

7 A. Again, even when it's not explicitly --
8 the word packet is not explicitly mentioned, it's
9 implicitly there. For example, the quote in paragraph
10 44, a Java applet might be in transit. The firewall
11 could unpack the archive as it arrives. What is it
12 unpacking? It's unpacking packets. The packets are
13 being unpacked, or -- or decapsulated is another word.

14 Q. Anything else in your Declaration that
15 identifies the element of Rubin corresponding with
16 packet in claim one?

17 A. Like I said, I -- I think pretty much any
18 reference to Rubin explicitly or implicitly involves
19 packets. So -- in the -- the other claim elements,
20 the quotations from Rubin, for example, on page 23 the
21 Java class file is either explicitly or implicitly
22 being borne in the payloads of IP packets, on page 23,

1 paragraph 51.

2 Like I said, I think pretty much every
3 quote that I've taken from -- from Rubin either,
4 again, explicitly or implicitly involves TCP/IP
5 packets and IP packet.

6 Q. So I just want to make sure I -- I
7 understand your testimony.

8 We had talked about the claim charts on
9 page 27, 32, 33, paragraphs 41, 42, 44 and 51.

10 Are there any other paragraphs?

11 A. Well, I did say pretty much every --
12 every other quote from -- I mean, you can look at all
13 the claim charts, that -- the entire claim chart
14 for -- involving Rubin. So, for example, 27 where
15 Rubin is used the word packet is either explicitly or
16 implicitly in play. Sorry. Let me look at the -- the
17 claim charts for -- for Rubin as a --

18 Q. Okay.

19 A. -- as an anticipation argument. So if
20 you look at page 32 I've already said. Page 33,
21 packet filtering firewall in the first quote from page
22 two. Again, packet filter is mentioned in the second

1 quote from -- from pages two and three.

2 Packet filters again mentioned in the
3 quote from page nine. IP packets are mentioned again
4 in the quote from page 10.

5 Continuing on page 34, the quote, the --
6 the -- some of the quotes from the preamble are
7 repeated in the -- in the individual -- in the claim
8 elements. Again, IP packet in the quote from page
9 two, on page three, four and all the other quotes.
10 Again, if the word packet is not explicitly mentioned
11 in one of these quotes, it's certainly implicitly
12 there. Going on to page 35 to -- to -- to 38.

13 Q. Okay. So anything else other than what
14 you identified there?

15 A. In my report the -- the direct quotes and
16 the discussion, the verbal discussion of the
17 paragraphs before the charts, that -- that's pretty
18 much it, yeah.

19 Q. Okay. All right. We're done with
20 packet.

21 And claim one also recites in element A
22 at least one header parameter.

1 Where in your Declaration did you
2 identify the element of Rubin corresponding with the
3 at least one header parameter of claim one?

4 A. I would say everywhere Rubin refers to
5 the word packet, Rubin is talking about internet
6 firewalls. So it's referring to a TCP/IP packet,
7 certainly an IP packet. And IP packets have several
8 header parameters. I think a -- a header without a
9 parameter is not a header.

10 Q. So my question was where in your
11 Declaration did you identify the element of Rubin
12 corresponding with the at least one header parameter,
13 so paragraph and page number.

14 A. So if I look at element A for Rubin as an
15 anticipation argument on page 34, it's referring to IP
16 packets. IP packets possess header parameters. TCP
17 headers, UDP headers, they possess parameters.
18 Specifically in the second paragraph on page two,
19 cited on my page 34 and page two of Rubin, it's
20 referring to the source IP, IP destination and other
21 fields. The fields are synonymous with parameters.
22 Oh, that's great. Thank you.

1 Q. Okay. Anywhere else in your Declaration
2 you identify the element of Rubin corresponding with
3 the at least one header parameter in claim one?

4 A. I'm not sure if I cite that paragraph,
5 let's see, Rubin in combination with Abraham. I'm not
6 sure I use Rubin for element A in combination of
7 Abraham, so I don't, but I expect that -- sorry, let
8 me just press on here. I -- I'm not sure if I discuss
9 it in text, in a textual paragraph outside of the
10 claim charts. That -- I think maybe in the motivation
11 to combine Abraham and Rubin I also mentioned that
12 both of them are talking about network packets,
13 internet packets.

14 Q. Anything else?

15 A. I guess that's it.

16 Q. Okay. So claim one also in the context
17 of limitation A recites receiving.

18 Where in your Declaration did you
19 identify the element of Rubin corresponding with the
20 receiving of claim one?

21 A. Well, firewalls inherently receive
22 packets. They really couldn't even begin to function

1 unless they -- they receive packets. Rubin -- so in
2 my chart on page 27 in the preamble, in combination
3 with Abraham, Rubin discloses packet filtering. In
4 order to filter any -- a packet, you need to receive
5 it.

6 Again, the same set of charts -- sorry,
7 not in the same set of charts. Let me press on and go
8 to the Rubin anticipation argument. So you see a
9 packet filtering -- I'm quoting from page two of Rubin
10 on page 34 of my report.

11 Q. Okay.

12 A. Packet filtering firewall examines each
13 packet. In order to examine a packet, you have to
14 receive it. I think that's -- that's pretty much the
15 connection. That's the evidence I'm using there.

16 Q. Okay. Where in your Declaration -- oh,
17 sorry. Limitation B -- sorry, I'll start over.

18 Limitation B of claim one recites an
19 access rule. Where in your Declaration did you
20 identify the element of Rubin corresponding to the
21 access rule of claim one?

22 A. So I'm going to focus on the charts,

1 because I -- I may have also mentioned in the text,
2 using the charts for support, quotes. So I'm -- I'm
3 looking now at pages 34 and 35 under element B of my
4 report -- I'm sorry, can you say -- can you ask the
5 question again?

6 Q. Sure. Where in your Declaration did you
7 identify the element of Rubin corresponding to the
8 access rule of claim one?

9 A. So if we look at -- okay, so if -- if we
10 look at the -- the paragraph from Section 5.1 of
11 Rubin, this describes an operation wherein a Java
12 applet is detected and it is removed. The response is
13 to remove the applet from the HTML file and then pass
14 on the remainder of the HTML file.

15 So in that the -- the -- from that you --
16 the reader understands that the -- the -- the contents
17 of multiple packet payloads were examined and a
18 determination was made that an applet was resident in
19 the HTML file.

20 And because of that test was satisfied,
21 the reaction -- the response was to filter out the
22 offending content, in this case the Java applet

1 itself -- to remove it, I should say. I shouldn't say
2 filter. I should say remove it. So that's an example
3 of an access rule. Access in that case is denied for
4 that portion of the HTML payload, of the HTML file I
5 should say.

6 In Section 5.3 it's simpler. The simple
7 access rule is that if the -- if the signature of a
8 Java applet CA FE BA BE -- pardon my sexism, it's not
9 my doing -- but, anyway, if that signature is
10 detected, then all the -- the -- the applet itself is
11 blocked or the whole file containing it is blocked.
12 So the access rule in this case is -- involves
13 blocking the -- the Java applet and all the associated
14 packets.

15 Q. Okay. Just so that I understand, was it
16 your testimony with respect to 5.1 that -- that the
17 act of removing the applet was the access rule?

18 A. No, the -- the rule is not the act. So
19 the rule prescribes an action. So if a test is
20 satisfied, then an action is prescribed. So I would
21 say the -- it's -- the act of blocking is a part of
22 the rule, but it also involves a test, so -- of the

1 object being considered.

2 And collectively that test, combined with
3 a prescribed action, is what I would call an access
4 rule and what I believe the -- that's what the patent
5 means by it.

6 Q. So is there an element in Rubin
7 corresponding to the access rule of claim one?

8 A. I -- I believe there are multiple
9 elements in Rubin and they're quoted in -- in my
10 charts under claim 1-B in page 34 and 35. It's
11 abbreviated for Section 5.1. My quote is abbreviated.
12 But what that Section 5.1 is prescribing is a -- a
13 test for CA FE BA BE. If the test is satisfied, then
14 the pruning out of the Java -- the offending Java
15 applet from the HTML file, so it's almost like a
16 partial block; whereas in 5 -- 5.3 it's suggesting
17 simply block all -- all the packets involved in the
18 session that -- in which the Java applet was
19 transmitted.

20 So the -- the -- the -- the access rule
21 or control action is -- is the act of blocking or
22 pruning out the -- the Java applet. And implicitly

1 the test to determine whether the access rule should
2 be applied is simply checking whether CA FE -- I
3 shouldn't say simply -- just checking whether CA FE BA
4 BE is resident in -- in the file being transferred
5 with other context, with other context that Rubin
6 explains.

7 So I -- I'm simplifying the test because
8 Rubin is aware that the signature CA FE BA BE may be,
9 for lack of a better expression, accidentally present
10 in non-Java -- non-Java transmissions and so other
11 context may be brought to bear to make sure that this,
12 in fact, is a Java applet.

13 Just continuing on page 35, the quote at
14 the bottom involves blocking applets. The quote at --
15 the second to last quote involves blocking applets.
16 So those are actions. And we see the context I was
17 referring to a moment ago that you're -- you might be
18 looking at an HTML file. And if you see CA FE BA BE
19 inside a plain text HTML file, that may tip you off
20 that there's a Java applet embedded.

21 So that's part of the test -- part of the
22 test that forms part of the rule and then the act

1 is -- is, in this case, blocking or -- or partially
2 filtering.

3 Q. Is the element of Rubin corresponding to
4 the access rule of claim one explicitly or implicitly
5 disclosed?

6 A. I think it's explicitly disclosed. The
7 signature is explicitly given. It's not a
8 hypothetical. It's an actual signature. And the
9 action is explicitly given.

10 So it -- to me it has both -- both pieces
11 of an access rule, the test and the -- the action as a
12 consequence of whether the test passes or fails.

13 Q. Let's see, claim 1-B also recites
14 contents of the payload of the packet.

15 Where in your Declaration did you
16 identify the element of Rubin corresponding with the
17 contents of the payload of the packet of claim one?

18 A. Im -- implicitly the signature recited
19 for a Java applet, CA FE BA BE, does not reside in the
20 header. It must reside in the payloads. So it's --
21 it's -- it's implicitly there.

22 This is why the -- the payloads are

1 collected and the files reconstituted. This is the
2 whole point of -- of Rubin's discussion, is to
3 reconstitute a file from the packet payloads.

4 So when you say, for example, on page 35,
5 the second to last quote from page nine, unpack the
6 archive as it arrives, you're unpacking it from the
7 payloads of the received packets. When you're
8 referring to a zip file, that file is borne in the
9 payloads of the packets, for example.

10 You're searching -- in -- in the second
11 quote, you're searching IP packets for that four byte
12 signature. That four byte signature is disclosed to
13 be in the payloads because it's a Java applet. It's
14 not in the header.

15 Q. Element B of claim one also recites
16 selecting an access rule.

17 Where in your Declaration did you
18 identify the element of Rubin corresponding with the
19 selecting of claim one?

20 A. So in -- in talking about packet
21 filtering, so filtered doesn't necessarily involve a
22 single access rule. It could have hundreds or

1 thousands, many thousands, of -- of rules. Again,
2 each rule is a test.

3 If the test is satisfied, then, you know,
4 as -- I should say as a consequence of the test, an
5 action is prescribed. So selecting -- I think one of
6 ordinary skill would understand that selecting here is
7 applying a test to -- to a -- a packet. So you're
8 applying tests to packet -- to packets, deciding on
9 what actions to take based on those tests.

10 Q. Does -- oh, I'm sorry.

11 A. The -- the selecting part -- you know,
12 the -- the test could involve context. So certain
13 access rules could be applied to -- need -- need to be
14 applied to only certain types of packets, but you can
15 combine a context with the test itself to create a
16 kind of a meta-test. So I view the selecting as
17 basically the testing step.

18 Q. Does Rubin disclose hundreds or thousands
19 of tests?

20 A. I think Rubin discusses prior art in
21 firewalls. So I -- I think in -- in -- in section two
22 Rubin discusses prior arts -- prior art in firewalls

1 and he doesn't recite examples of -- of specific tests
2 conducted by -- by packets. Sorry. It doesn't
3 explicitly cite or explain specific tests and how many
4 there are, but it -- it -- it certainly discusses
5 firewalls as of the -- the time of publication and
6 refers to, for example, 16, an early firewall.

7 I -- I think Rubin's contribution is --
8 is a new rule, a new rule involving the CA FE BA BE
9 signature of a -- of a Java applet. And there's also
10 the -- the publication by Cheswick and Bellovin '94
11 that they're citing, which I expect, and citations
12 five and six are, you know, existing publications on
13 firewalls, which probably recite different --
14 different access rules.

15 Q. Okay. You might have answered my
16 question, but I'm going to ask it again.

17 Does Rubin -- does Rubin disclose
18 hundreds or thousands of tests?

19 A. I -- I don't know. I -- in the
20 background -- explicitly, no, I don't see on section
21 two that it's saying that, you know, the -- the
22 size of or the -- the number of tests that are

1 involved in commercial firewalls at the time.

2 Q. Does Rubin disclose more than one test?

3 A. Yes, it discloses different tests. So
4 it -- it talks about tests that may involve
5 determining -- first determining if -- if packets
6 are -- if a -- if a -- if a section is bearing a zip
7 file, and then based on that, acting to unpack the zip
8 file and, having done that, scanning the zip file for
9 CA FE BA BE.

10 However, if the -- the HTML file arrives
11 in plain text, then obviously you don't have to unpack
12 it, so -- unzip -- unzip it, so you would directly
13 assemble the HTML file and -- and check for CA FE BA
14 BE in that case.

15 So there are different contextual --
16 sorry, let me just get a specific reference that comes
17 to mind. I'm just looking for the place where they
18 talk about the potential for false positives requiring
19 context. So, again, they do disclose it somewhere --
20 I just can't see it right now -- where -- so, for
21 example, doing -- on -- on page 35 of my report, the
22 last quote, doing this without killing legitimate

1 traffic is not easy. So they are referring to the
2 fact that it's possible by checking for CA FE BA BE
3 that you may mistaken another type of communication
4 that happens to have that signature.

5 So considering -- considering the
6 contextual issues prior to the checking of CA FE BA
7 BE, they -- they do have a plurality of rules that
8 they disclose.

9 Q. Okay. Claim one recites -- claim 1-C
10 recites implementing.

11 Where in your Declaration did you
12 identify the element of Rubin corresponding with the
13 implementing of claim one?

14 A. So I believe that implementing is the
15 control action after the test. I think -- my
16 interpretation is selecting is the -- as I said -- the
17 test itself -- the tests themselves -- and the control
18 action is the -- is the implementation, what is the
19 response as a result of the tests.

20 So implementing -- implementing could
21 actually be a super set of activity. I mean,
22 implementing could be both the test and the response.

1 The -- so the -- it -- the implementing is the -- is
2 the -- is the blocking, for example, that's recited
3 in -- on page 35 in the quote from page eight or
4 the -- the partial -- the pruning out of Java applets
5 from a transmitted HTML file in the -- in the quote
6 from -- from page seven.

7 So the -- the fact that you're both
8 testing and acting upon the rule is -- which as
9 recited in those two examples is evidence of
10 implementing.

11 Q. Let's see, claim 1-C also recites a
12 combination of the contents of the packet received in
13 step A and the contents of at least one other packet.

14 A. Right.

15 Q. Where in your Declaration did you
16 identify the element of Rubin corresponding with the
17 recited combination?

18 A. So the combination revisits element B,
19 selected element B, which my interpretation is that
20 this test needs to -- need -- must consider by element
21 B the payload of the packet received in A and must
22 consider that. That's limitation -- my reading of

1 limitation B.

2 And, in addition, it -- it -- it -- it
3 must depend on the contents of one other packet.
4 Generally speaking, Rubin's big point in going to the
5 proxy is that CA FE BA BE may be split across packet
6 boundaries. So Rubin explicitly recites something
7 that I think was obvious to one of ordinary skill,
8 that if you're looking for a signature in
9 application-level information, the process of
10 fragmenting a file or an application-level message
11 into packet payloads does not respect word boundaries
12 or the -- whatever boundaries are delineated in your
13 signature. So that signature could be spread across
14 multiple payloads. That's Rubin's basic message.

15 It gets worse if it's also zipped. If
16 it's zipped, it means you have to unzip the -- the
17 message in order to apply your test. So which means
18 you have to gather the -- the packet payloads
19 together, unzip them, then apply the test.

20 So -- sorry, I'm -- I'm going to go to
21 the chart again under C. So it's referring to a proxy
22 host whose function is to reconstitute the message,

1 the application layer message, and that reconstitution
2 inherently involves -- in sort of a multi-packet
3 message is decapsulating the packets and merging them
4 together to reconstitute the message that was being
5 sent.

6 Again, the idea of signatures that are
7 applied to applets or to HTML files, those are things
8 in payloads, generally in a plurality of payloads.
9 Sorry, let me just quickly get the right quote.

10 So, again, in -- on page 37 Rubin makes
11 this explicit. For example, blocking CA FE BA BE the
12 simplest scheme requires searching IP packets for that
13 four byte signature; however, those four bytes need
14 not arrive in the same packet and, if split up, the
15 individual packets may arrive out of order. So the
16 point is that if you're testing for that signature,
17 Rubin is saying that signature may require examining
18 multiple packet payloads.

19 But I reiterate that any application
20 layer signature, such as part of an application --
21 application layer message, one of ordinary skill in
22 networking understands how messages are segmented or

1 fragmented into -- into IP packet payloads. And the
2 concern is that examining those packets on the fly, as
3 a firewall would -- as a firewall may, may not -- you
4 may not get the information you need to apply the
5 test.

6 Selecting is applying the test to decide
7 what then to do about the -- the message being sent.
8 And the -- the -- the natural thing to do is to
9 consider multiple packet payloads as a consequence.

10 Q. Okay. So element 1-C of the patent
11 states that the access rule is selected.

12 Where in your Declaration did you
13 identify the element of Rubin corresponding with the
14 is selected of claim one?

15 A. Again, to reiterate, my explanation of
16 selected in element B had -- had to do with applying a
17 test to the object in question, which is a packet or
18 plurality of packets in this case.

19 So the test is being applied -- the test
20 is to search for CA FE BA BE signature. The -- that's
21 the -- the selection rule. So the packets, whose
22 payloads contributed to the reconstituted file

1 containing CA FE BA BE, those packets were selected by
2 that test.

3 Q. Okay. So in paragraph 43 of your
4 Declaration at the top of page 19 you state that,
5 Rubin blocks all inbound files that contain a specific
6 four byte hex signature, CA FE BA BE, that is present
7 in all Java class files, correct?

8 A. Yes, that's right. That's according to
9 one embodiment of Rubin, I should say, maybe that's
10 not the right word, but 5.3 is what I'm referring to,
11 Section 5.3 of Rubin.

12 Q. All right. In the next sentence you say,
13 because this signature may span more than one packet
14 payload, Rubin recommends the use of an application
15 proxy to apply the access rule based on the contents
16 of the payloads of multiple packets.

17 Do you see that?

18 A. I do.

19 Q. Okay. In your opinion, is -- the
20 blocking of CA FE BA BE is an access rule that is
21 applied, correct?

22 A. So, yeah, I -- sorry. The -- the access

1 rule is to test for CA FE BA BE. And given that the
2 test is satisfied, perhaps with other elements of the
3 test, other contextual information to reduce false
4 positives, then the act is to block. The -- the
5 action, I should say. The action is to block, right,
6 so.

7 Q. Okay. And the title of the Rubin article
8 is Blocking Applets at the Firewall, correct?

9 A. That's correct. That's what I'm reading.

10 Q. Okay. Is it correct that Rubin's
11 solution for blocking applets at the firewall is to
12 apply a rule to block the CA FE BA BE signature?

13 A. With other types of contextual
14 information to reduce false positives, yes.

15 Q. Okay.

16 A. That's -- that's what they're suggesting.

17 Q. The rule in -- in Rubin is a pass/drop
18 rule, correct?

19 A. Not necessarily, no, because -- it's a
20 pass/drop rule with regard to the Java applet. But in
21 Section 5.1 if you're considering the rule as applied
22 to a file, the whole message being communicated from

1 server to client, then it's really a pruning. It's
2 like a partial pass. You let the rest of the file
3 through and you just remove the Java applet. So it's
4 blocking of the Java applet or passing.

5 Q. Okay. So I'm going to now turn to
6 Cunningham, which is Exhibit 1011.

7 A. Okay.

8 Q. All right. And I think that your
9 discussion of Cunningham starts at page 51, but, you
10 know, of course I want you to refer to anything in
11 your Declaration --

12 A. Okay.

13 Q. -- when we're discussing Cunningham.

14 So you should know the drill by now. So
15 where in your Declaration did you identify the element
16 of Cunningham corresponding with the packet of claim
17 one?

18 A. So in -- in claim one, I'm looking at the
19 chart on page 52 of my report, in the first paragraph
20 quoted from the -- Cunningham's abstract, he's
21 referring to data packets. And generally he -- he's
22 referring to a network firewall, an internet firewall,

1 which carries data packets.

2 Q. Okay. And element 1-A refers to at least
3 one header parameter.

4 Where in your Declaration did you
5 identify the element corresponding with the at least
6 one header parameter?

7 A. Sorry, 1-A?

8 Q. Yeah.

9 A. So you see the figure in my chart of
10 Cunningham with 1-A, we see that there's a little
11 schematic of -- of a -- of a packet and there's an
12 abbreviated header, in this case I believe an Ethernet
13 header because it's six bytes. Destination address,
14 source address and type, those are header parameters.
15 You might -- you might also call the checksum at the
16 end, it's a trailer, but same spirit.

17 Q. And where in your Declaration did you
18 identify the element of Cunningham corresponding to
19 the payload of claim 1-A, claim element 1-A, sorry?

20 A. Well, in the same figure there's data,
21 again, page 52 of my report, the figure 3 from
22 Cunningham. The payload in this case would be the

1 data with respect to the -- the packet or frame that's
2 being described, in this case an Ethernet frame.

3 Q. Okay.

4 A. Also, when you refer to a packet as being
5 a data packet, that expression, because packets can be
6 transmitted with -- with no payloads, they could just
7 be messages borne indicating information in the
8 headers, a data packet, you know, essentially conveys
9 that there's -- there's a payload with data in it.

10 Q. So claim 1-A, claim element 1-A, sorry,
11 recites receiving.

12 And where in your Declaration did you
13 identify the element of Cunningham corresponding with
14 the receiving of claim one?

15 A. Well, if we look at the preamble from the
16 abstract of Cunningham, a method of, and system, for
17 monitoring. So monitoring is -- is receiving. And
18 certainly in order to control something, you have to
19 have intercepted the -- the thing you're trying to
20 control to some extent. Sorry, let me strike that,
21 the last part I said in the case -- in the context of
22 Cunningham.

1 But the monitoring part is what I would
2 call -- call evidence of receiving in the -- in the
3 abstract. But, generally speaking, firewalls receive
4 packets. It's -- it's just what firewalls do. It's
5 inherent in what a firewall does. It can't operate
6 otherwise.

7 In the quote from Cunningham from column
8 three, collecting and assembling data packets, you're
9 collecting data packets, that's receiving and
10 collecting.

11 Q. So element 1-B of the '694 patent recites
12 an access rule.

13 Where in your Declaration did you
14 identify the element of Cunningham corresponding to
15 the access rule of claim one?

16 A. So Cunningham uses the same expression,
17 for example, from column four as I recite on page 53
18 for element 1-B, access control rules may be applied
19 at the time a connection is established or may depend
20 upon application protocol data following a successful
21 connection. So here they're -- sorry, what was your
22 question, you -- where is the access rule?

1 Q. Yeah. What --

2 A. Okay.

3 Q. Where in the Declaration did you identify
4 the element of Cunningham corresponding to the access
5 rule of claim one?

6 A. So furthermore, the next quote from
7 Cunningham I -- it refers to a rules base, which is a
8 data base of -- of tests together with prescribed
9 actions that depend on the consequence of the test,
10 the outcome of the test.

11 Q. And where in your Declaration did you
12 identify the element of Cunningham corresponding with
13 the contents of the payload of the packet of claim
14 one?

15 A. So in -- in layer -- protocol layering,
16 including the OSI hierarchy, application layers are
17 high and network layers are low. So Ethernet is layer
18 two. Data-link layer is layer two. IP is layer
19 three. TCP is layer four. Application layers are
20 above that.

21 So when -- when -- again, 53, second
22 paragraph, the reference is to higher level decisions

1 can be formed after a connection has been established
2 and the contents -- so higher level means application
3 layer decisions.

4 Similarly, when it refers to low level
5 information, it's referring to information that's
6 evident only in -- among individual packets, Ethernet
7 frames and -- and IP packets and the like.

8 MR. JACKSON: George, if you could slow
9 down in some of your --

10 THE WITNESS: Are you able to -- is my
11 speech slurred?

12 MS. SMITH: It's fast.

13 THE WITNESS: Am I okay?

14 BY MS. SMITH:

15 Q. Slow down.

16 A. Okay. I'll slow down.

17 Okay. So in -- in this case because
18 it's -- it's Ethernet -- I'm continuing at the top of
19 page 54 from the quote from Cunningham for -- for
20 1-B -- because it's Ethernet, Ethernet is sitting
21 there in layer two and its payloads are going to be IP
22 packets, for example, and -- and sort of TCP/IP

1 packets.

2 So if you want to perform a test on
3 higher-layer information, you need to kind of go into
4 the payload of -- of -- on -- at the IP or TCP level
5 if you want to perform a test, apply an access rule
6 based on -- an access rule corresponding to that
7 layer, you have to look at the payload of the Ethernet
8 frame.

9 And then the underlined portion that's
10 highlighted in page 54 in my report, layer seven
11 information or application layer information is
12 acquired by assembling the data packets, for example,
13 on E-mail environment, the application layer
14 information that may include -- that may be relevant
15 to application of the rules base may include
16 information within the subject line of an E-mail
17 message. This information is acquired only upon
18 accessing the data fields in the data packet of the
19 E-mail message.

20 In fact, they're -- you're -- you're
21 going within the payload to the payload of the TCP/IP
22 packet embedded in the Ethernet frame and looking for

1 subject -- E-mail subject information that's -- that's
2 included there.

3 Q. So element 1-B recites selecting.

4 Where in your Declaration did you
5 identify the element of Cunningham corresponding with
6 the selecting of claim one?

7 MR. JACKSON: Counsel, you're referring
8 to step B or C selecting? I'm sorry.

9 MS. SMITH: Yeah, I -- I think it was
10 1-B. Did I --

11 MR. JACKSON: I think --

12 MS. SMITH: Oh, sorry, 1-B, yeah.

13 THE WITNESS: 1-B.

14 Again, I -- I feel that the
15 selecting -- my -- my interpretation of the
16 selecting action is applying the test and
17 determining -- sorry, is applying the test portion
18 of -- of an access control rule and -- and then
19 the -- the full implementation of the rule
20 includes the -- the action that's prescribed as --
21 as a consequence of the outcome of the test.

22 So on page 53 Cunningham invokes

1 access control rules, which, in my opinion, have
2 to have these two pieces to them. So by the rules
3 are applied means that packets are selected
4 according to the tests prescribed in the rules.
5 And the -- the control action that depend on the
6 outcomes of those tests are -- are implemented.
7 And, similarly, application rules bases is
8 mentioned in the second quote.

9 BY MS. SMITH:

10 Q. Actually, I have some more questions on
11 Cunningham, but I need to take a quick break. This is
12 going to be real short, like five minutes.

13 VIDEO OPERATOR: This marks the end of
14 tape two in today's videotaped deposition of
15 Dr. George Kesidis.

16 Going off the record, the time is
17 11:29 a.m.

18 (Thereupon, a brief recess was taken.)

19 VIDEO OPERATOR: This marks the beginning
20 of tape three in today's videotaped deposition of
21 Dr. George Kesidis.

22 Going back on the record, the time is

1 11:39 a.m.

2 BY MS. SMITH:

3 Q. Okay. Thanks for the break everybody.

4 So when -- when we broke we were talking
5 about, I think, the selecting and implementing steps
6 of claim one.

7 A. Okay.

8 Q. And -- and I think I -- I've forgotten
9 your answer. You might have said it and I've
10 forgotten, but where in your Declaration did you
11 identify the element of Cunningham corresponding with
12 the implementing of claim one?

13 A. So -- so I -- in page 54 under my chart
14 for element C they're -- in the first quote they're
15 talking about applying the access control rules. And
16 then in the second citation from column seven of
17 Cunningham, this enables access management control
18 to -- to base decisions upon information. So the --
19 the decisions that are being based are the -- are the
20 control actions that are a consequence of the -- the
21 tests being -- being selected -- the packets being
22 selected. And, again, the reference to decisions in

1 the third paragraph refer to an implementation.

2 Q. Okay. And so element 1-C recites a
3 combination of the contents of the packet received in
4 step A and the contents of at least one other packet.

5 Where in your Declaration did you
6 identify the element of Cunningham corresponding with
7 the recited combination?

8 A. So in -- in the first -- sorry. So
9 sorry, let me just clarify the answer to your previous
10 question, some additional information from the first
11 paragraph, a connection attempt may be denied, a
12 previously established connection may be broken. Here
13 they're referring to connections, for example, TCP
14 connections. Layer four, TCP is connection oriented,
15 so there are ways of terminating those connections and
16 effectively dropping all the packets associated with
17 them.

18 So now to answer your question regarding
19 the -- the combination, in the second paragraph the
20 workstation then has the capability of piecing
21 together the fragments of multiple packet signal. So
22 they're talking about combining information from

1 multiple packets. Various layer in the --
2 particularly the application layer. So when they're
3 referring to the application-layer information, that
4 is information necessarily in the payloads; the
5 uppermost application layer.

6 So higher level decisions -- sorry, the
7 quote from page -- so column eight of Cunningham, I
8 mean, they refer to an E-mail environment and they're
9 referring to a -- a test or a rule based on the
10 subject line of an E-mail message. And that subject
11 line could be very long in general. It could -- it
12 could straddle multiple packet payloads. Certainly
13 the -- the contents of the E-mail message could
14 straddle multiple packet payloads.

15 In the quote from column 10 they refer to
16 assembled data packets. Until sufficient information
17 is required (sic) regarding the node-to-node
18 transmission, that's sufficient information to apply a
19 prescribed test. And, again, it's -- it has acquired
20 sufficient higher-layer information, reading that I --
21 I -- I understand that there -- there's a plurality,
22 if you don't have enough right now, you have -- you

1 don't have as many payloads as you need, you're
2 getting more payloads coming in to create the
3 sufficient amount of information.

4 Referring back to your previous question
5 regarding implementing, the top of page 56, the
6 controller may generate a signal that disables the
7 connection, an example of that could be to transmit a
8 TCP reset or TCP FIN command. If you detect that
9 something is offensive about the -- or not
10 desirable -- about the communication, you can
11 terminate the -- the connection that way and -- and
12 effectively drop all the packets associated with the
13 session.

14 Now, again, I -- the very last paragraph
15 of -- from -- on page 56 from Cunningham that I quote
16 from column 10, they're talking about acquiring
17 sufficient information to apply a test. Implicitly to
18 me that's -- sorry, that -- that quote does not
19 necessarily convey payloads. It could be -- it could
20 be headers. So I just -- I withdraw that comment.

21 I'm sorry, let me just see the -- the
22 figure. Sorry, yeah. So the -- in -- in the figure

1 that it's referring to there's a block called assemble
2 communication specific packets. So the act of
3 assembling to me is -- is piecing together the -- the
4 payloads to reconstitute the higher-level message in
5 order to apply the test sufficiently.

6 Q. Okay. And element 1-C recites is
7 selected.

8 So where in your Declaration did you
9 identify the element of Cunningham corresponding with
10 is selected of claim one?

11 A. So the -- the act -- again, the act of
12 applying the rules, if I kind of work backwards from
13 page 56, once you have sufficient information, enough
14 packets to -- packet payloads -- to apply a specific
15 test, you apply the test and that's -- that's the act
16 of selecting the packets. Then you can decide on
17 the -- the prescribed control action as a consequence.

18 Q. Okay. I'm going to refer now to the
19 Cunningham reference itself.

20 A. Okay.

21 Q. Okay. So first I'm going to refer to
22 figure five. It's on page seven of Exhibit --

1 A. Okay.

2 Q. -- 1011. Okay. And now that I've
3 referred to it, I will immediately not refer to it and
4 go to column four. Now I'm on page 11.

5 A. Okay.

6 Q. Column four, line 66 to 67.

7 A. Oh, that's the -- I'm sorry, I'm in the
8 wrong place.

9 Q. Yeah, so --

10 A. That was -- that was on the last page
11 that said column four, but column four of the patent,
12 yes.

13 Q. Yeah, under the brief description of the
14 drawings it says that, this is a view of a graphical
15 user interface, GUI, in accordance with one embodiment
16 of rules configurations.

17 Correct?

18 A. Um-hmm (affirmative).

19 Q. Okay. And now with respect to column
20 eight, lines 25 to 29, it states that the -- the GUI
21 of figure five is used by a system operator to
22 configure the rules base that determines the action of

1 the access control modules, 30, 32 and 34 of figure
2 two, correct?

3 A. That's what I'm reading, yes.

4 Q. Okay. Perfect. And so would you agree
5 that the rules in Cunningham are configured by a
6 system operator?

7 MR. JACKSON: Objection, form, calls for
8 speculation, foundation.

9 THE WITNESS: Well, first of all, I
10 believe this is just an embodiment.

11 Second of all, it's not -- even this
12 embodiment is not saying that all the rules need
13 to be configured this way. Some of them may be,
14 you know, pre-configured. So I should say not all
15 the rules need to be configured manually. Some of
16 them could be pre-configured.

17 Did I answer your question?

18 BY MS. SMITH:

19 Q. What -- what did you mean by a
20 pre-configured rule?

21 A. There may be other rules that don't
22 require manual configuration by a system administrator

1 that are in operation. There -- there may be
2 signatures of attacks that are context-free. If the
3 signature is there, it's definitely bad, drop it. And
4 that may be something that is sort of a -- you know --
5 a signature that's just an automatically updated -- an
6 automatic update to your firewall.

7 Access control rules tend to have some --
8 be somewhat of the form described in figure five. You
9 have to have as part of the test is -- is an identity
10 and a resource, so -- and some kind of permissions for
11 that resource. And the permissions would be -- could
12 be the control action if the -- if the test is -- is
13 satisfied.

14 Q. Does Cunningham disclose pre-configured
15 rules?

16 A. I don't -- I don't know. I -- I -- I
17 think -- so Cunningham refers to -- in its section on
18 background -- refers to firewalls in the art. And
19 firewalls in the art have many rules associated with
20 them. Not all of them would be manually configured
21 because access control policies, specific ones such as
22 those recited in figure five, tend to involve specific

1 resources, like the identities of specific individuals
2 or devices. They require some amount of manual
3 configuration. That -- that's all that Cunningham is
4 acknowledging there.

5 Q. Is there an explicit disclosure in this
6 background arts -- arts section regarding
7 pre-configured rules?

8 A. Only implicitly through its -- its brief
9 mention of firewalls at the top of column two.

10 Q. Okay.

11 A. You know, perhaps -- again, I haven't
12 looked at this reference -- perhaps also some
13 disclosure is given indirectly through his discussion
14 of the patent in column two, line 45. The -- in fact,
15 the example there -- yeah, I mean, I -- I -- I guess
16 not explicitly in the background section. I haven't
17 read the patent with this question in mind, so -- so
18 I'm not sure. Yeah, I'm not sure.

19 Q. How much time would you need to consider
20 whether Cunningham discloses pre-configured rules?

21 A. Again, I believe it does because these
22 rules were existent in the -- in the art. Rules such

1 as the one recited by -- by Rubin, such as a rule
2 saying block all Java applets inbound to the domain,
3 that doesn't require -- I mean, it -- sorry, let me --
4 let me amend what I said earlier.

5 So typically when you -- when an
6 institution receives -- when you receive a firewall,
7 it was my understanding that many of them are
8 delivered so that they block everything. So the user
9 has to activate or someone, system security
10 administrator, has to activate the rules that permit
11 packets through, as well as the rules that block
12 certain types of activity.

13 So all of that is under the control of
14 the administrator. What I'm -- what I'm trying to say
15 is that the -- the -- the rules illustrated in figure
16 five with reference to column four are -- are not the
17 only types of rules. There could be rules such --
18 well, I guess -- I guess all types of rules that we're
19 discussing in this deposition could fit into this
20 mode.

21 So one could disallow anyone from any
22 device at any time downloading a Java applet. So a --

1 a security administrator is in control of what gets in
2 and what gets out and is -- is responsible for
3 applying the security policies of the business through
4 implementation of the firewall.

5 So I -- I just take it back. I -- I
6 think in practice any firewall will be, to some
7 extent, configured manually.

8 Q. Okay. So you -- I -- I believe you just
9 testified that in addition to the rules shown in
10 figure five, there could be other kinds of rules.

11 Is that your testimony?

12 A. They -- I guess they could fit in -- into
13 the framework that's -- that's illustrated in the GUI.
14 A -- a context-free rule, a signature for a virus,
15 under any circumstances you don't want that virus in
16 your -- in your network, that could be implemented
17 as -- well, the signature for the virus is not really
18 stipulated here, right, in this table. That's -- that
19 was why I was raising the issue, that the description
20 of the test itself is not described, is not given
21 here.

22 So there might be additional information

1 not given here for the -- the signature of a virus or
2 CA FE BA BE, for example. Where would -- where would
3 the signature CA FE BA BE be listed here. It would
4 be, perhaps, in the comments. It doesn't really make
5 a lot of sense, but you could have a -- you know -- if
6 it's a virus signature, there could be a wild card for
7 who, where, when under any circumstances and then
8 there would be a description of the -- like a
9 disclosure of the signature itself that's being --
10 that -- that's forming the test. That's why I
11 responded the way I responded.

12 Q. Does -- does Cunningham disclose a CA FE
13 BA BE signature?

14 A. Again, I -- I think it's inherent in --
15 in its -- your reference to firewalls. So he -- he
16 refers, at the top of column two, to firewalls and
17 access control policies. So firewalls implement
18 access control policies involving identities of
19 individuals and devices and their permissions
20 vis-a-vis resources, including access to external
21 websites and the like.

22 Q. Are you suggesting that all firewalls

1 look at the CA FE BA BE signature?

2 A. No.

3 Q. Okay.

4 A. That particular signature at this time,
5 no; but firewalls at that time may have looked for
6 signatures of virus and other types of -- of attack
7 behavior that had been carefully characterized and
8 deployed on firewalls.

9 Q. Are you suggesting that all firewalls
10 looked at virus signatures?

11 MR. JACKSON: Objection, scope, calls for
12 speculation.

13 THE WITNESS: I -- I don't know that all
14 firewalls looked at virus signatures, but certainly --
15 certainly fire -- firewalls at the time were not
16 simply -- I shouldn't say simply -- did not only
17 implement access control policies. They also
18 implemented objective signatures to -- to remove
19 packets or groups of packets that were deemed
20 threatening to the -- the domain.

21 And all I'm just saying is that those
22 signatures are not represented in figure five.

1 I -- I think that Cunningham is not looking to
2 contribute an invention toward -- in this
3 discussion of column four, it's -- it's not
4 looking specifically toward other types of
5 firewall signatures. It's really sort of
6 describing what you see here.

7 BY MS. SMITH:

8 Q. Okay. I'm referring now to page 56 of
9 your Declaration with reference to figure seven.

10 A. Okay.

11 Q. Would you agree that in figure seven the
12 rules are sequentially applied one after another in
13 steps 98 through 104 after sufficient information has
14 been acquired to apply the rule base as determined in
15 step 96?

16 A. 98 to 104?

17 Q. Um-hmm (affirmative).

18 A. Specifically 98, 100, 104 and considering
19 the branch 102.

20 Q. Right.

21 A. That -- that's my understanding of the
22 flow chart.

1 Q. Okay. Would you agree that Cunningham
2 teaches that the rules in a rule set are consulted in
3 a top down order and in Cunningham the system works
4 its way down a list of rules in determining a match?

5 MR. JACKSON: Objection to form,
6 foundation.

7 THE WITNESS: I -- I'm not sure what you
8 mean by top down order. The rules are stored in a
9 data base in some order; is that what you're --

10 BY MS. SMITH:

11 Q. Well, I'm actually referring to column
12 ten, lines 65 through 67.

13 A. Okay.

14 Q. So it says that the rules in a particular
15 set are consulted in a top down order.

16 A. Sorry, I -- I didn't -- I didn't actually
17 recite that passage, so ten, line 67?

18 Q. Yeah.

19 A. I see it.

20 Q. So do you have an opinion whether
21 Cunningham teaches that the rules in a rule set are
22 consulted in a top down order; in Cunningham the

1 system works its way down a list of rules in
2 determining a match?

3 MR. JACKSON: Objection, form,
4 foundation, calls for speculation.

5 THE WITNESS: I'm -- I'm just lost at
6 where I am right now. So column ten, line 65 --

7 BY MS. SMITH:

8 Q. Through 67.

9 A. Oh, I see.

10 Q. Yeah.

11 A. Step 100 -- I -- I think just reading, as
12 you've -- as you pointed out, it's simply saying the
13 rules are listed -- yeah, they're -- they're listed in
14 some order in the rules data base or the rules base,
15 and whatever that order is, if they are tested
16 sequentially out of that data base, that's -- in this
17 embodiment, that's what I'm reading as well.

18 Q. Okay. So I'm now going to refer to the
19 combination of Norman and Rubin. I think it starts at
20 page 38 of your Declaration, but --

21 A. Give me a second. I'm just going to --

22 Q. Sure.

1 A. -- get out the right references. Okay.

2 Q. Okay. Okay. So the preamble of claim
3 one recites a packet.

4 And where in your Declaration did you
5 identify the element of Norman or Rubin corresponding
6 with packet in claim one?

7 A. So I discussed Rubin's disclosures
8 regarding the limitations of the claim. So is it okay
9 for me to just focus on what Norman is reading?

10 Q. Sure.

11 A. So in claim -- sorry, in paragraph 60 I
12 refer to -- in the context of claim 1-A -- that Norman
13 is receiving a packet. You know, and generally
14 speaking, Norman is -- is an internet firewall. The
15 title of it -- reference is to firewalls and internet
16 security. Internet packets are being transmitted
17 inside the internet and firewalls are -- are -- are
18 considering them.

19 If I refer to the chart, again, at page
20 44, claim one, the preamble, I make a citation to
21 Norman at page three, most network security devices on
22 the market employ some sort of packet routing and

1 filtering.

2 Moreover, on page 45, packet filtering is
3 referred to from a quote, Norman page three. Internet
4 security products, again, internet inherently involves
5 the transmission of internet packets, IP packets. I
6 again refer to a packet filter in the quote from page
7 four.

8 Q. And where in your Declaration did you
9 identify the element of Norman or Rubin corresponding
10 with the at least one header parameter of claim one?

11 A. I've already discussed how Rubin
12 discloses a header parameter. And any IP packet -- I
13 think any -- any communication packet in general has
14 a -- a header of some kind and the header inherently
15 has a parameter.

16 But certainly internet packets have
17 header parameters. And Norman discloses that it -- it
18 operates in the internet on internet packets, as I've
19 discussed per the preamble.

20 Moreover, they -- at the bottom of page
21 45, referring to Norman, a Norman reference from page
22 eight, sorry, Norman quote from page eight, the packet

1 header information can be used for filtering. Packets
2 can be filtered by protocol, origin, destination, IP
3 address, port, physical device, et cetera. Those
4 are -- those are parameters or fields in -- in an IP
5 packet header.

6 Q. So element 1-A recites receiving a
7 packet.

8 Where in your Declaration did you
9 identify an element of Norman or Rubin corresponding
10 with receiving in claim one?

11 A. So, again, with Rubin I've already
12 answered the question earlier.

13 With -- with Norman, let me repeat that
14 I -- I think it's inherent that firewalls receive
15 packets; otherwise, they -- they couldn't do their
16 job.

17 The quote from page three and four of
18 Norman in the middle of page 45 of my report refers to
19 packet filtering. Again, it's -- it's inherent that
20 that would involve receiving packets in order to be
21 able to filter them.

22 Again, at the bottom of page 45 it's

1 referring to packet header information, which can't be
2 known unless the packets have been received.

3 Q. Element 1-B of claim one recites an
4 access rule.

5 Where in your Declaration did you
6 identify the element of Norman or Rubin corresponding
7 to the access rule of claim one?

8 A. Well, access rules -- so Norman in --
9 includes virus control. So it -- it refers to some
10 kind of scanning for what it reports. Again, at the
11 time of application I'm not sure what this -- I've
12 forgot exactly what the date of this reference is --
13 October 1995 -- that if there were over 7000 known
14 viruses and by scanning all incoming files for those
15 viruses it's using either the binary or some -- some
16 other kind of signature.

17 So in my opinion what that means is that
18 it -- it's testing received packets. It's testing
19 received packets based on the -- the -- these -- these
20 virus signatures. And because we're talking about
21 virus, the virus itself will be borne in the payloads
22 of the packets.

1 So the virus would not be in the headers
2 of the packets. So the -- the virus is borne in the
3 payloads of the packets. And so it's -- it's
4 fulfilling the -- the payload limitation as well.

5 Again, searches all incoming files --
6 proceeding to page 46 of my report, a quote from pages
7 five and six of Norman -- searches all incoming files.
8 So the act of searching for selected text, the word
9 selected is actually used in there, so the -- the
10 selected text is text that -- like CA FE BA BE --
11 would indicate something that is of concern to the
12 security administrator.

13 And the process of searching packets
14 is the -- is the selecting -- you know, when -- when
15 you find packets that match or even if you find those
16 that don't, you're selecting packets and applying
17 tests.

18 Again, because the word file is used
19 here, it's understood to one of ordinary skill the
20 file is borne inside the payloads of packets. In
21 general, multiple payloads.

22 Proceeding with the examples from Norman

1 on page nine, there are two quote -- two examples, one
2 involves -- repeats the -- the antivirus example that
3 I mentioned earlier. The other involves a -- a
4 hotword module, which is very similar -- actually,
5 very similar -- similar to the CA FE BA BE signature
6 recited in -- in -- in Rubin.

7 The example given is there -- there are
8 documents that are associated with a secret project
9 called The Alpha Project and those documents may
10 have -- will have the words The Alpha Project,
11 quote/unquote, embedded in them. And, again, the
12 documents are -- you know, one of ordinary skill would
13 understand those documents when they're -- they're
14 being transmitted over the internet and intercepted by
15 a firewall will be borne in the payloads of multiple
16 packets in general.

17 And so you're -- you're -- you're testing
18 for The Alpha Project to see if that -- if that
19 collection -- if that -- if that title is present in
20 the payload of a packet.

21 Q. So is there a specific test that's
22 disclosed in Norman that corresponds to the access

1 rule of claim one?

2 A. There is a specific test. They give an
3 example of The Alpha Project. It's an illustrative
4 example, but it's a specific one. Moreover, they also
5 refer to certain file types that involve -- that are
6 compressed versions of -- of -- that, you know,
7 basically could be compressed versions of executables
8 of a virus or text. And so they -- there are specific
9 rules corresponding to unpacking, say, a zip file
10 arriving in the payloads of a plurality of packets and
11 then applying an anti-virus signature -- an anti-virus
12 test to the received contents of the unzipped file.
13 That's at the bottom of the first paragraph of my
14 report, page 47.

15 They don't -- I don't think Norman in
16 this prospectus recites a specific virus signature
17 among the 7,000 that they -- they claim to have
18 deployed. But the -- The Alpha Project example is an
19 illustrative example, but it's a concrete one, in my
20 opinion.

21 Q. So is the access rule the text -- the
22 search for the text string Alpha Project?

1 A. That would be the test portion of the
2 access rule and the -- as a consequence of that test,
3 the prescribed control action would be to -- to block
4 transmission --

5 Q. Okay.

6 A. -- of that -- of -- of that set of
7 packets. But just to be clear, it may be permitted --
8 there may be context there. In other words, the
9 blocking may occur at a gateway to the outside world,
10 but it may be permitted within the -- within the
11 domain.

12 So the file transmissions may be
13 permitted within the domain, may be blocked, you know,
14 wherever the firewall happens to be located.
15 Certainly at -- at -- what Norman is saying is that
16 the gateway to the outside world you want to put a
17 firewall there that blocks exfiltration of any file
18 that has The Alpha Project in it.

19 Q. Okay. So an example of an access rule
20 might be if the text Alpha Project exists, then block
21 transmission?

22 A. If -- if it's detected in the payloads of

1 the packets, then block the transmission.

2 Q. Okay.

3 A. I think we're jumping the gun into C, but
4 anyway.

5 Q. Okay. And so element 1-B recites
6 contents of the payload of the packet.

7 Where in your Declaration did you
8 identify the element of Norman or Rubin corresponding
9 with the contents of the payload of the packet of
10 claim one?

11 A. I discussed this with Rubin, that CA FE
12 BA BE is necessarily part of a Java applet, which
13 would necessarily be in a -- in a payload. It would
14 be in the header.

15 Similarly, from the examples that -- if
16 you -- you know -- at the -- sorry -- the top of page
17 47 from -- from Norman, any virus is borne in the
18 payloads of packets and so anti-virus checkers
19 would -- would look at the payloads of packets as a
20 consequence.

21 A file that's part of The Alpha Project
22 and has The Alpha Project's name in it or some other

1 file that's unrelated to The Alpha Project, those
2 would be borne in the payloads of packets.

3 And Norman does talk about -- I'm sorry.
4 Sorry, that's -- yeah, that's in -- in addition to
5 what I said about Rubin. Sorry, let me take that --
6 so Norman -- sorry, a quote from page five/six
7 regarding virus control, Norman scans all incoming
8 files. So files, again, have to do with -- with
9 payloads of packets, searches all incoming files for
10 selected text, et cetera.

11 Q. Okay. Element 1-B of claim one recites
12 selecting an access rule.

13 Where in your Declaration did you
14 identify the element of Norman corresponding with the
15 selecting of claim one?

16 A. Again, I -- I -- I look at Norman, for
17 example, on page 46, the quote from pages five and
18 six, programmable Trojan control and confidential --
19 the Norman firewall searches, so the act of -- the act
20 of searching -- sorry, let me just repeat that slowly
21 because I know you're exasperated -- so programmable
22 Trojan control and confidentiality assurance, page 46

1 of my report and page five to six of Norman, the
2 Norman firewall searches all incoming files.

3 So to me the -- the act of searching,
4 searching for what. It's searching for text such
5 as -- as an example -- a text such as The Alpha
6 Project. And that act of searching and when -- when
7 it's detected you've selected the packets that --
8 you've selected the packets that -- for which you're
9 about to apply a -- a -- a control action prescribed
10 by the firewall.

11 Q. All right. Element 1-C recites
12 implementing the access rule.

13 Where in your Declaration did you
14 identify the element of Norman corresponding with the
15 implementing of claim one?

16 A. So I'm interpreting the word implementing
17 to be both applying the test and implementing the --
18 and -- and the control action. So if we look, for
19 example, on page 48 of my report, quoting Norman at
20 page nine at the bottom of that paragraph, the second
21 paragraph on my report, page 48, when a virus is
22 located the file transaction can be configured to be

1 blocked and/or logged. So that's a control action.

2 So you've selected packets or searched
3 for packets according to a virus signature. You've
4 located packets in this hypothetical, which you
5 believe contain the virus, and you act upon that
6 determination by blocking and/or logging the fact that
7 you detect a virus.

8 At the bottom of page 48 of my report,
9 quoting again from Norman, page nine, their -- their
10 illustrative example of a hotword, The Alpha Project,
11 when a file is cleared, it is then passed on by the
12 pass -- the proxy process. That's the control action.
13 So that's the complete access control rule
14 implemented.

15 Q. And element 1-C recites a combination of
16 the contents of the packet received in step A and the
17 contents of at least one other packet.

18 Where in your Declaration did you
19 identify the element of Norman corresponding with the
20 recited combination?

21 A. So this is where we decided to use Rubin
22 for support, because Rubin explicitly -- explicitly

1 talks about how the signature that they're interested
2 in, CA FE BA BE, can be split across packet payload
3 boundaries.

4 And to me, as I said before, one of
5 ordinary skill in networking understands that a file,
6 an application layer message, when it's fragmented
7 into packet payloads, any signature that is present in
8 that file can be split across payload boundaries.

9 So, for example, in Norman the -- the
10 text, The Alpha Project, may land at the boundary
11 because fragmentation doesn't respect word boundaries.
12 It respects -- it respects characters, but not word
13 boundaries, and -- and not even that if it's -- if
14 the -- if the file is compressed.

15 But if it's plain text, the -- like, for
16 example, the -- the letters T-H-E space A-L may be at
17 the end of one packet payload and the next packet
18 payload can begin with P-H-A space project, period.

19 And so what I'm reciting is a -- again,
20 we're bringing Rubin in to be explicit about it, but I
21 think that that possibility would be -- would be known
22 to one of ordinary skill. And, as a result, a

1 signature like that is being applied to a file rather
2 than to a single payload.

3 So to me reading Norman, even without the
4 support of Rubin, I can say The Alpha Project, if
5 I'm -- if I'm going to apply a signature like that in
6 practice, I'm going to apply at the file level and
7 inherently a file is, in -- in general, reconstituted
8 out of the payloads of a plurality of packets.

9 But to bring Rubin in to make it
10 explicit, that's what I already discussed in our --
11 in -- in my testimony on Rubin.

12 Q. Okay. Now I'm going to refer to the
13 Norman reference in particular, so that's Exhibit
14 1010.

15 A. Okay.

16 Q. And I'm on page 13, Section 4.5.

17 A. Oh, okay. There seems to be two
18 different page numbers here, so --

19 Q. Oh, I'm --

20 A. There's like a -- an exhibit page number
21 and --

22 Q. -- looking at the --

1 A. Are you looking at the exhibit number?

2 Q. Yeah, exhibit number.

3 A. Okay. What page again, sorry?

4 Q. Thirteen.

5 A. Okay.

6 Q. Okay. Would you agree that the -- that
7 Norman discloses the Norman firewall uses nothing but
8 proxy services to pass traffic from one network to the
9 other, no packets are allowed to pass directly?

10 MR. JACKSON: Objection, form, incomplete
11 hypothetical, calls for speculation.

12 THE WITNESS: First of all, I -- I don't
13 know that this figure represents all of the
14 functionality of the Norman firewall. I suspect it
15 does not.

16 I think this prospectus is -- this
17 reference is discussing Norman's value add to,
18 let's say, ordinary packet filters. So I -- I
19 believe Norman is -- what's illustrated here is
20 what Norman is doing above and beyond. It's --
21 it's trying -- it's trying to illustrate its --
22 its proxy and functionality.

1 Secondly, if -- I'm not sure I know
2 what you mean by directly through.

3 BY MS. SMITH:

4 Q. I'm not interpreting Norman. I'm reading
5 directly from Norman.

6 A. Okay. Can you --

7 Q. Are you suggesting that Norman doesn't
8 say what I just said?

9 A. Can you show me where?

10 Q. Yes, I read the first two sentences of
11 paragraph -- of Section 4.5.

12 A. Oh, okay. I wasn't there.

13 Q. Oh, okay.

14 A. Sorry. I was looking somewhere else.

15 Q. Okay. And I asked if you would agree
16 that Norman discloses the Norman firewall uses nothing
17 but proxy services to pass traffic from one network to
18 the other, no packets are allowed to pass directly?

19 A. I'm -- I'm sorry, I wasn't reading what
20 you're -- what you're saying. I was just reacting to
21 the figure itself --

22 Q. Okay.

1 A. -- at a high level. If that's what they
2 say their -- their product does, then that's what it
3 does.

4 Q. Okay. And now I'm referring to the
5 figure below that in Section 4.5 on exhibit page
6 number 13. And do you see where just below the figure
7 Norman discloses, the figure above illustrates how an
8 FTP transaction works through the Norman firewall,
9 period; a unique feature of the Norman firewall is
10 that the firewall will log into the workstation on the
11 secure network to transfer the requested file back to
12 the user.

13 Did I read that correctly?

14 A. You did, yeah.

15 Q. Okay. Based on the disclosure that we
16 just discussed in the Section 4.5, is it correct
17 that -- to say -- that in Norman's proxy system a -- a
18 file is sent by the firewall via FTP after it logs
19 into the workstation?

20 MR. JACKSON: Counsel, was that -- was
21 that your question?

22 MS. SMITH: Yes. Yeah.

1 MR. JACKSON: Objection, form,
2 foundation, hearsay.

3 THE WITNESS: The -- the figure
4 illustrates how a file is -- has to pass through the
5 firewall in order to get to the remote host, which I
6 assume the remote host is the user in question. Does
7 that answer your question? I'm not sure.

8 BY MS. SMITH:

9 Q. I'm not sure either.
10 Would you agree that Norman's proxy
11 system intercepts all packets?

12 MR. JACKSON: Objection, form and
13 foundation.

14 THE WITNESS: To the extent what you said
15 is a rephrasing of what's recited on page -- exhibit
16 page 10 -- 13 of Norman, no packets are allowed to
17 pass direct -- pass directly, yes.

18 BY MS. SMITH:

19 Q. Okay. Would you agree that the --
20 Norman's firewall will transfer the requested file via
21 FTP after the firewall logs into the workstation?

22 MR. JACKSON: Same objections, form and

1 foundation.

2 THE WITNESS: Again, you're -- you're
3 reciting the caption below the figure on exhibit page
4 13 of Norman, the Norman firewall -- so a unique
5 feature of the Norman firewall is that the firewall
6 will log into the workstation on the secure network to
7 transfer the requested file back to the user. To the
8 extent that you're basically restating that, I agree.

9 BY MS. SMITH:

10 Q. Is this a type of non-transparent proxy?

11 MR. JACKSON: Objection, form,
12 foundation.

13 THE WITNESS: So to the extent that the
14 users understand that they're logging in to -- so I'm
15 not sure if Norman uses the expression transparency.
16 Are you referring to how it's describing itself?

17 BY MS. SMITH:

18 Q. I was just asking whether the disclosure
19 in Section 4.5 is a type of non-transparent proxy.

20 MR. JACKSON: Same objections to form and
21 foundation.

22 THE WITNESS: To the extent that users --

1 the -- sorry, the quote from page 13, exhibit page 13
2 that I'm reading, users can be required to
3 authenticate himself/herself to the firewall machine
4 by actually logging into the system, because users can
5 identify the firewall, if they're logging into
6 something they understand is a Norman firewall, rather
7 than the -- the workstation on the left of the figure,
8 then it's non-transparent.

9 If the users believe -- in other
10 words, if -- if the message sent from the user on
11 the right-hand side, the remote host, if it's
12 addressed to the workstation and it's forced to
13 pass through the firewall, then it would be
14 transparent.

15 If, on the other hand, the users
16 are -- understand that the firewall is there and
17 they're authenticating to the firewall, rather
18 than to their workstation, then it would not be
19 transparent. And I'm not sure how visible the
20 Norman firewall is to the outside world.

21 I think it is transparent because in
22 the last paragraph -- the second paragraph, I

1 mean, of Section 4.5, it's -- you know, it appears
2 that in Section 4.5 that the user is logging into
3 the firewall. And to the extent that it
4 understands that it's logging into the firewall
5 instead of the workstation, then it's not
6 transparent.

7 Q. Okay.

8 MS. SMITH: I have no further questions.

9 MR. JACKSON: Counsel, can we take a
10 break?

11 MS. SMITH: Yeah. Absolutely.

12 VIDEO OPERATOR: Going off the record.
13 The time is 12:44 p.m.

14 (Thereupon, a brief recess was taken.)

15 VIDEO OPERATOR: Going back on the
16 record. The time is 13:20 p.m.

17 MR. JACKSON: Petitioners have no further
18 questions at this time and reserve the right to ask
19 questions of Dr. Kesidis in the future as appropriate.
20 This ends the deposition.

21 VIDEO OPERATOR: This concludes today's
22 videotaped deposition of Dr. George Kesidis.

1 Going off the record. The time is
2 13:20 p.m.

3 (Thereupon, signature having not been
4 waived, at 1:20 p.m. the deposition
5 concluded.)

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

CERTIFICATE OF DEPONENT

I, Dr. George Kesidis, do hereby certify
that I have read the foregoing pages, 1 through
123, inclusive, which contain a correct transcript
of the answers given by me to the questions propounded
to me herein, except for changes, if any, duly noted
on the enclosed errata sheet.

George Kesidis
WITNESS

Sworn and subscribed to before me this


15th day of December, 2014.

My commission expires:

August 2nd 2017

Notary Public:

Stacey M. Brubaker



1 CASE: Compass Bank v. IV, II
2 DEPOSITION OF: Dr. George Kesidis
3 TAKEN: December 10, 2014

4	PAGE	LINE	ERROR	CORRECTION	REASON
5	31	1	plx	PIX	acronym, proper name
6	106	18	file	"file"	ref to the word "file"
7	107	9,10,18	The Alpha Project	"The Alpha Project"	ref to words
8	108	3,18,22	The Alpha Project	"The Alpha Project"	
9	113	10	The Alpha Project	"The Alpha Project"	
10	115	5	The Alpha Project	"The Alpha Project"	

10

11

12

13

14

15

16

17

18

19

20

21

22



Witness

CERTIFICATE OF NOTARY

I, MISTY KLAPPER, the officer before whom the foregoing deposition was taken, do hereby certify that the witness whose testimony appears in the foregoing deposition was duly sworn by me; that the testimony of said witness was taken by me in shorthand and thereafter reduced to typewriting by me; that said deposition is a true record of the testimony given by said witness; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this deposition was taken; and, further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of this action.

MISTY KLAPPER
NOTARY PUBLIC DISTRICT OF COLUMBIA
My Commission Expires March 31, 2016

Misty Klapper
Notary Public in and for
District of Columbia



Misty Klapper & Associates
703-780-9559