

<p>Page 1</p> <p>1 UNITED STATES PATENT & TRADEMARK OFFICE</p> <p>2</p> <p>3 BEFORE THE PATENT TRIAL & APPEAL BOARD</p> <p>4</p> <p>5 TCT MOBILE (US) INC. & TCT MOBILE, INC.</p> <p>6 Petitioners</p> <p>7 v.</p> <p>8 WIRELESS PROTOCOL INNOVATIONS, INC.</p> <p>9 Patent Owner</p> <p>10</p> <p>11 Case: IPR2016-01494</p> <p>12 U.S. Patent No. 8,274,991 B2</p> <p>13 and</p> <p>14 Case: IPR2016-01704</p> <p>15 U.S. Patent No. 8,565,256 B2</p> <p>16</p> <p>17</p> <p>18 THE DEPOSITION OF</p> <p>19 STUART J. LIPOFF</p> <p>20 Tuesday, April 18, 2017</p> <p>21 9:00 a.m.</p> <p>22 2300 West Sahara Avenue, Suite 750</p> <p>23 Las Vegas, Nevada</p> <p>24 June W. Seid, CCR No. 485</p> <p>25</p>	<p>Page 3</p> <p>1 I N D E X</p> <p>2 Witness Page</p> <p>3 STUART J. LIPOFF</p> <p>4 By Mr. Sloss 4</p> <p>5</p> <p>6 E X H I B I T S</p> <p>7 Exhibit No. Description Page</p> <p>8 Lipoff</p> <p>9 1 Decision in IPR 2016-01704 36</p> <p>10 2 Decision in IPR 2016-01494 36</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>
<p>Page 2</p> <p>1 APPEARANCES OF COUNSEL</p> <p>2</p> <p>3 For Petitioners TCT Mobile (US) Inc., and TCT Mobile, Inc.:</p> <p>4</p> <p>5 BRADFORD A. CANGRO, ESQ.</p> <p>6 Morgan Lewis & Bockius, LLP</p> <p>7 1111 Pennsylvania Avenue, NW</p> <p>8 Washington, D.C. 2004-2541</p> <p>9 202.739.5418</p> <p>10 202.739.3001 Fax</p> <p>11 bradford.cangro@morganlewis.com</p> <p>12</p> <p>13</p> <p>14 For Patent Owner Wireless Protocol Innovations, Inc.:</p> <p>15</p> <p>16 ROBERT H. SLOSS, ESQ.</p> <p>17 Procopio Cory Hargreaves & Savitch, LLP</p> <p>18 1117 South California Avenue</p> <p>19 Suite 200</p> <p>20 Palo Alto, California 94304</p> <p>21 650.645.9024</p> <p>22 650.687.8324 Fax</p> <p>23 robert.sloss@procopio.com</p> <p>24</p> <p>25</p> <p>Also Present:</p> <p>DANIEL ESSIG, ESQ.</p> <p>Procopio</p> <p>(Attending Telephonically)</p> <p>* * * * *</p>	<p>Page 4</p> <p>1 Deposition of STUART J. LIPOFF</p> <p>2 April 18, 2017</p> <p>3</p> <p>4 Thereupon--</p> <p>5 STUART J. LIPOFF,</p> <p>6 was called as a witness, and having been first duly</p> <p>7 sworn, was examined and testified as follows:</p> <p>8 EXAMINATION</p> <p>9 BY MR. SLOSS:</p> <p>10 Q. Good morning, Mr. Lipoff.</p> <p>11 A. Good morning.</p> <p>12 Q. You've had your deposition taken before, I</p> <p>13 presume?</p> <p>14 A. I have, yes.</p> <p>15 Q. Can you estimate how many times roughly?</p> <p>16 A. Eight to ten, something on that order.</p> <p>17 Q. Okay. When was the last time, again,</p> <p>18 roughly?</p> <p>19 A. About a month ago.</p> <p>20 Q. Okay. So can I assume you're familiar with</p> <p>21 the ground rules and we don't need to go over those in</p> <p>22 any great detail?</p> <p>23 A. I think so, yes.</p> <p>24 Q. Just begin to state, if you need to take a</p> <p>25 break, let me know and we will take a break. If you</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 5</p> <p>1 don't understand one of my questions, please let me 2 know and I'll try to rephrase it. For the court 3 reporter's benefit, let's try to talk one at a time 4 rather than you anticipating me and the end of my 5 question and me anticipating the end of your answer, so 6 we can have a clear record. Do you understand that? 7 A. Yes, I do. 8 Q. Who are you retained by in this matter? 9 A. Retained by TC -- TCT. 10 Q. When were you first contacted about this 11 matter? 12 A. I don't recall the exact date, but it would 13 have been early in 2016. 14 Q. By whom were you contacted? 15 A. I believe my first contact was with Brad. I 16 think he signed my retainer agreement. 17 Q. You said that was when? 18 A. Would have been early 2016, I think. 19 Q. Okay. 20 A. I don't have the precise date. 21 Q. And what -- in general what were you asked to 22 do? 23 MR. CANGRO: Objection to form. 24 A. I was asked to review the two patents that 25 are at issue here today and then to perform an</p>	<p style="text-align: right;">Page 7</p> <p>1 Q. And you understand that the two IPRs are 2 IPR2016-01494; do you understand that to be one of 3 them? 4 If you don't remember off the top of your 5 head, that's understandable. 6 A. Yeah, I don't remember the numbers. My 7 reference key are the two patent numbers. 8 Q. Okay. So I've handed you I believe what we 9 have -- 10 A. '991. 11 Q. The '991 patent. Is that one of the patents 12 that you were retained to offer opinions on? 13 A. Yes, that's correct. 14 Q. Okay. Let me also hand you another exhibit, 15 TCT1001 from a different IPR, and that is the '256 16 patent. Do you understand that to be the '256 patent? 17 A. Yes, I do. 18 Q. And that's the other patent that you looked 19 at in connection with the IPR? 20 A. That's correct. 21 Q. So these are the two patents that you 22 received when you were first retained by Morgan Lewis; 23 is that correct? 24 A. Yes. Yes, these are the two that I'm here to 25 discuss today, which I was directed to look at by</p>
<p style="text-align: right;">Page 6</p> <p>1 objective analysis of the validity of the patents. 2 BY MR. SLOSS: 3 Q. And how did you go about undertaking that 4 job? 5 MR. CANGRO: Objection. Form. 6 A. I read the patents, I attempted to understand 7 them, drew back to my experience and what I thought 8 might be related prior art, surfaced a number of 9 potential candidates. I read the other documents that 10 are referenced in my report, including the file 11 history, to try and understand what other art had been 12 looked at. I worked with some other attorneys at 13 Morgan Lewis who had also performed some of their own 14 prior art searching. 15 We merged together various candidates, I 16 reviewed them and I picked out what are the ones that 17 best read on the limitations that were in the two 18 patents. 19 BY MR. SLOSS: 20 Q. So let me show you what has been marked as 21 Exhibit TCT1001, and that's I believe -- well, they are 22 both -- strike that. 23 You understand that we are here for two IPRs, 24 correct? 25 A. That's my understanding, yes.</p>	<p style="text-align: right;">Page 8</p> <p>1 Morgan Lewis. 2 Q. If you would look at the '991 patent first, 3 please. 4 A. Okay. 5 Q. I believe you said you reviewed that when you 6 received it? 7 A. Yes, I did. 8 Q. What is your understanding of the field of 9 the invention of the '991 patent? 10 A. Well, as stated in column 1 starting at line 11 25 of the patent, would you like me to read that? 12 Q. Yes, please. 13 A. Okay. Field of the Invention. This 14 invention relates to point-multipoint communications; 15 in particular, the invention relates to control, 16 contention for data slots by customer premises 17 equipment in a wireless point to point multimedia 18 system. 19 Q. What did you understand that to mean? 20 A. I think the language is plain. It's 21 referring to a point to multipoint communications, 22 which means with respect to the use of customer premise 23 equipment or CPE, there would be more than one CPE that 24 would be the multipoints, and there would be something 25 else, which is the device that in one embodiment that's</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 9</p> <p>1 designated as the base site controller and here would 2 constitute the point, and it identifies it as being a 3 wireless system. 4 Q. So what did you understand CPE or customer 5 premises equipment to be? 6 A. I don't recall that there's any further 7 identification of it in the patent, and it wasn't 8 something that I felt was necessary that I would need 9 to understand what the variations would be, beyond that 10 it's a network element in a point to multipoint 11 communication system. And as I'm further informed by 12 the patent specification, I believe it's referring to 13 the multipoint side of the point to multipoint, where 14 the other network element that's identified that would 15 constitute the point would be the base station 16 controller, which is discussed in other embodiments in 17 this specification. 18 Q. So what did you understand the base station 19 controller to represent? 20 A. I think I would give you the same answer here 21 as I did with respect to the CPE. It didn't have any 22 particular meaning one way or the other to me, beyond 23 that it was one of the network elements in a point to 24 multipoint system, because it's -- it's a -- it's used 25 in a block diagram, and as I pointed out in my expert</p>	<p style="text-align: right;">Page 11</p> <p>1 so I guess I'll use the words the inventor used. 2 Q. Now, when you read the '991 patent, did you 3 read the claims? 4 A. Yes, I did. 5 Q. Can you describe the relevant technical 6 experience in the field of invention that you have? 7 A. Well, I took some care in my expert report to 8 identify what I thought were relevant aspects of my 9 experience over the -- since I've been in professional 10 service from 1969, so I think generally I've worked 11 since graduation in the field of communications 12 systems, and that would be I think the relevant 13 experience. I listed specific things in my CV and in 14 my expert report. 15 Q. Let me show you what is marked in both IPRs 16 as Exhibit TCT1006. Do you recognize that document? 17 A. Yes, I do. 18 Q. Is this your CV? 19 A. Yeah. I tend to, in preparing these, I tend 20 to try and pick some sampling of projects that I've 21 done over the years which are relevant. So without 22 actually looking at what was filed, I can't certify 23 that this is the actual one that was filed here, but it 24 certainly is at least -- appears to be one of the ones 25 I've created over my career.</p>
<p style="text-align: right;">Page 10</p> <p>1 report, the inventor in comp for the patent identifies 2 these two components, the customer premise equipment 3 and the base station controller, and I think in the 4 text of that particular column starting around line 1 5 through pretty much the end of that section around line 6 37, he talks about these as being general, meaning 7 illustrative, not limiting in any respect. 8 Around line 30, again he repeats that these 9 are just illustrative. So I took it to mean a network 10 element in the architecture as they are shown in figure 11 1. 12 The BSC is the network element that would 13 constitute the point. And the multipoint network and 14 the CPE would constitute network elements which are the 15 multiple points. 16 Q. In your answer, you mentioned block diagram. 17 Is that figure 1 of the '991 patent? 18 A. Yeah, that's one of the diagrams, figure 1. 19 Q. That's the block diagram, right? 20 A. Yes, I guess I would refer to that as a block 21 diagram. 22 Q. Figure 2, in fact, is a state diagram, right? 23 A. Yeah. So column 4 at the bottom, line 60 24 identifies figure 1 as a block diagram, and it actually 25 identifies figure 2 as a flow chart of a state machine,</p>	<p style="text-align: right;">Page 12</p> <p>1 Q. Okay. You'll see at the bottom right-hand 2 corner of the first page, actually of every page, 3 there's a designation TCT1006. 4 A. I see that, yes. 5 Q. Do you have any reason to believe that was 6 not what was submitted in connection with this matter? 7 A. I have no reason to believe otherwise. 8 Q. Is it your practice when preparing a CV for 9 work such as this to try to include the most relevant 10 experience when you list the projects you've worked on? 11 A. Yeah, I generally try and find at least some 12 things that are relevant. I don't know that I picked 13 every project that I worked on that was within this 14 field of interest, but I tried to pick at least some of 15 them. 16 I'm sorry. To complete the answer, it would 17 be helpful to me if I also had a copy of my report, 18 because I know I attempted in the body of my report to 19 also provide a summary of some of the other work that 20 I've done. 21 Q. Sure. Let me show you what was marked as 22 Exhibit TCT1005 in the '991 IPR. And that is entitled, 23 "Declaration of Stuart J. Lipoff in support of petition 24 for inter partes review." And it goes on to identify 25 the '991 patent.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 13</p> <p>1 Do you recognize the TCT1005 as the '991</p> <p>2 patent?</p> <p>3 A. Yes, I do.</p> <p>4 Q. You called it your report, but there was</p> <p>5 nothing other than this declaration that you submitted,</p> <p>6 correct?</p> <p>7 A. Yes, that's correct. I probably should have</p> <p>8 properly identified it as my declaration.</p> <p>9 Q. That's fine, just as long as we are talking</p> <p>10 about the same thing. Are you more comfortable calling</p> <p>11 it your report?</p> <p>12 A. Either way is okay with me.</p> <p>13 Q. In your declaration that is Exhibit 1005, you</p> <p>14 talk about your career history and relevant experience</p> <p>15 beginning on paragraph 5 and continuing through</p> <p>16 paragraph 15, correct?</p> <p>17 A. That's correct.</p> <p>18 Q. And it's your testimony that in that portion</p> <p>19 of the declaration, you identify what you believe to be</p> <p>20 most relevant experience for this matter?</p> <p>21 A. I don't know I would characterize it that</p> <p>22 way. It was an attempt at least to summarize a little</p> <p>23 more concisely than what follows in the CV as to what I</p> <p>24 thought were relevant parts of experience. I haven't</p> <p>25 actually done a line-by-line check to see whether each</p>	<p style="text-align: right;">Page 15</p> <p>1 certification I obtained at the time was a first class</p> <p>2 radiotelephone license.</p> <p>3 Subsequently, the FCC merged the first and</p> <p>4 second class into something called the general</p> <p>5 radiotelephone license, so when I renewed it, it was</p> <p>6 reissued as a general radiotelephone license.</p> <p>7 They maintain the third class which didn't</p> <p>8 require a test, and I think they renamed that as a</p> <p>9 restricted radiotelephone permit that allowed a disc</p> <p>10 jockey to take the readings from a transmitter and</p> <p>11 didn't require a first class license at the time. But</p> <p>12 1964 is when I was initially licensed.</p> <p>13 Q. Is that updated periodically?</p> <p>14 A. I believe they do update the test from time</p> <p>15 to time, yes.</p> <p>16 Q. Do you have to retake the test?</p> <p>17 A. You do not, no.</p> <p>18 Q. Have you had any experience in dealing with</p> <p>19 wireless protocols?</p> <p>20 A. Yes.</p> <p>21 Q. What's that experience?</p> <p>22 A. So again, just staying here for a moment on</p> <p>23 the declaration and then we can go to the CV, if you</p> <p>24 like. And I'm taking this chronologically in the order</p> <p>25 which it exists in my declaration. The next thing</p>
<p style="text-align: right;">Page 14</p> <p>1 item in the declaration also appears in the CV, but I</p> <p>2 know there's at least some overlap.</p> <p>3 Q. All right. What wireless experience have you</p> <p>4 had?</p> <p>5 A. Well, starting with the -- with the</p> <p>6 declaration in paragraph 6, I identify that I have --</p> <p>7 hold a Federal Communications Commission general</p> <p>8 radiotelephone license, which is something that</p> <p>9 requires a fairly extensive detailed test administered</p> <p>10 by the federal government.</p> <p>11 Q. What did that test cover; what kind of</p> <p>12 technologies?</p> <p>13 A. It covered really all forms of two-way radio</p> <p>14 communications from -- and broadcast as well. It was a</p> <p>15 license you were required to hold if you were an</p> <p>16 engineer and responsible for maintaining a broadcast</p> <p>17 radio station, operating a two-way aviation</p> <p>18 communication system, navigation aid. Basically any</p> <p>19 engineering operations associated with licensed mobile</p> <p>20 two-way radio communications outside of amateur radio</p> <p>21 and CB radio, any other form of radio communications.</p> <p>22 Q. When did you obtain that certification?</p> <p>23 A. I probably obtained it initially in 1964, and</p> <p>24 at that time the certifications were broken down into</p> <p>25 first class, second class and third class. So the</p>	<p style="text-align: right;">Page 16</p> <p>1 that's in here is the identification that I'm a</p> <p>2 registered professional engineer in the Commonwealth of</p> <p>3 Massachusetts, and I guess subsequent to me actually</p> <p>4 preparing this, I think last August -- July of 2016,</p> <p>5 I've also become licensed here in the state of Nevada,</p> <p>6 but that required taking a -- passing an</p> <p>7 engineer-in-training test, as well as a professional</p> <p>8 engineering examination, and the module that I chose</p> <p>9 was communications technology and engineering.</p> <p>10 Just continuing down here, I was -- in</p> <p>11 paragraph 7 of my declaration, identifies me as a</p> <p>12 member of the IEEE, the Edgar Technology Society, and</p> <p>13 the past chair of the Boston chapter. That particular</p> <p>14 society has a focus on portable land mobile radio, and</p> <p>15 in connection with my activities there I was</p> <p>16 responsible for reviewing and soliciting presentations</p> <p>17 from various individuals on developing land mobile</p> <p>18 radio technology.</p> <p>19 I guess it's not cited here, but I also</p> <p>20 chaired a fall conference of the IEEE Vehicular</p> <p>21 Technology Society in Boston, where I was responsible</p> <p>22 for putting together the program and soliciting</p> <p>23 presentations on that activity.</p> <p>24 I identify in the same paragraph 7 that I was</p> <p>25 formerly the chairman of the society's Technical</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 17</p> <p>1 Activities and Standards Committee for the IEEE 2 Consumer Electronics Society, and in that regard I had 3 general oversight and involvement in standards 4 development of a variety of wireless and broadcast 5 technologies, including the IEEE 802.11 wi-fi protocol 6 and standard, 802.16 wi-max, the Advanced Television 7 Study Committee digital television broadcast standard, 8 and a variety of other wireless communications 9 technologies, including Bluetooth and ZigBee and 10 others. 11 Continuing paragraph 8, I guess I mentioned 12 that already, I was the general program chair for the 13 IEEE Vehicular Technology Conference on advanced 14 wireless. I've also organized a number of panel 15 sessions and paper presentations at the International 16 Conference of Consumer Electronics and was the program 17 chair in 1984. About 30 percent of that content was 18 wireless, about 75 percent was communications related. 19 Q. Let me ask you this, have you designed a 20 wireless system? 21 A. Yes. Part of my career involved -- just 22 skipping ahead here to paragraph 11, my first project 23 out of school was for -- my first employment, I should 24 say, full-time employment after graduation was with 25 Motorola's communications division, where I designed</p>	<p style="text-align: right;">Page 19</p> <p>1 number of wireless alarm system products, communication 2 systems, and some toys and games that had wireless 3 remote control. 4 Earlier paragraph there, I guess I noted also 5 just to kind of connect the dots, I've had a number of 6 publications in the general trade press, but the one 7 noted here, The Proceedings of the Frequency Control 8 Symposium, was a detailed technical paper on the design 9 of a frequency control system used in a wireless 10 handheld communications system. 11 Q. Let me ask, have you had any -- done any work 12 involving DOCSIS? 13 A. Yes. I indicated here in, again in this 14 paragraph 13 of my declaration, that while I was 15 employed at Arthur D. Little, I was one of several 16 companies who responded to a request for proposal that 17 was issued by the a group called the Multimedia Cable 18 Network Systems, MCNS consortium. They were the group 19 that developed what came to be known today as DOCSIS 20 1.0. At the time it was the first version of DOCSIS, 21 so it was just called DOCSIS. But I led that project 22 from the date of the project award in December of 1995 23 through the end of the standards development phase of 24 the project in December of '96, and then took on a 25 technology transfer of responsibilities from the end of</p>
<p style="text-align: right;">Page 18</p> <p>1 and developed handheld two-way mobile radio equipment. 2 I worked on the Motorola walkie-talkie called 3 the HD220, which was mainly designed for police two-way 4 radio communications, and then I took full design 5 responsibility for the Motorola product line called the 6 Handycam product line, which was a successor to the 7 HD220. 8 And then after I left Motorola, around the 9 '72 time frame, I worked four years for Bell & Howell 10 Communications Company, as I noted here in paragraph 11 11, where I started as a project engineer working in 12 the audio intelligence division, which involved 13 wireless two-way communication equipment that was used 14 in police surveillance work. 15 Later on I transferred over to their radio 16 paging group where I had responsibility for the design 17 and development for some digital pagers, I guess 18 colloquially called beepers, that were radio receivers 19 with selective calling in it. I worked there for four 20 years. 21 And then at Arthur D. Little, I joined as a 22 consultant. By the time I left 25 years later, I had 23 assumed responsibility for our laboratory based project 24 R and D division, which did contract design and 25 development of products for our clients, including a</p>	<p style="text-align: right;">Page 20</p> <p>1 1996 through middle to end of March of '97, where we 2 were working with CableLabs in order to transfer 3 responsibility for the maintenance of the 4 specification, and for certification of the 5 manufacturer of the cable modems to CableLabs who have 6 subsequently been involved in it. 7 I continued to have a number of interactions 8 back and forth with DOCSIS after I finished the 9 creation of the DOCSIS, what's known today as DOCSIS 10 1.0. 11 I noted here in paragraph 14 of my 12 declaration, the Next Generation Network Architecture 13 project, NGNA, which was performed by -- for another 14 consortium which had overlap with the MCNS -- members 15 of the MCNS consortium, but that also involved 16 developing overall architectures that included 17 recommendations and concepts that were eventually 18 incorporated into DOCSIS 2.0 and 3.0, which are the 19 more recent ones. 20 And I've been asked by the National Cable 21 Television Association to represent the cable industry 22 in front of a workshop of the FCC on broadband systems 23 that involved providing an overview of DOCSIS, as well 24 as the likely evolution of it towards what today is 25 known as DOCSIS 3.0.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 21</p> <p>1 Q. Did you work on DOCSIS 1.1?</p> <p>2 A. There were concepts in the DOCSIS 1.0, which</p> <p>3 were -- we described them as hooks. That is, knowing</p> <p>4 that we needed to get a first generation of the</p> <p>5 specification out quickly, but also understanding that</p> <p>6 there needed to be some additional future capabilities</p> <p>7 that were not necessary to provide basic web browsing,</p> <p>8 but would be necessary to provide quality of service.</p> <p>9 I prepared a companion document to the radio</p> <p>10 frequency specification called the operation support</p> <p>11 system recommendation in October of '96, which listed</p> <p>12 the capabilities that needed to be added to DOCSIS 1.0</p> <p>13 that would eventually become the 1.1 system. And then</p> <p>14 during the period following the development of the</p> <p>15 DOCSIS 1.0 spec, from December of '96 through March of</p> <p>16 '97, I worked with CableLabs to partly develop the</p> <p>17 initial draft language that went into the DOCSIS 1.1,</p> <p>18 but I didn't have the full set of responsibilities for</p> <p>19 1.1 as I did for 1.0.</p> <p>20 Q. And DOCSIS 1.0 does not include a wireless</p> <p>21 protocol, correct?</p> <p>22 A. I guess if I understand your question, the</p> <p>23 goal of designing DOCSIS 1.0 was not to support</p> <p>24 wireless operators, but as I indicated elsewhere in my</p> <p>25 report, the DOCSIS 1.0 protocols were widely adopted in</p>	<p style="text-align: right;">Page 23</p> <p>1 question. I think you're getting kind of far afield</p> <p>2 here.</p> <p>3 MR. CANGRO: I think he's answering.</p> <p>4 MR. SLOSS: No, he's not.</p> <p>5 MR. CANGRO: Absolutely he is. You asked</p> <p>6 whether it was related to wireless.</p> <p>7 MR. SLOSS: I didn't ask whether it was</p> <p>8 related to wireless. But go ahead.</p> <p>9 A. I'll try to finish.</p> <p>10 I guess to summarize, while the DOCSIS 1.0</p> <p>11 specification itself does not mention wireless as part</p> <p>12 of the physical layer that's in that specification, it</p> <p>13 was a consideration in the design that it be capable of</p> <p>14 being used in a variety of different applications with</p> <p>15 physical layers other than cable, including wireless.</p> <p>16 BY MR. SLOSS:</p> <p>17 Q. Now, your work on DOCSIS 1.0, were you</p> <p>18 actually part of the technical design team or were you</p> <p>19 more of a manager of the work that others were doing?</p> <p>20 A. I had overall project responsibility. Our</p> <p>21 team within Arthur D. Little was about six people. I</p> <p>22 would say that somewhere between 30 to 50 percent of my</p> <p>23 time was being spent on coordinating the activities of</p> <p>24 the other people, but I was also the primary or first</p> <p>25 author of every single draft in the document, and in</p>
<p style="text-align: right;">Page 22</p> <p>1 the IEEE standards for wireless broadband, starting on</p> <p>2 page 36 of my report, the multimedia distribution</p> <p>3 services, MMDS, page 41, and also in -- you know,</p> <p>4 generally used -- many of the concepts in DOCSIS 1.1</p> <p>5 such as of the various service flows were carried</p> <p>6 forward into some more modern wireless technologies.</p> <p>7 So we took great care in the designing of</p> <p>8 DOCSIS 1.0, which followed through into DOCSIS 1.1 to</p> <p>9 follow the -- what's called the ISO layered model,</p> <p>10 which is a concept that also appears in both these</p> <p>11 patents, where the physical layer is separated from the</p> <p>12 higher layers. So the physical layer would represent</p> <p>13 the difference between wireless and cable, where all</p> <p>14 the layers above it, MAC layer up through the</p> <p>15 application layer, are indifferent as to what the</p> <p>16 underlying protocol was.</p> <p>17 So although we weren't specifically designing</p> <p>18 for any one particular wireless application, we</p> <p>19 understood the need to layer the protocols, because in</p> <p>20 order to make the DOCSIS 1.0 cable modems inexpensive,</p> <p>21 including some feedback we were getting from the</p> <p>22 semiconductor vendors, we wanted to make certain that</p> <p>23 this protocol could be more widely used in things other</p> <p>24 than cable. Broadcom was a major contributor.</p> <p>25 Q. Sir, could you please just answer the</p>	<p style="text-align: right;">Page 24</p> <p>1 the field I made a significant technical contribution</p> <p>2 as well.</p> <p>3 Q. Do you have prior experience in LTE?</p> <p>4 A. Other than some of the activities associated</p> <p>5 with my professional association work in the IEEE as a</p> <p>6 VP of standards and work in the Vehicular Technology</p> <p>7 Society, I have not been formally involved in any of</p> <p>8 the specification development, but I'm generally</p> <p>9 familiar with it. I've read the specifications, I'm</p> <p>10 aware of what the current developments and issues are.</p> <p>11 I did have some involvement in the 802.16</p> <p>12 work, which largely informed a lot of the concepts that</p> <p>13 are in LTE. And I've also worked with clients,</p> <p>14 including Comcast, who were interested in deploying</p> <p>15 their own wireless networks and had me evaluate some of</p> <p>16 the air interface protocols to see which of them would</p> <p>17 be more suitable for their use, and that included LTE.</p> <p>18 Q. What were you doing in October 2000?</p> <p>19 A. Well, I left Arthur D. Little in 2001, I</p> <p>20 believe, so I guess I was still at Arthur D. Little.</p> <p>21 Q. At that time what were your particular</p> <p>22 responsibilities at Arthur D. Little?</p> <p>23 A. Well, it would have been close to within a</p> <p>24 year or so of me leaving, so I had the title of vice</p> <p>25 president of communication information technology. I</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 25</p> <p>1 had a group of about 40 to 45 people that I was 2 responsible for. 3 Q. That was a management function? 4 A. Well, we were not a -- I think like many 5 professional services groups, we were not a 6 hierarchical kind of organization with a strong set of 7 controls. We really had kind of a dual kind of 8 responsibility, so I did have responsibility for 9 reviewing the people and problem resolution. But with 10 respect to individual projects, we had a matrix 11 structure where there was a project leader who had full 12 and complete responsibility for all aspects of the 13 project, technical, management, everything else, and I 14 often served in both my line role in doing end of the 15 year salary reviews, that sort of thing, but I also was 16 often a member of a project team where I was a 17 technical contributor, not the leader. 18 Sometimes the project leader was part of my 19 group, sometimes they weren't. And in some cases, I 20 was a project leader where I would essentially hire 21 into the project temporarily the other consultants, so 22 I performed both functions is the answer to the 23 question. 24 Q. In that time frame what technologies were you 25 working on?</p>	<p style="text-align: right;">Page 27</p> <p>1 A. Yes. I don't know if I mentioned it two 2 times. I know -- I guess I've been a damages expert at 3 least once, maybe twice. 4 Q. Did that involve technical work? 5 A. Yeah. See, I'm just looking here. On page 4 6 of my CV, your Exhibit 1006 or TCT Exhibit one-oh-six 7 [sic], I mention the Echostar, IPPV Echostar, so 8 although I do have an MBA with a concentration in 9 finance, I'm not normally thought of by many clients as 10 being the most appropriate individual to use for 11 damages, but this was a special case, because this 12 required some understanding of the actual industry 13 practices within the multichannel services, which would 14 include cable and direct broadcast satellite that 15 Echostar was in. 16 And the particular damage theory here 17 involved that there's an understanding that when you 18 sign a contract, the industry has a concept called 19 churn, which is something that's carefully monitored. 20 It indicates that although the contract may only be for 21 a year, you often have -- you can count on a certain 22 legacy percentage of your previous customers staying 23 on. And so the damages theory involved the calculation 24 of what winning a customer in one year might result in 25 out-years, and applying some of the industry knowledge</p>
<p style="text-align: right;">Page 26</p> <p>1 A. It was pretty wide-ranging, but as the name 2 of the group which was called communications 3 information technology might indicate, it was not 4 focused on any industry vertical, but on the 5 communications technologies that cut across. So I 6 worked on sonar transmitters for torpedoes, worked on 7 games like electronic Battleship, door openers, 8 wireless alarm systems, everything from military, 9 scientific, industrial, through consumer with a common 10 thread of communications information technology that 11 cut across it. 12 Cable and wireless were important verticals 13 because they happened to be areas where there was rapid 14 development of new technologies, so many of the 15 projects involved either cable television or wireless 16 communications. 17 Q. You mentioned that you've been deposed eight 18 to ten times; is that correct? 19 A. I think that's right. 20 Q. How many times have you served as an expert? 21 A. A few times more, because in some cases 22 things were settled before they went to deposition, but 23 roughly just a few times more than that. 24 Q. In your CV, you mentioned a few times that 25 you're a damages expert; is that correct?</p>	<p style="text-align: right;">Page 28</p> <p>1 about what typical churn rates were. 2 So the financial models I developed were as I 3 indicated here, rather sophisticated, that incorporated 4 aspects of knowledge of the operation in the industry 5 and the rate at which the technology which had been 6 deployed was likely to remain relevant, so there were 7 technology predictions in there as well as industry 8 knowledge brought to bear, which made me a suitable 9 damages expert. 10 Q. Your CV mentions that you have -- I believe 11 seven U.S. patents; does that sound right? 12 A. I think that's correct. 13 Q. So you believe that patents are valuable, 14 correct? 15 A. I understand they can be, yes. 16 Q. What is your understanding of when a patent 17 is anticipated? 18 Sir, you're referring to your declaration 19 now? 20 A. Yeah, I want to -- I have included in my 21 declaration the legal principles that are used in the 22 analysis, and I want to be clear that I'm giving you a 23 complete informed answer. 24 Q. So the portion of your declaration that 25 discusses the legal standards for anticipation and</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 29</p> <p>1 obviousness, did you write that part of your 2 declaration? 3 A. I did not. At least I didn't do the first 4 draft of it. 5 Q. That came from the lawyers at Morgan Lewis? 6 A. That's correct, although I read it and I 7 attempted to understand it and I think I may have made 8 some edits in it. 9 Q. So other than what's written in your 10 declaration, do you have an understanding of when a 11 patent is anticipated? 12 A. Well, I think what's written in my 13 declaration is consistent with my understanding. 14 Q. And the same question regarding when a patent 15 is obvious. Other than what's written in your 16 declaration, do you have an understanding of when a 17 patent is obvious? 18 A. Well, I think it's the same answer. I think 19 my understanding of what constitutes obviousness 20 comports with what's written in the declaration. 21 Q. Can you please take a look at the '256 22 patent? I think I handed it to you a little while ago. 23 A. Yes, I have that. 24 Q. Is it your understanding that field of 25 invention for the '256 patent is the same as the field</p>	<p style="text-align: right;">Page 31</p> <p>1 Q. Excuse me. Let me ask a different question. 2 So when it came to the actual preparation of the 3 declaration itself, the writing of the declaration, 4 what was the process for that? 5 A. I worked with counsel to develop an outline. 6 I believe I may have provided them with an outline from 7 a declaration from an unrelated report. And I think I 8 did in this case or maybe not, I don't recall, but we 9 jointly developed an outline. 10 I did provide -- I did fill in the outline 11 with some of the -- I'll call it non-analysis, just 12 background material, like educational background and 13 that sort of thing, scope of the assignment, 14 compensation. I think I wrote that. 15 The legal principles used in the analysis, I 16 may have in the course of providing the portions of an 17 earlier expert report of declaration to counsel, they 18 may have adopted some of that or they may have written 19 it, but I would say they were largely blessed or 20 responsible for the content of the legal principles. 21 We -- I wrote a draft -- actually, I think we 22 discussed by telephone, the draft of the level of 23 ordinary skill of the art, what I thought was 24 reasonable. I believe that was adopted by counsel. 25 They may have helped me in wordsmithing it, but not in</p>
<p style="text-align: right;">Page 30</p> <p>1 for the '991 patent? 2 A. I'm going to verify that, but yeah, they 3 appear to be the same, and I understand the 4 specifications of both patents are the same, although 5 the column and line numbers are different in different 6 places. 7 Q. Right. So it's your understanding that the 8 two specifications are virtually identical, correct? 9 A. Yes, I understand the same words at least 10 exist in the specification part. 11 Q. Let me hand you what was marked as Exhibit 12 TCT1005 in the IPR relating to the '256 patent and ask 13 you to take a look at that. 14 A. Okay, I see it. 15 Q. Is this, in fact, the declaration you 16 prepared for the IPR involving the '256 patent? 17 A. Yes, it appears to be. 18 Q. What was the process for preparing the 19 declarations used in the '991 and '256 IPRs? 20 A. Well, I guess generally speaking, as I 21 indicated earlier, prior to actually writing any words, 22 I attempted to understand the patents and the 23 background associated with them and the file histories, 24 identified candidates for prior art that read on the 25 limitations of the patent.</p>	<p style="text-align: right;">Page 32</p> <p>1 substance. 2 I wrote the technical background of the 3 patents as a first draft and summary. We jointly 4 worked on a draft kind of claim chart, went through a 5 variety of different iterations of that, of what 6 different designations within the most interesting 7 candidates of prior art references were, and then 8 turned that into prose. 9 There were a variety of drafts exchanged back 10 and forth where we each marked them up and discussed 11 it, and ultimately when I was satisfied that the 12 document represented my opinions, I -- I attached my 13 signature. 14 Q. Okay. Could you please pull out '991 15 declaration. I'm going to be bouncing back and forth 16 between the two. 17 A. Okay. 18 Q. Turn to page 5, paragraph 16. 19 A. Yes. 20 Q. Do you see that? 21 A. Yes. 22 Q. The first sentence of paragraph 16 you state, 23 "I've been asked to provide my opinions regarding the 24 validity of claims 1 and 3 through 5 of the '991 25 patents." That's what you wrote, correct?</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 33</p> <p>1 A. I see that.</p> <p>2 Q. So you have not offered an opinion as to</p> <p>3 claim 2 of the '991 patent, correct?</p> <p>4 A. I have not.</p> <p>5 Q. If you look at paragraph 16 on page 5 of the</p> <p>6 '256 declaration --</p> <p>7 A. I'm sorry, what paragraph?</p> <p>8 Q. Paragraph 16, page 5.</p> <p>9 A. Okay, I see that.</p> <p>10 Q. The first instance states, "I have been asked</p> <p>11 to provide my opinions regarding the validity of claims</p> <p>12 1, 4 and 7 of the '256 patent," correct?</p> <p>13 A. Yes, that's correct.</p> <p>14 Q. So you've not offered any opinions as to any</p> <p>15 other claims in the '256 patent as to this particular</p> <p>16 proceeding, correct?</p> <p>17 A. I have not.</p> <p>18 Q. Now, paragraph 19 of the two declarations</p> <p>19 are -- strike that.</p> <p>20 Let's go to the '991, paragraph 19, that</p> <p>21 identifies the materials you have reviewed in</p> <p>22 connection with your work on the '991 IPR, correct?</p> <p>23 A. Give me just a moment to --</p> <p>24 Q. Sure.</p> <p>25 A. Yes, that's my attempt to list the documents</p>	<p style="text-align: right;">Page 35</p> <p>1 A. I don't recall anything that's not listed</p> <p>2 here that I may have looked at.</p> <p>3 Q. Since you signed the declarations have you</p> <p>4 come across any materials that you think are material</p> <p>5 to the opinions you expressed in each of the</p> <p>6 declarations?</p> <p>7 A. So I read the institution decision, I read</p> <p>8 the patent owner's response. I guess I'm not taking</p> <p>9 the right order. I read the petition that was filed by</p> <p>10 TCT, which I had not actually seen before submitting my</p> <p>11 declaration. I read the patent owner's response and</p> <p>12 patent owner's expert's report, and I read the</p> <p>13 institution's decision.</p> <p>14 Q. As to each of the IPRs?</p> <p>15 A. That's correct.</p> <p>16 Q. Based on anything you reviewed in the patent</p> <p>17 owner's preliminary response, did that change any of</p> <p>18 the opinions you stated in your declaration?</p> <p>19 A. No. I don't think I found anything that was</p> <p>20 surprising or persuasive.</p> <p>21 Q. Okay. Did you -- I think you said you read</p> <p>22 Dr. Lomp's declarations?</p> <p>23 A. That's correct.</p> <p>24 Q. One for each of the two IPRs?</p> <p>25 A. I did.</p>
<p style="text-align: right;">Page 34</p> <p>1 on paragraph 19, page 6 through 8, yes.</p> <p>2 Q. And the '256, paragraph 19, again, contains a</p> <p>3 chart that lists the materials you reviewed in</p> <p>4 connection with that matter, correct?</p> <p>5 A. Yes, page 6 through 8, I believe you're</p> <p>6 correct, yes.</p> <p>7 Q. And these materials were the ones you</p> <p>8 reviewed as of the date you signed the declarations,</p> <p>9 correct?</p> <p>10 A. Correct.</p> <p>11 Q. You signed the '991 declaration on July 27,</p> <p>12 2016, correct?</p> <p>13 A. That's correct.</p> <p>14 Q. And you signed the '256 declaration on August</p> <p>15 31, 2016, correct?</p> <p>16 A. That's correct.</p> <p>17 Q. So at the time you signed each declaration,</p> <p>18 you had not relied on any other materials other than</p> <p>19 those listed here, correct?</p> <p>20 A. Well, I think I testified earlier that I also</p> <p>21 brought into the creation of these, my general</p> <p>22 background and experience.</p> <p>23 Q. But my question was directed to whether you</p> <p>24 reviewed any other materials that you considered</p> <p>25 material.</p>	<p style="text-align: right;">Page 36</p> <p>1 Q. Did you see anything in Dr. Lomp's</p> <p>2 declaration that made you reconsider any of your</p> <p>3 opinions in your declarations?</p> <p>4 A. I did not find anything that made me</p> <p>5 reconsider anything.</p> <p>6 MR. SLOSS: Can we mark this as an exhibit,</p> <p>7 please, a document which is the decision in the '256</p> <p>8 IPR proceeding, it's actually case number IPR</p> <p>9 2016-01704. So that will be Exhibit 1, please.</p> <p>10 (Exhibit 1 marked</p> <p>11 for identification.)</p> <p>12 MR. SLOSS: Let's mark as Exhibit 2, the</p> <p>13 decision in the other IPR, which is IPR 2016-01494.</p> <p>14 (Exhibit 2 marked</p> <p>15 for identification.)</p> <p>16 BY MR. SLOSS:</p> <p>17 Q. Mr. Lipoff, you've been handed what have been</p> <p>18 marked as Exhibits 1 and 2 to this deposition. Looking</p> <p>19 first at Exhibit 1, do you recognize that as the</p> <p>20 decision issued by the PTAB in the IPR relating to the</p> <p>21 '256 patent?</p> <p>22 A. Yes, this appears to be the document that</p> <p>23 I've seen.</p> <p>24 Q. And same question with respect to Exhibit 2,</p> <p>25 do you recognize that as the decision in the IPR for</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 37</p> <p>1 the '991 patent?</p> <p>2 A. Yes, it also appears to be the '991 decision,</p> <p>3 yes.</p> <p>4 MR. CANGRO: For the record, this is the</p> <p>5 decision without the errata.</p> <p>6 MR. SLOSS: Yes, correct. Thank you.</p> <p>7 BY MR. SLOSS:</p> <p>8 Q. Did you read these decisions?</p> <p>9 A. I did read them, yes.</p> <p>10 Q. And in reading these decisions, did you see</p> <p>11 anything that made you reconsider the opinions that you</p> <p>12 have in your declarations for each of the IPRs?</p> <p>13 A. No, my understanding is that in instituting</p> <p>14 these for trial, the PTAB basically found the -- at</p> <p>15 least the initial documents that were put in front of</p> <p>16 it, to be persuasive enough to bring the trial and did</p> <p>17 not feel that the counter arguments that were made by</p> <p>18 WPI to be persuasive, at least at this stage.</p> <p>19 Q. Okay. Could you please take a look at</p> <p>20 Exhibit 1.</p> <p>21 A. Okay.</p> <p>22 Q. And turn to page 10.</p> <p>23 A. Okay.</p> <p>24 Q. I would like you to look at the first full</p> <p>25 paragraph on that page.</p>	<p style="text-align: right;">Page 39</p> <p>1 Q. Have you been asked to provide an opinion on</p> <p>2 the board's instruction of parties to brief the issue</p> <p>3 discussed in that paragraph?</p> <p>4 A. I have not been asked.</p> <p>5 Q. Have you formed an opinion prior to today?</p> <p>6 A. I formed an opinion about what these claims</p> <p>7 meant.</p> <p>8 Q. My question is a little different than that.</p> <p>9 A. But I don't think I focused -- I have not --</p> <p>10 my opinions that I've formulated have not changed as a</p> <p>11 result of reading the institution decision.</p> <p>12 Q. Have you provided any information to your</p> <p>13 client on what you believe the correct answer to the</p> <p>14 board's instruction is on page 10 of this?</p> <p>15 A. I haven't provided an opinion, but --</p> <p>16 MR. CANGRO: Be careful not to disclose any</p> <p>17 attorney-client communications. So that probably is a</p> <p>18 sufficient answer.</p> <p>19 A. Yeah, so I have not -- not responded to</p> <p>20 anything in here other than what I understand are</p> <p>21 privileged communications with counsel, nor have I</p> <p>22 written anything.</p> <p>23 BY MR. SLOSS:</p> <p>24 Q. When were your communications with counsel?</p> <p>25 A. We met yesterday to prepare for this</p>
<p style="text-align: right;">Page 38</p> <p>1 A. Is this the page that begins, "WPI's</p> <p>2 arguments are not persuasive."</p> <p>3 Q. Yes. Do you recall reading that paragraph?</p> <p>4 A. I do. I mean, I read the entire thing. I</p> <p>5 don't necessarily have recall that this stands out in</p> <p>6 my mind though.</p> <p>7 Q. Please look at the second to the last</p> <p>8 sentence of the paragraph on page 10, begins with,</p> <p>9 "Rather." Do you see that?</p> <p>10 A. I do see that.</p> <p>11 Q. The sentence says, "Rather, we instruct the</p> <p>12 parties to brief the issue at trial to provide a</p> <p>13 sufficient record for us to interpret the limitation as</p> <p>14 needed to resolve the dispute." Do you recall reading</p> <p>15 that?</p> <p>16 A. I do see that, yes.</p> <p>17 Q. Have you considered the board's directive</p> <p>18 there to consider the issues that are discussed in that</p> <p>19 paragraph?</p> <p>20 A. I see that, yes.</p> <p>21 Q. I'm sorry?</p> <p>22 A. Yes, I see that they are specifically</p> <p>23 referring to operating the CPE in an idle state, if no</p> <p>24 new data arrives at the CPE within the first period of</p> <p>25 time. They are quoting a term that's in the claims.</p>	<p style="text-align: right;">Page 40</p> <p>1 deposition.</p> <p>2 Q. Did you discuss that particular passage from</p> <p>3 the board's --</p> <p>4 MR. CANGRO: Objection. Instruct not to</p> <p>5 answer.</p> <p>6 MR. SLOSS: The basis for the instruction?</p> <p>7 MR. CANGRO: Work product privilege.</p> <p>8 BY MR. SLOSS:</p> <p>9 Q. Okay. Have you been asked to do any further</p> <p>10 work in connection with the IPR related to the '256</p> <p>11 patent at this time, other than provide this</p> <p>12 deposition?</p> <p>13 A. Other than prepare for the deposition, no.</p> <p>14 Q. Have you been asked to provide any further</p> <p>15 opinion in view of what the board said in its decision?</p> <p>16 A. I have not.</p> <p>17 Q. Can you please look at Exhibit 2 and turn to</p> <p>18 page 11.</p> <p>19 A. Okay.</p> <p>20 Q. In the very last line on page 11 contains --</p> <p>21 beginning there and continuing on to the next page,</p> <p>22 contains similar language as what we just looked at in</p> <p>23 connection with the '256 decision, and in particular</p> <p>24 the board states beginning at the top of page 12,</p> <p>25 "Rather, we instruct the parties to brief the issue at</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 41</p> <p>1 trial to provide a sufficient record for us to 2 interpret the limitation as needed to resolve the 3 dispute." 4 Do you recall reading that part of the 5 decision relating to the '991 IPR? 6 A. Yeah. Yes. To be clear, though, I guess I 7 read that in the context of the full paragraph 8 beginning on page 11 where it says, "WPI's argument," 9 talking about when. But I did read that full paragraph 10 starting on bottom of 11, continuing to 12. 11 Q. Have you done any work in response to the 12 board's direction to the parties to brief the issues 13 discussed in that paragraph? 14 A. I have not. 15 Q. Have you formed any opinion as to what the 16 proper response to the board's instruction is? 17 A. Not specifically with regard to what they are 18 asking about when, I have not. 19 Q. The board's decision in the '991 IPR was 20 issued in February of 2017. Do you remember when you 21 read it? 22 A. I guess it would have been about three -- 23 three, four weeks ago when I was given a package of 24 material that would be relevant to prepare for the 25 deposition.</p>	<p style="text-align: right;">Page 43</p> <p>1 Q. Do the math, okay. 2 Looking back at Exhibit 2, can you please 3 turn to page 14. Actually, the paragraph I'm going to 4 refer to begins on page 13, so if you want to look at 5 that, that's where the paragraph begins. But I'm going 6 to be asking you about language at the end of the 7 paragraph which is on page 14. 8 A. Okay, I think I've read that. If you're 9 directing me towards page 13, the paragraph beginning, 10 "At this stage." 11 Q. Well, that paragraph. 12 A. Yeah. 13 Q. The language I'm focusing on, that I want you 14 to look at is on page 14. 15 A. Okay. 16 Q. Second sentence -- first full sentence there 17 that begins, "At this stage, without briefing and 18 evidence." Do you see that? 19 A. I see that, yes. 20 Q. And then the board again has language, it 21 says, "Rather, we instruct the parties to brief the 22 issue at trial to provide a sufficient record for us to 23 interpret the limitation as needed to resolve the 24 dispute." Do you see that? 25 A. I see that.</p>
<p style="text-align: right;">Page 42</p> <p>1 Q. Do you recall what was in that package? 2 A. Yes. At least from memory I'll do my best to 3 describe it. It was copies of the primary -- primary 4 prior art references for all the -- all my 5 declarations; the two institution decisions, the patent 6 owner's responses to both patents, Dr. Forai's 7 declarations in support of the patent owner's response. 8 The original petitions and my -- my two declarations. 9 Q. Between when you signed your declarations in 10 those two IPRs and the date you received those 11 packages, or that package containing those materials, 12 had you done any further work on either of those two 13 IPRs, other than setting time to talk about your 14 deposition, finding dates available, things like that? 15 A. No work of substance related to my opinions. 16 Q. All right. Up until today, how many hours 17 would you estimate you've spent on these two IPRs? And 18 if it's easier for you to break them out one-by-one, 19 that's fine. 20 A. Yeah, because they had such overlap in terms 21 of the subject matter, I don't know that I can break 22 them out, but I'm guessing I probably billed about -- 23 total since I started the work sometime in 2016, 24 probably about 50,000 dollars, and my billing rate is 25 \$375 an hour, so do the math.</p>	<p style="text-align: right;">Page 44</p> <p>1 Q. Have you formed an opinion relating to the 2 instruction the board has given the parties there? 3 A. No, I have not studied that particular issue. 4 Q. All right. 5 MR. CANGRO: We have been going about -- 6 MR. SLOSS: Yeah, let me just finish this. 7 Another five minutes. 8 MR. CANGRO: When you hit a good breaking 9 point. 10 MR. SLOSS: Yes, that's fine. 11 BY MR. SLOSS: 12 Q. I'm sorry, could you please turn back to page 13 11. 14 A. Of which document? 15 Q. Of Exhibit 2. 16 A. Okay. I'm there. 17 Q. So looking again at the paragraph that 18 begins, "WPI's argument," and looking at the second 19 sentence of that paragraph, it quotes from the '991 20 patent claims, the term "transmitting a first type 21 bandwidth request." Do you see that? 22 A. I see that, yes. 23 Q. Do you have an understanding of what 24 "bandwidth" as used in the claim means? 25 A. So I want to be clear whether you're asking</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 45</p> <p>1 me about what bandwidth means in this quoted excerpt on 2 page 11 or whether -- or what bandwidth means in the 3 entire scope of claim 1 as it's used in multiple 4 places. 5 Q. No, I'm focusing on the excerpt on page 11 6 that talks about bandwidth request. 7 A. So just to be clear, bandwidth request 8 appears a couple of places too, and bandwidth grant, 9 but with specific reference to this section here with 10 the first type bandwidth request, my understanding of 11 bandwidth request is an interaction that occurs between 12 the CPE and the BSC resulting from -- it's a 13 transaction resulting from the CPE receiving some data 14 that it wishes to transmit upstream to the BSC, so it 15 engages in this transaction called a bandwidth request 16 that involves interactions between the CPE and the BSC 17 that allow the BSC to become aware that the CPE has 18 data for transmission, that it wishes to have a future 19 grant of the right to be able to send that data up. 20 Q. Could you please turn to page 15 of Exhibit 21 2. 22 A. Which document? 23 Q. Exhibit 2, I think the one you're holding. 24 Page 15, looking at the last paragraph, again, the one 25 that begins "WPI's argument." Do you see that?</p>	<p style="text-align: right;">Page 47</p> <p>1 first type bandwidth request during a timeout period. 2 I'm not sure which of them that you're asking me to 3 offer an opinion on, but I can tell you that I have not 4 formulated an opinion on either, either of the two 5 quoted things that are in this -- in this, beyond the 6 work that I've done in preparing my declaration. 7 Q. If you'll turn to page 22. 8 A. Again on Exhibit 2? 9 Q. Yes. I'm going to ask you about similar 10 language that appears in connection with the paragraph 11 that begins at the top of the page, and the language, 12 again, is towards the end of the paragraph. It says, 13 "Rather than denying TCT's request based on implied 14 arguments, we institute and instruct the parties to 15 address expressly the meaning of the phrase 16 'transitioning operation after the subsequent bandwidth 17 grant is received at the CPE.'" Do you see that 18 language? 19 A. I see that. 20 Q. And have you done -- have you formed an 21 opinion in response to the instruction from the board 22 as expressed in this paragraph? 23 A. I have not. I have not formed any opinion 24 resulting from reading this. 25 MR. SLOSS: Okay. Why don't we take a break.</p>
<p style="text-align: right;">Page 46</p> <p>1 A. I see that, yes. 2 Q. I'm going to ask you about the sentence later 3 in the paragraph that says, "Rather, we instruct the 4 parties to brief the issue at trial to provide a 5 sufficient record for us to interpret the limitation as 6 needed to resolve the dispute." Do you see that? 7 A. I see that. 8 Q. Have you formed an opinion as to what the 9 proper response to the court's instruction is with 10 respect to this paragraph? 11 A. I didn't hear the last words you said. 12 MR. SLOSS: Can you read it back, please. 13 (Record read by the reporter.) 14 THE WITNESS: I didn't hear the words in this 15 paragraph, so let me read the paragraph. 16 Okay. So -- 17 BY MR. SLOSS: 18 Q. I can re-ask the question. So based on the 19 language there, the board had instructed the parties to 20 brief the issue on what is meant by the phrase it cites 21 in the paragraph. Do you have an opinion as to what 22 the proper response to that is? 23 A. Okay. I just want to make sure I'm -- 24 because they quoted two things, they quoted timeout 25 period and they quoted also CPE does not transmit in a</p>	<p style="text-align: right;">Page 48</p> <p>1 Ten minutes. 2 MR. CANGRO: Okay. 3 (Whereupon, a recess was taken.) 4 BY MR. SLOSS: 5 Q. Back on the record. 6 Mr. Lipoff, can you please look at the two 7 patents? 8 A. Okay. 9 Q. I think they are both marked TCT1001. I 10 think you testified that they have virtually the same 11 specifications, correct? 12 A. Yeah, I think they are just laid out a little 13 bit differently, but I think the words are the same. 14 Q. And they have the same figures as well, 15 correct? 16 A. That's correct. 17 Q. Will you please look at figure 2. 18 A. Okay, I see it, yes. 19 Q. I think you referred to the specification in 20 saying that figure 2 represents a flow chart of the 21 state machine; is that correct? 22 A. Well, that's how the inventor, the applicant 23 describes it on column 6, yes. 24 Q. Is that a term you've heard before? 25 A. Yeah, I've heard of a state machine before,</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 49</p> <p>1 yes.</p> <p>2 Q. You consider yourself skilled in the art of</p> <p>3 the field of invention, correct?</p> <p>4 A. Yes.</p> <p>5 Q. What would a person skilled in the art</p> <p>6 understand the meaning of a state machine to mean?</p> <p>7 A. Well, it's a list of characteristics of, in</p> <p>8 this case, a system, which are well defined under each</p> <p>9 of these bubbles, the states that exist in figure 2,</p> <p>10 which would differ between the different bubbles, the</p> <p>11 characteristics would differ.</p> <p>12 Q. The characteristics are the states, correct?</p> <p>13 A. The characteristics of the machine would</p> <p>14 constitute a state, so one set of -- list of any one</p> <p>15 from 1 to a multiple of things that you would say about</p> <p>16 aspects of the system, that would constitute a state.</p> <p>17 And then another feature of that sort of diagram is --</p> <p>18 transitions between the states that occur according to</p> <p>19 generally well defined set of things that happen or</p> <p>20 triggers that cause a transition from the system being</p> <p>21 in one state versus another.</p> <p>22 Q. Are you familiar with the term finite state</p> <p>23 machine?</p> <p>24 A. I've heard that term, yes.</p> <p>25 Q. And in what way is a finite state machine</p>	<p style="text-align: right;">Page 51</p> <p>1 A. Well, I think of a state diagram as being</p> <p>2 generally, and again, I think it can vary depending on</p> <p>3 how rigid or formal someone is willing to be, but I</p> <p>4 think generally a state diagram is something that</p> <p>5 attempts to being very formal and very comprehensive</p> <p>6 with respect to what it's trying to represent.</p> <p>7 When I see the term as it's used here, flow</p> <p>8 chart of the state machine, it's not clear to me that</p> <p>9 it's meant to represent that more rigid formal context,</p> <p>10 but to be more of a general abstraction to help you</p> <p>11 understand the operation of it, as opposed to being</p> <p>12 formal documentation that might be passed to a</p> <p>13 programmer that might have a lot more detail in it, so</p> <p>14 it's not clear to me that outside the scope of this</p> <p>15 patent the term state diagram is intended to be the</p> <p>16 same thing that's here in figure 2.</p> <p>17 Q. Within the scope of the patent, would a</p> <p>18 person skilled in the art understand figure 2 to be a</p> <p>19 state diagram?</p> <p>20 A. It has the form of a state diagram, but seems</p> <p>21 to not rise to the level. I think that, again, outside</p> <p>22 the scope of the patent one would normally think of</p> <p>23 having sufficient detail. It seems to be more of an</p> <p>24 abstraction or generalization to help one understand</p> <p>25 the flow of the operation of the machine, as opposed to</p>
<p style="text-align: right;">Page 50</p> <p>1 different from a state machine, if there is a</p> <p>2 difference?</p> <p>3 A. I don't know that I'm prepared to offer a</p> <p>4 distinction. I've heard the term. I think I'd have to</p> <p>5 look it up to be able to see whether there's</p> <p>6 distinguishing characteristics of it that are not</p> <p>7 immediately apparent to me.</p> <p>8 Q. How would a person skilled in the art</p> <p>9 understand the meaning of "state"?</p> <p>10 A. I believe I testified to that a moment ago.</p> <p>11 It's -- as it's used in these patents, it can have</p> <p>12 different meaning. But relative to the claims that are</p> <p>13 at issue which are method claims on the system, I</p> <p>14 believe the way it's used in the patent is meant to</p> <p>15 refer to a variety of characteristic of the system,</p> <p>16 system being the network elements and their</p> <p>17 relationship to each other, which are stable and</p> <p>18 well-defined and well-known enough to be able to put a</p> <p>19 bubble around them and call them a state.</p> <p>20 Q. Are you familiar with the term state diagram?</p> <p>21 A. I've heard the term before, although it</p> <p>22 doesn't appear to be actually used in these patents.</p> <p>23 Q. Would a person of skill in the art have a --</p> <p>24 make a distinction between a state diagram and a flow</p> <p>25 chart of a state machine?</p>	<p style="text-align: right;">Page 52</p> <p>1 the more rigid formal representation of a state diagram</p> <p>2 that one would normally think of.</p> <p>3 Q. What would you expect to see in figure 2 that</p> <p>4 is not in figure 2 if it were a true state diagram, the</p> <p>5 way you've defined it?</p> <p>6 A. I would expect to see, for example, the</p> <p>7 answers to some of the questions that were raised by</p> <p>8 the board in their institution decision, should be made</p> <p>9 visible in the state diagram.</p> <p>10 Q. When you talk about the questions raised by</p> <p>11 the board, do you mean the ones we went over before the</p> <p>12 break where they instructed the parties to brief</p> <p>13 certain issues?</p> <p>14 A. Yeah. I don't know that we were</p> <p>15 comprehensive in identifying everything, but in the</p> <p>16 back of each institution decision there was a kind of</p> <p>17 a -- I believe a summary where they basically were</p> <p>18 ordering a response to a number of different terms.</p> <p>19 I'm not sure we identified all of them, but I think at</p> <p>20 least some of them we talked about are listed at the --</p> <p>21 in the back of each of these --</p> <p>22 Q. Back of Exhibits 1 and 2?</p> <p>23 A. Back to the exhibits, beginning, "Further</p> <p>24 ordered."</p> <p>25 Q. And it's your opinion that a true state</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 53</p> <p>1 diagram would have contained the information the board 2 was asking questions about; is that correct? 3 A. I don't know that -- I haven't attempted to 4 match up every single issue that the board has raised, 5 that they are looking for further -- to be further 6 informed about, but I think at least some of them I 7 would expect would have been addressed. 8 Normally, in the course of preparing what 9 most engineers refer to as a state diagram, that's 10 usually something that's done as part of the design 11 process, where you develop a set of requirements for 12 what a system or a device, depending on what it's 13 representing, is supposed to do, and you prepare a 14 state diagram that -- perhaps several of them, where 15 you may take one of the states and have another 16 auxiliary diagram that explains what's happening in a 17 little more detail inside each of the states or you may 18 include it in there. But the purpose of it is it's 19 supposed to be comprehensive enough that you could 20 essentially throw it over the wall to somebody on the 21 other side, along with the other narrative and 22 description of what the system is supposed to do, so 23 without talking to you they could go ahead and they 24 could develop the hardware, software and firmware to be 25 able to make the system work.</p>	<p style="text-align: right;">Page 55</p> <p>1 A. I do. 2 Q. Would you call that a flow chart of a state 3 machine? 4 A. Well, I'm referring to here now the 5 description that's actually in the patent -- patent on 6 page 3, around line 18 or so. It's described as a 7 state diagram showing three possible states for a MAC 8 user. 9 Q. So what in your opinion -- would you agree 10 with that description of Abi-Nassif that this diagram 11 is a state diagram? 12 A. It's a little unclear by the words showing 13 that it's three possible states. It's not entirely 14 clear that it's meant to represent other states which 15 may not be shown on there, but it certainly -- the word 16 state diagram is used. 17 Q. Do you agree that that's a proper description 18 of what this figure is? 19 A. Yeah. I think, again, I would characterize 20 it in the same way I did in the figure 2 of the two 21 patents that are at issue, in that it tends to be more 22 of a higher level abstraction, helpful to understand 23 how the patent works, as opposed to attempting to be 24 the more formal rigid design documentation that one 25 might expect to see in what an engineer outside the</p>
<p style="text-align: right;">Page 54</p> <p>1 And the very fact that the board seemed to be 2 unable to determine the answer to some of those 3 questions that one would need to know precisely how a 4 thing would work, you know, informs me that perhaps 5 this was not intended to rise to that same formal rigid 6 level of detail of being a -- a design documentation, 7 but more of a general abstraction of general concepts 8 associated with explaining -- helping one understand 9 how the patent is supposed to work. 10 Q. Let me hand you what was Exhibit 1022 to the 11 '991 IPR, which is International Patent Application 12 Number WO99/61993. Do you see that? 13 A. I see that, yes. 14 Q. Do you recognize this as what we have been 15 calling the Abi-Nassif patent? 16 A. Yes, I recognize it as such. 17 Q. On the first page of this exhibit there's a 18 diagram. 19 MR. CANGRO: Do you have a copy for me? 20 MR. SLOSS: I'm sorry, sure. You don't know 21 it by heart? 22 MR. CANGRO: Yes, I probably do. 23 BY MR. SLOSS: 24 Q. Do you see that diagram on the first page of 25 the Abi-Nassif reference?</p>	<p style="text-align: right;">Page 56</p> <p>1 scope of either of these two documents might refer to 2 as a state diagram. 3 Again, it's not uncommon after the fact to 4 take a system and develop some exhibits or figures 5 which are helpful or tutorial in understanding it, but 6 may not be fully representative of all the details 7 involved. 8 Q. You don't know that that was done in 9 connection with this Abi-Nassif patent, do you? 10 A. I do not. 11 Q. You do not know how the application was 12 prepared, correct? 13 A. I do not. 14 Q. So in your opinion, the figure on the first 15 page of the Abi-Nassif patent is equivalent in terms of 16 detail in what it's conveying as figure 2 of the 17 patents at issue here, correct? 18 A. I would characterize it slightly differently. 19 I would say that the purpose of both of these figures 20 appears to be comparable in that they are an attempt to 21 provide a graphic representation that makes it easier 22 to understand the operation of the two systems that are 23 described. 24 Q. Let me put in front of you Exhibit TCT1019 25 from both IPRs.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 57</p> <p>1 MR. CANGRO: I knew it was coming.</p> <p>2 MR. SLOSS: Yeah.</p> <p>3 BY MR. SLOSS:</p> <p>4 Q. And do you recognize this as what you refer</p> <p>5 to as DOCSIS 1.1?</p> <p>6 A. Yes, it appears to be this document, yes.</p> <p>7 Q. So if we turn to page 299 of 332 of Exhibit</p> <p>8 1019, appendix K.</p> <p>9 A. Page 299?</p> <p>10 Q. Yes, of 332, it should say.</p> <p>11 A. Okay. Page 299 of the document or page 299</p> <p>12 of the numbers at the bottom?</p> <p>13 Q. On page 299 of page 332, it's below the</p> <p>14 TCT1019 number. So it's page 299 of the exhibit, not</p> <p>15 of the document.</p> <p>16 A. I've got it.</p> <p>17 Q. Do you see there figure K-1?</p> <p>18 A. Yes, I see that.</p> <p>19 Q. And that's transmission and deference state</p> <p>20 transition diagram; do you see that?</p> <p>21 A. Okay. Yeah. So it's titled "Transmission</p> <p>22 and deference state transition diagram."</p> <p>23 Q. Correct. How would a person skilled in the</p> <p>24 art understand the phrase state transition diagram?</p> <p>25 A. I think it generally would be a more formal</p>	<p style="text-align: right;">Page 59</p> <p>1 regarding it as comprehensive, as I note in paragraph</p> <p>2 152 of my declaration for the '991 and equivalent thing</p> <p>3 in the other one, that -- and also appears here at the</p> <p>4 top of K-1, it says, "Subject to simplifications," and</p> <p>5 I would use the term abstractions.</p> <p>6 It also has a bunch of assumptions in it</p> <p>7 which might otherwise be further detailed in a more</p> <p>8 detailed diagram, where some of the conditional aspects</p> <p>9 might be further detailed, so it's in order to simplify</p> <p>10 it and make clear what's going on. It basically is</p> <p>11 telling you don't -- don't use this as a comprehensive</p> <p>12 view, but use it as an aid to understanding what's</p> <p>13 going on.</p> <p>14 Q. So K-1, in your opinion, is not a true state</p> <p>15 diagram, correct?</p> <p>16 A. I think K-1 has some aspects of it which are</p> <p>17 very useful with respect to understanding its purpose,</p> <p>18 which are stated as detailing the contention</p> <p>19 resolution.</p> <p>20 Q. Excuse me, sir, it's a yes or no question.</p> <p>21 MR. CANGRO: Let him finish his answer.</p> <p>22 MR. SLOSS: Well, if he can answer the</p> <p>23 question, if he wants to elaborate, he can do that</p> <p>24 later on.</p> <p>25 BY MR. SLOSS:</p>
<p style="text-align: right;">Page 58</p> <p>1 way of describing what we have been describing as a</p> <p>2 state diagram.</p> <p>3 As I testified earlier, the characteristics</p> <p>4 of what I would regard as a state diagram would include</p> <p>5 identification of the states, which are the bubbles</p> <p>6 that have a defined set of characteristics, as well as</p> <p>7 the transitions between states, labeled as they are</p> <p>8 here and in all the other things we looked at with some</p> <p>9 headline where it gives you an idea of what causes a</p> <p>10 transition from the change from one state to another</p> <p>11 that is a transition.</p> <p>12 Q. And does figure K-1 of Exhibit 1019, if we</p> <p>13 are talking about -- let's talk about two buckets here,</p> <p>14 state diagram and flow chart of a state machine. Does</p> <p>15 Exhibit K-1 fit into either of those buckets or is it</p> <p>16 more its own bucket?</p> <p>17 A. By using the term state transition diagram</p> <p>18 and having what I would regard as considerably more</p> <p>19 detail, it comes closer to what I think outside the</p> <p>20 scope of any of these documents one might regard to as</p> <p>21 the kind of state diagram that would be really detailed</p> <p>22 enough to design about.</p> <p>23 In fact, in -- even though this is a bit more</p> <p>24 detailed and has more information on it than the other</p> <p>25 ones we have looked at, it also disabuses you from</p>	<p style="text-align: right;">Page 60</p> <p>1 Q. The question was, it's your opinion that a</p> <p>2 person skilled in the art would not consider figure K-1</p> <p>3 to be a true state diagram, correct?</p> <p>4 A. So in order to answer your question I</p> <p>5 think -- I don't know that I've used the word true</p> <p>6 state diagram.</p> <p>7 Q. We can take out the word true. Is it your</p> <p>8 opinion that a person of skill in the art would</p> <p>9 understand figure 1 to be a state diagram as you've</p> <p>10 defined it?</p> <p>11 A. I think they would understand it to be a type</p> <p>12 of state diagram, but as I indicated, these diagrams</p> <p>13 are created for different purposes and I don't believe</p> <p>14 this would rise to the level -- in fact, it's not even</p> <p>15 a question of my belief. It's specifically got a</p> <p>16 disclaimer in here telling you that this has got a</p> <p>17 simplification. So it's not the kind of state diagram</p> <p>18 that one would normally use for the purpose of</p> <p>19 comprehensive description of the operation of something</p> <p>20 for the purpose of doing design.</p> <p>21 It is a state diagram as it's titled, state</p> <p>22 transition diagram, that's useful for the purpose of</p> <p>23 developing an understanding of how some particular</p> <p>24 aspect works.</p> <p>25 Q. Is it fair to say that figure K-1 is closer</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 61</p> <p>1 to the flow charts of state machines that are described 2 in both the two patents and in the Abi-Nassif reference 3 than it is to a state diagram as you've defined it? 4 A. I don't know whether we would have a shared 5 understanding of what "closer" means. I would say they 6 both share the characteristics that they seem to be 7 abstractions or attempting to help you to understand 8 the associated words. 9 Q. Other than as it's used in figure K-1 of 10 Exhibit 1019, have you seen the phrase "state 11 transition diagram" before? 12 A. I have seen that phrase, yes. 13 Q. And it's your belief that a person of skill 14 in the art would understand that to have a different 15 meaning than state diagram? 16 A. No, I don't believe I testified to that. In 17 fact, I think I testified to the contrary, that when 18 someone uses the term state diagram, they would 19 understand that to be synonymous or a shorthand way of 20 describing a state transition diagram. 21 Q. Okay. Looking back at figure 2 of either of 22 the patents, the top bubble states, "idle." Do you see 23 that? 24 A. I do. 25 Q. And what is your understanding of how a</p>	<p style="text-align: right;">Page 63</p> <p>1 the art understand "idle" as it's used in Exhibit K-1 2 in the same way that you would understand "idle" in 3 figure 2 of the patent? 4 A. I haven't attempted as part of my analysis to 5 do a detailed comparison of what idle -- all the 6 aspects of "idle" in the patents at issue, beyond the 7 inventor's description, nor to compare it to all the 8 aspects of idle in 283. 9 My only comment with respect to the 283 -- 10 page 283 -- I'm sorry, let me refer to it as figure K-1 11 so it's not ambiguous, is that I indicate in paragraph 12 152 of my report, through -- 13 Q. I'm sorry, which report are you looking at? 14 A. Okay. The '991 declaration. 15 Q. Okay. 16 A. Starting in the discussion of appendix K-1 in 17 152 continuing through paragraph 155, that the word -- 18 that the various states which are common to the names 19 of the states in the patents are the same words, "idle" 20 and "deferring" and "grant pending." 21 Because DOCSIS has a lot more detail in it, 22 it's quite possible that there are aspects of "idle" -- 23 further aspect of "idle" which are revealed in figure 24 K-1, but at least the transition note which is shown as 25 explanation mark, Q, underline, empty, and exiting</p>
<p style="text-align: right;">Page 62</p> <p>1 person of skill in the art would understand "idle" to 2 mean? 3 A. So as I understand "idle," both as a person 4 of ordinary skill in the art wouldn't -- I think they 5 would refer to the patent applicant, the inventor's own 6 description, which I have in the '991 patent in 7 paragraph 48, "In idle state 20, the CPE waits for 8 packets to send upstream. When data arrives it 9 transitions out of the idle state to a deferring 10 state." 11 Q. In figure K-1, if you could look at that, 12 please, in Exhibit 1019 again. You might want to leave 13 that exhibit on that page. We will probably be going 14 back to it a couple of times. 15 A. I need the page number again. 16 Q. Yes, page 299 of 332 of the exhibit. 17 A. Okay. Okay, I have it. 18 Q. Do you see at the top bubble, that also has 19 the word "idle"; do you see that? 20 A. I see that. 21 Q. Is "idle" being used there in the same way 22 it's being used in the patents? 23 MR. CANGRO: Objection to form. 24 BY MR. SLOSS: 25 Q. Let me rephrase. Would a person of skill in</p>	<p style="text-align: right;">Page 64</p> <p>1 idle, that would be understood by one of ordinary skill 2 as the exclamation mark implying not empty. 3 In that aspect, it's -- at least that 4 particular feature seems to be the same, because the 5 inventor described "idle" as waiting for packets to 6 send upstream. So that's kind of an equivalent 7 description, at least with respect to that one -- one 8 aspect of the idle state. 9 There may be other characteristics that are 10 different, but at least that aspect seems to be the 11 same. 12 Q. If you look at figure 2 of the patent again, 13 please. 14 A. Okay. 15 Q. In kind of the right-hand portion of that 16 figure there are two states described as unsolicited 17 grant pending and unsolicited grant pending absent. Do 18 you see those? 19 A. Yes. 20 Q. I think you state that those two states are 21 not part of your analysis, correct? 22 A. Yeah, I believe I may have stated it in a 23 different way. I think I may have said that the 24 particular claims at issue and the embodiments in there 25 are not relevant to the claims. But if you like,</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 65</p> <p>1 I'll -- unless you want to point me to it, I'll look 2 for the specific -- 3 Q. But the point being that we are not -- you do 4 not take those into account in forming your opinions as 5 to the relevant -- of the validity of the claims of 6 either of the patents, correct? 7 A. So "take into account" is fairly broad. 8 Although they were not states that I considered in my 9 analysis, the fact that this diagram and these 10 states -- let me say it a different way. 11 As I indicated, part of my process of 12 performing this project was to read and attempt to 13 internalize the patents, and then think about, from my 14 own experience, what prior art was relevant to this. 15 And when I saw this unsolicited grant pending and 16 unsolicited grant pending grants and so on and so forth 17 on the right-hand side, although they weren't relevant 18 to the claims I was considering, they are concepts in 19 DOCSIS. So the right-hand side of this together taken 20 with the left-hand side, you know, immediately 21 suggested to me that this had a high degree of points 22 of similarity with respect to the DOCSIS spec. 23 Q. But the states described there is unsolicited 24 grant pending and unsolicited grant pending absent are 25 not a part of your analysis of the claims?</p>	<p style="text-align: right;">Page 67</p> <p>1 me as to whether I affirmatively said Data Ack does not 2 correspond -- I'm paraphrasing what you said, so tell 3 me if I'm doing it incorrectly, Data Ack is not 4 relevant to any of the functions or features of the 5 patents that -- 6 Q. I don't think that's what I was getting at. 7 Let me ask this, is Data Ack pending, in your 8 opinion, equivalent to any of the states described in 9 the claims of either of the patents? 10 A. It may well be. It's not an analysis that I 11 undertook. 12 What I was pointing out here in referring to 13 figure K-1 was it -- the words -- this is in my 14 paragraph 155 of the '991 declaration, the words 15 "deferring grant pending" and "idle" are terms that 16 appear in the patent, and although there's differences 17 in the detail and the transitions, they represent 18 similar kinds of concepts, and generally performing 19 functions that are ascribed to the states that are 20 there in the patent. 21 Data Ack pending does not have -- is not a 22 word or a term that corresponds precisely, and it may 23 relate to one of the states in the patent or not. It's 24 not -- it's not analysis I attempted to do because it 25 wasn't the point I was trying to make.</p>
<p style="text-align: right;">Page 66</p> <p>1 A. That's correct. 2 Q. If you look at K-1 again, there's a state 3 that's called Data Ack pending, correct? 4 A. Yes. 5 Q. And similarly that is not part of your 6 analysis regarding the validity of the claims, correct? 7 A. Well, I don't know -- I don't know if I can 8 answer your question in a yes or no way. 9 Q. Well, all I'm trying to do is kind of knock 10 out that as something we have to talk about today, to 11 put it bluntly, and you do say in your declarations 12 that something to the effect of that this state is not 13 one of the states that matches up to the claims, 14 correct? 15 A. I don't recall offering an opinion on that. 16 If you want to point me to something in here that would 17 disabuse me of that, I'm looking on page 53 of my '991 18 declaration where I talk about this, and I don't recall 19 saying anything explicitly. 20 I did mention deferring grant pending and 21 idle. I don't think I said that Data Ack was not -- 22 Q. Well, putting it another way, do you recall 23 discussing Data Ack pending at all in your opinion? 24 A. Again, my opinion goes well beyond just the 25 discussion of appendix K-1, and you specifically asked</p>	<p style="text-align: right;">Page 68</p> <p>1 Q. All right. What would a person of skill in 2 the art understand "deferring" to mean as it's used in 3 figure 2 of the patents? 4 A. I think one would be informed by the cite 5 that I made on paragraph 49, page 18 of the '991 6 declaration, and as the inventor stated, deferring 7 state, the CPE begins by contending for bandwidth. And 8 then after contending, it transitions to grant pending, 9 so I think that's the understanding one would have. 10 Q. What would a person of skill in the art 11 understand the grant pending state to mean, referring 12 to figure 2? 13 A. Again, as I indicate here in paragraph 52, 14 citing to the inventor's own words, grant pending state 15 22, the CPE waits for and receives a bandwidth grant to 16 send data, and then it sends its data, and then the 17 inventor goes on to say that with and in this state of 18 grant pending, preferably the CPE uses piggybacking to 19 request the grant in the next slot. 20 Paragraph 53, the inventor goes on to state, 21 "Preferably the CPE uses piggybacking to request grant 22 of the next slot while sending data, and the last data 23 in the CPE's backlog is sent without piggybacking." 24 And I cite to column 7 of the patent. And then it 25 continues, "Operation then transitions to grant pending</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 69</p> <p>1 absent state," and I cite again to column 7 of the 2 patent. 3 Q. Figure 2 also shows grant pending absent 4 state you just referred to, correct? 5 A. Yes, it does. 6 Q. What would a person of skill in the art 7 understand the grant pending absent state to be? 8 A. So in an attempt to be faithful to have what 9 the inventor intended, I again on page 19 of my '991 10 declaration beginning in paragraph 54, continuing 11 through paragraph 57, I cite to the inventor's words. 12 Paragraph 54, "In the grant pending absent state 23, 13 the CPE does not send data to the BSC and no grant is 14 pending." 15 Citing to column 7 of the patent, "No grant 16 is pending in this state because piggybacking was not 17 used in prior transmission of data to the BSC." Then 18 it continues to have some more detail, which I'll read 19 in the record if you wish. 20 Q. No. That's fine. Thank you. 21 MR. SLOSS: I'm sorry, could you read back 22 the answer, please? 23 (Record read by the reporter.) 24 MR. SLOSS: Thank you. 25 BY MR. SLOSS:</p>	<p style="text-align: right;">Page 71</p> <p>1 identified. It does talk about some benefits which I 2 think go outside the description of what the state is. 3 The comment about generating excess traffic is a result 4 of what it's doing perhaps, but it's not descriptive of 5 what the state is. It's describing a benefit of the 6 state. 7 BY MR. SLOSS: 8 Q. Prior to your work on this case, had you ever 9 heard the phrase "grant pending absent" to describe a 10 state? 11 A. I don't know that I've heard that term 12 before, but of course, in my analysis, I believe that 13 the prior art that I identify reads on that limitation. 14 Q. Well, the prior art does not use the phrase 15 grant pending -- none of the prior art uses the phrase 16 grant pending absent state, correct? 17 A. I don't believe so. I don't -- I don't know 18 that as I sit here I found that, but I -- I -- my 19 recollection is that the term does not appear as a -- 20 as quoted, grant pending absent. 21 Q. Prior to your work on this case, were you 22 familiar with the state that performed as the state of 23 the grant pending access state defined in the patent, 24 even though it may have been called something else? 25 A. Yes.</p>
<p style="text-align: right;">Page 70</p> <p>1 Q. Could you please turn to paragraph 46 of the 2 declaration in the '991, it's on page 17. 3 A. All right, I'm there. 4 Q. Okay. In that you, again, quote from the 5 patent and say -- this is talking about grant pending 6 absent state, correct, paragraph 46? 7 A. It does talk about grant pending absent, 8 correct. 9 Q. You say that the patent describes that, and 10 you quote from the patent, "By virtue of the grant 11 pending absent state, the CPE can request a data slot 12 without entering into contention and generating excess 13 contention traffic." Do you see that? 14 A. Yes, that's a quote from column 6 of the 15 patent, yes. 16 Q. Column 6, lines 33 through 35, correct? 17 A. Yes. 18 Q. Do you agree that the quoted language is what 19 a grant pending access state is as it's used in the 20 patent? 21 MR. CANGRO: I think you said "access." 22 MR. SLOSS: I'm sorry, should be "grant 23 pending absent state is." 24 A. Well, I don't know that it says anything 25 different about what the state is and what I previously</p>	<p style="text-align: right;">Page 72</p> <p>1 Q. What was that? 2 A. It's the basic function of the DOCSIS MAC 3 level, where you in one of several different service 4 flows which I identify in here, where you don't -- 5 well, where you fit the definition that was given by 6 the inventor that I previously read into the record. 7 Q. Could you please get the declaration for the 8 '256 IPR. 9 A. Okay. I have it. 10 Q. Could you please turn to page 15, paragraph 11 36. 12 A. Okay, I'm there. 13 Q. In paragraph 36, you're discussing the open 14 system's interconnection networking model, correct? 15 A. Yes, that's correct. 16 Q. Where does quality of service fit into the 17 OSI model? 18 A. Well, it can be fit into -- it can be 19 performed at various different levels of the model. 20 There's aspects of it that can occur at the data link 21 layer, which -- let me back up for a second. 22 As I indicate here, the MAC protocol is part 23 of the data link layer within the OSI model. Typically 24 you have the MAC layer being the layer that's between 25 the -- within the data link layer, but closer to the</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 73</p> <p>1 physical layer, and then above that you have a logical 2 link control layer, LLC layer, logical link control 3 layer. So within the MAC layer, features related to 4 the quality of service are typically the controls or 5 the ability to send management messages back and forth, 6 which are, in the case of DOCSIS, are MAC management 7 messages that control service flows. 8 And then at higher levels of the OSI model, 9 typically towards the application layer, the 10 application layer will exercise features of the MAC 11 layer as per the various applications it's running. So 12 the example that's given in DOCSIS that's relevant to 13 this and elsewhere, even in the patent where they talk 14 about FTP is another application, those are places 15 where there's features of the service where you need to 16 maintain different levels of quality of service. So 17 the application there will communicate down through the 18 stack to the data link layer and enable or disable 19 certain service flows or features that affect the 20 quality of service. 21 So the answer is it can be pervasive 22 throughout the entire OSI model, typically though, 23 based upon underlying controls that are exposed in the 24 MAC layer. 25 Q. Could you please turn to paragraph 47 of the</p>	<p style="text-align: right;">Page 75</p> <p>1 of the grant pending absent state which are revealed by 2 the inventor, as I've tried to enumerate in paragraph 3 55 through 58, such as not sending data and no grant 4 pending. 5 Q. Would you turn to paragraph 49, please, same 6 declaration. 7 A. Okay. 8 Q. This discusses the idle state, correct? 9 A. I'm sorry, we must be at different places. 10 Paragraph 31? 11 Q. I'm sorry, paragraph 49, page 20. 12 A. Yeah, it describes the -- describes the idle 13 state, and also talks about the transition. 14 Q. Okay. Is the description of idle state in 15 that paragraph one that a person of ordinary skill in 16 the art would generally have regarding idle state as 17 it's used in this field? 18 A. It's how the inventor used it in the patent. 19 I don't immediately see -- I don't know if it's fully 20 descriptive in all respects in every possible nuance, 21 but at least the point that's made here in terms of 22 waiting for packets to send upstream I think would be 23 generally understood. 24 Q. To your knowledge, these are generally 25 accepted understanding or meaning of the term idle as</p>
<p style="text-align: right;">Page 74</p> <p>1 same declaration. 2 A. Okay. 3 Q. About halfway through the paragraph, you 4 state -- well, first of all, 47 you're talking about 5 the grant pending absent state, correct? 6 A. Yes. That's -- I mean, there are some other 7 concepts that are talked about there, but at least they 8 are all related to grant pending absent, yes. 9 Q. About halfway through the paragraph you say, 10 "As I describe in section 7B4A below, noncontention 11 requests such as a request made in a unicast request 12 slot were already well-known in the prior art." 13 In that, are you saying that a request made 14 in a unicast request slot is the same as what the 15 patent calls a grant pending absent state? 16 A. No, but it's one of the features of grant 17 pending absent. 18 Q. What are the other features of grant pending 19 absent? 20 A. Well, they are described in my paragraph -- 21 page 21, paragraphs 55 through 58. The inventor 22 describes it as also being not sending data and no 23 grant is pending. Then as I continue here in paragraph 24 56, there is mention the unicast request slot which you 25 describe, but there are other aspects, characteristics</p>	<p style="text-align: right;">Page 76</p> <p>1 used to describe a state? 2 A. No, I would say it would vary very much in 3 context. It might be very different between say an 4 automobile, which might use the term, or a computer, 5 desktop computer versus radio transmission system. 6 Q. Let's talk about radio transmission systems. 7 When you use the term idle, is there a generally 8 accepted understanding of the meaning of an idle state 9 in telecommunications systems -- or communications 10 systems? 11 A. Beyond I think the most general term of it 12 not doing useful work, I think that would be the term 13 that would probably generally cut across most things. 14 But beyond that, I think it could have different 15 features. 16 Q. Paragraphs 50 talks about deferring, correct? 17 A. Yes. 18 Q. Is there a generally accepted understanding 19 of the term deferring as it's used, deferring state in 20 a communications network? 21 A. I don't find that the inventor's use of the 22 term is completely unfamiliar. But it also would I 23 think not be the way that -- it's not -- not something 24 I would normally see as a state label. That is, 25 without using the word "deferring," just reading about</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 77</p> <p>1 what it's doing, it's a state that I kind of recognize 2 as not being unusual, but I don't know that the label 3 deferring would be something that would generally be 4 used to describe that state. 5 Q. Although that's used by DOCSIS 1.1, correct? 6 A. DOCSIS 1.1 uses -- uses contention and uses 7 deferring. I don't recall that it's -- other than 8 figure K-1, it's referred to as a state anywhere, but 9 it does, in fact -- it does, in fact, use the same 10 word, "deferring." 11 It's not something I would normally think 12 about as being commonly used in systems outside the 13 scope of either -- either of these references or 14 patents, but it's -- it is described in the -- by the 15 inventor in a way that I think is understandable, and 16 not -- not completely unfamiliar. 17 Q. According to both the '991 and the '256 18 patents, the idle state and the grant pending state are 19 separate states, correct? 20 A. They are identified as separate states, yes. 21 Q. Could you please turn to paragraph -- 22 actually, which declaration do you have in front of 23 you? I'll try to make this easy. 24 A. '256. 25 Q. Could you please turn to paragraph 61 of</p>	<p style="text-align: right;">Page 79</p> <p>1 prior art references that I've identified, including 2 Gummalla, uses the term unicast request polls. So it's 3 a term of art that I think was known prior to the 4 priority date of the subject patents. 5 Q. And how does that relate to your opinions 6 that the claims of the '991 patent are invalid? 7 A. Well, I mean, it's one -- one point. It's 8 not the complete set of analysis. 9 Q. Yeah, what is the point is what I'm trying to 10 get at. 11 A. It's showing that unicast polling is a 12 concept that is a prior art concept, that it's not an 13 inventive aspect of either of the two patents. That's 14 the only point. 15 Q. Do you see anything in either of the patents 16 that indicates that unicast polling is thought to be an 17 inventive concept? 18 A. No, I believe the -- well, said another way, 19 the patent describes a number of different states and 20 functions, depending on whether you're talking about 21 the claims that are in '256 or '991. But the 22 specification has these various states which include 23 unicast polling, because it's something that you're 24 doing in grant pending and it's something that you're 25 doing in grant pending absent. And the patent itself</p>
<p style="text-align: right;">Page 78</p> <p>1 that. 2 A. Okay. 3 Q. You discuss in paragraphs 61 through 66 of 4 U.S. Patent Number 8,254,394 to Gummalla. Do you see 5 that? 6 A. I see that, yes. 7 Q. What's your purpose in discussing the 8 Gummalla reference? 9 A. Well, I should say that although I did refer 10 to that in writing the report, it's been a number of 11 months since -- it's August of last year that I 12 actually read that. But as I'm refreshed by reading 13 this paragraph 61, I was using it to provide an 14 extrinsic reference about how unicast request poll is 15 used, and to show that unicast request poll is a term 16 of art that's generally used and understood, and I 17 believe consistent with how it's used in the 18 references, as well as the patent. 19 Q. Why do you consider unicast polling as prior 20 art? 21 A. Well, because at least in the prior 22 references that I cited to, it's described that way. 23 Q. I'm sorry, well, the references don't 24 describe unicast polling as prior art, correct? 25 A. Well, I'm sorry. Say it another way. So the</p>	<p style="text-align: right;">Page 80</p> <p>1 uses the term unicast request slots, which occur in 2 both of these states. 3 So in describing the grant pending absent 4 state as being the inventive aspect, one of the 5 features of the grant pending absent state is to use 6 unicast polling, so I'm showing at least that -- in 7 this section, I'm showing at least that particular 8 feature is known prior art. 9 MR. SLOSS: Before I forget, can we agree 10 that where the two declarations use identical language 11 or similar language, that I don't have to ask him 12 questions about both declarations to be able to use the 13 testimony in each of the IPRs? 14 MR. CANGRO: Yeah, that's fine. We would 15 agree with that, yeah. 16 BY MR. SLOSS: 17 Q. Could you please turn to paragraph 106 of the 18 declaration you have in front of you. 19 MR. CANGRO: Sorry, we obviously will reserve 20 any right in response to just kind of note any 21 differences or whatever. But we wouldn't object to 22 using any portion of this transcript in either of the 23 two proceedings. 24 MR. SLOSS: Okay, fine. 25 MR. CANGRO: I assume that's what you meant.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 81</p> <p>1 MR. SLOSS: That is. Again, I'm trying to 2 avoid having to go back to each declaration each time 3 and showing him the same language. 4 MR. CANGRO: No, that's fine. 5 BY MR. SLOSS: 6 Q. So looking at paragraph 106, and just for 7 context if you want to look back at some of the earlier 8 paragraphs, this is sort of talking about the 9 development of DOCSIS, as I understand it, correct? 10 I'm stating it very generally, just trying to 11 give you context for the question. I'm going to ask 12 you one question about paragraph 106, but I want you to 13 have the background before I ask the question. 14 A. Yeah, I appreciate that. I'm looking back 15 just to see. So I believe all this relates to item 16 subtitle A, terms in the preamble. In particular, 17 discussing issues related to the wireless or cellular 18 limitation, I believe. I believe all that relates back 19 to this page 30 stuff around paragraph 80. 20 Q. In paragraph 106, you talk about historical 21 fact that "prior to the priority date, cable prior art 22 actually commended itself to numerous artisans of 23 ordinary skill active in the field of wireless 24 broadband space to address MAC protocol issues." Do 25 you see that?</p>	<p>Page 83</p> <p>1 in fact, the only references that you state provide the 2 grounds for your opinion that the patents are invalid, 3 correct? 4 A. Yeah, with one elaboration, in that Sen 5 incorporates DOCSIS 1.0 by reference, so that was also 6 something that I considered and read into Sen. 7 Q. Now, was DOCSIS 1.1, that was a reference you 8 were familiar with prior to this case, correct? 9 A. That's correct. 10 Q. So this was not -- this is a reference that 11 you knew about, it wasn't given to you by counsel, 12 correct? 13 A. It's a reference that I've known about for 14 some time, yes. 15 Q. Who found Abi-Nassif, you or counsel? 16 A. I think it was counsel who found that one. 17 Q. And who found Sen, if you recall? 18 A. I believe I identified GPRS as a topic area, 19 and had some other references that were nonpatent 20 references, and counsel then found a patent reference. 21 Q. Which turned out to be Sen? 22 A. Which turned out to be Sen. 23 Q. Who found Rydnell? 24 A. Also counsel. 25 Q. I think you said you did your own search for</p>
<p>Page 82</p> <p>1 A. I see that. 2 Q. My question is, are you saying here that the 3 standards committee, committees in general are composed 4 of artisans of ordinary skill in the art? 5 A. No, I wouldn't say that. I think they are 6 composed generally of a number of people, some who may 7 rise to expert level, beyond what I called ordinary 8 skill of the art. But others -- others might be 9 operating at the lowest level, satisfying only the 10 criteria for ordinary skill. 11 Q. Okay. 12 A. So it could be a mix in the committees. 13 Q. So in connection with the '991 declaration, 14 essentially you rely on four references, correct? 15 A. Just to be clear, because I talk about things 16 like Gummalla as providing some background. I think 17 the primary references in various combinations are 18 DOCSIS 1.1 and Abi-Nassif. We are talking about the 19 '991 now, right? 20 Q. Correct. 21 A. Okay. Let me -- 22 Q. And also Sen and Rydnell. Do those sound 23 familiar? 24 A. Yes. 25 Q. When you say primary references, those are,</p>	<p>Page 84</p> <p>1 prior art? 2 A. I did. 3 Q. How did you do that? 4 A. Well, I have a fairly extensive library of 5 textbooks and technical papers and that sort of thing, 6 so I did refer to the textbooks and technical papers. 7 Then I also have an account with an on-line 8 bibliographic search firm called HighBeam. 9 Q. HighBeam? 10 A. HighBeam, yeah, that allows you to put in key 11 words, and it pulls up trade -- trade press articles, 12 not scholarly articles. 13 Then I also have an account with IEEE Xplore, 14 that's spelled X-p-l-o-r-e, which is an IEEE database 15 of mostly IEEE publications, but a few other technical 16 societies' conference publications and that sort of 17 thing, including the cable industry, Society of Cable 18 Television Engineers. SCTE stuff is cataloged and 19 referenced in that, and that's an on-line bibliographic 20 search engine too, where you can put in key words with 21 connectors and that sort of thing, and I use a number 22 of key words and descriptors that are in the patent to 23 look for technical articles describing some of the 24 features and functions. 25 Then I also have an account with the MIT Vera</p>



800.211.DEPO (3376)
EsquireSolutions.com

Page 85

1 system, V-e-r-a, that includes the engineering index
2 compendex database which overlaps with the IEEE
3 database, but includes a lot of European and Japanese
4 kind of publications. And again, they are not patent
5 documents typically, they are scholarly papers. And I
6 searched that, again, for key words, subject matter
7 stuff, shared some of that with counsel, which I think
8 his preference was that --
9 MR. CANGRO: No, don't include conversation
10 with counsel.
11 A. But anyhow, I shared that with counsel, and
12 together we surface patent prior art that I reviewed,
13 found relevant. Where it was cumulative or duplicative
14 of some of the other stuff, we didn't use it.
15 And then let's see, what else. There was
16 another -- oh, Google. I used Google Scholar and
17 Google Patents, also to look at possible prior art.
18 BY MR. SLOSS:
19 Q. Have you read Abi-Nassif?
20 A. I have.
21 Q. When was the first time you read that?
22 A. It would have been at some point prior to
23 July 27, preparation of this report.
24 Q. Have you read Sen?
25 A. I did.

Page 86

1 Q. And when?
2 A. Also in connection with preparation of the
3 report.
4 Q. And Rydnell?
5 A. Same answer. Prior to preparation of the
6 report.
7 MR. SLOSS: Why don't we take a break.
8 (Whereupon, a recess was taken.)
9 BY MR. SLOSS:
10 Q. Mr. Lipoff, can you please take out what we
11 have marked as Exhibit 1022, which is the Abi-Nassif
12 reference. I think you've got it in your left hand.
13 A. I have it.
14 Q. What would a person skilled in the art
15 understand field of invention of Abi-Nassif to be?
16 A. The patent itself on page 1, item 1, calls
17 the field of the invention communication systems, but
18 it's focused on -- it says it's focused on the load
19 estimation and applications for using same in a
20 communications network. That's how the inventor
21 describes it.
22 Q. Abi-Nassif does not describe wireless
23 communications, correct?
24 A. Actually, I believe it does. It's not --
25 it's not described in the -- it's not described in what

Page 87

1 I read, but it does appear in the patent as being one
2 of the applications. If you like, I'll find it.
3 Q. The focus of Abi-Nassif is not wireless
4 communications, correct?
5 A. I don't know that I would characterize it
6 that way. I think the focus of it is load estimation,
7 and generally in communication systems which would
8 include wireless as being explicitly mentioned, so I
9 don't think the -- I would characterize the focus as
10 not including wireless, but it's not specific to any
11 communications network.
12 Q. Now, in the title of Abi-Nassif it talks
13 about offered load. Do you see that?
14 A. I see that, offered load estimation, yes.
15 Q. What do you understand offered load to mean?
16 A. With respect to this patent, which I think is
17 consistently used by most communications networks, it
18 would represent the traffic which is external to the
19 system, being provided to the system. That is, coming
20 into the system. And it's a -- it's a measure of the
21 intensity of the -- of the level of the incoming
22 traffic of the system.
23 Q. Could you turn to the '256 declaration,
24 please. Keep Abi-Nassif close at hand, we are going to
25 be going back to that.

Page 88

1 The '256 declaration?
2 A. Okay, '256.
3 Q. Could you please turn to page 45, paragraph
4 116.
5 A. I see that, yes.
6 Q. You state in that paragraph, "Abi-Nassif
7 describes managing network load by regulating the
8 relative usage of contention and noncontention
9 reservation requests." Where does Abi-Nassif talk
10 about managing network load by regulating usage of
11 contention and noncontention requests?
12 A. I believe I describe that in the paragraphs
13 that are following the one that you identified.
14 Paragraph 116, really starting at 117 where it talks
15 about using the MAC protocol, but more particularly in
16 paragraph 118, where I cite Abi-Nassif 17 lines 1
17 through 18-6, talking about the contention
18 opportunities versus reservation opportunities, which I
19 think one of ordinary skill understands that a
20 reservation opportunity can accommodate a higher load
21 than contention, because in contention a collision
22 occurs, as my paragraph 119 occurs, so you want to
23 minimize collisions by putting as much traffic as
24 possible under reservation.
25 Then my paragraph 121 talks about how the MAC



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 89</p> <p>1 protocol allows you to transition from inactive to 2 active states without entering into contention, which 3 would be an inefficient use of the bandwidth, so I 4 think it's revealed in the cites that I provided here. 5 Q. Can you please turn to paragraph 117. In the 6 first sentence there you state, "In its preferred 7 embodiment Abi-Nassif incorporates the MAC protocol of 8 the first generation of DOCSIS," and that's what you 9 referred to at other times as DOCSIS 1.0, correct? 10 A. Correct. 11 Q. How does Abi-Nassif's protocol, MAC protocol 12 differ from the DOCSIS 1.0 protocol? 13 A. I think the MAC protocol as he incorporates 14 it by reference is in all respects identical. The 15 inventive aspect was not the MAC protocol which he 16 describes as, you know, prior art. His inventive 17 aspect was being able to estimate the load by 18 monitoring the collisions that occur. 19 The DOCSIS 1.0 MAC protocol, which, you know, 20 is the underlying foundation on which his invention is 21 based, is not being modified by anything in Abi-Nassif. 22 He, instead, is basically looking back at the operation 23 of the MAC protocol and observing collisions. And 24 based upon that observation, using that to estimate the 25 load.</p>	<p style="text-align: right;">Page 91</p> <p>1 applied in this way. 2 BY MR. SLOSS: 3 Q. But in terms of the MAC protocol itself, 4 Abi-Nassif doesn't add anything to what DOCSIS 1.0 has 5 as it relates to the patent's claims, correct? 6 A. I may not have done a good job articulating 7 the point that I think you're trying to get after, so 8 let me try again. 9 It does not suggest any modifications or 10 changes to the DOCSIS 1.0 protocol, but it does 11 describe in detail how one might use DOCSIS 1.0 in a 12 system, consistent with the DOCSIS 1.0 specification. 13 And it provides detail of what one would have 14 understood as prior art of how the DOCSIS MAC protocol 15 works, so in that sense it does add to an understanding 16 of how the DOCSIS MAC protocol operates and how it 17 would be understood or used in a real system. 18 MR. SLOSS: Can you read that back, please? 19 (Record read by the reporter.) 20 BY MR. SLOSS: 21 Q. Where does Abi-Nassif describe how DOCSIS 1.0 22 would be used in a real system in a way that relates to 23 the '991 or '256 patent? 24 A. Well, I believe that's the subject of some 25 significant analysis that I've incorporated in my</p>
<p style="text-align: right;">Page 90</p> <p>1 Q. So is it fair to say that -- well, let me ask 2 it this way. Does Abi-Nassif have anything that DOCSIS 3 1.0 does not have that is relevant to either the '991 4 patent or the '256 patent? 5 MR. CANGRO: Objection to form. 6 A. So to be clear, DOCSIS 1.1 incorporates all 7 the features of DOCSIS 1.0 and adds some things. 8 Abi-Nassif does not -- is silent, unaware perhaps, of 9 DOCSIS 1.1, but it is a useful reference even though 10 it's not modifying DOCSIS 1.0, because it describes a 11 method of operation of the DOCSIS 1.0 specification 12 that reads on the patent claims. So the method of 13 operation, or if you will, the scenario that is 14 incorporated in Abi-Nassif describing how DOCSIS 1.0 15 might be used in a typical way to operate a system like 16 this is provided with some detail that purposely is not 17 part of the DOCSIS 1.0 spec. 18 The 1.0 spec is an interface compatibility 19 specification that tells you the possibility of all the 20 things that might happen, and Abi-Nassif applies that 21 1.0 spec and says this is how it might actually work in 22 a real system, and then knowing how this typical 23 scenario that we describe here in some detail that goes 24 beyond DOCSIS 1.0 works, now let me show you my 25 inventive addition to it when DOCSIS 1.0 is being</p>	<p style="text-align: right;">Page 92</p> <p>1 expert report beginning on page 61, where I show that 2 disclosures in the Abi-Nassif patent relate on a 3 limitation by limitation basis to each of the claim 4 terms. And in claim 1, it's got a description of 5 possible states, and the description of what happens in 6 those states relates to the same sort of functions that 7 are in the claim limitations of both the patents, so 8 that's how I used it. 9 Q. So your opinion is contained in paragraphs -- 10 your declaration, beginning page 61, so is that 11 paragraph 174 of the '256 declaration? Is that 12 correct? 13 A. '256, well, so I guess -- I guess I would 14 combine the sections from page 45 through 50, where I 15 just describe the patent in general, and then the 16 section beginning at page 61 where I actually go 17 through the invalidity analysis. I think they are both 18 relevant to forming my opinion. 19 Q. Does DOCSIS 1.1 add anything to DOCSIS 1.0 20 that is relevant to either the '991 or '256 patent? 21 MR. CANGRO: Objection to form. 22 A. Well, I cited it as an additional reference. 23 In order to answer that, I should explain the additions 24 that were made to DOCSIS 1.1 that are relevant that I 25 relied upon in my analysis of DOCSIS 1.1.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 93</p> <p>1 DOCSIS 1.1 incorporates the MAC protocol of</p> <p>2 DOCSIS 1.0. In fact, the section at the end of chapter</p> <p>3 6 of DOCSIS 1.0 is repeated verbatim, renumbered in</p> <p>4 paragraph -- in section 7 of DOCSIS 1.1. So the</p> <p>5 underlying MAC protocols are identical.</p> <p>6 What DOCSIS 1.1 does is it adds the concept</p> <p>7 of service flows, which is in chapter 8 of DOCSIS 1.1,</p> <p>8 which were not defined but they were also anticipated</p> <p>9 and not prohibited in DOCSIS 1.0, they just were not</p> <p>10 defined. So DOCSIS 1.1 in the same way that Abi-Nassif</p> <p>11 describes how one might use DOCSIS 1.0, DOCSIS 1.1</p> <p>12 describes service flows that can be used consistent</p> <p>13 with the DOCSIS 1.0 protocol, and I did rely upon some</p> <p>14 of the disclosure to DOCSIS 1.1 with respect to the</p> <p>15 service flows in order to show clearly how DOCSIS 1.1</p> <p>16 reads on some of the limitations in the claims at</p> <p>17 issue.</p> <p>18 BY MR. SLOSS:</p> <p>19 Q. Could you please turn to page 24 of</p> <p>20 Abi-Nassif. Beginning at line 8 it talks about a</p> <p>21 protocol referred to as multicabling network system or</p> <p>22 MCNS, do you see that?</p> <p>23 A. I see it.</p> <p>24 Q. How is it that DOCSIS 1.1's MCNS protocol is</p> <p>25 included in a preferred embodiment of Abi-Nassif?</p>	<p style="text-align: right;">Page 95</p> <p>1 DOCSIS 1.0, it was just referred to as DOCSIS because</p> <p>2 there was no subsequent iteration.</p> <p>3 Q. Fair enough, but when we use DOCSIS 1.0 we</p> <p>4 are referring to the original DOCSIS, correct?</p> <p>5 A. Correct, and the designation that's given</p> <p>6 here on page 24 where they are referring to SP</p> <p>7 RFI-IO2971008 is what's known today as the DOCSIS 1.0</p> <p>8 protocol.</p> <p>9 Q. Okay. And part of that protocol would</p> <p>10 include this MCNS protocol, correct?</p> <p>11 A. No, that's not a correct way to describe it.</p> <p>12 MCNS protocol was not part of that. MCNS was the</p> <p>13 client that hired me to develop the protocol.</p> <p>14 Q. Right.</p> <p>15 A. They were the sponsor, the consortium that</p> <p>16 paid me to manage the project of developing the</p> <p>17 specification that is SP RFI-102971008.</p> <p>18 Q. Let me ask this, so the language here in</p> <p>19 Abi-Nassif states, "In a preferred embodiment the MAC</p> <p>20 protocol includes a protocol commonly referred to as</p> <p>21 MCNS." Is that statement incorrect?</p> <p>22 A. I think it's worded awkwardly. The intent, I</p> <p>23 believe is, in a preferred embodiment the MAC protocol</p> <p>24 includes a protocol commonly understood to have been</p> <p>25 the one that was developed by MCNS, now known today as</p>
<p style="text-align: right;">Page 94</p> <p>1 MR. CANGRO: Objection to form.</p> <p>2 A. I'm not sure what you're asking me.</p> <p>3 BY MR. SLOSS:</p> <p>4 Q. Paragraph beginning at line 8 talks about a</p> <p>5 preferred embodiment in Abi-Nassif, correct?</p> <p>6 A. Yes.</p> <p>7 Q. You testified earlier that Abi-Nassif</p> <p>8 incorporates DOCSIS 1.0, correct?</p> <p>9 A. Yes.</p> <p>10 Q. And in Abi-Nassif it's saying here that part</p> <p>11 of its MAC protocol includes this MCNS, correct?</p> <p>12 A. Well, it's saying that the document is called</p> <p>13 the MCNS data over cable service interface</p> <p>14 specification which is DOCSIS.</p> <p>15 Q. So the MCNS is from DOCSIS 1.0, correct, as</p> <p>16 it's used here?</p> <p>17 A. Yeah, again, I -- maybe it would be helpful</p> <p>18 for me to explain what MCNS is and how it relates to</p> <p>19 DOCSIS, because I'm not really sure what you're asking</p> <p>20 me.</p> <p>21 Q. You said that the MAC protocol employed by</p> <p>22 Abi-Nassif is DOCSIS 1.0, correct?</p> <p>23 A. Yes, which is -- I think it's -- I believe I</p> <p>24 testified earlier that during the development of the</p> <p>25 first generation of DOCSIS, it was not referred to as</p>	<p style="text-align: right;">Page 96</p> <p>1 DOCSIS 1.0, and I think that's made clear.</p> <p>2 Any ambiguity about what that actually means</p> <p>3 is made clear when they say that it's defined in the</p> <p>4 document and they -- they list the radio frequency</p> <p>5 specification, which is one of the DOCSIS 1.0</p> <p>6 documents, but it's the one that's relevant because</p> <p>7 it's the one that it is of the various DOCSIS 1.0</p> <p>8 documents, which there are several, it's the one that</p> <p>9 describes the MAC protocol.</p> <p>10 Q. So are you saying that the portion of the MAC</p> <p>11 protocol, the DOCSIS -- let me start over.</p> <p>12 So are you saying that the portion of the</p> <p>13 DOCSIS 1.0 MAC protocol that's relevant to the '991 and</p> <p>14 '256 patents is the MCNS piece of that protocol?</p> <p>15 A. Okay, the MCNS is not a piece of the</p> <p>16 protocol, or the protocol MCNS document is not a piece</p> <p>17 of the protocol. It is the entire -- MCNS is the</p> <p>18 owner, developer, the sponsor, the client who paid to</p> <p>19 develop DOCSIS 1.0. And everything that's in DOCSIS</p> <p>20 1.0 would fall under the MCNS umbrella. So there's not</p> <p>21 a separable MCNS portion or separate MCNS MAC protocol.</p> <p>22 It's just related to MCNS.</p> <p>23 The entire document is a MCNS document, in</p> <p>24 that they paid for it, sanctioned it, owned it, and</p> <p>25 then at some later point transferred responsibility to</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 97</p> <p>1 CableLabs. 2 Q. So MCNS is not actually a protocol, correct? 3 A. No, it's a consortium of cable operators. 4 Q. Could you turn to paragraph 125 of the '256 5 declaration, please. Page 48. 6 A. Okay, I'm there. 7 Q. Okay, the first sentence states, "Initially 8 the MAC user transitions from the inactive state to the 9 contention state." What do you mean by "initially"? 10 A. Let me attempt to understand the context of 11 this section here. 12 So it's referring back to paragraph 123, in 13 describing the inactive state. And its saying that you 14 begin, or at least one way of looking at the operation 15 of the system would be -- to describe the operation, 16 one way of looking at it would begin with let's start 17 from the active state. You could be anywhere in the 18 middle, but let's start with that in order to explain 19 how it works. 20 So we are in the inactive state for a point 21 of reference in terms of everything that follows. We 22 have no data transmit, or you're waiting for an 23 opportunity to transmit because you do have data to 24 transmit, but you haven't yet transmitted. So that's 25 the inactive state as Abi-Nassif describes it.</p>	<p style="text-align: right;">Page 99</p> <p>1 state. 2 A. So I am citing to 23, 30 to 32 from 3 Abi-Nassif as they are describing a scenario here; that 4 is also shown here in figure 9. 5 It's a system that has a number of states. 6 The system at any one point in time can be in any one 7 of these states, and can transition, can stay in that 8 state or can transition out. So in the description of 9 the Abi-Nassif reference, the description they are 10 using, they are saying, well, in order to describe it 11 let's tell you about the starting point and then we 12 will say what happens from that point. 13 You could have said let's start in active 14 initially, and tell you what happens there, and then 15 you'll move around and at some point, the way the 16 system works, you could end up at some other state of 17 the system. But at least for the description that's 18 given here, it's saying we are going to describe this 19 by starting with the inactive state, then show you what 20 happens from that point on. 21 Q. So you're saying that Abi-Nassif at page 23, 22 lines 30 through 32, is a cite that covers both the 23 first and second sentences of paragraph 125 of your 24 declaration? 25 A. Well, I'll go back and I'll look, but I'll --</p>
<p style="text-align: right;">Page 98</p> <p>1 You pointed me to paragraph 125, which says, 2 referring back to your other thing, you're starting 3 with the inactive state as stated in paragraph 125, and 4 also stated as paragraph 123, you start from that, and 5 now you're going to transition from the inactive state 6 to something else. And so initially, because you've 7 been inactive, and the system is unaware that you 8 should be getting bandwidth grants, the first thing 9 that happens is that you would go to the contention 10 state, which would be shown at this figure that's on 11 page 48. 12 You make a contention request, and if you've 13 been successful, that is the request was received and 14 wasn't collided, you'll then -- the primary station 15 corresponding to the BSC in the patent would schedule 16 future requests based on the initial contention-based 17 reservation, so paragraph 125 is explaining that 18 although you start in contention because you're not 19 getting future requests, the use station will now 20 schedule future requests and request opportunities and 21 data transmission opportunities based on that initial 22 contention. 23 Q. So I guess I'm still a little unclear where 24 initially, how you conclude that initially the MAC user 25 transitions from the inactive state to the contention</p>	<p style="text-align: right;">Page 100</p> <p>1 yeah, so the cite here at the bottom, 23, 30 to 32, 2 starts with -- starts with the primary station 3 scheduling future requests based on the result of a 4 contention-based reservation, so it's -- it's inverting 5 the language somewhat, but I think it still comports 6 with what I have in my paragraph 125. 7 You're starting in inactive, you make a 8 contention-based reservation, as it says here, and as I 9 describe, the primary station will schedule future 10 requests based on the initial contention-based 11 reservation, so the initial contention-based 12 reservation is the contention request shown on my page 13 48, going from the inactive to the contention state, 14 and that's where you schedule these successful 15 reservations that result in page 24 of Abi-Nassif 16 allocating the bandwidth, so forth, as it's described. 17 Q. So looking at the end of your paragraph 125, 18 which is at the top of page 49, you have a 19 parenthetical. Do you see that "e.g. by transitioning 20 from inactive to active using a noncontention request." 21 Do you see that? 22 A. I see that. 23 Q. And you're saying that that is described from 24 page 23, line 32, of Abi-Nassif to page 24, line 4; is 25 that correct?</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 101</p> <p>1 A. Yeah, so I think that is disclosed in that 2 cite that I made. It's saying that when you're in the 3 active mode, you can make noncontention requests 4 because you've now, once you've been through the 5 contention request, you're going to have a reservation, 6 and you can now make noncontention requests as is a 7 state diagram on page 48, and also same state diagram 8 that's in Abi-Nassif, shows -- once you've gone through 9 the contention request, you're now in active mode and 10 you're going to receive reservations so you can make 11 noncontention requests at that point. 12 Q. And that's the sentence that begins at the 13 bottom of page 23, "If a successful reservation is 14 made"? 15 A. Yeah, I mean I made one cite to something in 16 Abi-Nassif that I think reveals that there may be other 17 places where the equivalent thing is described, but I 18 think that's -- if a successful reservation is made -- 19 I'm reading at bottom of page 23 of Abi-Nassif: If a 20 successful reservation is made, i.e. if the result of 21 contention is success, then the primary station 22 allocates bandwidth to the MAC user based on the QoS 23 requirements. Users can transmit user information 24 contention-free over the shared channel. 25 Q. And you think that describes transitioning</p>	<p style="text-align: right;">Page 103</p> <p>1 described? 2 A. Well, it's a -- well, in my paragraph 129, 3 without searching through the thing, I say, "Upon 4 making a successful reservation," which was done 5 earlier in contention mode, you transition to the 6 active state and I cite to Abi-Nassif. 7 Q. But that's not the same as transitioning from 8 inactive to active, is it? 9 A. Well, no. You're going from inactive to 10 contention, and you know, as I said here, and if -- if 11 the request is sent, that is if it gets through, then 12 you transition to active state, and once you're in an 13 active state, you can then make a noncontention 14 request. 15 So, you know, as I described it, the -- I 16 think correctly, and as the cites I pointed to, you 17 first have to have a successful contention transaction. 18 That is, the contention has to be -- the message has to 19 be successful, it has to not collide. 20 Q. Now, can you please turn to page 39 of 44 of 21 Abi-Nassif. 22 A. Page 39? 23 Q. Yes. 39 of 44. So it would be Exhibit 24 Number -- it may not be on your copy. Is that on your 25 copy down at the bottom?</p>
<p style="text-align: right;">Page 102</p> <p>1 from an inactive to an active state? 2 A. Well, yeah, because you're now transmitting 3 noncontention -- noncontention, and when you're in 4 noncontention mode, that is the description of the 5 active state where you're expecting -- you're expecting 6 to get -- I want to use the terms that are in here. 7 You're expecting to get the transmission opportunities. 8 Q. And that's an active state? 9 A. Yeah, the active state, as I understand it, 10 is where you're expecting -- you concluded a successful 11 contention request, and then the primary station 12 allocates bandwidth and the user can transmit 13 information contention-free. And then it goes on on 14 page 24 to say the primary station attempts to aid 15 resolving the -- oh, okay, I'm sorry. That's not 16 relevant. 17 It's the part that is relevant on page 24 is 18 the MAC user can transmit user information 19 contention-free over the shared channel, because you've 20 now been successful in making the reservation in a 21 contention mode. 22 Q. You say that there may be other places in 23 Abi-Nassif saying the same thing as described. As you 24 sit here now, can you tell me where in Abi-Nassif there 25 might be other passages in which that concept is</p>	<p style="text-align: right;">Page 104</p> <p>1 A. No. 2 Q. Well, what I want you to do is go to where 3 the drawings are at the end. 4 A. Okay. 5 Q. Figure 9 is the only -- what I guess we will 6 call a flow chart of a state machine, correct, of all 7 the other figures in Abi-Nassif? 8 A. Yeah, I think it's the only figure that has 9 the form of a state diagram. 10 Q. Right. So Abi-Nassif discloses three states, 11 correct? 12 A. Yeah. It, again, as I understand this, it's 13 kind of a high level abstraction, so I don't believe 14 it's intended to disclose all the possible states of 15 the system that one might need to disclose to fully 16 describe the DOCSIS MAC protocol, but at least it's 17 sufficient for the purposes of this patent. 18 Q. But it's the only flow chart of a state 19 machine in Abi-Nassif, correct? 20 A. It's the only thing that looks like a state 21 diagram there. There are more words in the patent that 22 describe functionality, which is details that are not 23 necessarily revealed in this drawing. 24 Q. But this drawing only discloses three states, 25 correct?</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 105</p> <p>1 A. This drawing only has three states listed in 2 it, yes. 3 Q. And the three states are identified as 4 contention, active, inactive, correct? 5 A. That's how they are labeled, yes. 6 Q. The patents, both the '991 and '256, 7 describes what they call customer premises equipment or 8 CPE. Are you familiar with that term as it's used in 9 the patent? 10 A. Yes, I understand the term as its... 11 Q. Where in Abi-Nassif is what you would 12 consider to be the CPE? 13 A. I want to -- understand that the patentee is 14 actually included in the description of CPE, which I 15 want to review before I answer your question, and I'm 16 looking at '256 patent column 4, starting around line 17 18. So the inventor describes it as a device for 18 performing communications processes and tasks at a 19 customer location, operating in conjunction with a base 20 station controller within a wireless cell. There's no 21 particular requirement to be a single device, the 22 alternative embodiments, the customer premises can 23 include a portion of the device, a combination of 24 multiple devices, or a hybrid thereof. 25 And then just to be clear, the next</p>	<p style="text-align: right;">Page 107</p> <p>1 A. Well, again, I don't believe Abi-Nassif is 2 attempting to be comprehensive or limiting, but it does 3 give the example, with regard to the MCNS protocol, of 4 something called a cable modem termination system, 5 CMTS. I think the first mention of it may be on page 6 24, line 16. 7 Q. Can you get out one of the patents, please, 8 and look at figure 2. 9 A. The state diagram? 10 Q. Yes. 11 A. Okay. 12 Q. Excluding the unsolicited grant pending state 13 and the unsolicited grant pending absent state, figure 14 2 discloses four states, correct? 15 A. That's correct. 16 MR. SLOSS: Okay. Why don't we break for 17 lunch. 18 (Lunch recess 12:41 p.m.) 19 20 21 22 23 24 25</p>
<p style="text-align: right;">Page 106</p> <p>1 paragraph, beginning around line 27, kind of says that 2 these are general meanings and only illustrative. So I 3 understand the -- at least one embodiment to be a 4 device that's at the customer premises within a 5 wireless communication cell, but then given the 6 paragraph that follows, I understand that the inventor 7 is broadening it to -- 8 Q. My question was simply what in Abi-Nassif do 9 you believe qualifies this as a CPE or is equivalent to 10 a CPE disclosed in the patent? 11 A. So there may be multiple places, but with 12 regard to the DOCSIS 1.0 protocol there's at least 13 one -- the first disclosure I come across is on 14 paragraph -- on page 24, line 22, referred to as 15 individual cable modem, individual CM. 16 Q. Okay. 17 A. And there I believe it's used, CMs are used 18 in other places -- 19 Q. Right. 20 A. -- in the patent. 21 Q. And the patents also refer to something they 22 call a base station controller or BSC; correct? 23 A. Yes. 24 Q. What is the equivalent term or function in 25 Abi-Nassif?</p>	<p style="text-align: right;">Page 108</p> <p>1 AFTERNOON SESSION 2 1:16 p.m. 3 STUART J. LIPOFF, 4 was called as a witness, and having been previously 5 duly sworn, was examined and testified as follows: 6 CONTINUED EXAMINATION 7 BY MR. SLOSS: 8 Q. Back on the record. 9 Can you pull out DOCSIS 1.1, please. All 10 right, so let me ask you a few general questions about 11 DOCSIS 1.1. First of all, is it about wireless 12 communications? 13 A. So the document itself is not describing 14 wireless communications, but as I indicated in my 15 expert report, for example, the '991, around page 35, 16 that it's related to and is analogous prior art to 17 other wireless systems. 18 Q. When you say "it's related to," what do you 19 mean? 20 A. Well, as I indicate here on page 35 of my 21 report, I indicate at least two examples here, one of 22 them on page 36 talking about how it was incorporated 23 into the 802.16, sometimes called wi-max standard, that 24 is the MAC protocols and everything above the physical 25 layer. And also how the multipoint -- excuse me,</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 109</p> <p>1 multichannel, multipoint distribution services MMDS 2 also made use of that. 3 I'm aware of a number of other instances 4 where there were some proprietary nonstandard systems 5 that were actually called wireless DOCSIS. And as I 6 testified earlier, there was a deliberate attempt to 7 layer the standard into the OSI protocol, keeping the 8 physical layer separate with an understanding from the 9 very beginning that to make DOCSIS cost effective, the 10 semiconductor manufacturers needed to make MAC protocol 11 chips that were compatible with both wired and 12 wireless, so a number of the features that were 13 incorporated into DOCSIS 1.1 were to make it wireless 14 friendly. 15 Q. As used in DOCSIS 1.1, what does QoS mean? 16 A. Well, it's an abbreviation for quality of 17 service, and it appears in chapter 8 of the DOCSIS 18 specification. 19 Q. And generally what does quality of service 20 have to do with it? 21 A. These were additions to the DOCSIS 1.0 22 specification, which defined the concept of service 23 flows, and by using these service flows, the operators 24 of DOCSIS 1.1 systems were able to control the upstream 25 traffic in such a way that when different services were</p>	<p style="text-align: right;">Page 111</p> <p>1 A. I recall the term backward compatible that I 2 used somewhere in the document. 3 Q. Do you recall what you meant when you said 4 backward compatible? 5 A. Yeah, I think I could recall it. Again, it 6 may or may not exist in the DOCSIS 1.1 specification. 7 It wouldn't surprise me if it does, but the point is, 8 is that cable modems which were designed to work on the 9 DOCSIS 1.0 systems, could be used on DOCSIS 1.1 10 systems. That is, the end user did not need to throw 11 away the device that they had that was only designed to 12 compatible with DOCSIS 1.0. That when the cable 13 operator upgraded their system or changed it to 14 incorporate the additional capabilities of DOCSIS 1.1, 15 that DOCSIS 1.0 cable modem which was practicing the 16 DOCSIS 1.0 MAC specification would continue to work 17 and/or operate transparent to the user. That is, 18 although they may not get access to the additional 19 features and capabilities that were provided by DOCSIS 20 1.1, they could at least continue to use it in the same 21 way they did before the cable operator upgraded. That 22 was how I used the term backward compatible. That's 23 the concept at least that I know I wanted to get 24 across. 25 Q. Would you agree that the MAC protocol</p>
<p style="text-align: right;">Page 110</p> <p>1 being accommodated, you could provide the most 2 efficient use of the limited bandwidth on the systems, 3 resulting in the highest possible quality of user 4 experience. 5 Q. As used in DOCSIS, what does backward 6 compatible mean? 7 A. I don't -- it doesn't surprise me that that 8 word or concept appears in here, but I don't think I 9 cited to it. And in order to give you an answer in the 10 proper context, if you can point me to somewhere in the 11 DOCSIS spec where the word exists, I'll -- I'll attempt 12 to describe it. 13 Q. Let's do this. Your declaration refers to 14 DOCSIS 1.1 being backward compatible with DOCSIS 1.0, 15 correct? 16 A. Again, I'm pretty certain I used the word 17 "backward." I just want to make sure I used it in the 18 right context. Again, I can search for the cite, but 19 if you have it listed somewhere. 20 Q. Well, as you sit here, you don't recall what 21 you meant? 22 A. Well, I'm not sure you stated it correctly in 23 the right direction. 24 Q. Okay. Well, do you recall using the term 25 backward compatible?</p>	<p style="text-align: right;">Page 112</p> <p>1 described in DOCSIS 1.0 is more complete -- strike 2 that. 3 Would you agree that the MAC protocol 4 described in DOCSIS 1.0 is better described than the 5 protocol set forth in the Abi-Nassif patent? 6 A. I don't have a context for describing 7 "better." I think those documents have their own 8 disclosure and both of them read on the limitations. 9 So I don't -- I would agree DOCSIS 1.1 is a thicker 10 document, but it discusses a number of things which are 11 not germane to Abi-Nassif, so I don't think I would say 12 it's better. 13 Q. Would you agree that it describes the MAC 14 protocol more completely than in Abi-Nassif? 15 A. Well, as I stated in my declaration, to the 16 extent someone -- the board looking at Abi-Nassif would 17 find that the ideal state is not disclosed, that I 18 think it's unambiguously disclosed in DOCSIS 1.1. So 19 while I'm not admitting that the concept of idle is 20 absent from Abi-Nassif, it doesn't appear on the -- as 21 one of the states in the figure that we have been 22 talking about, and so arguably somebody might say that 23 it's, well, not disclosed or not disclosed well enough. 24 DOCSIS 1.1 puts any of those issues to bed, because it 25 has explicit disclosures, which I matched up against</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 113</p> <p>1 the idle state.</p> <p>2 Q. Are you saying -- are you saying it's</p> <p>3 adequately disclosed in Abi-Nassif because Abi-Nassif</p> <p>4 incorporates the DOCSIS 1.0 MAC protocol?</p> <p>5 A. Partly for that reason, but not -- not</p> <p>6 solely. Even the description of what's happening in</p> <p>7 what they call the inactive state one would argue would</p> <p>8 include a combination of some of the states that are</p> <p>9 described in the two patents, because there's features</p> <p>10 or characteristics of what's happening about the data</p> <p>11 buffer being empty and not being empty and collision</p> <p>12 and contention and stuff, that actually all those</p> <p>13 different concepts are disclosed in Abi-Nassif. They</p> <p>14 are just not mapped the same way in this high level</p> <p>15 state diagram.</p> <p>16 Q. So in the inactive state disclosed in</p> <p>17 Abi-Nassif, what part -- what are the parts of that</p> <p>18 state that appear in the patent?</p> <p>19 A. So let me refer to the applicant's -- the</p> <p>20 inventor's description of these states starting on page</p> <p>21 18 of my '991 declaration as an example.</p> <p>22 Q. I'm sorry, you said what page?</p> <p>23 A. Okay, page 18 of the paragraph 48. So the</p> <p>24 idle state as described by the inventor is where you're</p> <p>25 waiting for packets to send upstream. And I think</p>	<p style="text-align: right;">Page 115</p> <p>1 Q. Well, that's what we are talking about,</p> <p>2 right, inactive state?</p> <p>3 A. Yeah, okay. Well -- so I haven't done a</p> <p>4 comprehensive mapping to the state diagram to all the</p> <p>5 limitations. My analysis was not confined to the state</p> <p>6 diagram. It was looking at the entire state of</p> <p>7 disclosures in Abi-Nassif, but as I sit here reading</p> <p>8 from that very same section, paragraph 129 of my '991</p> <p>9 declaration, page 46, you have two characteristics</p> <p>10 which are identified in Abi-Nassif as being part of the</p> <p>11 inactive state. One of them has no data to transmit,</p> <p>12 and the other one where it is awaiting an opportunity</p> <p>13 to transmit a request to the primary station. It's</p> <p>14 unstated, but I think it's implied that in that second</p> <p>15 instance it actually does have data to transmit.</p> <p>16 Without looking at the specific scenario</p> <p>17 that's involved, mapping that to the patent, there are</p> <p>18 various states described in the state diagram of the</p> <p>19 patent where you have that situation of your --</p> <p>20 awaiting an opportunity to transmit a request to the</p> <p>21 primary station.</p> <p>22 So again, I'm not -- having not performed an</p> <p>23 analysis of mapping these two diagrams together, but</p> <p>24 instead finding assertions in the reference that I</p> <p>25 could do, I can't sit here today and give a</p>
<p style="text-align: right;">Page 114</p> <p>1 Abi-Nassif discloses it -- the very same thing, that</p> <p>2 you can be in a situation where you have no packets</p> <p>3 which have arrived, that is, you're waiting, and -- or</p> <p>4 Abi-Nassif also says or the packet has arrived but you</p> <p>5 haven't yet transmitted it. But it says you'll be in a</p> <p>6 situation where there's no packet arriving, and</p> <p>7 that's -- that's one of the places.</p> <p>8 Q. And that's in Abi-Nassif's inactive state</p> <p>9 where that's occurring?</p> <p>10 A. I believe so. We have actually discussed</p> <p>11 this particular section before, where we are citing to</p> <p>12 46. So previously discussed on page 46 of my '991</p> <p>13 declaration, described in the inactive state of</p> <p>14 Abi-Nassif, the cite to Abi-Nassif 22, 30 to 23 1 has</p> <p>15 the case where there's no data to transmit. So that</p> <p>16 would correspond I think to the patent owner's</p> <p>17 description of the idle state, where you wait for</p> <p>18 packets to send upstream. When data arrives system</p> <p>19 transitions to a deferring state, so I think that's at</p> <p>20 least one example of where what's called the idle state</p> <p>21 corresponds to one of the characteristics of what</p> <p>22 Abi-Nassif calls inactive.</p> <p>23 Q. Are there any other states described in</p> <p>24 patents that are found in Abi-Nassif's inactive state?</p> <p>25 A. Inactive state.</p>	<p style="text-align: right;">Page 116</p> <p>1 comprehensive list of every place with an inactive</p> <p>2 there's an overlap or something that relates to the</p> <p>3 state diagram.</p> <p>4 Q. All right, let's go back to DOCSIS 1.1. It</p> <p>5 uses the term cable modem. What do you understand</p> <p>6 cable modem to be in DOCSIS 1.1?</p> <p>7 A. So I think the best place for me to respond</p> <p>8 to that would be by pointing to the figure in DOCSIS</p> <p>9 1.1. So on page 2, figure 1-1, where it shows the</p> <p>10 cable modem in the domain of the customer premises as</p> <p>11 being something that talks through the cable network,</p> <p>12 to the cable modem termination system, and provides</p> <p>13 traffic to some device that's hooked up to it.</p> <p>14 In this particular figure, the illustrative</p> <p>15 device is shown -- appears to be a personal computer.</p> <p>16 Q. What is a cable modem termination station?</p> <p>17 A. Cable modem termination system, actually it's</p> <p>18 labeled, in this figure is the system that is not</p> <p>19 located at the customer premises, that would be located</p> <p>20 at the network operator's -- at some facility</p> <p>21 controlled by the network operator, and I believe</p> <p>22 would -- would correspond to the description as it's</p> <p>23 used in column 4, the '256 that's labeled base site</p> <p>24 controller. It's a device for performing coordination</p> <p>25 and control of the system.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 117</p> <p>1 Q. So is there a difference between a cable 2 modem and a cable modem termination station in DOCSIS 3 1.1?</p> <p>4 MR. CANGRO: Form.</p> <p>5 A. Yes. The two subject patents are about point 6 to multipoint communications, and the DOCSIS 1.1 7 architecture is also a point to multipoint system, 8 where the multiple points would be the various customer 9 premises equipment, that is multiple cable modems, each 10 of which is associated with one and only one cable 11 modem termination system. So in the point to 12 multipoint context, the CMTS is the point and the 13 multiple points are the cable modems.</p> <p>14 BY MR. SLOSS:</p> <p>15 Q. Could you please look at figure K-1.</p> <p>16 A. Of?</p> <p>17 Q. I'm sorry, DOCSIS 1.1. That's page 299 of 18 332.</p> <p>19 A. Okay, I'm there.</p> <p>20 Q. I would also like you, please, to look at 21 paragraph 152 of the '991 declaration. I think it's 22 the one you had in front of you most recently.</p> <p>23 A. Yes, okay.</p> <p>24 Q. Page 53, paragraph 152.</p> <p>25 A. Okay, I'm there.</p>	<p>Page 119</p> <p>1 A. Concatenation was a capability that was added 2 to the DOCSIS 1.0 MAC layer, to allow for packets that 3 were too long to fit into a -- I'm sorry, I'm saying it 4 the wrong way. That was fragmentation, which is 5 another capability.</p> <p>6 Concatenation was if you had packets that 7 were short, rather than sending them in separate MAC 8 frames, if you could fit them in the same MAC frame, 9 you could use that MAC frame more efficiently by 10 putting in a couple of the packets that were waiting to 11 be sending rather than having to send them in separate 12 MAC frames. So it was a -- it was a capability that 13 was added to DOCSIS 1.1 that extended the MAC layer 14 that was not provided in DOCSIS 1.0.</p> <p>15 Q. When you say extended the MAC layer, what do 16 you mean?</p> <p>17 A. Meaning it added other features and 18 capabilities which did not prevent older cable Modem 19 1.0 from continuing the work, but newer cable modems 20 that were designed specifically to work with 1.1 could 21 exercise these additional features, and when so 22 exercising achieve the benefits of whatever these 23 additional features were.</p> <p>24 Q. Now, concatenation does not relate to the 25 claims at issue, correct?</p>
<p>Page 118</p> <p>1 Q. And you say in the first line of paragraph 2 152, appendix K-1 is subject to simplification and 3 assumptions. What are those simplifications and 4 assumptions?</p> <p>5 A. So now referring to DOCSIS 1.1 specification, 6 appendix K, page 299 of 332, there is an attempt here 7 to at least give some examples. I don't know if this 8 is intended to be comprehensive of all the 9 simplifications, but it lists two of them.</p> <p>10 It says it doesn't talk about packet arrivals 11 while deferring or waiting for grants, and is vague 12 about sizing piggyback requests. So I think that's 13 one. And then it also says much of this applies with 14 respect to concatenation, but it does not attempt to 15 address all the subtleties of the situation. So those 16 are the simplifications that it lists.</p> <p>17 Q. Okay. Let's talk about those for a minute. 18 We talked about you mentioned packet arrivals while 19 deferring or awaiting. If that was added, if that was 20 included in the state diagram, how would the state 21 diagram change?</p> <p>22 A. I don't know. I would have to -- I would 23 have to study that in some detail in order to answer 24 that.</p> <p>25 Q. What is concatenation?</p>	<p>Page 120</p> <p>1 A. I don't believe -- well, I guess that's not 2 true. So there are some instances where piggybacking 3 requests are sent during concatenation that I cite to 4 in my report for satisfying some of the claim terms 5 that relate to a further bandwidth request.</p> <p>6 I believe that there's at least one instance 7 where I do that as giving at least one example where a 8 piggyback request is used in DOCSIS that I say is an 9 example where it reads on some of the further bandwidth 10 request terms that are in the patent.</p> <p>11 Q. But concatenation does not affect the states, 12 the number of states, how the states operate, correct?</p> <p>13 MR. CANGRO: Objection to form.</p> <p>14 A. I mean, to the extent the states require -- 15 in these patents, the states have terms that have 16 things like transition without piggyback, which is one 17 of the labels that are next to the straight -- the 18 transition arrow from grant pending to grant pending 19 absent, because piggyback is described as something in 20 the state diagram with respect to that transition, and 21 then there's also in that same diagram, there's another 22 state transition where you go from grant pending back 23 to great grant pending, so it's transmit with 24 piggyback, so piggyback does appear in the state 25 diagrams and I would say where I cited to using it,</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 121</p> <p>1 there could be -- there could be aspects of it that 2 relate to concatenation. 3 BY MR. SLOSS: 4 Q. But it doesn't relate to the actual number of 5 states, right? 6 A. I don't think it creates or deletes any 7 state. 8 Q. That's a better way of saying it. 9 In paragraph 162 of your '991 declaration, 10 you talk about nonrealtime polling service, which 11 that's sometimes referred to as NRTPS, correct? 12 A. Yes, that's correct. 13 Q. Can NRTPS be added to the state diagram of 14 figure K-1? 15 A. I haven't performed that analysis. When I 16 refer to figure K-1 in my report, I was doing it simply 17 to indicate that there are parallel terms that match 18 those in the patent, such as idle, deferring, grant 19 pending, and as we discussed earlier, there are at 20 least some characteristics that map over. But I was 21 not using figure K-1 as my attempt to -- sole attempt 22 to show that the limitations in the subject patents are 23 revealed by the DOCSIS prior art, so you're asking me 24 to perform an analysis I haven't performed. 25 Q. All right. In figure K-1, if we exclude the</p>	<p style="text-align: right;">Page 123</p> <p>1 Q. Okay. There are a lot of notes and may be in 2 that answer. I just want to make sure I understand 3 what you said. 4 You did not include the Data Ack pending 5 state in your analysis, correct? 6 A. That's correct, I did not include the Data 7 Ack pending as shown in appendix K in my analysis. I 8 was silent about it. 9 Q. So taking that out then, the figure K-1 shows 10 three states as idle, deferring and grant pending, 11 correct? 12 A. Yes. If that one is eliminated it shows 13 three remaining ones, yes. 14 Q. Could you please turn to page 251 of 332 of 15 the DOCSIS 1.1 document which is Exhibit TCT1019. 16 A. Okay, you said 251? 17 Q. 251 of 332, yes. 18 A. Okay, I'm there. 19 Q. Do you recognize this as part of appendix C? 20 A. I do. 21 Q. Do you recall looking at section C.2.2.5.7? 22 While you're looking for your declaration, as 23 you sit here today do you remember reviewing it? 24 A. Excuse me? 25 Q. As you sit here today, do you remember</p>
<p style="text-align: right;">Page 122</p> <p>1 Data Ack pending state, which I think we talked about 2 earlier today, then figure K-1 discloses three states, 3 correct? 4 A. It -- it shows four bubbles, and if you take 5 away one of them, you have three left. 6 Q. And the one we are taking away is the Data 7 Ack pending, correct? 8 A. Yes, in your question you're taking away, 9 yes. 10 Q. Well, I mean, you have not included it as a 11 state that appears in the claims of the patents, 12 correct? 13 A. So I believe we discussed this earlier. What 14 I think I said, and hopefully I'm saying consistently 15 is the term Data Ack pending as it appears in the 16 DOCSIS specification does not match the same words that 17 exist in the patents. What analysis I have not done, 18 and I am not prepared to state, is that the 19 functionality and conditions that are associated with 20 what's labeled Data Ack pending, I would not say may or 21 may not be also be able to be matched up. It may have 22 a different word associated with it or it might be 23 described in a different way, but I'm not prepared to 24 say that it's irrelevant. It's just that it was not 25 included in my analysis.</p>	<p style="text-align: right;">Page 124</p> <p>1 reviewing section 2.2.5.7? 2 A. Yes, I do, but I wanted to be prepared to 3 demonstrate that by showing that in paragraph 174 of my 4 '991 declaration, I refer to that with respect to the 5 inactivity timeout and idle limitation of the patent. 6 Q. Section C.2.2.5.7 talks about a parameter it 7 calls timeout for active QoS, correct? 8 A. That's correct. 9 Q. In the second paragraph of section C.2.2.5.7, 10 it says, "If defined, this parameter MUST" -- in all 11 caps -- "be enforced at CMTS and should not, in all 12 caps, be enforced at the CM." Do you see that? 13 A. I do see that, yes. 14 Q. And when it talks about -- when it says 15 something must happen, would a person skilled in the 16 art ignore that instruction? 17 A. No. When it says must, it's a requirement if 18 it's defined, but I think there is some possible 19 ambiguity about what this means, and it doesn't mean 20 that the CMT -- that the CM has no -- no knowledge or 21 role, because it's telling you that the -- that when 22 this timer expires there is a transaction that occurs. 23 Just above where we were talking about, it 24 says the CMTS must signal this resource change with a 25 DSE-REQ to the CM, so the parameter may be enforced at</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 125</p> <p>1 the CMTS, but the result of the enforcement is that</p> <p>2 there are actions that are taken at both the CM and the</p> <p>3 CMTS as a result of that timer.</p> <p>4 Q. But if you say when the parameter -- it talks</p> <p>5 about the parameter must be enforced at the CMTS,</p> <p>6 that's the timeout, correct?</p> <p>7 A. Well, that's what this is about. The CMTS</p> <p>8 and the CM are talking to each other in a system. The</p> <p>9 trigger for this timeout is basically under control of</p> <p>10 the CM, because when the CM stops sending messages to</p> <p>11 the CMTS, the CMTS notes that the duration between</p> <p>12 previous messages exceeds a certain threshold.</p> <p>13 Q. But you would agree that it says that the</p> <p>14 timeout must be enforced at the CMTS and should not be</p> <p>15 enforced at the CM, correct?</p> <p>16 A. Yeah, and as I explained, this should not be</p> <p>17 interpreted or misinterpreted as the CM not having a</p> <p>18 role. The CM is basically what's providing the input</p> <p>19 to the timer.</p> <p>20 The output of the timer is a message going</p> <p>21 back to the CM telling it that that active service flow</p> <p>22 is now being terminated. So this is -- these claims</p> <p>23 that are at issue here are method claims for a system,</p> <p>24 not a method claim for any individual network element,</p> <p>25 and this section that we are reading is talking about</p>	<p>Page 127</p> <p>1 simplifications and so forth. But it's quite clear to</p> <p>2 me it's not attempting to show all the possible states</p> <p>3 of the system. It's explicit in that it's focusing</p> <p>4 deep down on just the transmission and contention</p> <p>5 resolution aspects.</p> <p>6 Q. You said something at the beginning of your</p> <p>7 answer about "this" section. What are you referring</p> <p>8 to?</p> <p>9 A. I was referring to appendix K.</p> <p>10 Q. Could you please get your declaration for the</p> <p>11 '256 patent, and I would like you to look at page 57,</p> <p>12 paragraph 155. Do you see that paragraph?</p> <p>13 A. I do.</p> <p>14 Q. And it states, "Piggybacking in turn is a</p> <p>15 technique used doing fragmentation." My question is,</p> <p>16 is fragmentation the only use of piggybacking?</p> <p>17 A. No.</p> <p>18 Q. Where else is it used? If it's used</p> <p>19 throughout, you can say that.</p> <p>20 A. It's used -- in the DOCSIS 1.1 context you're</p> <p>21 asking me where is it used?</p> <p>22 Q. Yes.</p> <p>23 A. So any time you have a grant from the CMTS to</p> <p>24 the cable modem to send data, not -- not just a</p> <p>25 transmission opportunity for making a request, but any</p>
<p>Page 126</p> <p>1 how the system interacts with the CM and the CMTS.</p> <p>2 It's quite clear that both of them are involved in the</p> <p>3 process associated with timeout.</p> <p>4 Q. I want to go back to our discussion about</p> <p>5 simplifications and assumptions related to appendix</p> <p>6 K-1. Though simplification and assumptions do not</p> <p>7 include the omission of a state, that is part of the</p> <p>8 protocol, correct?</p> <p>9 A. Sorry, give me that page number again.</p> <p>10 Q. You want to look at appendix K-1, which is</p> <p>11 page 299 of 332.</p> <p>12 A. Okay. So I don't think in the list here of</p> <p>13 the simplifications and assumptions there's anything</p> <p>14 explicitly says that a state is being limited, but if</p> <p>15 you take this entire section in context, it's starting</p> <p>16 by saying it's attempting to really clarify how the</p> <p>17 transmission and contention resolution algorithms work.</p> <p>18 It's being quite explicit that it's not attempting to</p> <p>19 describe all the possible aspects of the MAC layer.</p> <p>20 In fact, the whole appendix K is labeled</p> <p>21 transmission and contention resolution, so it's really</p> <p>22 a document that's tutorial in nature as the first</p> <p>23 sentence states, "The appendix attempts to clarify how</p> <p>24 the transmission and contention resolution algorithms</p> <p>25 work," and then it goes on to talk about the</p>	<p>Page 128</p> <p>1 time the transmission opportunity represents a grant</p> <p>2 from the CMTS to actually send data up, in the service</p> <p>3 flows that I've identified, it doesn't exist in all</p> <p>4 service flows, but at least in the realtime polling and</p> <p>5 nonrealtime polling, in those two, you can include</p> <p>6 piggyback requests, which tell the CMTS that you have</p> <p>7 more data to send than you have a grant, than you've</p> <p>8 been granted. You've got more to grant -- more to</p> <p>9 send.</p> <p>10 Basically the term as it's also used in the</p> <p>11 patent site, I think consistent with how the admitted</p> <p>12 prior art for the piggybacking exists in the patents.</p> <p>13 Q. If you'll please turn to paragraph 161. In</p> <p>14 the first sentence there it says, "The NRTPS service</p> <p>15 flow includes timely unicast polling." What did you</p> <p>16 mean by "timely"?</p> <p>17 A. So I'm referring to section 8.2.4 titled</p> <p>18 Nonrealtime Polling Service in the DOCSIS 1.1</p> <p>19 specification. And the description here is CMTS must</p> <p>20 provide timely unicast request opportunities. So I'm</p> <p>21 just citing to how it's described in the DOCSIS spec.</p> <p>22 Q. Can you look at 162.</p> <p>23 A. Yes, okay.</p> <p>24 Q. It says, second sentence of paragraph 162,</p> <p>25 "For example, contention requests may be needed if more</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 129</p> <p>1 bandwidth is needed before the next polled request 2 opportunity." Do you see that? 3 A. Yes, I see that. 4 Q. That's referring to section 8.2.4 also, 5 correct? 6 A. Yes, that's correct. It's the next section 7 following the timely unicast opportunities. 8 Q. If unicast polling were timely, why would a 9 contention request be needed? Why would that happen if 10 you've got a timely unicast polling? 11 A. So in this particular section of the DOCSIS 12 specification that we have been talking about, 8.2.4, 13 it describes a particular example of a traffic flow 14 poll, FTP, file transfer protocol. And I don't believe 15 it's meant to be exclusive as the only time you would 16 use this service, but it's saying at least there's one 17 example. 18 So as I read this section and my 19 understanding is it's saying in the case of FTP, you 20 typically have got at the cable modem a very large 21 file, something that is longer than would end up 22 fitting in any transmission grant, any upstream 23 bandwidth grant opportunity. You've got more data than 24 the cable -- the CMTS is willing to give you during any 25 one map interval. So the way the near realtime polling</p>	<p>Page 131</p> <p>1 and all of the sudden the other task that's running on 2 the computer is sending data up at a much faster rate 3 than it had been, and the intervals that are arriving 4 are now no longer sufficient, so it may exercise the 5 contention request in order to obtain additional 6 upstream opportunities which were not scheduled. 7 Q. And what part of that involves unicast -- 8 timely unicast polling? 9 A. So the nonrealtime polling service as it's 10 described briefly here and elsewhere, and the way it 11 works is it's a service in which you don't have to wait 12 to be asked to make a request. That is, you do not 13 need to enter into contention mode. That's its basic 14 operation. 15 The way the MAC protocol works in the subject 16 patents, as well as in DOCSIS, is it -- when you're in 17 a mode that the CMTS wants to offer you opportunities 18 to request upstream bandwidth, it will send a message 19 to you and only you, that is not contention but in 20 unicast, that has a high assurance of getting through. 21 And so the unicast request opportunities are messages 22 from the CMTS directed to a particular cable modem that 23 give it transmission resources, upstream transmission 24 resources where it can make requests for bandwidth to 25 subsequently send data.</p>
<p>Page 130</p> <p>1 service would typically work, would be that the 2 manufacturer of the CMTS would design their proprietary 3 scheduling algorithm that would attempt to provide 4 transmission grant opportunities to the cable modem so 5 the data is not backing up at the cable modem. That 6 when it arrives at the cable modem and it's ready to be 7 sent up, the cable modem can generally expect to get a 8 transmission grant opportunity. 9 There may be a number of reasons why the 10 cable modem might have more data than is predicted by 11 the scheduling algorithm at the CMTS. It could be that 12 there was some network congestion, and the earlier 13 upstream transmission didn't get through and -- or 14 wasn't acknowledged, so the cable modem at that case 15 may exercise a contention request and say I've got 16 stuff that's backing up here, I really need some more 17 bandwidth. 18 It's also possible that the scheduling 19 algorithm located at the CMTS which was looking at the 20 incoming traffic was making decisions that would change 21 over a period of time, because perhaps the computer 22 sending this stuff up was busy doing some other task 23 and for the last five minutes it had been sending it 24 fairly slowly, and the CMTS was providing what it 25 thought were timely grants based on that slow traffic,</p>	<p>Page 132</p> <p>1 Q. Does DOCSIS 1.1 have timers? 2 A. Does it have timers? 3 Q. Yes. 4 A. We have been talking about one of a number of 5 timers that are identified in section C, and so, yes, 6 it provides for timers. 7 Q. Can you tell me how many timers there are in 8 DOCSIS 1.1? 9 A. I could turn to that -- that's appendix C -- 10 and count them if you want, but it wasn't important to 11 me in any of my analysis to identify any additional 12 timers. Some of them may or may not read on some of 13 these limitations, but I did find one which is the 14 timeout for active service flows. 15 So if I were to count -- if I were to turn to 16 appendix C and count the timers, I'm also not certain 17 that would be comprehensive because I believe there are 18 also some timing issues and timers that are 19 incorporated into the main body that may not be listed 20 in appendix C, but I made no attempt to enumerate them. 21 Q. Are there timers associated with the cable 22 modem requesting bandwidth? 23 A. Well, there may be a number of them. One of 24 them that I -- that I did cite to is with respect to 25 the requests -- contention mode requests where your</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 133</p> <p>1 request -- you send -- well, start over. 2 Where you -- you use a transmission 3 opportunity given by the CMTS to send from any cable 4 modem, not unicast, but broadcast, any cable modem, the 5 right to use that transmission resource to send up a 6 contention mode request, because it's a broadcast 7 request, directed -- not a unicast request, directed to 8 any cable modem, there's a possibility of a collision, 9 which means that two cable modems transmitting at the 10 same time may interfere with each other and neither one 11 of them would get through. And so there is a timer 12 that's defined in the DOCSIS spec that's referred to as 13 back off, where if you fail to get some affirmative 14 response that tells you that the message got through, 15 you delay or defer, as the term is used in some places, 16 sending it again, and you continue doing so exercising 17 a timer in between each transmission. 18 Q. Could you please turn to paragraph 165 of the 19 99 -- I'm sorry, the '256 declaration. 20 A. Okay. 21 Q. All right. The first sentence states, 22 "Although no bandwidth requests will be pending at that 23 time, the CM is still polled by the CMTS with unicast 24 request opportunities." 25 What do you mean by "at that time"?</p>	<p style="text-align: right;">Page 135</p> <p>1 is being polled. The time that the cable modem has 2 been given a unicast request opportunity, the right to 3 ask for bandwidth. And that's the time I believe 4 that's -- proximate time that's basically how the 5 nonrealtime polling service works. 6 Q. You talked about service flow becoming 7 inactive. I think you've mentioned it in your prior 8 answers, but you also talk about it in paragraph 167 9 and the following paragraphs. 10 A. Okay. 11 Q. How does the service flow become inactive? 12 A. So you're referring me to paragraph 167 of my 13 report, or are you asking me more generally? 14 Q. Generally how does service flow become active 15 in DOCSIS 1.1? 16 A. There are a number of ways. On page 60 of my 17 '256 declaration, I indicate one way, which is the 18 expiration of the inactivity timer as described in 19 C.2.2.5.7, titled Timeout for Active QS Parameters. 20 That was one of the ways that I cited to, to 21 read on the claim limitation of inactivity, timeout and 22 idle. I am aware that there are other ways in which a 23 service flow can become inactive, but they were not 24 something that I needed to cite to for reading on this 25 claim limitation.</p>
<p style="text-align: right;">Page 134</p> <p>1 A. Let me find out what the context is here. 2 Oh, okay. Yeah, so this is under the 3 nonrealtime polling service context on page 59. What 4 it's basically referring to is section 8.2.2 and 8.2.4, 5 and so while the nonrealtime polling service is active 6 and the real time polling service, for that matter, the 7 operation of the DOCSIS MAC layer during that polling 8 service is to provide periodic or nonperiodic intervals 9 on the order of a second or less polls, unicast polls. 10 The concept of nonrealtime polling service is 11 that those polls are supposed to be timely. That is, 12 they are supposed to be somewhat matched to what the 13 expected traffic flow is, but there's an allowance for 14 the fact that those traffic flows may be somewhat 15 irregular, so it's possible during any one of these 16 polls that the cable modem does not have data pending 17 to be sent, and so there's no bandwidth request that 18 will be pending. It's still being given the 19 opportunities to request the bandwidth should there be 20 any data there, but until the timer expires it will 21 continue to get these periodic or nonperiodic polls. 22 Q. So I'm still not sure I'm clear. When is "at 23 that time"? What does that refer to? 24 A. I believe the time is -- that's being 25 referred to there is the time in which the cable modem</p>	<p style="text-align: right;">Page 136</p> <p>1 Q. Is there anything in DOCSIS 1.1 that is 2 equivalent to the CPE described in the patents? 3 A. I believe we may have talked about that. 4 Q. Cable modem? 5 A. I have referred to the figure -- the device 6 that's shown in figure 1-1 that they call a cable modem 7 or CM. 8 Q. Is the CMTS the equivalent to the BSC in the 9 patents? 10 A. I believe it correspondence to the 11 description as the patentee has given it as being one 12 of the network elements in a point to multipoint 13 system. 14 Q. I want to talk about Sen for a minute. 15 A. Yes. 16 Q. Sen U.S. Patent Number 6,466,544. Do you 17 have it there? I think I gave it to you earlier. 18 A. I don't know that I have that. I don't think 19 I do. 20 Q. Okay. Let me give it to you. 21 THE WITNESS: I would like to go to the 22 bathroom at an appropriate point. 23 MR. SLOSS: Now is fine. Five minutes or ten 24 minutes? 25 THE WITNESS: As quickly as you want.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 137</p> <p>1 MR. SLOSS: Five minutes okay? Okay. 2 (Whereupon, a recess was taken.) 3 BY MR. SLOSS: 4 Q. Before we took a recess, we were talking 5 about how a service flow becomes inactive. How does a 6 service flow become active? 7 A. I don't know that I specifically address that 8 in my analysis, or I don't believe I addressed it in my 9 declaration. 10 In order to provide the analysis and read on 11 the limitations in the claim, I made the assumption 12 that it had started and had become active. But DOCSIS 13 does provide for a number of ways in which a service 14 flow can become active as described in the DOCSIS 15 specification. 16 Because I did not perform detailed analysis 17 of it, I can give you an example, but I don't mean it 18 to be comprehensive. 19 Section 6.3 of the DOCSIS specification talks 20 about MAC management messages, and among the various 21 MAC management messages are service flow additions and 22 changes and deletions. These are explicit commands, 23 that depending upon the command, may be issued by the 24 cable modem or CMTS or both, and they allow you to 25 activate service flows.</p>	<p style="text-align: right;">Page 139</p> <p>1 A. That's my understanding, yes. 2 Q. You said this is the prior art description, 3 the protocol; did Sen use a different protocol? 4 A. Well, Sen distinguishes between the prior art 5 and the -- its inventive capabilities by showing 6 difference between figure 3 and figure 4 and then the 7 associated text, where it adds the packet standby 8 state, figure 4, that doesn't exist in the prior art. 9 Q. I'm not sure that answered my question. Does 10 Sen describe a different protocol than the protocol 11 described in the prior art that we just looked at in 12 column 3, lines 40 to 42? 13 A. So I believe that as far as it goes, this 14 description of the prior art GPRS protocol is 15 incorporated in the Sen's invention. He adds some 16 additional aspects to the protocol, but my 17 understanding is it starts in the same way that -- that 18 there is a packet channel request message sent. 19 Q. The packet common control channel is how Sen 20 sends mobile station sends packet channel request 21 messages, correct? 22 A. I lost the last part of your sentence. 23 Q. The packet common control channel is how 24 Sen's mobile station sends packet channel request 25 messages, correct?</p>
<p style="text-align: right;">Page 138</p> <p>1 There's also other disclosures elsewhere in 2 DOCSIS that service flows can also be activated as part 3 of the registration or provisioning process, that is, 4 before the cable modem is actually allowed to send 5 traffic. So there are a variety of ways. None of them 6 were germane to any of my analysis. 7 Q. All right, if you could look at Sen now, and 8 I believe you said earlier today that you have reviewed 9 Sen? 10 A. Yes, I included it in the '991 declaration. 11 Q. Would you please look at column 3 of Sen, 12 lines 440 to 42, just one sentence. It says, "A packet 13 channel request message of 30 is sent by the MS26 via a 14 packet common control channel." Do you see that? 15 A. I do see that. 16 Q. So what Sen is describing there is it sends 17 packet channel requests through the packet channel 18 control -- packet common control channel, correct? 19 A. So this section you're pointing me to is, as 20 I understand it, is the prior art message flow 21 operation of what is referred to as the GPRS protocol. 22 So with respect to the prior art operation of -- 23 standard operation of the GPRS protocol, I believe 24 that's the section you just read. 25 Q. MS stands for mobile station, correct?</p>	<p style="text-align: right;">Page 140</p> <p>1 A. Yes. Sen discloses that the packet channel 2 request message is sent via a packet common control 3 channel. My understanding is that's a channel that's 4 shared with other mobiles. It's common. 5 Q. Could you please look at column 3, beginning 6 at line 49 and ending at line 55, that sentence. 7 A. Okay, I see that. 8 Q. So is that saying that packet channel request 9 messages are sent with contention? 10 A. Yes, they are sent -- my understanding is -- 11 at least the one that's described here in this 12 situation is sent on a common channel which may or may 13 not succeed. And if it doesn't, that is when it fails 14 to contend for a slot as used here in this column. It 15 then tries again according to this exponential back off 16 algorithm. 17 Q. And again, this is part of a paragraph that 18 describes figure 2, correct? 19 A. It's describing figure 2, yes. 20 Q. I think you said that was prior art? 21 A. It's identified as prior art, yes. 22 Q. Does Sen incorporate that aspect of the prior 23 art in its protocol? 24 A. Yeah, it's my understanding that in the 25 system that is partly revealed by figure 4, you start</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 141</p> <p>1 off the same sort of way, you -- it shows that you go 2 from packet idle in both figure 3 and figure 4 to MAC 3 contention. So my understanding is that at least this 4 aspect of them are common to both the Sen improvements 5 as well as the prior art protocol. 6 Q. Let me hand you what's been marked as Exhibit 7 1024, the '991 petition. That is a reference known as 8 Rydnell. Do you recall reviewing Rydnell? 9 A. Yes, I did, in preparation for this 10 deposition today. 11 Q. Can you summarize why you included Rydnell in 12 your analysis? 13 A. So as I discuss in my declaration starting on 14 page 99, with respect to the transition from the grant 15 pending absent to an idle state during a timeout, I say 16 that I believe that Sen does disclose this by virtue of 17 their description of release timer, but to the extent 18 there's any ambiguity about whether or not that 19 particular limitation is -- is found to disclose that 20 Rydnell has a more elaborated discussion of what I 21 believe would read on the timeout. 22 Q. Is that what Rydnell calls the FPM inactivity 23 timer? 24 A. In paragraph 354 of my declaration, I cite to 25 the T2 FPM inactivity timer. And Rydnell discloses it,</p>	<p style="text-align: right;">Page 143</p> <p>1 I did not look for nor do I recall whether or 2 not it's also detecting activity going in the other 3 direction. But the only thing I cited to was the begin 4 frames from the mobile station to the base. It's 5 possible it works the other way as well, but that was 6 not germane to any of my analysis, so I didn't look for 7 that. 8 Q. Could you please turn to paragraph 216 of 9 your '991 declaration. 10 A. Okay. 11 Q. So this is the first paragraph in a section 12 that talks about limitations in claim 1 of the '991 13 patent, correct? 14 A. Looking for my '991 patent. Here it is. 15 So if I understood you properly, you're 16 asking me whether this is the first limitation? 17 Q. No, I'm not saying that. 18 A. I misunderstood you. 19 Q. The section deals with limitations from claim 20 1 of the '991 patent. 21 A. Oh, yes. Yeah, I'm sorry. 22 Q. The limitations are spelled out in heading C, 23 correct? 24 A. This particular limitation is, yes. This 25 is -- but it's one of them that's in the middle of the</p>
<p style="text-align: right;">Page 142</p> <p>1 if it expires you enter a sleep mode, which I believe 2 at least overlaps with the characteristics of the -- it 3 may have other things in it, but it at least includes 4 what the inventor calls the idle mode. 5 Q. Is it correct that Rydnell's FPM inactivity 6 timer detects any activity between base station and 7 mobile station? 8 A. Yes, so the trigger as I understand it for 9 the inactivity timer expiring is that the mobile 10 station fails to send a timely sequence of begin 11 frames. So it's again a set of transactions that are 12 occurring in the system between the mobile station and 13 the -- I think they call -- they don't call it the base 14 station. They have MD -- look up the abbreviations, 15 but the MSC -- mobile switching center, I believe. 16 Let me see. Oh, yeah, here it is. 17 So with respect to figure 1A, the MS is the 18 mobile station, and the MDBS is the mobile database 19 station. 20 Q. So using that nomenclature, is it correct 21 that Rydnell's FPM inactivity timer detects any 22 activity between those two components? 23 A. I believe the only disclosure that I cited to 24 was traffic from the mobile station to the mobile 25 database.</p>	<p style="text-align: right;">Page 144</p> <p>1 claim. It's not the first one. Okay, right. 2 Q. Correct. Okay, so paragraph 216 you say, 3 "Abi-Nassif's transition from active to inactive states 4 corresponds to this limitation." Why do you say that? 5 Actually, let me ask a different question. 6 Later on in that same sentence you say, 7 "Abi-Nassif's inactive state corresponds to the grant 8 pending absent state limitations." Why do you say 9 that? 10 A. I'm looking for one of the documents here. 11 Okay. 12 So referring back to page 19 of my 13 declaration, where the inventor has described the 14 states, let me just summarize my understanding of each 15 of those so I can answer that question. 16 So grant pending, you're waiting for a 17 bandwidth. The CPE waits for and receives a bandwidth 18 grant to send upstream data and then sends the data. 19 So in grant pending, you have an expectation that the 20 base site controller is going to provide you with a 21 grant, so you're waiting for it. 22 Grant pending absent state, the CPE is also 23 receiving opportunities to request bandwidth, but the 24 difference is it has no grant pending because you don't 25 have data to send -- well, it has no grant pending</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 145</p> <p>1 because the previous data transmission did not include 2 piggybacking, so until the base site asks you, it 3 doesn't have an expectation necessarily that you're 4 going to have data to send, but it's offering you the 5 opportunity. So the difference is in grant pending you 6 have data, in grant pending absent you're being given a 7 request opportunity and you may or may not have data. 8 Q. You may have answered this question with the 9 statement you just made, but what do you understand 10 happens when a user enters the grant pending absent 11 state? 12 A. Well, I -- I -- I think I answered that a 13 moment ago, but in paragraph 54 through paragraph 57, 14 the inventor basically describes this. You enter the 15 grant pending absent state, because in your last data 16 transmission that you made to the base site controller 17 from the CPE, you did not provide piggybacking. So at 18 that point you did not signal to the base site 19 controller that you've got more data to send, at least 20 as of the time you send your last data request off. 21 So then the next thing that happens while 22 you're in the grant pending absent state is, the CPE is 23 sitting there waiting for data, and the BSC as 24 explained in paragraph 55 is periodically, for example, 25 every ten milliseconds, sending a unicast poll to the</p>	<p style="text-align: right;">Page 147</p> <p>1 A. Because it's -- it has the ability to receive 2 just like the grant pending state, it has the ability 3 to make request in a noncontention mode, as shown -- 4 well, as described in the text, but you know, as shown 5 concisely in figure 9, where the noncontention request 6 can be made while in an inactive state. 7 Q. That's figure 9 of the Abi-Nassif patent? 8 A. Correct. 9 Q. Could you please turn to paragraph 222 of 10 your '991 declaration. 11 A. Okay. 12 Q. Now, there you talk about the limitation of 13 the patent transmitting a first type bandwidth request 14 to the BSC without entering into contention. Do you 15 see that? 16 A. I see that. 17 Q. In what state is the first type bandwidth 18 request transmitted in Abi-Nassif? 19 A. So I think this is the same thing we were 20 just talking about. The MAC user receives data to be 21 transmitted and you transition into the active state 22 without -- upon receiving contention for the 23 opportunity to do the request. So the transitions that 24 are shown in figure 9 of Abi-Nassif that are 25 noncontention that would correspond to that would be</p>
<p style="text-align: right;">Page 146</p> <p>1 CPE, that is its asking do you have any data yet. And 2 if the CPE at the time it receives that unicast request 3 slot has no data, it will not respond. But if it does, 4 it will use that unicast request slot to request a data 5 grant, a data slot known as a grant, without having the 6 need to go through contention, because it's a unicast 7 slot and therefore it's not -- by definition not a 8 contention slot. 9 Then after it receives that, it will send 10 the -- it will go to the grant pending state, and when 11 it gets the subsequent grant of a data slot in the 12 grant pending state it will then transfer whatever data 13 it has to the BSC. 14 Q. What do you understand happens when the 15 Abi-Nassif user enters an inactive state? 16 A. So Abi-Nassif in inactive can transition out 17 of the inactive state through any one of two means as 18 described. It can provide a contention request if are 19 no -- no expectation that it's going to be -- receive 20 unicast grants, or it can provide a noncontention 21 request if it's a -- has an expectation that it's going 22 to receive some unicast grants, and it allows either. 23 There's... 24 Q. So how does that correlate to the grant 25 pending absent state in the patents?</p>	<p style="text-align: right;">Page 148</p> <p>1 from the inactive to the active state. And the 2 disclosures that I cited to in Abi-Nassif 23, 1 to 5, 3 explain that this is the situation where you're not 4 required to contend for upstream bandwidth. That is, 5 you're expecting or you're receiving unicast polling 6 just like that limitation is described here in top of 7 page 69, without entering into contention. 8 Q. So if I understood what you said, Abi-Nassif 9 describes transmitting the first type bandwidth request 10 from the active state to the inactive state; is that 11 correct? 12 A. I think you identified the two states, but 13 I'm not sure that I understood or I agree with your 14 direction. So let me say what my understanding is. 15 That while you're in inactive state, the characteristic 16 of the inactive state, where one possibility of the 17 inactive state is that you can be receiving unicast 18 grants, and that is grants to make requests which are 19 not in contention. And during that operation of the 20 system, where those unicast grants are being given, 21 you're in the inactive state. 22 And in that state when you receive that 23 noncontention request, you can transmit this first type 24 bandwidth request without entering into contention as 25 disclosed in page 23, 1 through 5.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 149</p> <p>1 BY MR. SLOSS:</p> <p>2 Q. Is the unicast request the same as the first</p> <p>3 type bandwidth request?</p> <p>4 A. There really are a couple different things</p> <p>5 here that are terms being used, and I think you put two</p> <p>6 of them together that I don't believe I've used.</p> <p>7 There's unicast polling, which represents an</p> <p>8 opportunity, a transmission opportunity granted by the</p> <p>9 BSC to the CPE to make a request. So the first type</p> <p>10 request is transmitted from the CPE to the BSC. It's</p> <p>11 transmitted as a result of a unicast poll. It's not a</p> <p>12 unicast request. It's a transmission of a first type</p> <p>13 bandwidth request without entering the contention.</p> <p>14 Q. And that request is received while in what</p> <p>15 state?</p> <p>16 A. The request is made while you're in the --</p> <p>17 well, the request is received by the BSC when the</p> <p>18 system is in the inactive state. I'm sorry. I said</p> <p>19 that wrong.</p> <p>20 The unicast poll is received by the CPE while</p> <p>21 the system is in the inactive state. The request is</p> <p>22 then made, and when the noncontention request is made,</p> <p>23 after the handshaking that occurs, you then move into</p> <p>24 the active state.</p> <p>25 Q. You would agree with me, would you not, that</p>	<p>Page 151</p> <p>1 unicast poll, would be the -- reading that in the light</p> <p>2 of the specification, the embodiment that's described</p> <p>3 there.</p> <p>4 Q. Is it your opinion that unicast polling</p> <p>5 teaches without entering contention?</p> <p>6 A. I believe that's the way it's I think</p> <p>7 consistently used in the patents and in the references,</p> <p>8 I think so.</p> <p>9 Q. How does the teaching of unicast polling</p> <p>10 extend to teaching the grant pending absent state?</p> <p>11 A. Well, it's -- I don't believe it's in this</p> <p>12 claim limitation, but -- so referring back to the</p> <p>13 patent specification and the inventor's description of</p> <p>14 grant pending absent, it characterizes it as -- in</p> <p>15 paragraph 55 of my report as the BSC periodically polls</p> <p>16 the CPE with unicast request slots. So the inventor,</p> <p>17 in describing what he means by grant pending absent,</p> <p>18 ties it to unicast request slots. And he makes clear,</p> <p>19 continuing in paragraph 55, that on page 20, without</p> <p>20 going through contention.</p> <p>21 Q. So where is the grant pending absent state in</p> <p>22 Abi-Nassif?</p> <p>23 A. So, again as I mentioned I think a couple of</p> <p>24 times, the detailed description of how Abi-Nassif</p> <p>25 functions is described in a -- is summarized to some</p>
<p>Page 150</p> <p>1 in claim 1 of the '991 patent, the first -- strike</p> <p>2 that.</p> <p>3 You would agree the '991 patent claim 1, that</p> <p>4 the first type bandwidth request is received while in</p> <p>5 the grant pending absent state, correct? And I'll</p> <p>6 refer you to column 12, lines 15 through 19 of the</p> <p>7 patent.</p> <p>8 A. You're referring me to a portion of claim 1;</p> <p>9 is that correct?</p> <p>10 Q. Yes.</p> <p>11 A. Okay, starting at line 15?</p> <p>12 Q. Yes.</p> <p>13 A. So my understanding of that is that when the</p> <p>14 CPE is in the grant pending absent state, it is in the</p> <p>15 grant pending absent state and it may or may not have</p> <p>16 data, but the claim term goes on to say that when the</p> <p>17 CPE does receive data, then it transmits its first type</p> <p>18 bandwidth request from the CPE to the BSC, where --</p> <p>19 well, that's the end of that claim limitation. So the</p> <p>20 arrival of data while you're in the grant pending</p> <p>21 absent state will result in you transmitting the first</p> <p>22 type bandwidth request to the BSC.</p> <p>23 Now, when I read that claim element in the</p> <p>24 context of the disclosures of the specification, I</p> <p>25 understand that to be, you know, in response to a</p>	<p>Page 152</p> <p>1 extent in figure 9, but more fully described in the</p> <p>2 cites. But in the situation in figure 9 where you're</p> <p>3 in the inactive state, and during those times when you</p> <p>4 are given the ability to make noncontention requests,</p> <p>5 that situation would characterize -- would be the</p> <p>6 characteristics associated with the grant pending</p> <p>7 absent state.</p> <p>8 You're being given noncontention request</p> <p>9 opportunities. That seems to be the essential --</p> <p>10 noncontention request opportunities without having to</p> <p>11 explicitly ask for them, they are continuing to come to</p> <p>12 you.</p> <p>13 Q. Please turn to paragraph 234 of your</p> <p>14 declaration.</p> <p>15 A. Okay. I'm there.</p> <p>16 Q. Now, are you saying there that Abi-Nassif</p> <p>17 states that the request to receive data occurs in the</p> <p>18 active state after the transition from the inactive</p> <p>19 state to the active state?</p> <p>20 A. So I took a moment just to refer back to see</p> <p>21 where we were in my declaration, and I'm sorry, I lost</p> <p>22 track of your question.</p> <p>23 MR. SLOSS: Would you read it back, please.</p> <p>24 (Record read by the reporter.)</p> <p>25 A. I'm sorry, I still didn't get the question.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 153</p> <p>1 I missed a word. 2 (Record read by the reporter.) 3 A. So as I understand what Abi-Nassif teaches, 4 that after you receive the contention-free opportunity 5 to make the request, as I say here in paragraph 234, 6 there are a number of things that happen. 7 You request the bandwidth, receive the grant, 8 transmit the information, then you transition from the 9 inactive state to the active state, where you're now 10 waiting for the actual grant for data, and then you 11 send the data up. So your transition is from inactive 12 to active as a result of receiving the contention-free 13 opportunity and making that request. 14 BY MR. SLOSS: 15 Q. And the request is the request to receive 16 data, correct? 17 A. Not the request to receive data. The request 18 for bandwidth. You make a request from the CPE to the 19 BSC for a data grant, for a transmission opportunity, 20 for the right to be able to send the data up in a 21 noncontention mode. That's what the request is, a 22 request for a data grant, also called a request for the 23 bandwidth. They are intended to mean the same thing. 24 Q. Okay. So the request for bandwidth in 25 Abi-Nassif occurs in the active state?</p>	<p style="text-align: right;">Page 155</p> <p>1 limitation of grant pending absent to grant pending. 2 You're going from absent -- grant pending absent to 3 grant pending. 4 When the bandwidth grant that was resulting 5 from the request that was made, the response to the 6 unicast poll, bandwidth request that was made that's 7 described in the first type bandwidth request in the 8 previous limitation, you're taking advantage of that 9 unicast polling opportunity, sending a bandwidth 10 request, and then when the BSC in this limitation we 11 are talking about subsequently provides a bandwidth 12 grant in responding to that request, this claim says 13 you then transition from grant pending absent to grant 14 pending. 15 Q. All right. Could you please turn to 16 paragraph 237 of your declaration. 17 A. Okay. 18 Q. All right. In that, you state that 19 Abi-Nassif does not explicitly describe transitioning 20 to an idle state that is separate from Abi-Nassif's 21 inactive state, correct? 22 A. You read it correctly. 23 Q. Did I misstate it? 24 A. Well, no. I think you didn't misstate it, 25 but I don't think there should be any implication that</p>
<p style="text-align: right;">Page 154</p> <p>1 A. The opportunity to make the request occurs by 2 receiving a unicast poll while you're inactive, but 3 once you transmit, once you take advantage of that 4 unicast poll transmission opportunity, and you make the 5 request, my understanding is you then transition to 6 active mode. 7 Q. When does this step occur in the '991 patent? 8 A. I'm sorry, I was looking at the wrong part of 9 the claim. So we are dealing with item E at the top of 10 73? 11 Q. Right. Maybe look at column 12, line 20. 12 A. All right. Okay, so the claim term reads you 13 transition from grant pending absent to grant pending 14 after you receive a bandwidth grant. So it's my 15 understanding of how bandwidth grant is used here in 16 the patent is you previously had a bandwidth request 17 opportunity, which was in the previous limitation. You 18 took advantage of that request opportunity to tell the 19 BSC that you actually do have data now, and the CPE in 20 a subsequent transmission from the BSC actually 21 receives a grant that is a permission or an opportunity 22 offered by the BSC for the CPE to send its -- the data 23 that's pending, upstream from the CPE to the BSC. 24 Q. In what state? 25 A. Well, you're starting in this claim</p>	<p style="text-align: right;">Page 156</p> <p>1 because the word explicitly appears there, that I don't 2 find that Abi-Nassif also discloses that. It happens 3 not to be something that's explicit in these figures. 4 But the very fact that while you're inactive 5 you can be making these contention requests or 6 noncontention requests tells me that there is 7 functionality in Abi-Nassif that includes times when 8 you may not be expecting to get unicast requests. You 9 may not be expecting to get unicast polls giving you 10 opportunity to make bandwidth requests. So I think 11 Abi-Nassif tends to collapse two of the states into the 12 bubble called inactive, because again, this is -- 13 Abi-Nassif is not supposed to -- is not trying to 14 unambiguously describe the entire protocol. But since 15 it incorporates DOCSIS 1.0 which does include all these 16 same things here in DOCSIS 1.1, I would say it's not 17 explicit, but I still think it's disclosed. 18 Q. And in what states are collapsed into the 19 Abi-Nassif inactive state? 20 A. So it would be the grant pending absent and 21 idle, would be the characteristics that the inventor 22 describes of those two states, are also functionality 23 that is occurring while Abi-Nassif, it says here in the 24 inactive mode. 25 Q. Can you please look at figure K-1 from</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 157</p> <p>1 DOCSIS, page 299 of 332.</p> <p>2 A. Okay, I'm there.</p> <p>3 Q. Figure K-1 shows the CM transitioning from</p> <p>4 grant pending state to idle state when it no longer has</p> <p>5 data to transmit, correct?</p> <p>6 A. Well, it shows -- it shows three possible</p> <p>7 triggers, one of which is the last data upload did not</p> <p>8 include piggyback. So that would be a situation where</p> <p>9 at least at the time the last data was being uploaded</p> <p>10 from the CPE to BSC, there was no piggyback request.</p> <p>11 Q. So there's no data to transmit?</p> <p>12 A. There was no data at that particular time,</p> <p>13 but it also shows some other conditions, it says.</p> <p>14 Q. Looking at that particular instance where it</p> <p>15 shows it has no data train to transmit, how is that the</p> <p>16 same as transitioning to an idle state if the CPE does</p> <p>17 not transmit any first type bandwidth request to the</p> <p>18 BSC during the timeout period?</p> <p>19 A. I'm sorry, I didn't understand the question.</p> <p>20 Q. Okay. So if figure K-1 of DOCSIS 1.1 shows</p> <p>21 that there is a situation where the CM transitions from</p> <p>22 grant pending state to idle state when it no longer has</p> <p>23 data to transmit, is that comparable -- strike that.</p> <p>24 Is that -- there's nothing in the patent that</p> <p>25 describes that particular transaction, correct?</p>	<p style="text-align: right;">Page 159</p> <p>1 some material in the appendix C with respect to timing.</p> <p>2 So there are multiple cites that I have to DOCSIS 1.1,</p> <p>3 which I think are all material to understanding that it</p> <p>4 does read on these two patents.</p> <p>5 Q. All right. Can you look at C.2.5.7, please?</p> <p>6 A. Page?</p> <p>7 Q. 251 of 332.</p> <p>8 A. Okay.</p> <p>9 Q. And we looked at this earlier. This is the</p> <p>10 section that talks about timeout for active QoS</p> <p>11 parameters, correct?</p> <p>12 A. Correct.</p> <p>13 Q. Does section C.2.5.7 describe a timeout of an</p> <p>14 active service flow of an entire service flow?</p> <p>15 A. So it describes the timeout for a -- one of</p> <p>16 many possible active service flows, but for each</p> <p>17 service flow you can set such a timer.</p> <p>18 In the example that I gave in my declaration,</p> <p>19 which I think is representative of typical and normal</p> <p>20 operation of the system, when the nonrealtime polling</p> <p>21 service is active, my analysis that I provided with</p> <p>22 respect to this timeout was with respect to the</p> <p>23 termination of the nonrealtime polling service.</p> <p>24 Q. So it does not describe a timeout of the</p> <p>25 grant pending absent state of an active flow, correct?</p>
<p style="text-align: right;">Page 158</p> <p>1 MR. CANGRO: Objection to form.</p> <p>2 A. So I think as I mentioned several times, I</p> <p>3 did not rely upon appendix K-1 to support all my</p> <p>4 analysis, and appendix K-1 as I've noted has a</p> <p>5 disclaimer that says that its feature is to simplify</p> <p>6 and summarize the transmission and contention</p> <p>7 resolution. So there's nothing that I looked at with</p> <p>8 respect to those detailed transitions.</p> <p>9 I referred to appendix K-1 solely to indicate</p> <p>10 that there are overlaps between the actual names of the</p> <p>11 modes that exist in the patent and in DOCSIS 1.1, and</p> <p>12 that at least some of the characteristics are similar.</p> <p>13 But my analysis focused on the detail disclosures which</p> <p>14 are in DOCSIS 1.1, which appendix K deliberately goes</p> <p>15 out of the way to say it's not attempting to be</p> <p>16 comprehensive.</p> <p>17 BY MR. SLOSS:</p> <p>18 Q. What detailed disclosures were you looking at</p> <p>19 in DOCSIS 1.1?</p> <p>20 A. I have multiple cites.</p> <p>21 Q. You cited 8.2.2, correct?</p> <p>22 A. I would need to look, but you know, I had</p> <p>23 multiple cites to the DOCSIS which include cites to</p> <p>24 description of the MAC protocol. I have cites to the</p> <p>25 quality of service, service flows, and I have cites to</p>	<p style="text-align: right;">Page 160</p> <p>1 A. Well, DOCSIS 1.1 does not use the term grant</p> <p>2 pending active state. I'm sorry, you said grant</p> <p>3 pending absent?</p> <p>4 Q. Yes.</p> <p>5 A. Yeah, DOCSIS 1.1 does not use the term grant</p> <p>6 pending absent, but it does describe the operation of</p> <p>7 the DOCSIS system in a manner consistent with how the</p> <p>8 inventor uses the term grant pending absent.</p> <p>9 Q. Where does it describe the timeout of the</p> <p>10 grant pending absent state of an active flow?</p> <p>11 A. Well, I think I said that. So, you know,</p> <p>12 there may be multiple places where I ended up</p> <p>13 describing what DOCSIS 1.1 is doing, but I'm looking at</p> <p>14 page 73 of my declaration for the '991 where, again,</p> <p>15 I'm referring to the -- this timeout for active</p> <p>16 parameters.</p> <p>17 Q. What paragraph?</p> <p>18 A. Okay, starting paragraph 238 on page 73. And</p> <p>19 then the description of this particular timeout occurs</p> <p>20 through paragraph 245, and there is a variety of</p> <p>21 discussion and analysis there.</p> <p>22 But the basic idea here is the -- for</p> <p>23 example, the nonrealtime polling service now being</p> <p>24 active while you're in that mode, would be expressing</p> <p>25 functionality of the patent's use of grant pending</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 161</p> <p>1 absent. That is, it's regularly receiving unicast 2 opportunities as the inventor has described grant 3 pending absent. And it may or may not have a -- in 4 response to these transmission opportunities to request 5 a grant, it may or may not have data, and if it doesn't 6 have data, if it doesn't respond to these requests to 7 use these opportunities to request grants for a period 8 of time as configured by the timeout, then the service 9 will be deemed inactive as paragraph 241 says. And 10 once it's inactive, it can no longer send packets, and 11 that would correspond I believe to the idle state, the 12 way the inventor has described it. At that point that 13 service flow is terminated and it can't use that 14 service flow anymore for sending packets. 15 Q. Can you please look at paragraph 244 on page 16 74? 17 A. Yeah. 18 Q. You say, "By becoming inactive the DOCSIS 1.1 19 service flow is put into an idle state." What is the 20 basis for your statement that an inactive service flow 21 equates to being put into an idle state? 22 A. DOCSIS 1.1 teaches that you must have a 23 primary service flow, and that there must be at least 24 one service flow which is active at all times. You 25 can't have a situation where there are no service</p>	<p>Page 163</p> <p>1 could be once the nonrealtime polling service is 2 terminated, there has to be at least one other service 3 flow running, and in the scenario I described which is 4 quite typical, when the nonrealtime polling service is 5 finished, that is when the FTP file, the typical 6 application as described in DOCSIS is complete, 7 satisfied, it's sent up all its information, the 8 timeout timer would expire, the activity timer would 9 expire, the service flow would be terminated, and the 10 cable modem would be equivalent to the idle mode as 11 described in the patents by entering the default best 12 efforts service flow. 13 Q. Can you please look at K-1 again? 14 A. I'm there. 15 Q. It's shown in figure K-1, idle is the only 16 state where there's no activity on the service flow, 17 correct? 18 A. Appendix K and K-1 are operations of -- some 19 detailed operations of aspects of the MAC layer, which 20 are silent about anything to do with the service flow. 21 There's no attempt, as I understand it, in any aspect 22 of figure K-1 that has any particular coupling or 23 citation or mention to the service flow. 24 The MAC layer operation at this layer is 25 describing operations of the MAC layer which the</p>
<p>Page 162</p> <p>1 flows. 2 Furthermore, it indicates that the default 3 for the termination of a service flow or the failure to 4 define a specific one, the default is best efforts. In 5 page 252 of 332 of the DOCSIS specification, there is a 6 section C2.2.6.2 that shows service flow scheduling 7 type, and it indicates that if there is not a specific 8 service flow which is scheduled, then the best effort 9 service flow must be assumed. 10 So best effort service flow is defined by 11 DOCSIS as being in a situation where you're not 12 expecting to get unicast polling, instead you have to 13 use contention in order to exit that mode, which would 14 again generally correspond to the way idle is used in 15 the patents. 16 I think DOCSIS has got a lot of information 17 in different places, but they're my authority for 18 having to have at least one service flow active at all 19 times is also in another section of DOCSIS. 20 Q. So it's your understanding that there can 21 never be a situation under DOCSIS where a service flow 22 would have no activity? 23 A. I'm not sure I said that. I think what I'm 24 saying is, there can never be a situation in DOCSIS 25 where there's not at least one active service flow. It</p>	<p>Page 164</p> <p>1 service flow may exercise, but these transmission 2 contention resolution aspects described here in K-1 are 3 not with respect to any one particular service flow or 4 any service flow. 5 Q. You don't believe it would be fair to say 6 that no activity on the service flow would occur in 7 either the grant pending or deferring states? 8 A. Not -- to me your question is kind of asking 9 me to compare apples and oranges. They are two 10 different things. This is a -- a lower level protocol 11 operation of transmission and contention resolution 12 which service flows may interact with, but there's no 13 particular service flow that's invoked here. 14 Moreover, I didn't attempt to take every 15 service flow that's defined in DOCSIS and have it read 16 on the claim limitations. I picked two to talk about, 17 the realtime polling and nonrealtime polling, which are 18 well defined service flows in DOCSIS, which I believe 19 express, taken together with the other aspect of 20 DOCSIS, I believe pretty well express all the claim 21 limitations. 22 Q. Would you agree that timeout of the service 23 flow would not cause a transition to the idle state, 24 but would end the service flow? 25 A. The timeout of the service flow activity</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 165</p> <p>1 timer would, to the extent it's been defined, which 2 they usually are, would end that specific service flow, 3 and at that point either another service flow that's 4 already running, which you can have multiple ones 5 running together, or if there was no other service flow 6 running it would default back down to best efforts. 7 The DOCSIS specification is silent about 8 which is the prescribed way to operate, but at least 9 one of the possibilities that I know to be common is 10 that the cable modem wakes up when it's first 11 initialized in the best efforts mode, and then various 12 other service flows are initiated, including 13 nonrealtime polling service, and then they end and then 14 you revert back to best efforts. And my understanding 15 is informed not just by experience, but also by 16 necessity, because DOCSIS 1.0, which needs to be 17 supported -- DOCSIS 1.0 modems need to be supported in 18 1.1 systems are only capable of best effort service 19 flows. 20 So the combination of my understanding of 21 what is typical in how DOCSIS is applied, together with 22 the necessity of supporting backward compatibility of 23 older 1.0 cable modems, informs me that when the 24 example I've given in the near time polling service is 25 terminated, that you revert to best efforts, whose</p>	<p style="text-align: right;">Page 167</p> <p>1 Q. I'm sorry. I mean, beyond the quote itself, 2 the statement that you make in the paragraph. 3 A. Well, I think it's -- I believe it's relevant 4 to the timeout, in that the whole -- this entire 5 section dealing with item F173 that is transitioning to 6 an idle state, but doesn't respond during a timeout 7 period, this whole section is bringing in the 8 disclosures, and the appendix C2257 which is looking 9 for data being sent. And so if you don't respond to 10 the request, as I'm saying in 240, then you don't have 11 data to send. And as 241 indicates, if you continue 12 doing that for some period of time, the timer activity 13 will expire and the service will be inactive. 14 Q. So 245 is also a reference -- you don't have 15 a reference to C.2.5.7, but that is what you're -- that 16 section of DOCSIS is what you're using to support what 17 you say in 245? 18 A. Yeah. So it's drawing the -- it's saying 19 that if you have the timeout, then you have an inactive 20 service flow, and you -- when you have an inactive 21 service flow you can't -- you can't use the service 22 flow anymore. 23 Q. Okay. Can you turn to page 84 of your 24 declaration, still looking at the 291 declaration. 25 '991 declaration.</p>
<p style="text-align: right;">Page 166</p> <p>1 characteristics would correspond to idle as used in the 2 patent. 3 Q. Could you look at paragraph 240 of your 4 declaration, please. 5 A. 240. 6 Q. You use the term in quotes there, "not 7 transmitting state." Do you see that? 8 A. Yes. 9 Q. Is that your term? 10 A. I don't remember, but I will look. 11 Q. Well, you don't cite any DOCSIS -- 12 A. I may not have cited to it, but I was talking 13 about appendix C2.5.7 and that may have been where -- 14 Q. You're looking at C.2.5.7 which is page 251 15 of 332? 16 A. Thank you. 17 No, it doesn't appear there. So as I sit 18 here, I don't recall whether I was actually -- I don't 19 know why I put quotes around it. It may be a term that 20 may exist in DOCSIS. It's just as I sit here, I don't 21 remember. 22 Q. So the statement that you have in paragraph 23 240, it's your understanding that that statement is 24 supported by section C.2.5.7 of DOCSIS? 25 A. No, I was not able to find those words.</p>	<p style="text-align: right;">Page 168</p> <p>1 MR. CANGRO: It's been a little over an hour, 2 would this be a good time to break? 3 MR. SLOSS: Maybe five more minutes. Yeah, 4 I'm aware we are due for a break. 5 A. Page 84? 6 BY MR. SLOSS: 7 Q. Yeah, paragraph 291. You use the phrase, you 8 say, "this limitation also reads on DOCSIS 1.1." What 9 do you mean by "reads on"? 10 A. I may be using the term in a way that's not 11 consistent with my nonlegal training, but what I was 12 attempting to say here is that the limitation which is 13 expressed above is disclosed in the DOCSIS 1.1 prior 14 art with respect to the nonrealtime service flow. 15 Q. So you're not saying that the service flow is 16 the same as state, are you? 17 A. No. I'm saying that my analysis of DOCSIS 18 1.1, which included functionality, which is described 19 by the service flow, together with other aspects of the 20 MAC layer, I believe discloses the -- its prior art 21 that discloses this particular claim limitation. 22 Q. Where in DOCSIS 1.1 does it describe the 23 equivalent of a grant pending absent state? 24 A. Well, oh, my goodness. I thought we have 25 already discussed this.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 169</p> <p>1 Q. If we have, just remind me where we discussed 2 it and I'll move on. I don't need a detailed 3 explanation if you've already given one. 4 A. Okay, so -- 5 Q. I'm really trying not to go back over old 6 ground. I just want to make sure that I've asked that. 7 A. So to be clear, page 19 of my declaration, I 8 pull some cites from the patent where the inventor 9 describes the grant pending absent state, and there are 10 a variety of things that are indicated here, but it's 11 saying you got there because you didn't have 12 piggybacking, and then paragraph 55 of my declaration 13 says that you're waiting for data, you periodically 14 poll the CPE and unicast request slot. 15 Continuing on page 30, the CPE can use this 16 slot to request a data slot without going through 17 contention. So while you're in the nonrealtime polling 18 service, as I believe we discussed earlier, you're 19 basically doing that. 20 If you like, I'll turn to the description of 21 nonrealtime polling service and I will show you where 22 it says that. 23 Q. No, I think we have gone over that. 24 Paragraph 292, is that information taken from section 25 8.2.4 of DOCSIS 1.1?</p>	<p style="text-align: right;">Page 171</p> <p>1 So I would say DOCSIS taken as a whole, this 2 8.2.4, together with my citations to the appendix C 3 activity timer, would -- would -- would inform one of 4 ordinary skill that it is a possibility that you could 5 have an empty buffer. 6 Q. Paragraph 293, is that taken from DOCSIS? 7 You don't cite anything, that's why I ask. 8 A. No. That's my own commentary. 9 Q. All right. 10 A. Based on experience. 11 Q. Okay. Looking at paragraph 297, and again, 12 this is still talking about DOCSIS 1.1, correct? 13 A. Okay, yeah, different claim limitations, but 14 yes. 15 Q. We are talking about -- is this still DOCSIS 16 8.2.4? 17 A. Okay, yeah, so it's -- it's -- it's invoking 18 aspects of the nonrealtime polling service flow. 19 Q. Yeah, that's in 8.2.4, correct? 20 A. Yes, that's correct, at least in one place. 21 Q. Okay. What state is the NRTPS service flow 22 in as the CM is polled? 23 A. I wouldn't characterize the service flow as 24 something that has states. The system has states. The 25 service flow is a set of quality of service features,</p>
<p style="text-align: right;">Page 170</p> <p>1 A. It was from the part of DOCSIS that described 2 the nonrealtime polling service, which -- 3 Q. I believe that's 8.2.4 on page 149. 4 A. Yes. So the paragraph 292 of my declaration, 5 where it starts off by "the high bandwidth FTP example 6 provided by DOCSIS 1.1," that is referring to that 7 paragraph 8.2.4 where it mentions such as high 8 bandwidth FTP, yes. 9 Q. And is the high bandwidth FTP example 10 provided by DOCSIS 1.1 that you cite there, that 11 example does not say that the CM can have an empty 12 buffer and receive a unicast poll while in the grant 13 pending state, correct? 14 A. At least in the section we are looking at 15 here of 8.2.4, it does not describe -- it doesn't 16 explicitly state that there's a possibility the buffer 17 could be empty. 18 Q. Okay. 19 A. But the section in appendix C that we were 20 talking about earlier, where there is a timer 21 activity -- an active -- an active timer that can be 22 set, it makes it clear that there are possibilities 23 where a -- any service, such as the nonrealtime polling 24 service, may not respond to these requests which would 25 be an indication of an empty buffer.</p>	<p style="text-align: right;">Page 172</p> <p>1 which are being mindful of, as the -- as the system 2 transitions from one state to another. So I don't 3 think I can answer your question as it was asked. 4 Q. Can you tell me what state the -- well, for 5 the activity -- strike that. Let me reword it. 6 For the activities described in paragraph 7 297, can you tell me what state those activities occur 8 in? 9 A. Well, so this paragraph 297 is with respect 10 to the claim limitations right above it. So it's -- 11 the claim limitation says you're operating in the grant 12 pending absent state, awaiting arrival of data, and you 13 transmit a first type bandwidth request without 14 entering contention when the CPE receives data for 15 transmission. 16 Q. I understand what the claim says, but 17 paragraph 297 is talking about reading on DOCSIS, 18 correct? 19 A. Well, it's in a -- I guess let me -- if it's 20 not clear how it's worded here, what I'm trying to say 21 is if you look at the operation of the nonrealtime 22 polling service flow, it's performing functionality 23 that discloses the claim limitation up above, when 24 you're operating in the grant pending absent state. 25 Q. So for paragraph 298, while the service flow</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 173</p> <p>1 is awaiting arrival data for transmission to the CMTS, 2 is it in a particular state? 3 A. This entire section starting 297 is with 4 respect to the claim limitation that it's in the grant 5 pending absent state. 6 Q. But is there a state within DOCSIS that these 7 activities are occurring in? Let me put it this way. 8 Is there a state described by DOCSIS, as in 9 DOCSIS, where these activities are occurring within 10 DOCSIS? 11 A. Yeah, so within the nonrealtime polling 12 service, as DOCSIS describes a nonrealtime polling 13 service, you are awaiting arrival of data for 14 transmission to the BSC, and when you get a unicast 15 poll opportunity that is coming to you, you have the 16 ability to transmit a first type bandwidth request 17 without entering a contention. So the claim limitation 18 talks about without entering contention that the way 19 you can send a first type bandwidth request without 20 entering contention is by receiving a unicast request 21 opportunity, all which comports with how the inventor 22 describes the grant pending absent state that we have 23 talked about. 24 Q. But how are these activities that are 25 described here in paragraphs 297, 298 and 299, how are</p>	<p style="text-align: right;">Page 175</p> <p>1 use a state diagram to express how it works. But 2 nonetheless, taking the inventor's own description of 3 what that state means, against what DOCSIS discloses, I 4 am able to find that I believe it does disclose those 5 limitations. 6 MR. CANGRO: We are at about an hour and a 7 half now. 8 MR. SLOSS: Yeah, let's take a break. Ten 9 minutes. 10 (Whereupon, a recess was taken.) 11 BY MR. SLOSS: 12 Q. Can you please look at Abi-Nassif which is 13 Exhibit 1022. That one, yes. Look at figure 9, which 14 is on the back. 15 A. Okay. 16 Q. What state, looking at figure 9, what state 17 is the MAC user in when transmitting its contention 18 request? 19 A. The figure shows that you're in the 20 inactive -- as defined by Abi-Nassif, what he calls the 21 inactive state. 22 Q. Could he also be in the contention state? 23 A. Well, I want to make sure I heard your 24 question correctly. What state are they in when the 25 contention request is issued; is that what the question</p>
<p style="text-align: right;">Page 174</p> <p>1 they being described by DOCSIS as being in any 2 particular state? 3 A. Well, I don't believe in the description of 4 the nonrealtime polling service or the -- or my 5 citations, I was referring to anytime DOCSIS calls it a 6 state. 7 DOCSIS describes the -- my citations describe 8 certain functions and operations, sequence of 9 operations within DOCSIS that correspond to the 10 functions and sequence of operations that the inventor 11 calls a state. DOCSIS doesn't have an obligation to 12 use that terminology in order to disclose the 13 limitations of the claim. 14 Q. No, I understand, but I mean DOCSIS does 15 disclose states and transitions from states, correct? 16 A. It does have an appendix K that we have 17 talked about, that in that particular instance with 18 respect to making clear how the operation of the 19 contention in transmission systems work, it finds that 20 particular construct of that state diagram to be 21 helpful. 22 I think the plain language of the operation 23 of the nonrealtime polling service is so clear and 24 concise and understood that the authors of DOCSIS 1.1 25 didn't feel compelled, even though they could have, to</p>	<p style="text-align: right;">Page 176</p> <p>1 was? 2 Q. Yes. 3 A. Okay. 4 Q. Well, the way I worded it, what state is it 5 in when the MAC user is transmitting a contention 6 request? 7 A. Okay. So my understanding is, is that from 8 the inactive state, you transmit a contention request, 9 and you enter the way -- the way they describe it, you 10 enter the contention state, and while in the contention 11 state, the request can be either denied or accepted. 12 If the request is denied, you return to the inactive 13 state. If it's accepted, you leave the contention 14 state and you enter the active state. 15 So there's somewhat of a violation of the 16 normal understanding I think one would apply to a 17 state, as it not being transitory, but something static 18 that you can remain in for some time. But the way he's 19 described it, as that while you're in what he's calling 20 the inactive state, you can -- you can make a 21 contention request, entering the contention state, and 22 then immediately either go back to inactive or leave it 23 and go to active, since he doesn't show the -- any 24 additional detail at this level of -- this high level 25 state diagram.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 177</p> <p>1 Q. Could you pull out your declaration for the 2 '256 patent, please. 3 A. Sure. 4 Q. And if you'll turn to page 69, please. 5 A. Okay. 6 Q. Looking at paragraph 195. 7 A. I see that, yes. 8 Q. Okay, there's a phrase in the middle of the 9 paragraph that says, "the maximum duration that 10 resources remain unused." What do you mean by 11 "resources"? 12 A. So I was citing to the way resources are 13 described in appendix C.2.2.5.7. 14 Q. What do you understand it to mean as you 15 interpret it? 16 A. So my understanding is resources are 17 referring to the bandwidth on the system, which is 18 precious. That is, it -- it's sections of the total 19 bandwidth that are available, that can either be 20 reserved or assigned to a particular user, or it can be 21 shared among different users, but it's typically a 22 scarce resource, where during busy times of the day the 23 amount of traffic on it may exceed the capacity that 24 it's able to cover, the capacity it can provide to 25 cover the traffic that's being offered to it. So</p>	<p style="text-align: right;">Page 179</p> <p>1 and realtime that we have been talking about, the 2 activity would be communications from -- between the 3 CMTS and the and the cable modem. 4 Q. How is inactivity as described in that 5 section detected? 6 A. So in the case of the example nonrealtime 7 service flow, this would be when you're in the 8 situation that you're receiving regular opportunities, 9 unicast opportunities to request bandwidth, one form of 10 inactive would be that even though you're given that 11 opportunity to request bandwidth, you don't use that 12 opportunity. That is, you don't request the actual 13 bandwidth. So it would be one example of inactivity 14 that would trigger this timeout for activity QoS 15 parameters. 16 Q. Is that detected by the cable modem or the 17 cable modem terminal station? 18 A. Well, my understanding of this disclosure is 19 that it's a joint enterprise between the CM and the 20 CMTS. The CM is responding or not responding to the 21 opportunities, and the CMTS is measuring the time 22 interval between the last response and the previous 23 one, and it -- after that timeout timer expires, this 24 particular appendix cite that we have been looking at, 25 2.2.5.7., says the CMTS will inform the CM that its</p>
<p style="text-align: right;">Page 178</p> <p>1 the efficient operation of the system, and indeed the 2 goal of I think all the patents and the references we 3 have been using, is to find ways to most efficiently 4 use that transmission resource. 5 Q. I think you're actually answering a question 6 I didn't ask. 7 What is the transmission resource, not 8 necessarily how it's maximized or anything, but what is 9 the resource? 10 A. Oh, the resource is portions of the 11 transmission bandwidth that are assigned to, or somehow 12 earmarked for one use versus another. And in the case 13 of these references, transmission resource varies a 14 bit, but -- 15 Q. I was just interested in what the resource 16 meant. I think you've answered that. 17 A. It's a portion of the transmission bandwidth. 18 Q. And the same section also talks about -- uses 19 the word activity, says that there's no activity on the 20 service flow. What do you understand activity to mean? 21 A. What are you pointing me to? 22 Q. Section C.2.2.5.7, second line. 23 A. Okay, the actual specific nature of the 24 activity could vary for different types of service 25 flows, but for the example polling service, nonrealtime</p>	<p style="text-align: right;">Page 180</p> <p>1 terminating the service flow by signaling the resource 2 change. 3 So the patent talks about the states of the 4 system, not the states of any one network element, but 5 with respect to how this functionality of timeout, I 6 understand it to be a set of transactions and 7 cooperation that occurs at both the CM and the CMTS. 8 Q. Looking at that section C.2.2.5.7, again, it 9 says, "If there is no activity on the service flow 10 within this time interval, the CMTS must change the 11 active and admitted QoS parameter sets to null." Do 12 you agree with that statement? 13 A. I agree you read it correctly, and it's my 14 understanding that that's how it's intended to work. 15 Q. All right. So service flows with a null QoS 16 parameter sets are terminated, not idle, correct? 17 A. The service flow, the particular active 18 service flow at the time, in the example that I cite, 19 the nonrealtime polling service flow would be 20 deactivated, but there would be -- you would fall back 21 to another service flow because the DOCSIS 22 specification requires that there always be a primary 23 active service flow. 24 And as I indicated before on the next page, 25 page 346 of the DOCSIS spec, there's C.2.6.2 that makes</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 181</p> <p>1 it clear that the best efforts service flow would be 2 the default one that you would fall back on. 3 Q. Right, but the service flow that receives the 4 null -- that has a null QoS parameter, that service 5 flow is terminated, correct? 6 A. The active service flow that times out would 7 be terminated. 8 Q. Once it's terminated it would no longer be 9 able to send packets, correct? 10 A. The cable modem would be able to send packets 11 using the service flow it would default to, but it 12 wouldn't send them under that service flow. 13 By the way, I should point out that the 14 service flow is supporting an application such as FTP, 15 and there are situations where the service flow may be 16 activated for an FTP transfer. For a variety of 17 reasons, the FTP transfer service might be interrupted 18 automatically or manually by the end user. The service 19 flow can terminate, but the FTP transaction could 20 continue after the service flow terminates using one of 21 the other service flows, so the service flow may 22 terminate, but the application and the transmission of 23 bits may not. 24 Q. You would agree, would you not, that if we 25 are trying to combine two references to invalidate a</p>	<p style="text-align: right;">Page 183</p> <p>1 MR. CANGRO: Objection to form. 2 A. I think I understand your question now. So 3 if you're saying that -- and just to be clear, allow me 4 to paraphrase it. 5 You're saying if even after combining two 6 references I'm still missing a particular limitation, I 7 can't find a limitation disclosed, then I would agree 8 that -- unless -- well, let me -- let me be clear, 9 because I think there were instances where I talked 10 about admitted prior art, and also what would be 11 obvious to one of ordinary skill. 12 I think if the missing element was admitted 13 prior art, even though it might not exist in either of 14 the two references, or if the missing element were 15 something that would be obvious to one of ordinary 16 skill, then I don't know that it needs to be explicitly 17 disclosed. But in the absence of those two, at least 18 those two situations, I think you would need -- you 19 need to find all the limitations one way or the other. 20 BY MR. SLOSS: 21 Q. Could you please get the '256 declaration. 22 A. I have it, yes. 23 Q. Okay. And I want to ask, turn to page 70, 24 please. I want to first ask you about heading number 25 2?</p>
<p style="text-align: right;">Page 182</p> <p>1 patent and neither of the two references has a claim 2 element, the same claim element, they are missing the 3 same claim element, the combination of the two 4 references will not supply the missing element? 5 MR. CANGRO: Objection to form. 6 A. Sorry, I heard your words, but it might be 7 helpful if you would either rephrase that or explain 8 what you're asking. I'm not sure I fully understood 9 what you were asking. 10 BY MR. SLOSS: 11 Q. Part of your opinion is that combination of, 12 for example, Abi-Nassif and DOCSIS 1.1, render the 13 claims of these two patents invalid, correct? 14 A. Yes, that's at least one of the grounds. 15 Q. Correct. And so my questions without 16 focusing on any particular reference, just as a matter 17 of obviousness, as you understand it, if you have two 18 references, each -- both references are missing the 19 same element of the claimed patent. 20 A. I see, okay. 21 Q. And you combine those two references, the 22 combination of the two references that don't have the 23 same element will not result in a combination that 24 provides all of the elements of the patent, correct? 25 A. Okay.</p>	<p style="text-align: right;">Page 184</p> <p>1 A. Okay. 2 Q. It says, "Exemplary motivations to combine." 3 Do you see that? 4 A. Yes, I see that. 5 Q. Why do you use the word "exemplary" there? 6 A. I believe that I was trying to say that there 7 may be -- may be other reasons that in addition to the 8 ones that I've cited, so these would be I think good 9 examples. I believe I put enough in there that was 10 sufficient to satisfy the threshold of what would be 11 reasonable to combine, but you know, there may be other 12 things. 13 Q. Would it be fair to say that any other 14 motivations were not important enough for you to 15 include? 16 A. No, I don't think so. I don't know that I 17 would agree to that, because I think the -- as I 18 understand it, this motivation to combine is not so 19 much a litmus test as it is kind of a more subjective 20 preponderance of reasons where the combination of a 21 number of things would support the obvious -- 22 obviousness of combining them. 23 Q. Are you planning on putting forth reasons 24 that are not stated here in addition for motivations to 25 combine?</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 185</p> <p>1 A. I have not been asked to do so beyond 2 anything that is in this report at the moment. 3 Q. How did you come up with the motivations to 4 combine what you describe here? 5 A. Well, I did have some discussions with the 6 attorneys about what is, you know, recognized, you 7 know, by the courts as being reasons. I don't know 8 whether I've actually stated them here in the report. 9 So I want to take a look, but I do recall having that 10 conversation, then using those criteria. 11 I don't know that I've explicitly stated 12 the -- what I think are the well-known or commonly 13 recognized criteria for motivation to combine, but you 14 know, as I understand at least some of them that were 15 explained to me, and I may be missing some, that your 16 one issue would be points of similarity, that they are 17 in the same general field of endeavor, that they are 18 both attempting to address some of the same objectives 19 or problems, that adding one of the references to the 20 other overcomes a deficiency, or improves in some way 21 the operation of the other reference. That there may 22 be a teaching in one of the references that -- it says 23 it would be a good idea to use it in the other. These 24 are at least some of the things that I know I had been 25 told to consider in supporting the motivations to</p>	<p style="text-align: right;">Page 187</p> <p>1 paragraph. I'm looking at paragraph 228? 2 A. Yeah, no, I'm -- I'm -- I believe I'm 3 addressing paragraph 228. I think what I'm saying is 4 that DOCSIS 1.1 is explicit, that it's including the 5 MAC layer capabilities that are incorporated by 6 reference in Abi-Nassif. So by DOCSIS 1.1 indicating 7 that it has DOCSIS 1.0 capabilities and Abi-Nassif 8 saying it has 1.0 capabilities, but at that point was 9 unaware of the increased functionality that's described 10 in 1.1, the very fact that DOCSIS 1.1 mentions it has 11 1.0 capabilities would be the motivation within DOCSIS 12 1.1 to combine it into Abi-Nassif, because it would 13 bring these new capabilities into Abi-Nassif, and 14 Abi-Nassif has 1.0 in it already. So combining the two 15 would make sense. 16 Q. Looking at paragraph 231, how would the fact 17 that if a person of skill in the art would recognize 18 that DOCSIS 1.1 was meant to provide enhanced QoS 19 features lead the person skilled in the art to combine 20 the two references? 21 A. So Abi-Nassif describes with less detail than 22 DOCSIS 1.1, and without the specific identification of 23 service flows such as nonrealtime polling service, it 24 does provide for the increase of the overall quality of 25 service, because by reducing the number of contention</p>
<p style="text-align: right;">Page 186</p> <p>1 combine. 2 Q. Can you please get out the '991 declaration? 3 A. Which one? 4 Q. The '991 declaration. 5 A. Oh, okay. I'm looking at the '256. 6 Q. And turn to page 71. 7 A. Okay. 8 Q. Looking there at heading 2, you talk about 9 motivation to combine. Do you see that? 10 A. I see that. 11 Q. Now, I note that you don't say exemplary 12 motivations to combine, or use the word exemplary like 13 you did with the '256 declaration. Is there a reason 14 for that or is that just the way it came out? 15 A. I don't recall there being any difference in 16 my intent here. 17 Q. Okay. Looking at paragraph 228, page 71 of 18 the '991 declaration, you say at the end of the 19 sentence, "Including teaching suggestions and 20 motivations in DOCSIS 1.1 itself." What are you 21 referring to there? 22 A. Well, I start out here with a number of 23 reasons, but Abi-Nassif incorporates by reference 24 DOCSIS 1.0. 25 Q. Sir, I think you're looking at the next</p>	<p style="text-align: right;">Page 188</p> <p>1 slots and having more reservation slots, it is dealing 2 with the basic problem of the allocation of bandwidth 3 which affects the quality of service. 4 DOCSIS 1.1 has fairly elaborate support for 5 enhanced QoS features and that's in an entire chapter, 6 chapter 8, which includes the example nonrealtime 7 polling service that is not identified as such nor 8 detailed as much in Abi-Nassif. So what I'm saying 9 here is that all the chapter 8 stuff, which overlaps 10 with Abi-Nassif, but goes above and beyond it, would be 11 something that one might think about bringing into 12 Abi-Nassif in order to provide enhanced features and 13 functions. 14 Q. Could you look at paragraph 232, please. 15 A. Yes. 16 Q. In the latter part of this paragraph, you 17 say, "The provision of services requiring higher QoS, 18 such as high bandwidth FTP, VoIP and MPEG video, as 19 these polling service flows were known techniques that 20 could be applied to similar devices or devices ready 21 for improvement." What is the basis for that 22 statement? 23 A. I think it's -- may be a little bit more 24 detail on what I just explained with respect to the 25 preceding paragraph. But Abi-Nassif is dealing with</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 189</p> <p>1 some of the QoS and efficient user resource features 2 that I talked about. But it doesn't specifically talk 3 about some of the applications that are identified in 4 DOCSIS, and some of the service flows which DOCSIS 5 specifically created to deal with things like high 6 bandwidth FTP and POIP and streaming services such as 7 video, so there is an understanding that provided -- 8 there was an understanding in Abi-Nassif that QoS is 9 important, that -- but a lack of detail as to what -- 10 what might be required that would be specific to the 11 difference between high bandwidth FTP and voice over 12 IP. These, on the other hand, were revealed in DOCSIS 13 1.1, so putting them into Abi-Nassif would make 14 Abi-Nassif an improved system. 15 Q. Can you please turn to page 75 of the '991 16 declaration. 17 A. Okay. 18 Q. Paragraph 250 states, "One of ordinary skill 19 in the art would have been motivated to modify 20 Abi-Nassif in this manner to increase the efficient 21 utilization of bandwidth." What support do you have 22 for that statement? 23 A. Let me -- so I believe that this paragraph 24 you asked me to respond to, 250, is following on from 25 249, where I'm saying the modification to Abi-Nassif</p>	<p style="text-align: right;">Page 191</p> <p>1 want to ask if the way you're using backwards 2 compatible -- let me ask this. 3 What do you mean by backwards compatible as 4 used in paragraph 251? 5 A. I think -- I think the description I gave 6 this morning would be the same that I would give here, 7 but I might elaborate a little bit. 8 So I think earlier today I said that one 9 aspect of backwards compatible that was important was 10 that end users who had DOCSIS 1.0 cable modems would 11 find that these would still work, and they wouldn't 12 lose any functionality or capability once the cable 13 operator upgraded the CMTS to DOCSIS 1.1. 14 Another aspect, though, of the backward 15 compatibility, which results in the DOCSIS 1.0 cable 16 modems continuing to work, is that all of the features 17 and functions of the DOCSIS 1.0 MAC layer continue to 18 be supported, and the CMTS as well. So by virtue of 19 the requirement to support the older DOCSIS 1.0 cable 20 modems, make them backward compatible with the newer 21 1.1 systems. 22 You also had a requirement in the CMTS, the 23 CMTS be supporting all the -- the 1.1 CMTS also support 24 all the 1.0 capabilities and features in MAC layer. 25 Q. Could you get out the '256 declaration,</p>
<p style="text-align: right;">Page 190</p> <p>1 would be to use the improved and additional features of 2 DOCSIS 1.1 polling service flows, for example, to 3 improve DOCSIS 1.0. 4 So my basis for this is Abi-Nassif discloses 5 DOCSIS 1.0, which at the time was prior art to 6 Abi-Nassif, but was unaware of some of the features 7 that were soon to be released in DOCSIS 1.1. Because 8 the goal of Abi-Nassif was to use load estimation as a 9 means for improving the overall quality of service, 10 that's what the patent was about. 11 There was a recognition of Abi-Nassif that 12 quality of service and improving the user experience, 13 you know, was important. That was part of the reason 14 or objective of the patents. 15 DOCSIS 1.1 provided an elaborated set of 16 improved and additional features which related to the 17 same sort of objective or goal in improving the 18 efficient use of the system and the quality of service, 19 so combining the two or bringing the DOCSIS 1.1 20 teachings into Abi-Nassif would improve the overall 21 operation of the Abi-Nassif system. 22 Q. In paragraph 251, you use the term "backwards 23 compatible." 24 A. Yes. 25 Q. We discussed that this morning, and I just</p>	<p style="text-align: right;">Page 192</p> <p>1 please, and turn to page 85. Actually, before we do 2 that, a couple questions. 3 Is it your understanding that the primary 4 station described in Abi-Nassif corresponds to the 5 DOCSIS cable modem? 6 A. You're not -- 7 Q. No, I'm not focusing on the declaration right 8 now. 9 A. Okay. 10 Q. We will get to that in a minute. 11 A. So I believe you asked me if the primary 12 station as used in Abi-Nassif corresponds to the cable 13 modem. Is that your question? 14 Q. Yes. 15 A. I believe no. 16 As I understand how primary station is used, 17 it would correspond to the base site controllers used 18 in the patents of the CMTS. Because I'm looking here 19 at Abi-Nassif, at least I think the first use of those 20 terms on page 1 started around 925. 21 Q. So secondary station in Abi-Nassif 22 corresponds to cable modem? 23 A. I believe that's the intent. I think the 24 secondary stations are the multipoints as the term is 25 used in the patents, and the primary station is the</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 193</p> <p>1 single point in this point to multipoint network, I 2 believe that's correct. 3 Q. So if a person skilled in the art were to add 4 DOCSIS 1.1's deactivation of service flows to the 5 system described in Abi-Nassif, deactivation would be 6 added to the primary station or the secondary station? 7 A. So the disclosures in DOCSIS 1.1 relate to 8 the operation of the overall system, and the additions 9 of DOCSIS 1.1 teachings I would say would be equally 10 incorporate -- could be equally incorporated or improve 11 the operation of the Abi-Nassif system. 12 What you might do during a transition period 13 when you have some mix of different things is not 14 something that I considered, but certainly if you're 15 starting with a green field, this is a document you 16 aren't concerned about supporting the older devices 17 that are on there, taking what DOCSIS 1.1 teaches and 18 folding it into Abi-Nassif at both the primary and 19 secondary station levels would improve the operation of 20 Abi-Nassif. 21 Q. Okay, now can you turn to page 85 of the '256 22 declaration. 23 A. Okay, I'm there. 24 Q. In looking at paragraph 254, at the first 25 line it talks about the CM is provided with timely</p>	<p>Page 195</p> <p>1 figure K-1. 2 A. Sure. 3 Q. That was DOCSIS 1.1. 4 A. Okay. 5 Q. Again, page 299 of 332. 6 A. Okay, I'm there. 7 Q. All right. I want to focus on the transition 8 from grant pending to idle. 9 A. Okay. 10 Q. And this shows that the transmission is by 11 the CM; is that correct? 12 A. Well, I don't -- again, it's meant, I 13 believe, to be a system state diagram. It's not -- 14 it's not the state diagram of either the internal 15 operation of the CM or the CMTS, but it does show that 16 there are a variety of things which would trigger a 17 transition from grant pending to idle. And one of them 18 that calls -- one of those, those reasons are the first 19 one that's listed there, is that you transmit data from 20 the cable modem to the CMTS without piggybacking. 21 Q. So is it your opinion that the CMTS can 22 transition to idle? 23 A. I don't think that was my testimony. 24 I think what I indicated was that all the 25 state diagrams, I think we have been looking at, are</p>
<p>Page 194</p> <p>1 unicast request opportunities. And in the last 2 sentence it says, "In this manner NRTPS sets aside 3 upstream transmission opportunities." Is there a 4 difference between request opportunities as used there 5 and transmission opportunities? 6 A. So the terminology that I find is useful to 7 describe the resource allocation on this system would 8 be to distinguish between transmission opportunities 9 which are granted by the BSC to the CPE for the CPE to 10 send something, and then the nature of what those 11 transmission opportunities that may be made available 12 will vary. One form of transmission opportunity is the 13 opportunity for the CPE to make a request, which in 14 this paragraph you referred me to is referred to as 15 request opportunities. That is, it's an allowance 16 given by the BSC to the CPE to make a request. 17 Another type of transmission opportunity 18 that's allocated by the BSC would be bandwidth for the 19 purpose of uploading data. So where 254 in the -- 20 paragraph 254 in the last sentence talks about upstream 21 transmission opportunities, it's talking about 22 resources that are going to be made available for the 23 purpose of sending data, not opportunities for just 24 making requests. 25 Q. All right. Could you please go back to</p>	<p>Page 196</p> <p>1 system state diagrams. They represent a set of 2 descriptors related to the entire system, and the 3 system consists of network elements and connections 4 between the network elements. So the state of the 5 system could include various aspect of what a 6 particular network element is doing or not doing, 7 whether a connection between different network elements 8 is enabled or not enabled. And there's nothing within 9 these state diagrams that implies that it's 10 representing the state of any of the network elements, 11 the CMTS or the CPE. 12 Q. Can you please turn to paragraph 258 on page 13 86 of the '256 declaration. 14 A. Paragraph 58? 15 Q. 258. 16 A. Excuse me. 17 Q. Page 86. 18 A. Okay, I'm there. 19 Q. The first line of that paragraph begins, "in 20 the NRTPS service flow, the CM will not request 21 upstream bandwidth if it has no data to send." My 22 question is outside the NRTPS service flow, will the CM 23 request upstream bandwidth if it has no data to send? 24 A. There are situations where the cable modem 25 may request upstream bandwidth outside of the NRTPS</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p>Page 197</p> <p>1 service flow. Would you like me to go ahead and cite 2 some examples? 3 Q. Sure. 4 A. One could be that there are other service 5 flows that are running. There also can be MAC 6 maintenance messages. These are messages from the CMTS 7 to the cable modem that will cause the cable modem to 8 request upstream bandwidth in order to perform whatever 9 the maintenance is. The CMTS could order the cable 10 modem, for example, to rearrange, or it might order the 11 cable modem to dynamic channel change to move to 12 another channel, so there could be other reasons why 13 there could be traffic coming to the CM or CMTS, which 14 as you asked are outside the service flow. 15 Q. You can put away the '256 declaration and 16 DOCSIS and Abi-Nassif, and if you would pull out Sen. 17 A. Okay. 18 Q. Also get the declaration for the '991 patent 19 as well in front of you. 20 A. I have that, yes. 21 Q. Could you please turn to page 97 of the 22 declaration. 23 A. Okay. 24 Q. I want to ask you about paragraph 337. 25 A. Okay, I'm there.</p>	<p>Page 199</p> <p>1 advantage of it. That is, it's not requesting any 2 bandwidth. So that corresponds to one of the 3 modalities in the grant pending absent state, whereas 4 the patentee describes it, you're getting unicast 5 request poll opportunities, just as you are here, and 6 you're waiting for data to arrive. 7 Q. Could you please turn to page 325 of the 8 declaration. 9 A. Page number again? 10 Q. Paragraph 325, page 94. 11 A. I'm there. 12 Q. You say in this paragraph, "The MS sends a 13 packet channel request message to the network, and 14 awaits receipt of a packet intermediate assigned 15 message." You're saying there that the MS awaits 16 receipt of a packet, intermediate assignment message, 17 in the MAC contention state? 18 A. Yeah, so the -- the request message is being 19 sent in the contention state. The immediate assignment 20 message that comes back is being sent from the base 21 station back to the mobile station, and not in a 22 contention mode. But the request message is sent in a 23 contention mode. 24 Q. In a contention state, you say there. 25 A. Yeah. Yeah, okay. The -- so the way Sen</p>
<p>Page 198</p> <p>1 Q. Okay. Are you saying that the packet 2 transfer state of Sen is the same as the grant pending 3 state of claim 1 of the '991 patent? 4 A. Let me get oriented here. 5 Q. Yes. 6 A. Yeah, so I think on the pleading the 7 functionality of the packet standby state of Sen to the 8 grant pending absent -- sorry, start over. 9 I'm equating the packet standby state of Sen 10 to the grant pending absent state of the '991 patent. 11 Q. Okay. What is your basis for making that 12 connection? 13 A. Okay, so I'm referring back in this section 14 on page 97, back to my description of Sen in paragraphs 15 192. 16 Q. Okay. 17 A. And the functionality that's described here 18 in paragraph 192 are empty packets. So in this packet 19 standby state, there's disclosures in Sen that I refer 20 to starting here in paragraph 193, that Sen's 21 description of the empty packets means that it has to 22 be interpreted as that the mobile station is being 23 granted noncontention opportunities to send the data 24 upstream, because that's the whole idea. But the idea 25 of sending empty packets is -- it's not taking</p>	<p>Page 200</p> <p>1 describes transition A is that you enter the contention 2 state by sending the packet channel request message. 3 Q. And you're referring to figure 4 from Sen 4 which is reproduced at the bottom of page 94 of your 5 declaration? 6 A. That's correct. 7 Q. The packet intermediate assignment message is 8 essentially a bandwidth grant, correct? 9 A. Yeah. Yeah, it's a transmission -- it's a 10 grant of using transmission services. I'm being 11 careful to distinguish between a grant for making a 12 request and a grant for actually sending data. 13 Q. You talked about transmission resources, is 14 that the same as bandwidth? 15 A. Well, bandwidth is used in a variety of 16 different ways in these references, including the 17 patents, and particularly it's request bandwidth, grant 18 bandwidth, and so on and so forth. So I want to be 19 clear about how I believe it's being used in Sen. The 20 packet channel request message is a request for 21 bandwidth to actually send -- to send data up. 22 Q. In the '991 patent, the CPE awaits receipt of 23 a bandwidth grant from a BSC in the grant pending 24 state, correct? 25 If you look at column 12, lines 2 and 3 of</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 201</p> <p>1 the patent. 2 A. We are talking about '991? 3 Q. Correct. 4 A. So I will do that, but I'll just note that on 5 page 19 of my declaration, I cited the column 7, line 6 10 to 13 for the inventor's description of the grant 7 pending state, which says it waits for and receives a 8 bandwidth grant to send upstream data. 9 You're pointing me to some other part of 10 that? 11 Q. I'm pointing you to the claim, which is what 12 my question is based on, column 12, line 2 and 3. It 13 says the CPE is a -- "in a grant pending state wherein 14 the CPE awaits receipt of a bandwidth grant from the 15 BSC." 16 A. Yeah, I see that, and I believe that comports 17 to my paragraph 52 of my report, yeah. 18 Q. So what I said was correct, though, that in 19 claim 1 of the patent, the CPE awaits receipt of a 20 bandwidth grant from a BSC in the grant pending state, 21 looking at the claim language? 22 A. I believe that's correct, yes. 23 Q. All right. Now, if in Sen the contention 24 state is where the MS awaits a bandwidth grant, and in 25 the patent the grant pending state is where the CPE</p>	<p style="text-align: right;">Page 203</p> <p>1 the packet transfer state -- of the grant pending 2 state. 3 Q. Could you please turn to turn to page 97 of 4 your declaration and look at paragraph 338. 5 A. Okay. 6 Q. Does Sen define an empty packet? 7 A. I don't believe it makes clear as to whether 8 it is the absence of a transmission or if it is 9 specifically something to be recognized as a packet 10 that has no -- no payload or content, but it does 11 describe something that the system recognizes as being 12 different than an upstream data transfer. 13 Q. And Sen also does not define when an MS might 14 transmit an empty packet, correct? 15 A. It doesn't -- I don't know whether you're 16 using the word defined in the same way, but it does 17 characterize the packet standby state as a state in 18 which it has the opportunity to use transmission 19 resources, but, you know, can -- cannot use them by 20 sending empty packets. 21 Q. Let me ask it this way. Does Sen say when an 22 MS might transmit an empty packet? 23 A. Well, it indicates that you're given that 24 opportunity if you don't have data, you would send an 25 empty packet. You would -- if you don't have anything</p>
<p style="text-align: right;">Page 202</p> <p>1 awaits a bandwidth grant, the grant pending state of 2 claim 1 of the '991 patent cannot be equivalent to the 3 packet transfer state. Would you agree with that? 4 A. No, I wouldn't. The packet transfer state in 5 Sen is one where you are -- you've been given bandwidth 6 grants and you're actually sending packets, so that 7 would correspond to the patent's use of grant pending. 8 You go from packet idle to MAC contention in 9 Sen, and then if you do not have to defer as per state 10 transition line B, you go via line D, to packet 11 transfer, and in packet transfer just like the grant 12 pending state in the '991, you are now getting grants 13 to up transition bandwidth for sending upstream 14 packets. 15 Q. So it is your opinion that the grant pending 16 state of claim 1 of the patent is equivalent to the 17 packet transfer state of Sen? 18 A. I believe the -- at least the essential 19 aspects of what the inventor claims are the 20 characteristics of the grant pending absent state are 21 also performed by the packet transfer state in Sen. 22 Sen has a different architecture and there 23 may be other things that are happening in the packet 24 transfer state, but it's -- it's at least doing what -- 25 what the inventor describes as being the functions of</p>	<p style="text-align: right;">Page 204</p> <p>1 to send, you don't have any data sitting, you would 2 send an empty packet. 3 Q. Does Sen say that? 4 A. So I have the analysis of the empty packets, 5 and I think addressing the question you asked, on page 6 61 of my declaration, paragraph 192, and I don't cite 7 to specific language that says these are the times when 8 you're sending them, but I think that would be the 9 understanding, because what it's saying here is that 10 the mobile station is being granted nonrecurring 11 opportunity to send data upstream, but it's not taking 12 advantage of those opportunities in paragraph 193. 13 So the reason you would not be sending -- 14 taking advantage of these opportunities is if you don't 15 have data to send, because there would be no other 16 reason not to do that, and of course the whole purpose 17 of the system is to allow you to efficiently and 18 rapidly send information from the mobile station to the 19 base. So if you're given the opportunity and you have 20 data to send, you would send it. And the reason you 21 would send an empty packet is that you have nothing to 22 send. 23 Q. Could you please turn to page 104 of the 24 declaration. 25 A. Sure.</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 205</p> <p>1 Q. Looking at paragraph 372. 2 A. Okay. 3 Q. Now, is what you're describing there that Sen 4 is sending a packet channel message -- sorry, a packet 5 channel request message in a MAC contention state? 6 A. Oh, I'm citing here to column 3 and column 4, 7 but I think with respect to your question, the column 4 8 citation I think addresses that. Column 4, line 15, it 9 says, "in the MAC contention state, the MS sends the 10 packet channel request message to the network an 11 arbitrary number of times." 12 Q. So it does send the packet channel request 13 message in the contention state? 14 A. The -- yeah, the column -- column 4, line 15 15 describes at least in this description of figure 3. 16 Q. So the control packets in Sen use contention? 17 A. Ask me again. 18 Q. So the control packets in Sen use contention? 19 A. Well, I want to be careful to distinguish 20 between using contention technology and contention 21 states and so on and so forth. 22 I think the disclosure in Sen is -- and by 23 the way, I was referring to what happens in the prior 24 figure 3, but you know as we talked before, it's the 25 same portion that occurs also in figure 4. The packet</p>	<p style="text-align: right;">Page 207</p> <p>1 state packet transfer, and this is in response to a 2 control packet being received by the base station from 3 the MSC. 4 Q. I think in your answer you used both the 5 terms grant pending state and grant pending absent 6 state. Were you simply equating those states with the 7 like states that you believe are in Sen when you use 8 those terms? 9 A. Yeah, I believe I've said before that I 10 believe the -- at least the functionality described by 11 Sen in packet transfer encompasses and includes all the 12 functionality that the inventor has, perhaps more, and 13 that packet standby incorporates and includes all the 14 functionality associated with the grant pending absent 15 that the inventor described. So I'm not saying there 16 are not other things that are disclosed in Sen, but at 17 the very least Sen's description of packet transfer 18 state and packet standby would teach the limitations 19 that are in grant pending and grant pending absent in 20 the patent. 21 Q. In paragraph 48, can you tell me what states 22 in Sen the activities described in paragraph 48 are 23 occurring? 24 A. 348? 25 Q. 348, I'm sorry.</p>
<p style="text-align: right;">Page 206</p> <p>1 channel request message is subject to collisions, so it 2 can be regarded as a contention request. It's a 3 request that's sent using a resource which is shared by 4 other stations in which there is a possibility of 5 contention. 6 There is a description of a contention mode 7 or contention state in the state diagram that involve 8 aspects of contention, but the request message is sent 9 from packet idle to the contention state, so that's the 10 thing that causes the transition. 11 Q. Could you please turn to page 99 of the 12 declaration. 13 A. Yeah. 14 Q. Look at paragraph 347. 15 A. 90-what? 16 Q. 99, paragraph 347. 17 A. Okay. 18 Q. So in what state as described in Sen, do the 19 activities set forth in paragraph 347 take place? 20 A. So this claim limitation talks about being in 21 the grant pending absent state, where you're waiting 22 for data to arrive, but you're getting opportunities, 23 and then you transition to the grant pending state. So 24 in Sen, you're in the grant pending absent state packet 25 standby, and then you transition to the grant pending</p>	<p style="text-align: right;">Page 208</p> <p>1 A. Reading from the previous 347, 348 is saying 2 that once you -- in response to this control packet you 3 allocate the bandwidth to the MS, so that would 4 correspond to you then moving to the grant pending 5 state because now you can use this bandwidth that's 6 been allocated to transmit the data upstream. I'm 7 sorry, I would just conclude with saying that paragraph 8 349 summarizes that saying you transition from grant 9 pending absent to grant pending after you get that 10 grant. 11 Q. Can you please look at Sen in column 4. At 12 lines 55 through 57, it states there, "the MS26 can 13 then transmit the packets via the reduced amount of the 14 original bandwidth." Do you see that? Then it goes 15 on. 16 A. Yeah. 17 Q. My question is, isn't that saying that the 18 transition -- the transmission as described there, 19 that's not necessarily occurring after a subsequent 20 bandwidth grant is received, correct? 21 A. Well, there are a couple of disclosures in 22 Sen. One of them that you just pointed to here, which 23 appears to be one way in which you can effect that 24 transition, the other one is the concept of a control 25 packet which would just be a request for more</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 209</p> <p>1 bandwidth, which is column 4, line 57, I believe.</p> <p>2 Q. But my question is -- I mean, Sen does</p> <p>3 disclose that a transmission can occur other than when</p> <p>4 a subsequent bandwidth grant is received, correct?</p> <p>5 A. It does disclose that as one of the possible</p> <p>6 ways that you can cause that transition, but it doesn't</p> <p>7 take away from the fact that that transition can also</p> <p>8 occur by sending a control packet which would</p> <p>9 correspond, since it's not actual data, that would</p> <p>10 correspond to request for more bandwidth as I noted in</p> <p>11 347. So I believe as I stated a few moments ago, Sen</p> <p>12 may have some additional functionality and capabilities</p> <p>13 that are included, but at the very least it encompasses</p> <p>14 and supports the description of the various states, the</p> <p>15 functionality of the states as the inventor has</p> <p>16 described them in the '991 patent.</p> <p>17 Q. Can you look at paragraph 350, please.</p> <p>18 A. I see it.</p> <p>19 Q. You state there, "In Sen the MS may enter the</p> <p>20 packet idle state 38 if a release timer expires." Sen</p> <p>21 does not explain what events start its release time,</p> <p>22 correct?</p> <p>23 A. I believe that -- so with reference to</p> <p>24 paragraph 350 of my declaration, I cite to Sen column</p> <p>25 5, line 8 through 10, and it does not elaborate further</p>	<p style="text-align: right;">Page 211</p> <p>1 MR. CANGRO: It's been about an hour and</p> <p>2 twenty minutes, so if you're going to switch gears --</p> <p>3 MR. SLOSS: Pardon me?</p> <p>4 MR. CANGRO: We have been going about an hour</p> <p>5 and twenty minutes.</p> <p>6 MR. SLOSS: I'm almost done. Five minutes at</p> <p>7 most.</p> <p>8 MR. CANGRO: You mean done-done?</p> <p>9 MR. SLOSS: Done-done.</p> <p>10 MR. CANGRO: Okay.</p> <p>11 BY MR. SLOSS:</p> <p>12 Q. So Rydnell, Rydnell does not describe a state</p> <p>13 equivalent to the '991 patent's grant pending absent</p> <p>14 state, correct?</p> <p>15 A. I did not rely upon Rydnell for teaching the</p> <p>16 grant pending absent, so I'm not prepared to offer an</p> <p>17 opinion on that. I relied upon it for the expiration</p> <p>18 of the activity timer, but I would note that it does</p> <p>19 have a feature in it that I describe in paragraph 355,</p> <p>20 by sending these begin frames which is a noncontention</p> <p>21 request. So it's possible on further analysis I might</p> <p>22 be able to be specific about identifying the grant</p> <p>23 pending absent state, but it -- well, let me maybe be</p> <p>24 clearer.</p> <p>25 So because -- because this analysis beginning</p>
<p style="text-align: right;">Page 210</p> <p>1 about what the release timer is, but I think one of</p> <p>2 ordinary skill reading this particular section,</p> <p>3 understanding that that's in close proximity to the MS</p> <p>4 disconnected link or the release timer expires, would</p> <p>5 understand that this kind of corresponds to the timeout</p> <p>6 that's described in the Varma patent with respect to</p> <p>7 inactivity.</p> <p>8 Q. Why would a person of skill in the art know</p> <p>9 that?</p> <p>10 A. Because you would understand that the whole</p> <p>11 purpose, objective of all these references are to</p> <p>12 efficiently use a system, and because the concept of</p> <p>13 inactivity timeout is a general concept generally</p> <p>14 applied to resource limited systems such as this, that</p> <p>15 when you have a release timer, you would understand</p> <p>16 that that would most appropriately relate to one or</p> <p>17 more of the network elements no longer using the</p> <p>18 resource, and therefore you would want to be able to,</p> <p>19 as a the term release implies, release those resources</p> <p>20 and make them back available for use by the user. So</p> <p>21 that would be the normal understanding of -- of the</p> <p>22 term release timer as it would be used here in this</p> <p>23 patent.</p> <p>24 Q. I want to ask you a couple of questions about</p> <p>25 Rydnell.</p>	<p style="text-align: right;">Page 212</p> <p>1 on paragraph 355 which describes sending a begin frame</p> <p>2 which is in a noncontention request thing, that is a</p> <p>3 feature or capability of what the grant pending absent</p> <p>4 state is.</p> <p>5 Q. Well, it's a feature of it, but it's not</p> <p>6 equivalent to the state, correct?</p> <p>7 A. Well, it's not a complete total description</p> <p>8 of the state, but I believe that when you look at that</p> <p>9 particular feature which is one of the prominent</p> <p>10 aspects of the grant pending absent, and you look at</p> <p>11 the idea that it has this timer expired, when combined</p> <p>12 with the other references I think it supports the idea</p> <p>13 of transitioning from the grant pending absent to the</p> <p>14 idle state, because that timeout timer is taking you to</p> <p>15 the point where you no longer can be sending the begin</p> <p>16 frame.</p> <p>17 Q. Well, it's true, though, isn't it, that a</p> <p>18 person skilled in the art would not know to correlate</p> <p>19 Rydnell's FPM inactivity timer to a state equivalent to</p> <p>20 a grant pending absent state?</p> <p>21 MR. CANGRO: Object to the form.</p> <p>22 A. I don't -- I guess I would not agree with</p> <p>23 that. I think that reading Rydnell, and in the</p> <p>24 combination with Sen, because there's, again, the same</p> <p>25 motivation to combine this that's described here on</p>



800.211.DEPO (3376)
EsquireSolutions.com

<p style="text-align: right;">Page 213</p> <p>1 page 101, I think you would look at the timeout method 2 which is taking you from a state in which you can send 3 requests without contention, which is -- has a great 4 deal of overlap with the capabilities of the grant 5 pending absent state, I think it would reinforce the 6 idea that Rydnell does teach you the aspect of a timer 7 that's relevant to understanding -- the aspect of the 8 timer that could be incorporated into Sen to the extent 9 Sen may be missing it, because Rydnell has more detail 10 about this begin frame, and what that means and it 11 specifically says it can be sent in a noncontention 12 request. 13 BY MR. SLOSS: 14 Q. Can you please look at paragraph 359. 15 A. I see that. 16 Q. Now, Sen describes a packet idle state, 17 correct? 18 A. Yes. 19 Q. We talked about that. Are you saying that 20 such a packet idle state conserves battery life? 21 A. I think Sen is silent in the same way that 22 the these patents are as to exactly what else is 23 happening in the devices, in the idle state, but I 24 don't think Sen explicitly discloses conserving battery 25 life, which is why the explicit disclosure in Rydnell</p>	<p style="text-align: right;">Page 215</p> <p>1 Q. Why do you say that a person skilled in the 2 art would have been motivated to implement piggybacking 3 in the LLC block headers of Sen? 4 A. I'm sorry, I must be at a different place. 5 Q. Paragraph 335. 6 A. Oh, I was looking at 336. Excuse me. So as 7 the patent owner admits with the -- as admitted prior 8 art, piggybacking is a well-known technique which is 9 not explicitly disclosed in Sen that obviates a need to 10 send separate resource messages. So if you were to 11 incorporate the piggybacking capabilities into Sen, you 12 wouldn't need to send the -- I can't find the patent -- 13 shown in figure 2, you have these packet resource 14 request messages which are messages which are use of 15 the transmission resource, which would be described as 16 overhead. 17 They are not actually transferring any 18 traffic. So if you could use piggybacking by informing 19 the base station that you need to use resources, you 20 wouldn't have to send the packet resource request 21 message, and that would improve the overall operation 22 of the system. 23 Q. Now, piggybacking was known at the time of 24 the Sen patent, correct? 25 A. It was known prior art, yes.</p>
<p style="text-align: right;">Page 214</p> <p>1 that this sleep mode, which is -- covers everything 2 that's associated with idle, but also talks about 3 saving battery, that might be a reason to want to bring 4 Rydnell into Sen precisely because Sen is silent about 5 anything it's doing to conserve battery life. Rydnell 6 could be a useful addition that would improve the 7 operation of the Sen system. 8 Q. In looking at paragraph 360, how would the 9 determination of expiration based on a failure to 10 transmit a begin frame provide for power efficiency as 11 you state in paragraph 360? 12 A. Well, again, it's failure to send a begin 13 frame is just as the patent describes. It's in the 14 grant pending absent state, it's failing to take 15 advantage of opportunities to request resources, 16 because that's what the begin frame basically does. So 17 when that timeout timer expires in Rydnell you go to 18 sleep, you turn off the -- in addition to not sending 19 anything, you also go into battery saving mode where 20 you're not consuming resources, you're not consuming 21 power from the battery to run the transmitter and 22 receiver. 23 Q. Would you please turn to paragraph 335 on 24 page 96. 25 A. I'm there.</p>	<p style="text-align: right;">Page 216</p> <p>1 Q. So if Sen had wanted to include piggybacking, 2 Sen could have included piggybacking, correct? 3 A. It -- if he was aware of it and had thought 4 about doing it, he might well include it. 5 Q. It was a pretty well-known concept in the 6 industry at the time, correct? 7 A. It was not something that was generally used 8 in the GPRS protocols, which is part of the world of 9 GSM technology. GPRS is part of the GSM mobile 10 standards. 11 It's possible he may not have been aware of 12 it or -- any one of a number of reasons, such as 13 limitations of the processing capabilities of the 14 devices at the time, whatever, may have chose not to -- 15 Q. But you don't know why it was or was not -- 16 why it was not included in the patent? 17 A. No, my knowledge is limited to the -- kind of 18 the theoretical question of -- it's not disclosed in 19 Sen, and it is disclosed in the other references, and I 20 believe it would probably have improved the operation 21 of Sen. 22 MR. SLOSS: I have no further questions. 23 Thank you. 24 MR. CANGRO: The witness takes the 25 opportunity to reserve to review and sign. That's it.</p>




800.211.DEPO (3376)
EsquireSolutions.com

Page 217

1 MR. SLOSS: That's fine. 30 days?
2 MR. CANGRO: Yes, I think it's the same, 30
3 days.
4 THE COURT: Mr. Cangro, do you need a copy of
5 the transcript?
6 MR. CANGRO: I do.
7 (PROCEEDINGS ADJOURNED AT 5:45 p.m.)
8 * * * *
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 218

1 CERTIFICATE OF REPORTER
2 STATE OF NEVADA)
3) ss:
4 COUNTY OF CLARK)
5 I, June W. Seid, a Certified Court Reporter
6 licensed by the State of Nevada, certify: That I
7 reported the deposition of STUART J. LIPOFF, on
8 Tuesday, April 18, 2017, at 9:00 a.m.;
9 That prior to being deposed, the witness was
10 duly sworn by me to testify to the truth. That I
11 thereafter transcribed my said stenographic notes via
12 computer-aided transcription into written form, and
13 that the typewritten transcript is a complete, true and
14 accurate transcription of my said stenographic notes.
15 That review of the transcript was requested.
16 I further certify that I am not a relative,
17 employee or independent contractor of counsel or of any
18 of the parties involved in the proceeding; nor a person
19 financially interested in the proceeding; nor do I have
20 any other relationship that may reasonably cause my
21 impartiality to be questioned.
22 IN WITNESS WHEREOF, I have set my hand in my
23 office in the County of Clark, State of Nevada, this
24 25th day of April, 2017.
25 
JUNE W. SEID, CCR NO. 485

Page 219

1 DEPOSITION ERRATA SHEET
2
3 Assignment No. J0542400
4 Case Caption: TCT Mobile (US) Inc. v. Wireless Protocol
5 Innovations, Inc.
6
7
8 DECLARATION UNDER PENALTY OF PERJURY
9
10 I declare under penalty of perjury that I
11 have read the entire transcript of my deposition taken
12 in the captioned matter or the same has been read to
13 me, and the same is true and accurate, save and except
14 for changes and/or corrections, if any, as indicated by
15 me on the DEPOSITION ERRATA SHEET hereof, with the
16 understanding that I offer these changes as if still
17 under oath.
18
19 Signed on the ____ day of
20 _____, 20__.
21
22
23 STUART J. LIPOFF
24
25

Page 220

1 DEPOSITION ERRATA SHEET
2 Page No. ____ Line No. ____ Change to: ____
3
4 Reason for change: ____
5
6 Page No. ____ Line No. ____ Change to: ____
7
8 Reason for change: ____
9
10 Page No. ____ Line No. ____ Change to: ____
11
12 Reason for change: ____
13
14 Page No. ____ Line No. ____ Change to: ____
15
16 Reason for change: ____
17
18 Page No. ____ Line No. ____ Change to: ____
19
20 Reason for change: ____
21
22 Page No. ____ Line No. ____ Change to: ____
23
24 SIGNATURE _____ DATE: ____
25 STUART J. LIPOFF



800.211.DEPO (3376)
EsquireSolutions.com

Page 221	
1	DEPOSITION ERRATA SHEET
2	Page No. _____ Line No. _____ Change to: _____
3	_____
4	Reason for change: _____
5	_____
6	Page No. _____ Line No. _____ Change to: _____
7	_____
8	Reason for change: _____
9	_____
10	Page No. _____ Line No. _____ Change to: _____
11	_____
12	Reason for change: _____
13	_____
14	Page No. _____ Line No. _____ Change to: _____
15	_____
16	Reason for change: _____
17	_____
18	Page No. _____ Line No. _____ Change to: _____
19	_____
20	Reason for change: _____
21	_____
22	Page No. _____ Line No. _____ Change to: _____
23	_____
24	SIGNATURE _____ DATE: _____
25	STUART J. LIPOFF



800.211.DEPO (3376)
EsquireSolutions.com

DEPOSITION ERRATA SHEET

Assignment No. J0542400

Case Caption: TCT Mobile (US) Inc. v. Wireless Protocol
Innovations, Inc.

DECLARATION UNDER PENALTY OF PERJURY

I declare under penalty of perjury that I
have read the entire transcript of my deposition taken
in the captioned matter or the same has been read to
me, and the same is true and accurate, save and except
for changes and/or corrections, if any, as indicated by
me on the DEPOSITION ERRATA SHEET hereof, with the
understanding that I offer these changes as if still
under oath.

Signed on the 30th day of

APRIL, 2017.



STUART J. LIPOFF



800.211.DEPO (3376)
EsquireSolutions.com

DEPOSITION ERRATA SHEET

All the corrections noted below are for transcriptions errors

Page 10

Line 13- " the point. And" should be "the point, in"

Page 16

Line 12- "Edgar" should be "Vehicular"

Page 18

line 3- "HD220" should be "HT220"

line 7- same as above

Page 22

line 9 "ISO" should be "OSI"

Page 93

line 21- "multicabling network system" should be "Multimedia Cable Network Systems"

Page 108

Line 23- "wi-max" should be "WIMAX"

Page 128

line 11- "site" should be "cite"

Page 136

line 11- "correspondence" should be "corresponding"

Page 167


line 8-"C2257" should be "C.2.2.5.7"

line 15-"C.2.5.7" should be "C.2.2.5.7"

Page 189

line 6-"POIP" should be "VoIP"

SIGNATURE



DATE: 4/30/2017

STUART J. LIPOFF



800.211.DEPO (3376)
EsquireSolutions.com