



Planet Depos®
We Make It *Happen™*

Transcript of Craig Ellis Wills, Ph.D.

Date: June 17, 2025

Case: Home Depot U.S.A., Inc. -v- Ravenwhite Security, Inc. (PTAB)

Planet Depos

Phone: 888.433.3767 | **Email:** transcripts@planetdepos.com

www.planetdepos.com

Michigan #8598 | Nevada #089F | New Mexico #566

WORLDWIDE COURT REPORTING & LITIGATION TECHNOLOGY

Security Tech EX2012
Walmart v. Security Tech
IPR2025-00809

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

- - -

HOME DEPOT U.S.A., INC., : Case IPR2024-01316
Petitioner :
v. : U.S. Patent No.
: 10,594,823
RAVENWHITE SECURITY, INC., :
:
Patent Owner. :

- - -

DEPOSITION

DEPONENT: CRAIG ELLIS WILLS, Ph.D.
DATE: Tuesday, June 17, 2025
TIME: 9:00 a.m.
LOCATION: One Liberty Place
1650 Market Street
Suite 5000
Philadelphia, PA 19103
REPORTER: Lisa Claud Neal, RPR, CSR, CLI
Notary PA-NJ-DE

- - -

1 APPEARANCES:

2 DLA PIPER, LLP
3 BY: JOSEPH WOLFE, ESQUIRE
4 JENNIFER LIBRACH NALL, ESQUIRE
5 1650 Market Street
6 Suite 5000
7 Philadelphia, PA 19103
8 215-656-3300

9 -- Home Depot U.S.A., Inc.

10 STERNE, KESSLER, GOLDSTEIN & FOX PLLC
11 BY: STEVEN M. PAPPAS, ESQUIRE
12 RICHARD M. BEMBEN, ESQUIRE (Director)
13 1101 K Street, NW, 10th Floor
14 Washington, DC 20005
15 202-371-2600

16 -- Ravenwhite Security, Inc.

17 - - -

1

- - -

2

I N D E X

3

- - -

4

5

6

RESPONDENT'S EVIDENCE

7

Witness

Page

8

Craig Ellis Wills, Ph.D.

9

By Mr. Pappas

5, 128

10

By Mr. Wolfe

121

11

12

- - -

13

14

15

16

17

18

19

20

21

22

1

- - -

2

E X H I B I T S

3

- - -

4

5

PATENT OWNER'S

6

NUMBER

DESCRIPTION

MARKED

7

1

Abstract

26

8

9

- - -

10

11

12

13

14

15

16

17

18

19

20

21

22

1 P R O C E E D I N G S

2

3 - - -

4 CRAIG ELLIS WILLS, PH.D., having
5 been duly sworn, was examined and
6 testified as follows:

7 - - -

8 EXAMINATION

9 - - -

10 BY MR. PAPPAS:

11 Q. Good morning, Dr. Wills. How you
12 are today?

13 A. I'm doing well, thank you.

14 Q. Would you please state your full
15 legal name, for the record.

16 A. My full legal name is Craig Ellis
17 Wills.

18 Q. You understand that you're having
19 your deposition taken today in connection
20 with a declaration you provided in
21 IPR2024-01316?

22 A. I do.

1 Q. And this proceeding concerns U.S.
2 Patent No. 10594823. Does that sound right?

3 A. Yes. 823 certainly sounds right.

4 Q. If I refer to the patent as the
5 823 patent, will you understand what I'm
6 referring to?

7 A. Yes.

8 Q. I'm going to hand you Exhibit 1002
9 in this proceeding --

10 A. Okay.

11 Q. -- which is your declaration.
12 You're welcome to use a different copy as
13 long as it's clean.

14 A. This is just a clean copy of my
15 declaration (indicating).

16 Q. Is that your signature on the
17 cover page?

18 A. That is indeed my signature.

19 Q. Did you review your declaration in
20 preparing for this deposition?

21 A. I did indeed.

22 Q. In your review did you come across

1 any errors in your declaration?

2 A. I did come across that in one
3 place the word string appears when it should
4 be storing, but otherwise, I don't -- I
5 don't recall anything.

6 Q. Do you recall what paragraph of
7 your declaration that error occurs?

8 A. As I sit here, no. But I believe
9 if you search for the word "string," I don't
10 think "string" otherwise comes up, but I'm
11 sorry I didn't note that.

12 Q. That's all right. Are there any
13 other errors that you're aware of?

14 A. No.

15 Q. Are there any opinions in your
16 declaration that you would like to clarify
17 before we get started?

18 A. There are no opinions that I would
19 like to change or clarify. No.

20 Q. Do you understand that you are
21 under oath today?

22 A. I do understand that. Yes.

1 Q. And you understand that you are
2 here to give complete and accurate
3 testimony?

4 A. I do understand that, yes.

5 Q. Is there any reason why you can't
6 give complete and accurate testimony today?

7 A. There is not.

8 Q. You've been retained by the
9 petitioner in this proceeding Home Depot; is
10 that correct?

11 A. Yes.

12 Q. Are you aware that the 823 is
13 involved in a parallel district court
14 litigation involving the parties to this IPR
15 proceeding?

16 A. I am aware that there are other
17 proceedings, yes.

18 Q. Have you been retained by Home
19 Depot to assist with the parallel district
20 court proceeding?

21 MR. WOLFE: Objection.

22 THE WITNESS: As of right now,

1 no.

2 BY MR. PAPPAS:

3 Q. Have you been deposed before?

4 A. I have.

5 Q. Approximately how many times have
6 you been deposed?

7 A. I've probably been deposed 10, 12
8 sessions, I'll say.

9 Q. And approximately how many of
10 those depositions were for proceedings in
11 front of the United States Patent Trademark
12 Office?

13 A. I think the majority of them would
14 be.

15 Q. When you say the majority, more
16 than half of those proceedings?

17 A. Yes.

18 Q. And the other proceedings, were
19 those proceedings in Federal District Court?

20 A. There were one -- there was
21 also -- so some did not involve patent
22 infringement, some involved class action

1 lawsuits.

2 Q. What did the class action lawsuits
3 generally relate to?

4 A. Privacy concerns. I remember
5 there was one involving Hulu that tied in
6 directly to some of the research that I had
7 done.

8 Q. And when you say privacy concerns,
9 that's privacy for users on the Internet?

10 A. Yes.

11 Q. So I know you've been deposed
12 before, but I just want to go over a few
13 basic ground rules. Everything you say
14 today will be written down by the court
15 reporter so please try to give verbal
16 answers so the transcript is clear. Is that
17 fair?

18 A. That's clear, yes.

19 Q. If you don't understand any part
20 of a question today, will you let me know so
21 I can clarify?

22 A. Yes, I will.

1 Q. And if you answer my question, I'm
2 going to assume that you understood it. Is
3 that fair?

4 A. That is fair, yes.

5 Q. From time to time your counsel may
6 object to questions, but do you understand
7 that you still need to answer the question
8 unless your counsel instructs you not to?

9 A. I do understand that, yes. I
10 expect to take breaks every hour or so, but
11 if you need a break at a different time,
12 just let me know.

13 A. Okay.

14 Q. And I would just ask that you
15 answer any pending question before we take
16 the break. Is that fair?

17 A. Understood.

18 Q. Let's talk a little bit about your
19 preparation in writing the declaration. If
20 you can turn to your declaration, I won't
21 point you to anything specific yet, but
22 generally, did you write the declaration

1 yourself?

2 A. Yes, I drafted initial drafts of
3 it, and then had assistance with editing,
4 and bringing it to its final version.

5 Q. Did you draft the entire initial
6 version of your declaration?

7 A. I drafted substantial portions of
8 it, yes.

9 Q. Approximately how much time did
10 you spend preparing your declaration?

11 A. Many, many hours. Tens, hundreds,
12 hours. I don't know. I can't -- that was
13 back last fall. I don't remember the
14 specifics.

15 Q. Do you think it was more than a
16 hundred hours?

17 A. It may have been, it may have
18 been.

19 Q. At least close to a hundred hours?

20 A. Sure.

21 Q. Did you talk to anyone outside of
22 counsel for Home Depot in the process of

1 preparing your declaration?

2 A. No, I did not.

3 Q. Can you please turn to paragraph
4 30 of your declaration?

5 A. Yes.

6 Q. So here you say you reviewed
7 and/or considered at least the documents
8 cited in the list of exhibits in the
9 documents referenced in this declaration.
10 Do you see that?

11 A. Where are we? I'm not sure I'm in
12 the same spot as you. I'm on page 30?

13 Q. I'm sorry, paragraph 30, page 15.

14 A. Okay. I'm not sure I misheard
15 or -- materials considered, yes.

16 Q. So again in the first sentence you
17 say, "I have reviewed and/or considered at
18 least the documents cited in the list of
19 exhibits and the documents referenced in
20 this declaration." Do you see that?

21 A. Yes.

22 Q. Does the list of exhibits refer to

1 the index of exhibits at the beginning of
2 your declaration?

3 A. Yes, it does.

4 Q. Did you review any other materials
5 that are not cited in your declaration?

6 A. I believe as part of background
7 work I would have reviewed other documents,
8 materials I found on line, but ultimately
9 decided that were they were not useful to
10 include here. So there were certainly other
11 materials that I looked at that ultimately
12 did not end up cited in here.

13 Q. Did you review the Home Depot's
14 petition in preparing your declaration?

15 A. The Home Depot's petition, I did
16 not.

17 Q. Did you review Home Depot's
18 petition at any point after writing your
19 declaration?

20 A. I have not.

21 Q. Did you review Home Depot's
22 petition in preparation for this deposition?

1 A. I did not.

2 Q. So, let's talk a little bit about
3 today's deposition. What did you do to
4 prepare for the deposition today?

5 A. I looked at and reviewed and read
6 my own declaration. I looked again at the
7 823 patent. I looked at the Hinton patent
8 and Vargahse patent. I think those are the
9 primary materials that I reviewed.

10 Q. Who did you meet with in preparing
11 for your deposition today?

12 A. I met with Jennifer and Joe.

13 Q. Anyone else?

14 A. No.

15 Q. Were those meetings in person?

16 A. We had meetings both virtually and
17 in person.

18 Q. Approximately how many -- how long
19 did you spend preparing for your deposition?

20 A. You mean -- when are you -- when
21 are you counting? Do you mean since I wrote
22 the declaration, since I -- in the last

1 week?

2 Q. Let's start with approximately how
3 many hours did you spend meeting with
4 counsel in preparation for your deposition?

5 A. Maybe 15, give or take.

6 Q. And approximately how much time
7 did you spend reviewing documents in
8 preparation for your deposition?

9 A. You mean my -- me, myself
10 reviewing documents?

11 Q. Yes, aside from the meetings with
12 counsel.

13 A. Probably 15 to 20 hours.

14 Q. Did you talk to anyone outside of
15 counsel for Home Depot in preparing for your
16 deposition today?

17 A. I did not.

18 Q. All right. Let's turn to
19 paragraph 3 of your declaration.

20 And that would be on page 1.

21 A. Page what?

22 Q. One.

1 A. Okay. Thank you.

2 Q. I can refer to these any way
3 that's easy for you.

4 A. Paragraph number is fine and good.

5 Q. So I just want to talk a little
6 bit about your background. You are
7 currently a professor at Worcester
8 Polytechnic Institute; is that correct?

9 A. Yes.

10 Q. Did I pronounce that correctly
11 because I have no idea how to pronounce it.

12 A. It was perfect.

13 Q. Thank you. That will be my --

14 A. WPI will work very well.

15 Q. We'll call it WPI. That will
16 probably be my greatest accomplishment
17 today, pronouncing that correctly. So
18 you've been a -- how long have you have been
19 a professor there?

20 A. I have been at WPI over 30 years.

21 Q. And what courses do you currently
22 teach at WPI?

1 A. I typically teach courses on
2 operating systems, networks, distributed
3 systems. At one point I taught a course on
4 web privacy. So those are kind of -- I
5 would consider myself a systems and networks
6 guy within the realm of computer science.

7 Q. You mentioned web privacy, in what
8 time frame did you teach courses on web
9 privacy?

10 A. I think it was in like the early
11 2010s.

12 Q. Okay. What was the prompting to
13 teach courses on web privacy?

14 A. If you look at my record, so I'll
15 step back, if I may.

16 So I did my Ph.D. work in what
17 I'll call distributed systems, okay,
18 distributed computing. When the World Wide
19 Web came along in the latter part of the
20 1990 -- mid to late 1990s, I and a lot of
21 other faculty -- people in computer science
22 started looking at it for various aspects of

1 research. For me, I looked at the web as a
2 really large distributed system, and studied
3 it as such. And if you look at my
4 publication record, you will see in the late
5 1990 and the early 2000s, I did a lot of
6 work on performance, how well did things
7 perform, web performance, web caching, stuff
8 like that, all right -- content distribution
9 networks, we published one of the very first
10 papers looking at performance of content
11 distribution networks.

12 About 2004, 2005, one of the
13 things I and a colleague that I did a lot of
14 work with kind of started noticing and
15 trying to understand is when my web browser,
16 I'd go to a site like CNN, my web browser
17 was going to lots of other servers out there
18 besides CNN, okay, in terms of pulling in
19 objects, and so we began to study what
20 indeed these other sites were doing and why
21 my browser was going there.

22 Social networking sites were

1 coming along at this time, and so we started
2 studying the implications of, you know: Oh,
3 my goodness, my Facebook I.D. is getting
4 passed to Google. And so that is a privacy
5 concern, we were publishing papers along
6 this line, what could we do about it. And
7 so a period from 2004, probably 2005, in
8 terms of publication since, in terms of
9 looking at doing a lot of research in web
10 privacy, and it's not unusual at a
11 university that when you have some expertise
12 that you teach it. So this was a special
13 topics graduate course on web privacy that I
14 taught. I don't know -- I definitely taught
15 it once, whether -- I can't remember whether
16 I taught it a second time, but it was not an
17 unusual kind of course to offer for a
18 particular level of, you know, focusing on
19 one's level of expert -- you know, area of
20 expertise.

21 Q. So that when you were talking
22 about the -- you go to a website, and it

1 was, your browser was going to other
2 websites, that was the website passing
3 information to other third parties. Is that
4 what you were referring to?

5 A. Well, passing information to other
6 third parties is one of the things that
7 happened. Sometimes it could be going to
8 third parties and, you know, quote,
9 identifying information might not be passed,
10 but in other cases and we identified -- or
11 found and illustrated that in some cases
12 identifying information was being passed.

13 Q. In other cases was it information
14 that might be downloaded into the user's
15 cache from another website?

16 A. Well, if -- if my browser
17 contacted a third-party site then that third
18 party site could, in responding back to me,
19 set -- information set cookies that my
20 browser then stores in its cache.

21 Q. And that was one of the things you
22 were seeing in the 2004, 2005 time frame?

1 A. Yes, that was something that we
2 were observing that third parties were --
3 were gaining information maybe through the
4 URL that was being sent to them and through
5 things like a referrer header, which is
6 something as part of HTTP, that that refer
7 header had information and whether that was
8 intentional or whether that was inadvertent
9 that identifying information was sent, I --
10 I don't know but we clearly identified and
11 published that this indeed was happening.
12 And that ended up in conferences, ended up,
13 as I said, for a while there was a reporter
14 at The Wall Street Journal I had regular
15 conversations with and things like that.

16 Q. Got it. Do you still conduct
17 research in the area of web privacy?

18 A. I do still conduct research, not
19 at the same pace as I was doing in, say,
20 2005 to 2015, but yes, I still have projects
21 that are ongoing in that direction.

22 Q. What aspects of web privacy does

1 your research involve today?

2 A. One of the things that I've had
3 projects of looking at, at ad blockers, how
4 effective are they or how effective are they
5 not. One of the things -- and also not just
6 looking at quote, web and web browser, but
7 also mobile applications and what kind of
8 privacy concerns is happening there, so that
9 would be a couple of examples.

10 Q. Do you still conduct research in
11 distributed systems?

12 A. Yes, although most of the work is
13 either web or more broadly Internet related.

14 Q. What else does your research today
15 focus on?

16 A. So I also have done -- sometimes I
17 do related to interface, user interface type
18 projects. Certainly with some of the
19 projects that I involve are kind of more
20 related to what I would call data science in
21 terms of trying to have data that may be
22 interdisciplinary, and analyzing that data

1 for what it says, and understanding how to
2 visualize the results. One of the things of
3 having done a lot of Internet performance
4 and a lot of measurement has always been an
5 issue of how to best an -- so collecting a
6 lot of data, of understanding what is
7 happening on the Internet, but that then
8 leading to the followup question of how to
9 best analyze that data and how to best
10 visualize it. So some of what I have
11 learned and done there applying to other
12 kinds of data that might be
13 interdisciplinary in nature.

14 Q. Let's turn to paragraph 9 of your
15 declaration. Yes, paragraph 9. This
16 paragraph goes on through page 4.

17 A. Yes.

18 Q. In the middle of page 4 you
19 discuss an article or mention an article
20 entitled "A Personalized Approach to Web
21 Privacy Awareness Attitudes and Actions," do
22 you see that"?

1 A. I do see that. Yes.

2 Q. When was that article written or
3 published?

4 A. Well, as it shows here it looks
5 like it was published in 2011.

6 Q. Do you recall when you were
7 writing that article?

8 A. In that time frame.

9 Q. What was the article generally
10 about?

11 A. I remember -- so the coauthor
12 there was a graduate student who I worked
13 with that we -- that -- the premise of the
14 project, the article, was to try to
15 demonstrate to users that they could come to
16 a website and understand what third parties
17 might be tracking them. The way we did that
18 is we used the CSS or stylesheet history
19 base cookies that we had a list of popular
20 websites that we wrote or, in this case the
21 graduate student wrote some, I believe,
22 JavaScript to go ahead and see whether or

1 not we could find in a user's history
2 whether or not they had visited a site, had
3 they visited CNN, is that a site that they
4 went to. And then combine that with
5 knowledge of what third parties those sites
6 again, such as CNN, might expose their
7 traffic to, so as it says here, awareness of
8 then reporting that information to users who
9 came to our sites, and then from that I
10 believe we had a -- if I recall a bit of a
11 survey aspect after we showed them this and
12 about potential actions that they could
13 take.

14 Q. And those potential actions were
15 to reduce the information that was shared
16 with third parties?

17 A. Reduce the information that was
18 shared, control, you know, cookies, perhaps
19 block third parties that -- from being --
20 blocking third-party cookies, sure. I
21 can't -- I can't remember all of the
22 specific actions.

1 But the idea was to -- the basic
2 premise that we could go ahead and use the
3 CSS history based cookies and be able to, if
4 a user came to our site, we could
5 essentially read their history of specific
6 URLs that we were looking for that we would
7 know. So if we had a hundred URLs that we
8 were looking for, we could say 37 of these
9 URLs, CNN, New York Times, Washington Post,
10 whatever, we could say okay, this browser
11 has gone there because we were looking in
12 the browser cache through these history
13 based cookies.

14 Q. Can you explain just a little how
15 the CSS based history cookie would work?

16 A. So, it's -- so the history based
17 cookie, so you could run some code that you
18 could determine whether or not a URL has
19 been previously visited. And this is a
20 feature that browsers provide because --
21 well, browsers maintain history, so one of
22 the things that happens with browsers is

1 when you or I our browsers goes and there's
2 a number of links on the page, browsers use
3 this history information in order to they
4 will show links in two different colors of
5 whether this is a link you've never visited
6 or oh, this is a link in your history, and
7 therefore you have previously visited this
8 link. So, browsers maintain this
9 information. There are ways, as I said,
10 like JavaScript code that can get at this
11 information.

12 Q. So it can essentially analyze the
13 CSS code that would be on the page to see if
14 a link has been visited or not visited?

15 A. So the Javascript code can ask a
16 question about any URL, has this URL
17 previously been visited.

18 Q. You said that information would be
19 stored in the browser cache?

20 A. That information is yes, part of
21 the browser cache, part of the history cache
22 of the browser.

1 Q. I want to hand you an exhibit. We
2 can mark this as Exhibit 1 to his
3 deposition.

4 ("A Personalized Approach to Web
5 Privacy - Awareness, Attitudes and
6 Actions," abstract, marked Respondent's
7 Exhibit No. Wills 1, for
8 identification.)

9 BY MR. PAPPAS:

10 Q. Dr. Wills, do you recognize the
11 document that you've been handed?

12 A. So it looks like the paper we just
13 discussed.

14 Q. So I think you said earlier as
15 part of this article you surveyed users
16 regarding their attitudes toward tracking;
17 is that correct?

18 A. That's my recollection of what we
19 did with the -- what we did after we made
20 users aware of tracking that had happened,
21 yes.

22 Q. And what did you find in your

1 survey results? And feel free to look in
2 the article if that's helpful.

3 A. So we certainly found that users
4 indicate that they are concerned about
5 third-party tracking. Looks like we broke
6 it down by different demographics, and
7 things like that, that we would have
8 gathered.

9 Q. So on the first page in the
10 findings paragraph, do you see it says we
11 found that 63 percent of users agreed with a
12 statement of concern for third parties
13 monitoring activities?

14 A. I do see that.

15 Q. So is it fair to say that users
16 were concerned with third parties monitoring
17 their online activities?

18 A. Yes, that is -- that they are
19 concerned about that, yes.

20 Q. And I think if you turn to page
21 10 --

22 A. 10, okay.

1 Q. -- you provide some results of
2 your survey here.

3 A. Yes, I see that in the middle of
4 the page there.

5 Q. The questions were concerned with
6 user attitudes toward tracking online
7 activity, monitoring location, and
8 demographics; is that right?

9 A. That appears to be correct, yes.

10 Q. And users were most concerned with
11 having their online activity monitored as
12 compared to location or demographics?

13 A. That appears that there's the
14 highest percentage agreeing with that first
15 one there, yes.

16 Q. Why do you think that was?

17 A. I don't know. I know the results
18 we have here are consistent with other
19 studies of -- showing that people were
20 concerned about third-party tracking. Why
21 that one was higher than the other two, I
22 don't know whether we said in here. I

1 certainly without -- and I can't remember if
2 we even offered a comparison between those
3 two here in the paper.

4 Q. Are there ways that users can opt
5 out of having their online activity
6 monitored?

7 A. There are, and I think if I leafed
8 ahead here there are different kinds of
9 actions that I believe, in just leafing
10 through here on page 14, I see a table that
11 has -- that has some results in the first
12 column of that table here, table, what is
13 that, here showing two different actions.

14 Q. Table 5 are you referring to?

15 A. Table 5, yes. Thank you.

16 Q. Do you think it is important for
17 users to have a choice of whether their
18 online activity is monitored?

19 A. It is, and this is having a choice
20 about what gets done with one's private
21 information, yes, that -- of having users
22 having control of that, I do think is

1 important.

2 Q. Do you think it's important then
3 for third parties to say respect user's
4 preferences if they opt out of having their
5 online activity monitored?

6 A. I'm sorry. Can you repeat?

7 Q. Sure. Do you think if a user opts
8 out of having their online activity
9 monitored, that it's important that third
10 parties respect that preference?

11 A. I certainly think it is desirable,
12 yes.

13 Q. Are you aware of any privacy laws
14 that govern activity monitoring by third
15 parties?

16 A. I think the most specific ones are
17 from Europe, over in Europe, and there
18 there's much more concern and much broader
19 scope in terms of the use of cookies.

20 Q. Is that GDPR that you're referring
21 to?

22 A. I think that yes, that's the

1 result of GDPR, yes.

2 Q. Just for the record, Exhibit 1 to
3 your deposition that we've been discussing
4 is the article that you coauthored called, A
5 Personalized Approach to Web Privacy
6 Awareness, Attitudes and Actions; is that
7 correct?

8 A. I'm sorry, are you asking is this
9 exhibit the one that I referred to in my
10 declaration?

11 Q. Yes, that's correct.

12 A. Yes. That's -- yes.

13 Q. And that exhibit, which we've
14 marked Exhibit 1 to your deposition is
15 titled A Personalized Approach to Web
16 Privacy Awareness, Attitudes and Actions,
17 correct?

18 A. Correct.

19 Q. And is this a complete and
20 accurate copy of that article that you
21 wrote?

22 MR. WOLFE: Objection.

1 THE WITNESS: It certainly
2 appears to be.

3 MR. PAPPAS: You have no reason
4 to think that this is not an accurate copy
5 of the article you wrote?

6 MR. WOLFE: Objection.

7 THE WITNESS: I have no reason
8 to think otherwise.

9 BY MR. PAPPAS:

10 Q. You can put that away for now, if
11 you would like. Let's turn to paragraph 11
12 of your declaration, The start of technology
13 background and let me know when you get
14 there.

15 A. Yes, I'm there.

16 Q. Here in paragraph 11 you discuss
17 different types of cookies; Is that right?

18 A. I believe in paragraph 11 I begin
19 to discuss different types of cookies.

20 Q. That's fair. You talk about a
21 browser cache in that paragraph?

22 A. Yes.

1 Q. Can you explain, generally, what a
2 browser cache is?

3 A. So a browser cache is or browser
4 storage area is somewhere where information
5 data are stored that can be accessed by the
6 browser that is running.

7 Q. And do browser applications
8 designate some particular storage area on
9 the local computer for that cache?

10 A. There is not one singular location
11 on the computer that would necessarily
12 encompass all of the browser storage area,
13 basically anything that can -- that the
14 browser can access while it is running would
15 be a browser storage or a browser cache.

16 Q. So, we'll come back to that, but
17 are you familiar with Google's chrome
18 browser?

19 A. I -- I use it occasionally.

20 Q. Chrome makes use of a browser
21 cache; is that correct?

22 A. All browsers have that, to the

1 extent that all browsers have a cache, yes.

2 Q. Are you aware of any details of
3 how Google chrome's browser cache is
4 implemented?

5 A. I'm not familiar with the
6 specifics of how its browser cache is
7 implemented.

8 Q. Do you know whether -- do you know
9 where Google chrome stores data that would
10 be directed to its disk cache, for example?

11 MR. WOLFE: Objection.
12 Foundation.

13 THE WITNESS: It may store
14 things in memory, it may store things that
15 it wants to retain long term out somewhere
16 in the file system around a disk, but --
17 out in the file system, but I don't know
18 the specifics of where.

19 BY MR. PAPPAS:

20 Q. Do you know where cookies are
21 stored as it relates to google chrome's
22 browser?

1 A. Not specifically.

2 Q. But cookies would normally be
3 stored in a browser cache. Is that fair?

4 A. Cookies would be stored in a
5 browser cache, yes.

6 Q. What else would be stored in a
7 browser cache?

8 A. For example, we just -- in the
9 exhibit here we talked about history, so
10 history would be stored as part of a browser
11 cache. Browsers store and remember contents
12 of objects that have been previously
13 downloaded, so if the user immediately comes
14 back to a same page that they were before,
15 there could be content that is already in
16 the part of the browser cache so that it, so
17 that your browser doesn't have to go back to
18 the server to re-retrieve that object.

19 Q. That content that is stored as
20 part of the browser cache, are those
21 temporary Internet files?

22 A. I believe -- yes, that would --

1 temporary Internet files in the vernacular
2 of A23 would be yes, the same.

3 Q. And the history you were talking
4 about or you spoke about, does that refer to
5 the user's browser history, browsing
6 history?

7 A. Right. This particular browser
8 history. So, just to be clear, it's browser
9 history for a user with one particular
10 browser, if I use Chrome for a while and
11 then decide to go use Internet Explorer,
12 those would be -- those two browsers would
13 have -- each their own browser cache.

14 Q. Does each browser application have
15 a directory structure on the file system
16 where it can store data relating to the
17 browser cache?

18 A. Each browser has places in the
19 file system where it is storing and storing
20 content, whether they be cookies or
21 temporary Internet files or browsing
22 history.

1 Q. Do you know whether those places
2 in the file system are specifically
3 associated with the browser application?

4 A. Well, there are places in the file
5 system that the -- that the browser can
6 access, and it has -- and the browser has
7 been configured that this is where it puts
8 particular kinds of cache content.

9 Q. So for example, I'll stick with
10 the Goggle chrome example. Does Google
11 chrome have a specific location where it
12 stores browsing history?

13 MR. WOLFE: Objection.
14 Foundation.

15 THE WITNESS: Again, without
16 knowing the specifics of the internals of
17 how Chrome works, it is likely the case
18 that, yes, there is a standard place that
19 if I install Chrome on my machine, that
20 Chrome is going to put particular kinds of
21 cache content in particular locations in
22 my file system.

1 BY MR. PAPPAS:

2 Q. And the same would hold true for
3 temporary Internet files?

4 A. Yes, the temporary Internet files
5 would have some place within the file system
6 that they are -- that Google chrome, when
7 that when Chrome is running, that is where
8 it is going to go to access those files.

9 Q. Is there any particular browser
10 application that you have familiarity with
11 in terms of the locations that it stores
12 these types of files?

13 A. In my report I -- I know, I think
14 I list a specific location from an article
15 where Flash cookies are stored, but beyond
16 that, I don't think I have, as we sit here I
17 don't believe it's in my report or do I know
18 specific file system location for other
19 browser cache information.

20 Q. For example, you don't know where
21 Mozilla Firefox would store browser cache
22 data on the user's file system; is that

1 right?

2 A. As I sit here, I don't know the
3 specific place in the file system in which
4 that is stored, no.

5 Q. And you don't know whether Mozilla
6 Firefox would store browser cache data
7 within a directory associated with Mozilla
8 Firefox?

9 MR. WOLFE: Objection.
10 Foundation.

11 THE WITNESS: I would expect
12 Firefox to store different aspects of a
13 browser cache in standard places as it is
14 configured relative to its installation.
15 But I don't know -- I don't know the
16 specifics of how that file structure is
17 set up.

18 BY MR. PAPPAS:

19 Q. What aspects of a browser cache
20 would you expect to be stored in standard
21 places?

22 A. Some of the things that -- so

1 cookies that persist over long periods of
2 time, temporary Internet files, I believe
3 history cache is in the file -- yeah, that
4 would be in the file system because we would
5 want that when the browser comes back.
6 That's not intended to be an exhaustive
7 list. It's a sampling of things.

8 Q. And those standard places you're
9 referring to, would be set by the
10 configuration of the installed browser
11 application?

12 A. Yes, I believe that -- where those
13 are installed are places that the browser
14 would expect to be able to access to put and
15 then subsequently access that information,
16 yes.

17 Q. So let's turn to paragraph 16 of
18 your declaration, paragraph 16.

19 A. Yep.

20 Q. And if you'd turn over to page 9,
21 here you mention cache cookies. Do you see
22 that?

1 A. I do that -- I do see that, yes.

2 Q. What are cache cookies?

3 A. Well, as I say here, it is a new
4 type of cookie which is a file. So reading
5 from the bottom of page 8, Such as MH being
6 written into the browser cache without
7 knowledge of the user.

8 Q. That would be different than a
9 standard cookie?

10 A. So again, when you say standard
11 cookie and I think I address this in here
12 that there's many phrases standard,
13 traditional, normal, whatever to refer to
14 cookies. So the cookies -- so this is
15 different than what I will call a standard
16 cookie or traditional cookie or normal
17 cookie. So, just that we have different
18 qualifiers on --

19 Q. Sure.

20 A. -- that I consider all the same.

21 Q. I'll clarify a little bit. So at
22 the beginning of paragraph 16, toward the

1 beginning.

2 A. Paragraph 16, yes.

3 Q. Yes, on page 8 now.

4 A. Yes.

5 Q. It says Felton and Snyder
6 introduced a new type of cookie. Do you see
7 that?

8 A. Yes.

9 Q. I'm asking what is the difference
10 between the cache cookie that you're
11 referencing and what was -- what you were
12 referring to that was before that?

13 A. So, what is that Felton and Snyder
14 here, this cache cookie, is -- that these
15 cache cookies are, I say here by forcing a
16 client to receive or to retrieve a specific
17 URL, the server can effectively write
18 entries into the client's cache, thus
19 storing the cookie. So, they're indicating
20 by, you know, having the client retrieve
21 this, and because there are temporary
22 Internet files, this is being -- this image

1 that was retrieved as part of loading the
2 page gets stored somewhere in the browser's
3 cache.

4 Q. So temporary Internet files would
5 be a piece of data that could be relied on
6 for a cache cookie?

7 A. So, yes a temporary Internet file
8 is a kind of cache cookie by this -- yes.

9 Q. Is there other data that a cache
10 cookie would rely on?

11 A. I don't know. Certainly here the
12 temporary Internet files would be one.

13 Q. And you say that cache cookies are
14 stored in the form of entries in the
15 client's web cache. Do you see that?

16 A. Cache cookies are stored in the
17 form of entries in the client's web cache,
18 yes. I see that.

19 Q. Is the web cache you're referring
20 to there the same as the browser cache we
21 have been discussing?

22 A. Yes, that web cache there would be

1 part of the browser cache.

2 Q. Let's go to paragraph 18. Here
3 you talk about Flash cookies; is that
4 correct?

5 A. I do. I reference a piece of
6 prior art, yes.

7 Q. And Flash cookies are different
8 from cache cookies?

9 A. Flash cookies are another type of
10 cookie separate from cache cookies, yes.

11 Q. Can you generally explain what a
12 Flash cookie is and how it operates?

13 A. So Flash is a technology that in
14 the 2000 time frame -- 2005 time frame, was
15 a wildly popular application that pretty
16 much every browser had loaded as an add-on.
17 Okay. Flash allowed software to be executed
18 within the user's browser, which allowed for
19 some very nice graphics and user
20 interaction, and things like that. Flash
21 also allowed files, okay, they called them
22 local shared objects, to be stored on the

1 client's device accessible to the browser,
2 okay, that when Flash software was running
3 in the -- in the browser would have
4 available to it, and when the Flash software
5 interacted and made requests to a back-end
6 server, that Flash information, these local
7 shared objects, that information would be
8 passed back to the server similar to what
9 standard cookies are done.

10 Q. You said in your testimony these
11 local shared objects were accessible to the
12 browser. Can you elaborate on what you mean
13 by that?

14 A. So wherever these local shared
15 objects were stored, they could be, as the
16 Flash software is running in the browser,
17 these local shared objects were accessible
18 in the same way that browser cookies or
19 temporary Internet files, or browser history
20 are accessible to the browser.

21 Q. So you said that there's Flash
22 software running in the browser; is that

1 correct?

2 A. Yes, the Flash software is running
3 in the browser.

4 Q. That Flash software is installed
5 as an application on the user's computer?

6 A. That Flash software is installed
7 as an add-on to the browser. So browsers at
8 that time allowed applications or add-ons to
9 be installed and Flash, as I said, was at
10 that point, in that 2005, 2010 time frame,
11 was probably the most popular application
12 because it allowed enhancements in terms of
13 the kind of interfaces and kind of websites
14 that could be built using it. So, to the
15 user, the user is not in accessing the
16 website is not even aware of that there's a
17 separate application. It is all the
18 browser.

19 Q. I'm going to hand you Exhibit 1014
20 in this proceeding. Do you recognize this
21 document?

22 A. Yes, I do.

1 Q. At the bottom of the introduction
2 on page 1, there's sentence that says
3 According to macro media, 9 8 percent of
4 computer have some version of Flash on their
5 computers. Do you see that?

6 A. I do see that, yes.

7 Q. Is Flash its own application
8 program?

9 A. I know that it can and was
10 installed as an add-on specifically to a
11 browser. Whether it has -- and I think it
12 has its own application, but I'm less
13 certain. It could have a separate
14 application, but I'm less certain of that.

15 Q. If it were installed as an add-on
16 to the browser, would that still install the
17 Flash application on the user's computer?

18 A. Yes, because there would need to
19 be a lot of software, and again, how the
20 file system is set up for storing things as
21 well.

22 Q. So in that example, on Windows,

1 let's take as an example, would there be a
2 directory within the program files of the
3 user's computer?

4 A. Yes, I would expect that somewhere
5 there is indeed in the same way that the
6 browser has standard places it expects to
7 put different kinds of information, so would
8 a Flash add-on here.

9 Q. So, just to be clear here, the
10 Flash software is not part of the browser's
11 software itself, right?

12 A. So, the, and as I believe as part
13 of the running software your could say it
14 perhaps is not, it is not out of the box.
15 As it comes, it may not have been there, but
16 as I said, 98 percent of the computers had
17 it there because people were running it.
18 So, for practical purposes, it is part of
19 the browser in this -- in that particular
20 time frame. If we are talking about today
21 and you asked me in 2025, is Flash
22 application part of the browser, I would say

1 not.

2 Q. Why would you say not today?

3 A. Because at later times, not in the
4 time frame that we're talking -- in the
5 two-thousand-teens, for various reasons
6 there were concerns with Flash, and Flash
7 grew out of practice of being widely used.
8 But again, in the time frame that we're
9 talking about here in the 2000s, Flash was
10 wildly popular.

11 Q. And you say for practical purposes
12 it's part of the browser, but from a
13 technical level is Flash its own
14 application, aside from the browser
15 application?

16 A. Once Flash is installed as an
17 add-on, it is part of the browser.

18 Q. Is the Flash application code
19 executed inside the browser application
20 code?

21 A. Yes, the Flash application code is
22 executed, as part of the -- along with the

1 browser native code.

2 Q. So is it your opinion that the
3 browser native code then is modified when
4 Flash application is installed?

5 A. When the Flash application is
6 installed, the software of the browser is
7 enhanced.

8 Q. When you say enhanced?

9 A. It's extended.

10 Q. I'm asking from a technical
11 standpoint, is the browser code modified to
12 include and execute the Flash application
13 code?

14 A. The browser, the native browser
15 code is written to allow add-ons such as
16 Flash to be installed and executed. Flash
17 is not the -- Flash was the most popular,
18 but Flash is not the only add-on that would
19 have been available at that time.

20 Q. So the native browser code is not
21 actually modified when the Flash application
22 is installed. Correct?

1 A. I don't believe that -- no, that
2 the browser code would have been modified.

3 Q. Do you know how the Flash
4 application software and the browser
5 application software communicate?

6 A. So, once the Flash application is
7 installed, if the browser code encounters
8 Flash software that needs to be executed,
9 then it calls within the browser the
10 Flash -- the Flash software that has been
11 installed.

12 Q. And how does the Flash software
13 communicate information to the browser
14 application, if at all?

15 A. There would be a mechanism set up
16 so that the two can communicate, but I don't
17 know the specifics of it. Obviously,
18 there's a way for the browser to invoke the
19 Flash execution and there would be a way for
20 the Flash execution to return back to the
21 browser doing other stuff.

22 Q. Would they communicate via an API,

1 an application programming interface?

2 MR. PAPPAS: Counsel, just to
3 let you know --

4 A. Again, I don't know the specifics,
5 and API may -- that's a reasonable --
6 reasonable guess, but I don't know. I don't
7 know the specifics of how that mechanism
8 works.

9 BY MR. PAPPAS:

10 Q. So you don't know specifically how
11 the Flash application and the browser
12 application communicate with each other?

13 A. I know that the two of them are
14 running in the same browser process. The
15 specifics of how they're communicating, I
16 don't know.

17 MS. NALL: We've been going for
18 more than an hour. I think it's a good
19 time to take a break.

20 MR. PAPPAS: There's a couple
21 more questions, if that's okay.

22 MS. NALL: Dr. Wills, do you

1 need a break?

2 THE WITNESS: I could -- a

3 couple more I can take.

4 BY MR. PAPPAS:

5 Q. Just to round that out, you said
6 they are running, the two of them are
7 running in the same browser process. Would
8 there be a separate process for the Flash
9 application when it's executing on the
10 user's computer?

11 A. If there was a Flash application
12 that was executing independent of the
13 browser, then I guess that would be
14 completely independent, and that would be a
15 separate process.

16 Q. If it's not independent of the
17 browser, do you know whether there would be
18 a separate process for Flash running on the
19 user's computer?

20 A. Can you restate that one? Sorry.

21 Q. Sure. So, on the user's computer,
22 there would be a number of processes that

1 would be executed at a particular time,
2 right?

3 A. Okay. There would be a number of
4 processes that would be executing.

5 Q. If the Flash application were
6 running in a browser, would there be a
7 process associated with Flash?

8 A. I don't believe that there would
9 be, that Flash would be running as part of
10 that -- as part of the browser.

11 Q. In your opinion, there would be no
12 separate process for the Flash application
13 that would be executing on the user's
14 computer aside from the browser application
15 process?

16 A. When we have a Flash application
17 as part of the browser, it would all be in
18 one process.

19 MR. PAPPAS: Okay. I'm happy to
20 take a break now. How long do you think
21 you need, ten minutes?

22 THE WITNESS: Sure.

1 - - -

2 (Recess.)

3 - - -

4 BY MR. PAPPAS:

5 Q. Welcome back, Dr. Wills. During
6 the break did you discuss any of the
7 substance of your testimony with counsel?

8 A. I did not.

9 Q. So, I just wanted to go back
10 something we were talking about earlier just
11 to clarify. We were talking about browsers
12 storing different aspects of a browser cache
13 in standard places on the user's computer.
14 Do you recall that?

15 A. I do recall it, yes.

16 Q. When we were talking about
17 standard places, we are talking about
18 locations on the file system. Is that
19 right?

20 A. So certainly when browser cache
21 information is stored in the file system,
22 there is typically configured places where

1 those files are stored, yes.

2 Q. And the configured places you're
3 talking about, that essentially is referring
4 to logical memory, not the physical bits on
5 disk?

6 A. Can you ask your question again?

7 Q. I guess I'm trying to clarify,
8 we're talking about, for instance,
9 directories and folders on the file system
10 and not necessarily the physical structure
11 of the memory underlying that. Is that
12 fair?

13 A. We're talking, we are talking
14 about files in the file system, yes. I will
15 agree there.

16 Q. Okay. Let's stick with Exhibit
17 1014 that you have in front of you. So in
18 the middle of page one, do you see where it
19 says Flash cookies provide the only method
20 by which a Flash movie can store information
21 on a user's computer?

22 A. Yes, I do see that.

1 Q. Can you explain that a bit?

2 A. Well, reading it as-is, it says if
3 we have a Flash movie, the way it is stored
4 on a user's computer is as a Flash cookie.
5 And by the way let me make clear, a Flash
6 cookie and local stored objects or local
7 shared objects are indeed the same.

8 Q. So, when Flash is running inside a
9 browser application, is a Flash movie being
10 executed?

11 A. If a -- if the Flash software
12 is -- not necessarily. I mean one of the
13 things that can be done with Flash is to
14 play a movie.

15 Q. And the movie refers to an SWF
16 file; is that correct?

17 A. Where are you getting the SWF
18 file?

19 Q. How would a Flash movie be stored?

20 A. How would a Flash movie be stored.
21 In some -- in some format that Flash
22 defines. I don't know the specifics.

1 Q. In Exhibit 1014, is this saying
2 that the Flash movie when executed is what
3 stores the information on the user's
4 computer?

5 A. The Flash movie is being shown.
6 The Flash movie is not being executed.
7 Maybe I misheard your question.

8 Q. So I guess my question then is
9 what is the relevance of the Flash movie in
10 this article?

11 A. A Flash movie is a kind of Flash
12 data, and it also indicates that Flash data
13 or Flash cookie can store it looking at the
14 next sentence, a user's name, favorite
15 color, progress in a game, things like that.
16 So a Flash movie is just -- it's just data.
17 It could be larger amount of data than the
18 user's name, but it is data that is stored
19 in one of these LSOs.

20 Q. So is the Flash movie then the
21 cookie that is -- the Flash cookie that is
22 being stored?

1 A. Right. Here it is showing -- it
2 is saying the Flash movie is a way of
3 storing -- Flash cookie is a way of storing
4 Flash movie content. A Flash cookie stores
5 content, it stores data.

6 Q. Are you saying that the Flash
7 movie is storing the Flash cookie data?

8 A. No, I'm saying the Flash movie is
9 Flash cookie data.

10 Q. So as part of the Flash cookie
11 data, that can include Flash movies within
12 it?

13 A. One of the type of Flash cookie
14 data is a Flash movie in the same way it
15 could be a user's name.

16 Q. And when the Flash software is
17 running within a browser, is it the Flash
18 software that saves the Flash cookie data?

19 A. Yes, it would be that Flash
20 software within the browser that is either
21 reading or writing data in one of these
22 Flash cookies.

1 Q. So the Flash player software would
2 write the data to the location where the
3 Flash cookie is stored?

4 A. If it wanted to update or create
5 such data, yes, it would be that software
6 doing it.

7 Q. So it's not the browser
8 application software itself that would be
9 writing the Flash cookie data, it would be
10 the Flash software running within the
11 browser. Is that fair?

12 A. It is the software that is running
13 in the browser process that is writing that
14 data.

15 Q. That software that you're
16 referring to is the Flash software, correct?

17 A. Yes, the Flash software.

18 Q. And then if you go to page 2 of
19 Exhibit 1014 there's a section called Where
20 are Flash Cookies Stored? Do you see that?

21 A. I do see that.

22 Q. And where are they stored?

1 A. It says, it gives three bullets on
2 where Windows, where Macintosh OSX, and
3 where GNU Linux stores things, which
4 apparently it looks like in the file system
5 in all three cases.

6 Q. And those file system locations
7 are directories that are configured as part
8 of the Flash player software?

9 A. Right. So what my expectation
10 when the Flash software is added to the
11 browser, these are probably standard places
12 that that software would go to look for, for
13 either reading or writing these Flash
14 cookies.

15 Q. So, in practice, a Flash cookie
16 might be retrieved when a user visits a web
17 page, right?

18 A. Yes, the browser would retrieve in
19 the same way it -- the browser is going to
20 retrieve normal cookies, it's going to
21 retrieve Flash cookies if there is Flash
22 software that's being executed in the

1 browser.

2 Q. And so in that case the Flash
3 software would retrieve the Flash cookie
4 from its storage location and transmit that
5 to the server; is that right?

6 A. That would be -- yes, when -- if
7 the Flash software goes ahead and on the
8 browser or in the client device communicates
9 with the server, it would send along
10 appropriate Flash cookies.

11 Q. Do you know whether there are any
12 restrictions on what applications can access
13 local shared objects?

14 A. What do you mean by what
15 applications?

16 Q. So, for instance, if the web
17 browser was not running Flash software as
18 part of its process, could the web browser
19 itself access the data in local shared
20 objects?

21 A. So -- sorry. Can you repeat?

22 Q. Sure. For instance, if the web

1 browser was not running Flash software,
2 could the web browser itself access the data
3 in a local shared object?

4 A. So, if the web browser was
5 displaying a page that had nothing to do
6 with Flash, okay, the Flash add-on has been
7 added to the browser, but there are many
8 pages that the browser would access that
9 it's not -- that had nothing to do with
10 Flash. The browser would have file system
11 permissions to access these files, okay, but
12 that -- the browser may not be aware that
13 the, you know, the Flash portion of the
14 browser is the one that knows exactly where
15 these files are stored.

16 Q. Do you know that the browser has
17 file system permissions to access the data
18 within the Flash directory?

19 A. It has to because when the browser
20 then goes and is executing within -- the
21 browser process is executing the Flash code,
22 that Flash code has permissions and file

1 system permissions are granted on a process
2 basis.

3 Q. So it's your opinion those file
4 system permissions exist even if the Flash
5 code is not executing?

6 A. Yes, all files have permission.
7 So when these were -- when these are
8 created, a browser -- by "these" I mean when
9 these directory folders are created as shown
10 here in the exhibit, the browser software
11 would need to have access to these.

12 Q. A user can't clear Flash cookies
13 through the browser application; is that
14 right?

15 A. Flash cookies cannot be cleared
16 through the regular browser cookie
17 interface.

18 COURT REPORTER: I'm sorry. I'm
19 sorry Could you start over again?

20 A. Flash cookies cannot be cleared --
21 apologies.

22 Q. No problem.

1 COURT REPORTER: I'm sorry,
2 Counsel. I just lost that one. Cannot be
3 cleared --

4 A. Cannot be cleared through the same
5 mechanism that the browser clears
6 traditional cookies. That's not exactly
7 what you were asking. I believe, and this
8 is from memory, I believe that there is a
9 separate mechanism outside the browser for
10 clearing Flash cookies, but I don't --
11 that's from memory and I don't think that's
12 explicitly addressed in here.

13 Q. When you say separate mechanism,
14 that would be through a different
15 application?

16 A. I believe through a separate
17 application, yes.

18 Q. When a user clears cookies in a
19 browser, that would clear the cookies in the
20 browser cache; is that right?

21 A. That would -- yes. The cookies
22 that are cleared from within the browser,

1 whether that's all cookies or some cookies,
2 would come from the browser cache, yes.

3 Q. Let's turn to the patent for a
4 moment. I'm handling you Exhibit 1001, the
5 823 patent. I'm sure you're very excited at
6 this point.

7 Let's turn to paragraph 57 of your
8 declaration.

9 A. My declaration you want me to go
10 to --

11 Q. Paragraph 57, please.

12 A. Yes, I am at paragraph 57.

13 Q. This is mostly to orient you.
14 This is where you begin discussing the 823
15 patent, correct?

16 A. That is overview of the 823
17 patent, yes.

18 Q. I know, very tough question. If
19 you turn to paragraph 61.

20 A. Sixty-one. Okay.

21 Q. Here you say 823 Patent discloses
22 these two types of cache cookies stored in

1 different areas of the browser storage area.

2 Do you see that?

3 A. Paragraph 60?

4 Q. 61.

5 A. Sixty-one, okay. Yes, I do see
6 that.

7 Q. One of the cache cookies relates
8 to the user's browsing history; is that
9 right?

10 A. Yes. It's Figure 2 from 823.
11 Yes, one is a history cache, and one is
12 temporary Internet file. Yes.

13 Q. So you just answered the next
14 question, which is the other one relates to
15 temporary Internet files, right?

16 A. Sorry. Sorry.

17 Q. You don't have to be sorry. Just
18 putting it clear for the record. Those
19 cookies then would be stored in the browser
20 cache; is that right?

21 A. Well, as shown in the 823, they
22 are stored in the browser storage area,

1 although I believe the 823 says browser
2 cache is essentially the same as a browser
3 storage area.

4 Q. And the browser cache would
5 correspond to some designated storage
6 locations on the user's file system; is that
7 right?

8 A. Well, it would be a designated
9 location where it is stored in likely the
10 file system, but not solely the file system.

11 Q. When you say not solely the file
12 system, what do you mean by that?

13 A. Well, a portion of what the
14 browser stores, it also stores some cache
15 data in memory, in RAM.

16 Q. So that the portion that is stored
17 on disk and not in memory, that would be
18 stored in some designated storage area for
19 use by the browser on the user's file
20 system?

21 A. I think, and I don't -- I know I
22 quote this in my report somewhere and it's

1 somewhere in the 823. I think the key thing
2 for the browser storage area is one, it
3 doesn't need to be an explicit feature of
4 the browser, and it needs to be stored
5 somewhere that it can be provided to -- the
6 data can be provided to the server. I think
7 that that is my recollection of how the 823
8 defines a browser storage area.

9 Q. But when the browser application
10 is installed, there at least is a configured
11 location for the browser's cache associated
12 with that browser, right?

13 A. Correct. There would be a
14 configured or -- a place that the browser
15 expects to and does find that information.

16 Q. Can you turn in the 823 patent to
17 Claim One, which is all the way in column
18 15, starting at line 33. Do you see the
19 first element that begins with receive a
20 network resource request from a client
21 device? It starts at column 15, line 35,
22 and goes to line 54.

1 A. I do see that rather long claim
2 element, yes.

3 Q. All right. We're making progress.
4 I just want to clarify a few aspects of your
5 interpretation of this claim element. So
6 the claim first says -- this element first
7 says Receive a network resource request from
8 a client device, correct?

9 A. It does.

10 Q. And then it says wherein the
11 network resource request corresponds to a
12 first cookie of a first type that was caused
13 to be stored to the client device during a
14 first previous network session. Do you see
15 that?

16 A. I do.

17 Q. Does that mean that the first
18 cookie must have been stored during a
19 previous network session that occurred
20 before the network request is received?

21 A. Yes, that is my understanding,
22 that we're going backwards in time here.

1 Q. And then later within that same
2 long element, the claim says Wherein a
3 second cookie of a second type, different
4 from the first type, was caused to be stored
5 at the client device during a second
6 previous network session. Do you see that?

7 A. I know it's in here. I'm just
8 trying to find it.

9 Q. Begins at line 47, I believe.

10 A. Thank you. Thank you. That is
11 helpful. There you go. Yes, I do see that.

12 Q. So similarly, does that mean that
13 the second cookie must have also been stored
14 during a previous network session that
15 occurred before the claimed network resource
16 request is received?

17 A. It does, that is my understanding,
18 yes.

19 Q. Then at the bottom of that long
20 element, the claim recites a browser storage
21 area. Do you see that?

22 A. Line 53 here...

1 Q. Yes, I believe line 51 and 53 you
2 recite the term.

3 A. Okay. The first client device
4 browser storage area and a second client
5 device browser storage area, yes, I do see
6 those.

7 Q. So we've been talking a little bit
8 about this before. Is it your opinion that
9 any storage area on the client device that
10 is accessible to the browser application
11 would be a browser storage area?

12 A. Well, I think in terms of -- in
13 light of the 823 patent, the 823 patent also
14 indicates not only is it accessible to the
15 browser, but it is data that is communicated
16 and can be communicated the server.

17 Q. To any server?

18 A. To the web server.

19 Q. Do you see the term as more
20 limiting than that definition in any way?

21 A. I don't follow your question.

22 Q. So, I'm trying to sort of put the

1 bounds around a browser storage area and
2 what might be considered not to be a browser
3 storage area to orient you. So let's take a
4 simple example of a browser based email
5 application. So are you familiar with
6 Gmail?

7 A. Sure.

8 Q. And you can choose any example
9 that you would like in your head for these
10 questions. So in a browser based email
11 application, a user can download attachments
12 from an email, right?

13 A. Sure. Yes.

14 Q. And those attachments could be
15 saved to essentially any location on the
16 user's computer, right?

17 A. I believe applications, yes. They
18 say where do you want to put this.

19 Q. And then in another email a user
20 could upload that same document it
21 downloaded as an attachment to a new email,
22 right?

1 A. Yes. Typically, by explicitly
2 indicating where in the file system that
3 that document resides.

4 Q. So in that case to save or
5 retrieve the document from that file
6 location, the browser would presumably
7 access that file location, correct?

8 A. It could access that file
9 location, because, yes, it is being told by
10 the user that's where to put it or that's
11 where to get it.

12 Q. So, for example, the file location
13 could be the "My Documents" folder on
14 Windows. Is that a fair example?

15 A. Sure, that seems to be a fair
16 example.

17 Q. So in that case that a user
18 downloads an attachment to the My Documents
19 folder, do you consider that My Documents
20 folder a browser storage area?

21 A. It doesn't seem that it is in the
22 same way because again, based upon the 823

1 patent, 823 patent indicates that what is in
2 a browser storage area on the client is
3 shared with the server and available to the
4 server. So in this case the fact that I'm
5 attaching a file from somewhere in the file
6 system to pass along, that's part of the
7 Gmail web application.

8 Q. When you attach that file to an
9 email, does it not upload that attachment to
10 a Gmail associated server?

11 A. It does. It does upload it to the
12 Gmail server.

13 Q. So -- I think in view of your
14 previous answer would you agree that the
15 term browser storage area is at least more
16 limiting than a storage location that is
17 merely accessible to a browser application?

18 A. Well, a browser, the browser
19 storage area certainly needs to be
20 accessible to the browser, and I believe
21 needs to be shared with the server without
22 any explicit user intervention, which -- so

1 I will say user intervention in your Gmail
2 of adding an attachment is a user
3 intervention.

4 Q. So then to be clear, your
5 understanding of the term browser storage
6 area would be a storage area accessible to
7 the browser that is also shared with a
8 server without any explicit user
9 intervention?

10 A. My interpretation is what is in
11 the 823 patent. If you want, I can go look
12 for it. I don't know the specific phrase
13 that is there, but I do know it's in my
14 report here and in the 823 patent.

15 Q. Sure. You're happy to look. My
16 question is really getting at what are the
17 bounds around the term browser storage area
18 and what are the requirements of it. So, if
19 you would like to go look, you are more than
20 welcome to.

21 A. So in the 823 patent, column 5 --
22 where am I -- about line 13 as described in

1 more detail below the cache cookie is not an
2 explicit browser feature, but rather a form
3 of persistent state in the browser that the
4 server can access. So that is a -- what a
5 browser storage area should be. So there
6 needs to be persistent state, okay. It
7 doesn't need to be an explicit browser
8 feature that the server can access. So,
9 that is what I am -- when I see browser
10 storage area, that is what I'm looking at as
11 described by the 823 patent.

12 Q. Just to be clear, you're pointing
13 to column 5, starting at line 13; is that
14 right, of the 823 patent?

15 A. Yes. I believe that that column
16 is 13 there, right there.

17 Q. And you say there needs to be a
18 persistent state. Is that persistent state
19 referring to the cache cookie or the browser
20 storage area?

21 A. Well, that is -- so, a cache
22 cookie is an example of something that is in

1 a browser storage area, so a browser storage
2 area has a persistent state.

3 Q. I think I'm just a little
4 confused. You said a cache cookie is an
5 example of something that is in a browser
6 storage area, but the cache cookie is not
7 the browser storage area itself, right?

8 A. No, but it is representative of --
9 a cache cookie is representative of what is
10 stored in a browser storage area.

11 Q. So again, it's the cache cookie
12 that has to have -- be a persistent state,
13 according to your testimony; is that right?

14 A. Yes. And given that it is an
15 example, of something that is stored in a
16 browser storage area, I am using what is
17 here in terms of what the 823 intends as a
18 browser storage area, how it specifies.

19 Q. And looking at that sentence that
20 you are pointing to, it says the cache
21 cookie is not an explicit browser feature,
22 correct?

1 A. Correct, it does say that.

2 Q. But it doesn't say the browser
3 storage area is not an explicit browser
4 feature, does it?

5 A. Correct. So my interpretation is
6 that something in the browser storage area
7 does not need to be an explicit browser
8 feature. So if you want to go back to Flash
9 cookies and say, Oh Flash cookies are an
10 add-on, well, okay, it says right here that
11 we don't need to have an explicit browser
12 feature.

13 Q. But just to be clear, that
14 sentence about not needing to be an explicit
15 browser feature relates to the cache cookie
16 not being an explicit browser feature,
17 right?

18 A. But again, in this sentence is
19 giving an indication of what -- something,
20 the qualities of something in a browser
21 storage area. So if a cache cookie in a
22 browser storage area has these qualities,

1 then I would expect that other information
2 in the browser storage area has the same
3 qualities and characteristics.

4 Q. So, if I can try to roll some of
5 that up, is it your opinion that a browser
6 storage area would be a storage area
7 accessible by a browser that includes data
8 representing persistent state?

9 A. Yes, that is -- that is, I think,
10 consistent with what is said here, yes.
11 That that persistent state is being retained
12 in some way by the browser and that
13 persistent state is then accessible by in
14 this case the -- that the server can access.

15 Q. Are there browser storage areas
16 that would not include data representing
17 persistent state?

18 A. There are storage areas that I
19 would say would be less persistent and more
20 persistent, but there's some degree of
21 persistence in browser storage areas.

22 Q. Does everything that a browser

1 stores on the user's device relate to
2 persistent state?

3 A. I don't know everything that a
4 browser maintains, but again, by this kind
5 of -- as specified here, it needs to be
6 state that the server can access. So if
7 there's something that a browser is storing
8 that is not shared or not accessible by the
9 server, then by the definition here then
10 that would not be a browser storage area.

11 Q. Does the browser storage area need
12 to be managed in some way by the browser
13 application?

14 A. What do you mean managed?

15 Q. How would you understand the term
16 managed?

17 A. I don't know. Can you -- are you
18 asking can I modify it? Can I change it?
19 Can I add to it? Can I remove it? I am
20 not -- I mean manage, the word manage can
21 entail a whole -- a number of things and so
22 I'm not quite sure what you're asking when

1 you say manage.

2 Q. I'm still trying to get at what
3 are the limitations off a browser storage
4 area. And so I'll step back from that for a
5 minute. It sounds like a browser storage
6 area needs to be accessible by the browser
7 application and needs to store a particular
8 type of data. Is that what you are saying?

9 A. Well, there needs to be -- it
10 needs to store data with some persistence,
11 and it needs to be able to share or share
12 that with the server that the server can
13 access that data.

14 Q. And when you say with some
15 persistence, does that simply mean that the
16 data would persist when the browser
17 application is closed, for example?

18 A. Well, there's different kinds of
19 persistence that that we can define. So,
20 storing stuff in the file system is always
21 attractive to a browser or other things
22 because when the browser process goes away

1 or the -- the machine on which the browser
2 is running is shut down, anything stored on
3 the file system persists, and when we
4 return, when the machine comes back up, when
5 the browser reboots or when the browser gets
6 started up again, what is stored there in
7 the file system can be accessed again,
8 assuming that the user hasn't separately
9 done something that -- to somehow remove
10 that.

11 We also have "shorter term
12 persistence" so if we store stuff in the
13 computer's memory, okay, then that is -- in
14 RAM, then there is some persistence there
15 for that data, but when the browser goes
16 away or the machine shuts down, anything
17 stored in RAM, in memory, that data, that
18 data, is lost. So then that is a -- so it
19 is attractive to store things in memory
20 because it is faster to access than storing
21 things out on disk, but the downside is that
22 that -- what is stored in memory does not

1 persist forever.

2 Q. So could data stored in memory
3 then still be considered a browser storage
4 area --

5 MR. WOLFE: Objection. Vague.

6 MR. PAPPAS: -- the location of
7 the data stored in memory.

8 THE WITNESS: So, storing --
9 storing data in memory, could certainly be
10 a browser storage area, and there are
11 types of cookies that are only stored in
12 memory and not stored in disks.

13 BY MR. PAPPAS:

14 Q. So then it sounds like the only
15 restriction aside from the storage area
16 being accessible at the browser is that a
17 server would have access to the data in the
18 storage location without user intervention.
19 Is that fair?

20 A. That the browser, yes, shares or
21 makes available that data with again some
22 amount of persistence to the server.

1 Q. So, what makes it a browser
2 storage area is that there's not user
3 intervention to make that data available to
4 the server?

5 MR. WOLFE: Objection. Vague.

6 THE WITNESS: Sorry, ask your
7 question again.

8 BY MR. PAPPAS:

9 Q. Is it what makes a storage area a
10 browser storage area that user intervention
11 is not required to make the data in that
12 storage area available to the server?

13 MR. WOLFE: Objection. Form.

14 THE WITNESS: I cannot
15 immediately recall a kind of data that
16 would require user intervention. I guess
17 when I think of -- when I think of
18 different kinds of cookies, that they --
19 they happen without intervention, but I
20 would have to go and look at all types of
21 cookies and browser storage areas to say
22 with a hundred percent certainty.

1 BY MR. PAPPAS:

2 Q. If a browser application stores
3 data as part of its operation, does it
4 matter what that data is to make the storage
5 location a browser storage area?

6 A. Well, I could certainly -- I could
7 certainly believe and would expect that a
8 browser would store data, even store data
9 with some persistence out on, but that data
10 is never accessible to the web server that
11 the browser is communicating with.

12 Q. And so in that case you would not
13 consider that a browser storage area?

14 A. Again, by the -- as laid out in
15 the 823 patent that is if the data never can
16 be accessed by the web server, then we do
17 not have an example of a browser storage
18 area.

19 Q. So in the context of the 823
20 patent, if the storage area is not accessed
21 by a web server, then you would not consider
22 it a browser storage area, correct?

1 A. A browser storage area as defined
2 by 823, the data contained in there needs to
3 be accessible by the web server.

4 Q. What parts of the 823 patent are
5 you relying on for that definition?

6 A. I'm looking at here how -- what
7 the characteristics of a cache cookie where
8 a cache cookie is something that is stored
9 in a browser storage area. With those
10 characteristics I would expect anything
11 stored in a browser storage area have those
12 characteristics.

13 Q. So your definition is based upon
14 the examples of data from the 823 patent
15 that are stored in the storage locations?

16 A. Certainly in trying to understand
17 the claims of the 823, I'm using the
18 specification as guidance.

19 Q. Would all local storage on the
20 client's device be accessible by a server?

21 MR. WOLFE: Objection. Vague.

22 THE WITNESS: Do you mean all --

1 everything on the file system? What do
2 you mean by local storage?

3 BY MR. PAPPAS:

4 Q. Well, we'll say everything on the
5 file system.

6 A. I would expect not because
7 everything on the file system -- one,
8 everything on the file system may not be
9 accessible to the browser. There may be
10 files that are owned by other users on this
11 same computer. There may be stuff that --
12 there may be data that the browser has
13 access to and can read and write, but it
14 doesn't -- it's not accessible to the web
15 server.

16 Q. What are examples of storage
17 locations that you would not consider a
18 browser storage location?

19 A. Anything where the data stored
20 there is not accessible by the web server.

21 Q. When you say the web server, that
22 would be the server associated with the

1 website that the user is browsing?

2 A. Yes. As first server, second
3 server, in the -- in Figure 1A of the 823
4 patent.

5 Q. How does the web server get access
6 to the client browser storage area?

7 A. Software in the browser as part of
8 a request to the web server passes that
9 information along or that's one way it does,
10 I guess in the cache cookies, the --
11 basically, the browser sends, in some way it
12 sends that information to the server.

13 Q. Are there restrictions on what
14 locations the browser can send to the
15 server?

16 A. It is what data that the software
17 the browser is written to send to the
18 server.

19 Q. And so then it's the browser
20 application would define the storage areas
21 that the web server could access?

22 A. I think it is known or understood

1 the kind of areas such as cookies, such as
2 temporary Internet files, such as history
3 that is generally available that browsers
4 make available, whether dealing with Chrome
5 or Firefox or whatever.

6 Q. So still in that case it's the
7 browser application that would define and
8 send the storage areas to the web server to
9 make them accessible to the web server,
10 correct?

11 A. Right. I guess what I would say
12 there are kind of standards or expectations
13 of what browsers are expected to send to the
14 web server.

15 Q. But those expectations don't
16 necessarily need to be cookies, correct?

17 A. Well, in -- do you mean
18 traditional cookies?

19 Q. Sure.

20 A. It doesn't need to just be
21 traditional cookies.

22 Q. You can have an add-on to a

1 browser that might have a storage location
2 for data unrelated to cookies. Is that
3 fair?

4 A. Unrelated to traditional cookies?

5 Q. Unrelated to any form of cookie.

6 A. You can have -- it could be
7 sending other types of cookies. We have
8 Flash cookies being sent.

9 Q. Could they be sending other types
10 of data aside from cookies?

11 A. They're sending out these local
12 shared objects which have come to be known
13 as Flash cookies in the same way that what
14 the 823 describes is a kind of -- what is
15 characterized as the phrase cache cookies
16 even though they're not cookies in the same
17 way that traditional cookies are.

18 Q. Could there be an add-on aside
19 from Flash where other types of data are
20 accessed and sent to the web server?

21 A. I'm not sure I immediately know of
22 any, but I believe yes, there could be other

1 add-ons that are added to the features of
2 the browser that do so.

3 Q. And if that were the case, then
4 the storage area storing that data would
5 still be a browser storage area, correct?

6 Q. If that was the case, then that
7 seems like that would be another type of
8 cookie.

9 Q. It would have to be considered a
10 cookie to meet the browser storage area
11 limitation?

12 A. If we had such a thing it would
13 probably be given reference to, but it would
14 not have to be explicitly called a cookie.
15 It just seems that the different types of
16 data that are accessible by server have been
17 coined -- to coin the phrase of different
18 kinds of cookies, but it could be that
19 there's data that are shared and they're
20 not, quote, explicitly called cookies.

21 Q. What about something like a
22 locally stored configuration file? Would

1 you consider that to be a cookie?

2 A. You mean a locally stored
3 configuration file on --

4 Q. The user's computer.

5 A. -- the user's computer?

6 I don't know that that would be
7 accessible to the server. I don't know of
8 any browser software that would share that
9 or send that to a computer, or to the
10 server.

11 Q. If it were sent to the web server,
12 would that make it storage area, browser
13 storage area?

14 MR. WOLFE: Objection. Form.

15 THE WITNESS: I don't know. You
16 seem to be getting into the well, we're
17 going to have a different kind of software
18 that gets added on, and I'm not really
19 sure what that software is doing or not
20 doing, so it's kind of hard for me to
21 really speculate or even answer your
22 question here because now we're getting

1 into something that doesn't exist, and I
2 don't know what those characteristics of
3 that something are or aren't.

4 BY MR. PAPPAS:

5 Q. So whether it's considered a
6 browser storage area then depends on the
7 type of data and whether that data is
8 transmitted from the user's client base to a
9 web server, correct?

10 A. It needs to be accessible to the
11 server. It needs to have persistence. It
12 needs to be available to the browser.

13 Q. When you say it needs that
14 persistence, the data inside the storage
15 area needs to have persistence; is that
16 right?

17 A. Yes. That is what the 823
18 indicates is important.

19 Q. Or do you mean that when it needs
20 to have persistence that the storage
21 location itself needs to allow the data to
22 persist?

1 A. Persist for how long? What do you
2 mean by persist? What is your -- persist
3 forever, persist for a period of time?
4 I'm -- I'm asking you to clarify your
5 question.

6 Q. Well, that's what I'm trying to
7 get at when you say it needs persistence,
8 I'm trying clarify does that relate to the
9 storage area and how it allows the data to
10 persist for a certain amount of time?

11 A. So where data are stored on a
12 user's computer factors into the duration of
13 the persistence of that data. So if I
14 store -- if the browser stores something in
15 memory, in RAM, okay, then the duration of
16 that data is only as long as that browser
17 process is running. And once the browser
18 process stops running, that data is gone.
19 So it persists for the duration of the
20 browser session. If I store -- if we have
21 browser storage area that is stored on disk
22 or longer term storage, then the expectation

1 is it continues to stay there unless
2 something like a user comes along or another
3 application comes along and removes that.
4 Or traditional cookies typically have -- can
5 have an expiration attached to them, and
6 they self destruct based upon that
7 expiration. That's just a feature of
8 traditional cookies.

9 Q. All those would be a type of
10 persistence, correct?

11 A. Yes. So that's why even storing
12 something on disk doesn't mean that it's
13 going to persist forever. It could get
14 removed explicitly. It could have an
15 expiration attached to it that says in 24
16 hours this goes away. And so even though
17 it's on disk, it doesn't stay there forever.
18 So that's why I say there is some amount of
19 persistence.

20 MR. WOLFE: We've been on the
21 record for a little over an hour now.
22 Just letting you know. When would you

1 like to break for lunch?

2 MR. PAPPAS: In the next five
3 minutes. Is that okay?

4 BY MR. PAPPAS:

5 Q. So is there any storage location
6 on the user's device that does not have some
7 form of persistence?

8 A. I think all storage areas,
9 irrespective of a browser, have some amount
10 of persistence. So memory has -- what is in
11 RAM has some amount of persistence. What is
12 on disk has typically some longer amount of
13 persistence.

14 MR. PAPPAS: We can take a
15 break.

16 MS. NALL: Want to have lunch?

17 (Discussion off the record.)

18 LUNCH RECESS 12:15.

19 - - -

20 (At 11:39 a.m., a recess was
21 taken until 12:15 p.m., of the same
22 day.)

1

— — —

2

BY MR. PAPPAS:

3

Q. Welcome back, Dr. Wills.

4

A. Thank you.

5

Q. How was your lunch on record?

6

A. On the record it was good.

7

Q. That's great. During the break,

8

did you discuss the substance of your

9

testimony with counsel?

10

A. I did not.

11

Q. All right. So I promise we won't

12

spend too much time on this. But I want to

13

wrap up what we talked about before the

14

break.

15

So, I just want to reiterate the

16

boundaries of, in your opinion what defines

17

a browser storage area. And feel free to

18

correct me if I mischaracterize anything

19

that you've said.

20

So the first is that the browser

21

storage area must be accessible to the web

22

server with which the browser is

1 communicating; is that right?

2 A. Content in the browser storage
3 area needs to be accessible to the server,
4 yes.

5 Q. And by saying content is
6 accessible, the web server would be able to
7 access the storage area where that content
8 is stored, right?

9 A. Not directly, but it is given data
10 by the browser.

11 Q. Okay. So, the storage area
12 doesn't itself need to be accessible to the
13 web server, but it needs to -- the web
14 server needs to be able to access data
15 within that storage location?

16 A. The web server needs to be able
17 to -- it needs to access that data meaning
18 it is shared by the web browser in some way,
19 shared with the web -- with the server in
20 some way.

21 Q. Does all the data in that storage
22 location need to be shared with the web

1 server in some way?

2 A. It seems that it needs to be
3 potentially shareable. There could be data
4 that are in the browser storage area for
5 which the browser never goes back to that
6 website and so that data are never shared
7 with the web server again. But -- so...

8 Q. So to reiterate then, the storage
9 area needs to contain data that is shared
10 with and accessible by the web server with
11 which the browser is communicating. Is that
12 fair?

13 A. The browser storage area needs to
14 have -- the data in the browser storage area
15 needs to be able to be or needs to be able
16 to be shared with the appropriate web
17 server.

18 Q. And the browser would direct the
19 storage area that is shared with an
20 accessible by the web server?

21 A. What do you mean by direct?

22 Q. The browser would define it for

1 the web server?

2 A. Browser software or part of the
3 installation would define where that data is
4 stored on the client device.

5 Q. And the data stored in the browser
6 storage area has persistent state. Is that
7 fair?

8 A. The data has yes, persistent to
9 some degree state, yes.

10 Q. And then finally, the data in the
11 browser storage area can be shared with the
12 web server without the user's intervention.
13 Is that fair?

14 A. It is certainly that is a
15 byproduct of user interactions that data
16 from the browser storage area may be sent or
17 accessible by the web server.

18 Q. Is it required to be considered a
19 browser storage area that the data stored in
20 the browser storage area may be sent or
21 accessible to the web server without the
22 user's intervention?

1 A. Can you say that one again?

2 Sorry.

3 Q. To be considered a browser storage
4 area, is it a requirement in your opinion
5 that the data stored in the storage area may
6 be sent to the server without the user's
7 intervention?

8 MR. WOLFE: Objection. Vague.

9 THE WITNESS: Their user has
10 initiated -- the user would have had to
11 initiate an interaction, a request to a
12 server, okay. The user may not be
13 explicitly involved in every or even aware
14 of every network resource request that
15 happens as a result of the initial user
16 request.

17 BY MR. PAPPAS:

18 Q. But we talked about earlier the
19 example of a mail program where a user would
20 upload an attachment in an email which would
21 then send that attachment to the server,
22 right?

1 A. Yes, we did talk about that.

2 Q. And that's not enough for that
3 storage area to be considered a browser
4 storage area, right?

5 A. I'd have to think about that more,
6 but that does not strike me as a browser
7 storage area, no, where that -- an arbitrary
8 My Documents folder on my computer.

9 Q. So is what differentiates that
10 storage location from a browser storage
11 location that the data can be accessed by
12 the web sever without the user explicitly
13 directing that data?

14 A. Again, and I think I answered this
15 similarly before, it certainly seems that
16 when I immediately think of browser storage
17 areas, that happens without direct request
18 of the user, but I would have to -- I would
19 have to look at other -- if -- so in the
20 case you have, that doesn't seem like that
21 that is a browser storage area.

22 Q. Okay. But sitting here today,

1 then, is it fair to say you haven't formed
2 an opinion on whether that location would be
3 considered a browser storage area?

4 A. I think that's correct. That's
5 not something that I considered as part of
6 writing my report. It's not a scenario that
7 I explicitly considered.

8 Q. So the requirements we just talked
9 about then, are there any additional
10 requirements in your opinion that would make
11 a storage location be considered a browser
12 storage location?

13 Let me just rephrase the question
14 because I used location instead of area,
15 just to be clear. So, aside from the
16 requirements we just talked about, are there
17 any additional requirements in your opinion
18 to make a storage location be considered --
19 a storage area be considered a browser
20 storage area?

21 A. Well, so, there needs to be
22 persistent state out the browser, okay, and

1 there needs to be accessibility of that
2 state to the server, okay, via the browser.

3 Q. And that's what makes a storage
4 area a browser storage area, in your
5 opinion?

6 A. Yes, based upon what is my reading
7 of what is considered here for cache cookies
8 in the 823, which is consistent with what
9 happens with traditional cookies, that they
10 have persistent state, that they are
11 accessible to the server, so...

12 Q. Let's move away from that for a
13 few moments, and I want to hand you the
14 Hinton reference which is Exhibit 1005. I
15 want to just start talking, generally, about
16 Hinton. You reviewed Hinton as part of your
17 preparation for this deposition?

18 A. Certainly.

19 Q. Is it your opinion that Hinton
20 discloses use of two cookies a domain
21 identity cookie and an E-community cookie?

22 A. Hinton certainly has both of those

1 cookies for domains, yes.

2 Q. And you are relying on those two
3 cookies in your analysis in this proceeding?

4 A. Yes, those are the two cookies for
5 different domains that I'm relying upon.

6 Q. Can you turn to paragraph 132 of
7 Hinton, and just let me know when you've
8 read the paragraph.

9 A. I did review the paragraph. Thank
10 you. 132, correct?

11 Q. Okay. I'll read it -- part of it
12 into the record for clarity. The first
13 sentence says Only the one instance within a
14 DNS domain that authenticates the user or
15 first receives an authentication vouch for
16 message sets an E-community cookie at the
17 user's browser. Do you see that?

18 A. I do.

19 Q. Then it says, As such, a user has
20 one E-community cookie set for each domain
21 at which it has a current authenticated or
22 vouched for session. Did I read that

1 correctly?

2 A. I think so.

3 Q. So in this paragraph is Hinton
4 saying that by setting an E-community cookie
5 for a domain, the user has an active session
6 corresponding to that domain?

7 A. It has a current authenticated
8 session.

9 Q. Corresponding to the domain?

10 A. Corresponding to the domain, yes,
11 sir.

12 Q. And then the active -- sorry, I'll
13 use your words. The current authenticated
14 session provides the user access across that
15 domain; is that right?

16 A. Right. Once a user has
17 authenticated with that domain, it may go on
18 and perform other actions.

19 Q. And those other actions might be
20 communicating with another server in that
21 domain?

22 A. I can't immediately remember

1 whether that means communicating with a
2 single server in the domain or other servers
3 within the domain.

4 Q. Let's go to paragraph 232 of
5 Hinton.

6 A. 232. I got that on page 10.
7 Starts out, The E-community memory cookies?

8 Q. Yeah, that's right.

9 A. Okay.

10 Q. So it says, The community memory
11 cookies contain security relevant
12 information such that possession of an
13 E-community cookie may provide access to a
14 particular session. Do you see that?

15 A. I do.

16 Q. When a user has an E-community
17 cookie set for a domain, the E-community
18 cookie would provide the user access to the
19 current session so the user can access
20 resources within that domain; is that right?

21 A. It can make subsequent requests to
22 that domain. Yes.

1 Q. Okay. Let's turn to paragraph
2 134, and this is all related.

3 A. This is the Acme.com paragraph?

4 Q. That's correct. So, I'll give you
5 have a moment to read it, and then we can
6 talk about it.

7 A. (Reading). Okay. I have now had
8 a chance to look at it. Sorry.

9 Q. Not a problem at all. So, in
10 paragraph 134 there's an example provided
11 for the domain www.acme.com, right?

12 A. There is.

13 Q. And in the middle of this
14 paragraph Hinton says, The user has a
15 domain-wide E-community cookie set, right?

16 A. It does say that, yes.

17 Q. So then in this example, when the
18 user goes to the accounting server in that
19 domain, the E-community cookie would
20 indicate that the user has current
21 authenticated session and that the
22 accounting server does not need

1 reauthenticate the user. Is that what
2 Hinton is saying?

3 A. That is what Hinton says there.

4 Q. So, then because the user already
5 has an active session with the domain, the
6 user can use that session to access the
7 accounting server in this example?

8 A. That seems to be what it is
9 saying, yes.

10 Q. Okay. Thanks. I just wanted to
11 clarify that paragraph. You can put away
12 Hinton for the moment. And I want to
13 provide you, Varheese. I'm not sure how to
14 pronounce it. I was saying Var-gese [ph].

15 A. I don't know.

16 Q. We'll go with Var-gee-see [ph]
17 because it seems to be more -- I trust you
18 more than myself.

19 A. No, no. I have no idea. You have
20 can say that's on the record.

21 Q. I'm handing you Exhibit 1004 in
22 this proceeding, Varghese you can keep that

1 along with your declaration. But I wanted
2 to start at paragraph 166 of your
3 declaration.

4 A. 166?

5 Q. Yes. In this paragraph you're
6 discussing the Varghese reference, correct?

7 A. I am indeed.

8 Q. Is it your opinion that Varghese
9 describes using both secure cookies and
10 Flash cookies to identify user devices?

11 A. It is -- that is indeed my
12 opinion. They are both secure and Flash
13 cookies.

14 Q. In the middle of paragraph 166,
15 you say Varghese further discloses that
16 cookies are routinely replaced with each
17 login, i.e., new network session. Do you
18 see that?

19 A. I do.

20 Q. Can you explain what you mean by
21 that? And feel free to look at Varghese, if
22 it's helpful.

1 A. So Varghese has the notion of
2 being able to log in as I describe earlier
3 and given that we're asking about a network
4 session, we're now talking about network
5 session coming from the 823.

6 So, in paragraph 166, we're
7 talking about a second previous network
8 session. So there was a first network
9 previous session in which some -- a page was
10 requested, maybe a Flash object was
11 downloaded as well. And now, we're in a
12 situation here that the Flash software, as
13 indicated just above here, has run and Flash
14 cookies have been created and stored onto
15 the device.

16 At the end of the authentication,
17 which would have consisted of the first and
18 the second previous network sessions, okay,
19 it's simply saying in Varghese discloses
20 that at the end of that, the cookies are
21 replaced, they're updated, their values in
22 terms of what is the device, what is the

1 time, the login, things like that. So, that
2 is -- and it says the word replaced and that
3 is indeed what Varghese does. It basically
4 updates the values at the end of what would
5 be the end of the login.

6 Q. Okay. And when you say that it
7 replaces the cookies, are you referring to
8 both the secure cookies and the Flash
9 cookies?

10 A. In -- and this is a matter of
11 trying to understand Varghese, and I -- let
12 me consult our P.C. here.

13 Q. If it helps, I believe you are
14 pointing to column 26, lines -- starting at
15 line 13.

16 A. Okay. Column 26, You suggested?

17 Q. Yes. In your paragraph, in your
18 declaration, it points to column 26, lines
19 13 to 14.

20 A. Yes. Okay. In this sense of yes,
21 I found it in Varghese.

22 Q. I can re-ask the question, if that

1 helps.

2 A. Okay. I think I completely lost
3 the question. Sorry.

4 Q. So in column 26, the first
5 sentence starting at line 13, the first
6 sentence says a feature of the invention
7 relates to the replacement of the cookie on
8 the user's machine upon each login. Do you
9 see that?

10 A. I do.

11 Q. So when Varghese is talking about
12 the replacement of the cookie on the user's
13 machine, is that referring to both
14 Varghese's secure cookies and Flash cookies?

15 A. I don't believe Varghese is as
16 clear on this, and I can't say with a
17 hundred percent certainty, but it appears
18 that it is both, okay. And this is not my
19 patent, and it's -- clarity there is not --
20 it's not certain.

21 Q. Reading this as a person of
22 ordinary skill in the art, is it your

1 opinion that Varghese would be talking about
2 both secure cookies and Flash cookies in
3 that paragraph?

4 A. I think it is talking about at
5 least secure cookies. Unfortunately, it
6 is -- yeah.

7 Q. Why do you say at least secure
8 cookies, but not Flash cookies?

9 A. Because that single sentence talks
10 about the cookie, and there's not a clear
11 cookie immediately preceding that that is
12 there. The previous paragraph talks about
13 device I.D. token, so -- and given the I.D.,
14 I would say it would be likely that it would
15 be both, but again, just from an English
16 language here, it's just the cookie. There
17 was not a singular cookie that was
18 previously referenced to get the cookie.

19 Q. The paragraph above that starts at
20 line 4, where it talks about standard cookie
21 or Flash cookie, does that indicate that the
22 cookie in the next paragraph could be either

1 one?

2 A. I mean, it's an "or" there, and
3 then we have a "the cookie." So there
4 wasn't a single cookie in the previous
5 paragraph. So, I mean this is a little bit
6 of -- this is conjecture. I don't think
7 that -- I don't think Varghese provides
8 clarity on that.

9 Q. Let's look at the next sentence in
10 the paragraph starting at line 13, and it
11 says, This provides further security so that
12 even if a user's machine information is
13 improperly acquired by a third party, even
14 including that embodied in a previous
15 cookie, the authentication system can
16 identify that the user is not authorized and
17 deny access to the system. Do you see that?

18 A. I do see that.

19 Q. So, is the replacement then of
20 cookies upon login due to security concerns?

21 A. That is what that sentence seems
22 to indicate, yes.

1 Q. So based on those security
2 concerns, would it then make sense to you
3 for Varghese to replace whatever previous
4 cookies existed?

5 A. I think that that would be the
6 most likely, that it would be replacing both
7 of them. That's a reasonable expectation
8 from what is written there.

9 Q. Okay.

10 MR. PAPPAS: Is it okay to take
11 a ten-minute break?

12 THE WITNESS: Sure.

13 MR. WOLFE: 1:00?

14 MR. PAPPAS: Or a little after
15 that works.

16 - - -

17 (Recess.)

18 - - -

19 BY MR. PAPPAS:

20 Q. Welcome back again, Dr. Wills.
21 During the break did you discuss the
22 substance of your testimony with counsel?

1 A. I did not.

2 Q. Dr. Wills, I appreciate you being
3 here today and I have no further questions
4 for you. I'll pass the witness.

5 MS. NALL: We'll take a break.

6 THE COURT: How long do you
7 want?

8 MS. NALL: Twenty minutes.

9 - - -

10 (Recess.)

11 - - -

12 MS. NALL: Dr. Wills, are you
13 ready?

14 - - -

15 EXAMINATION

16 - - -

17 BY MR. WOLFE:

18 Q. Dr. Wills, do you recall being
19 asked about the definition of a browser
20 storage area in the 823 patent?

21 A. I do.

22 Q. Did you use the plain and ordinary

1 meaning in light of the 823 specification of
2 the term "browser storage area" when
3 drafting your opinion?

4 MR. PAPPAS: Objection.
5 Leading.

6 THE WITNESS: I did.

7 BY MR. WOLFE:

8 Q. What is your opinion using that
9 definition as to whether Hinton's DIDC is
10 stored in a browser storage area?

11 A. The DIDC cookie is stored in a
12 browser storage area.

13 Q. What is your opinion using that
14 definition as to whether Hinton --

15 MR. PAPPAS: Objection. Scope.

16 THE WITNESS: It is my opinion
17 that the EEC cookie is stored in a browser
18 storage area.

19 BY MR. WOLFE:

20 Q. What is your opinion using that
21 definition as to whether Varghese's secure
22 cookie is stored in a browser storage area?

1 A. It is my opinion that the secured
2 cookie is stored in a browser storage area.

3 Q. What is your opinion using that
4 definition as to whether Varghese's Flash
5 cookie is stored in a browser storage area?

6 MR. PAPPAS: Objection. Form.

7 THE WITNESS: It is my opinion
8 that Varghese's Flash cookie is stored in
9 a browser storage area.

10 BY MR. WOLFE:

11 Q. Dr. Wills, do you recall being
12 asked about paragraph 132 of Hinton's
13 disclosure regarding the vouch for process?

14 A. I do.

15 Q. I'm going to read part of element
16 1B of the 823 patent to you. B recites the
17 network resource request corresponds to the
18 first cookie of a first type that was caused
19 to be stored to the client device during a
20 first previous network session. In Hinton,
21 what is the disclosure you rely on for the
22 first previous network session?

1 MR. PAPPAS: Objection. Scope.

2 THE WITNESS: The first previous
3 network session is when a user device or
4 user goes to the home domain -- home
5 domain sever. That server directs the
6 user's computer to the other affiliated
7 domains in the electronic community, so
8 that at the end of the network session,
9 there will be -- and in each case placing
10 a DIDC cookie in the domain restoring a
11 DIDC cookie for the domain or that DIDC
12 cookie identifies the home domain for each
13 of the affiliated domains.

14 BY MR. WOLFE:

15 Q. Referring back to element 1B of
16 the 823 patent, the element 1B further
17 recites a second cookie of a second type
18 different from the first type was caused to
19 be stored at the client device during a
20 second previous network session. In Hinton,
21 what is the disclosure you rely on for that
22 element?

1 MR. PAPPAS: Objection to scope.

2 THE WITNESS: So, when the
3 user's computer goes to and seeks to
4 access one of the affiliated domains, it
5 goes to the server of that affiliated
6 domain. There is a DIDC cookie for that
7 domain. That cookie identifies the home
8 domain. At that point, the affiliated
9 domain causes a vouch for request for the
10 client to make a vouch for request to the
11 home domain where the user is
12 authenticated. The response, the result
13 of that vouch for request is a redirection
14 back to the -- back to the affiliated
15 domain at which point the affiliated
16 domain uses that successful authentication
17 to generate an ECC cookie, which is then
18 sent to the client device so now, the
19 client device has both a DIDC cookie and
20 an EEC cookie for that affiliated domain.

21 BY MR. WOLFE:

22 Q. Referring back to element 1B,

1 element 1B further recites receiving network
2 resource request from a client device. In
3 Hinton what is the disclosure you rely on
4 for that element?

5 A. So, Hinton discloses multiple
6 affiliated domains. So, another affiliated
7 domain, a -- the client's browser generates
8 a network resource request to another
9 affiliated domain. That request would
10 contain the DIDC cookie for that -- another
11 affiliated domain, and that is the -- that
12 would ultimately lead to another vouch for
13 request of the home domain, but that is the
14 network resource request.

15 Q. Turning to Varghese, do you recall
16 being asked about Varghese with respect to
17 each login session replacing the cookies?

18 A. I do.

19 Q. What was your opinion related to
20 whether the secure cookie is generated in a
21 different session than the Flash cookie?

22 MS. NALL: Strike that.

1 MR. PAPPAS: Strike that.

2 BY MR. WOLFE:

3 Q. What was your opinion related to
4 whether the secure cookie is generated in a
5 different network session than the Flash
6 cookie?

7 A. It is my opinion that the secure
8 cookie and the Flash cookie are generated in
9 separate network sessions.

10 Q. Can you turn to figure 4B of
11 Varghese, please.

12 A. Four B. Yes.

13 Q. In -- is 4B, does 4B represent a
14 network session or a login session?

15 MR. PAPPAS: Objection to scope.

16 THE WITNESS: Figure 4B
17 represents a login session in Varghese,
18 which consists of two network sessions as
19 defined in the -- in the 823 patent.

20 MR. WOLFE: Pass the witness.

21 MR. PAPPAS: Can you give us a
22 couple minutes?

1 MS. NALL: Sure. You know how
2 long you need?

3 THE WITNESS: How long would you
4 like?

5 MS. NALL: We'll just wait.

6 MR. PAPPAS: Yeah. It won't be
7 too long.

8 - - -

9 EXAMINATION

10 - - -

11 BY MR. PAPPAS:

12 Q. Welcome back, Dr. Wills. So we
13 took a recess of about an hour approximately
14 between cross-examination and redirect.
15 What did you do during that hour?

16 MR. WOLFE: Objection. Attorney
17 work product. You can answer to the
18 extent it doesn't disclose anything we
19 discussed.

20 THE WITNESS: Talked about what
21 to do about redirect.

22

1 BY MR. PAPPAS:

2 Q. Did you talk with counsel during
3 that hour?

4 A. Yes.

5 Q. Who did you speak with
6 specifically?

7 A. Joe and Jennifer.

8 Q. Did you prepare testimony
9 alongside counsel during that hour?

10 MR. WOLFE: Objection. Instruct
11 him not to answer the question.

12 BY MR. PAPPAS:

13 Q. Are you going to follow your
14 counsel's instruction?

15 A. Yes.

16 MR. PAPPAS: Counsel, what basis
17 are you providing for instructing your
18 witness not to answer the question?

19 MR. WOLFE: Oh, work product.

20 BY MR. PAPPAS:

21 Q. Work, okay. How long did you
22 prepare with counsel your redirect

1 testimony?

2 A. I don't know. I wasn't -- I
3 wasn't keeping track of time.

4 Q. Was it for approximately the whole
5 hour?

6 A. It was certainly some amount of
7 it.

8 Q. Did you do anything else during
9 that hour?

10 A. Used the restroom. Got a drink,
11 just collected myself.

12 Q. Did you review any documents
13 during that hour in preparation for your
14 redirect testimony?

15 A. I don't recall reviewing any
16 documents.

17 Q. During your redirect I noticed
18 that you didn't consult any documents. Did
19 you prepare your answers for redirect
20 testimony before the testimony occurred?

21 MR. WOLFE: Objection. Calls
22 for attorney work product.

1 MR. PAPPAS: I'm asking if he
2 prepared his answers before he gave the
3 testimony.

4 MR. WOLFE: Same objection.
5 Instruct the witness not to answer.

6 MR. PAPPAS: You going to follow
7 your counsel's instruction?

8 THE WITNESS: Yes.

9 BY MR. PAPPAS:

10 Q. Where any of the answers you gave
11 during redirect provided by counsel?

12 A. The answers provided by me are
13 mine.

14 Q. So you formed your answers to
15 those questions without the assistance of
16 counsel during that hour?

17 MR. WOLFE: Objection. Calls
18 for attorney work product. Instruct the
19 witness not to answer.

20 MR. PAPPAS: Are you going to
21 follow your counsel's instructions?

22 THE WITNESS: Yes.

1 BY MR. PAPPAS:

2 Q. Do you recall being asked
3 questions about Varghese's Figure 4B during
4 redirect?

5 A. I do.

6 Q. And you did not consult Varghese
7 when you answered those questions; is that
8 correct?

9 A. I think I had it open here.

10 Q. Did you have an answer prepared
11 for the questions about Varghese's figures
12 4B before you looked to Varghese during your
13 redirect testimony?

14 MR. WOLFE: Objection. Attorney
15 work product. Instruct the witness not to
16 answer.

17 MR. PAPPAS: You going to follow
18 your counsel's instruction?

19 THE WITNESS: Yes.

20 BY MR. PAPPAS:

21 Q. Did you memorize any answers to
22 questions on redirect before you gave your

1 redirect testimony?

2 A. I did not.

3 Q. Were you aware of the questions
4 that were going to be asked on redirect
5 before you gave your redirect testimony?

6 MR. WOLFE: Objection. Attorney
7 work product. Instruct the witness not to
8 answer.

9 MR. PAPPAS: Dr. Wills, are you
10 going to follow your counsel's
11 instruction?

12 THE WITNESS: Yes.

13 BY MR. PAPPAS:

14 Q. Do you recall your testimony
15 during redirect discussing element 1BI,
16 which relates to receiving a network
17 resource request from a client device?

18 MR. WOLFE: Objection. Vague.

19 THE WITNESS: Yes, I recall
20 being asked about that.

21 BY MR. PAPPAS:

22 Q. And you discussed during redirect

1 your opinion of how Hinton teaches this
2 claim element, correct?

3 A. I recall questions about that,
4 yes.

5 Q. And you said that the client's
6 browser generates a network resource request
7 to another affiliated domain. Do you recall
8 that?

9 A. Something to that effect.

10 Q. And when you were referring to
11 another affiliated domain, is that a
12 different domain than you are relying on for
13 element 1B4? And you are welcome to consult
14 your declaration.

15 A. I'm sorry. I will. Can you ask
16 again? Only I'm now here, and I just needed
17 to refresh my memory of what 1B4 is.

18 Q. Sure. So when you were referring
19 to another affiliated domain for element
20 1B1, is that a different domain than you
21 were relying on for element 1B4?

22 MR. WOLFE: Objection. Vague.

1 THE WITNESS: So the resource
2 request for 1.B.1 is for a different
3 affiliated domain than the second previous
4 network -- than the domain affiliated
5 domain for the second previous network
6 session in 1.B.4.

7 BY MR. PAPPAS:

8 Q. And where in your declaration do
9 you say that?

10 A. So in my declaration in paragraph
11 84 of my declaration I talk about the --
12 that the network resource request triggers a
13 vouch-for process. And so this relates to
14 Hinton. Hinton has multiple affiliated
15 domains. Access to any of the affiliated
16 domains causes a vouch-for process to be
17 triggered if there is not already an ECC
18 cookie for that domain. So given that this
19 is a network 1B.I, a network resource
20 request that triggers a vouch-for process,
21 we know this has to be separate and distinct
22 from the second previous network session

1 because the second previous network session
2 for an affiliated domain resulted in an ECC
3 cookie for that domain.

4 Q. Do you say that in your
5 declaration?

6 A. It follows from the fact that at
7 this network resource request that I call
8 that a triggering -- a vouch-for process.

9 Q. Would Hinton ever check for a DIDC
10 if an ECC existed?

11 MR. WOLFE: Objection. Vague.

12 THE WITNESS: Clearly, if we
13 go -- if the user's browser goes to an
14 affiliated domain, it is going to check
15 for an ECC cookie. If the ECC cookie is
16 present, I don't recall whether Hinton
17 explicitly says that it looks for the DIDC
18 cookie or doesn't look for the DIDC
19 cookie. Obviously, if the ECC cookie is
20 not present, it absolutely makes use of
21 the DIDC cookie. But if you'r asking the
22 opposite of -- if both are present does it

1 go and look, I would have to go back to
2 Hinton to give you an answer.

3 BY MR. PAPPAS:

4 Q. Okay. So Hinton, is it fair to
5 say Hinton does not say if an ECC exists
6 whether it would also look for the existence
7 of a DIDC. Is that fair?

8 MR. WOLFE: Objection.

9 THE WITNESS: I didn't say that.
10 I didn't say that Hinton does or doesn't
11 say it. I'm saying I don't recall whether
12 or not it does or doesn't say.

13 BY MR. PAPPAS:

14 Q. So you're not relying on whether
15 it does or doesn't for your opinions in
16 these paragraphs, correct, with respect to
17 element 1B1?

18 A. Well, in 1B1, I know it use DIDC
19 cookie because in 1B1, the network resource
20 request triggers a vouch-for process and the
21 vouch-for process is only triggered if the
22 ECC cookie is not present for that

1 affiliated domain.

2 Q. For your answers to these
3 questions related to Hinton, did you discuss
4 your answers with counsel during the break?

5 MR. WOLFE: Objection.

6 THE WITNESS: No, I did not.

7 BY MR. PAPPAS:

8 Q. So you did not prepare for the
9 questions about Hinton with counsel during
10 the break?

11 MR. WOLFE: Objection. Work
12 product.

13 MR. PAPPAS: Are you instructing
14 the witness not to answer?

15 MR. WOLFE: Sure. You don't
16 have to answer.

17 MR. PAPPAS: Counsel, before we
18 close, can you explain the basis for your
19 objection and why you believe the
20 conversations between witness and counsel
21 between cross-examination and redirect are
22 covered by attorney work product?

1 MR. WOLFE: There is a case, I
2 believe we are able to communicate with
3 the witness between direct and redirect.

4 MR. PAPPAS: Do you know what
5 that case is?

6 MR. WOLFE: I don't recall off
7 the top of my head what the case is.

8 MR. PAPPAS: I guess my question
9 is why is the communication, the ability to
10 communicate with the witness covered by work
11 product if there's no materials produced?

12 MR. WOLFE: I don't believe that
13 was what our objection was about.

14 MR. PAPPAS: What was the
15 objection about?

16 MR. WOLFE: It seems like the
17 questioning got to what was communicated,
18 not whether we communicated with the
19 witness.

20 MR. PAPPAS: That's correct. And
21 I understand there's a case that says that
22 you are -- you may communicate with the

1 witness, but does that case say that -- that
2 was communications are not discoverable?

3 MR. WOLFE: They weren't
4 discoverable in that case.

5 MR. PAPPAS: Does the case say
6 that?

7 MR. WOLFE: They weren't
8 discovered in the case. I would have to
9 reference the opinion again.

10 MR. PAPPAS: So, just confirming
11 for the record then that the basis for you
12 instructing the witness not to answer
13 these questions is the work product
14 doctrine?

15 MR. WOLFE: And privilege, sure.
16 Yes.

17 MR. PAPPAS: And privilege, but
18 you didn't assert privilege for the
19 previous questions, correct?

20 MR. WOLFE: That's correct. We
21 said work product.

22 MR. PAPPAS: Okay. I think with

1 that, we can wrap up and let you go

2 wherever you would like to go.

3 MR. WOLFE: We reserve the right

4 to have the witness review the transcript.

5 MR. PAPPAS: Sure.

6 MR. WOLFE: No further

7 questions.

8 (At 2:56 p.m., proceedings were

9 concluded.)

10 - - -

11

12

13

14

15

16

17

18

19

20

21

22

C E R T I F I C A T E

I, Lisa Claud Neal, Certified Reporter
and Notary Public do hereby certify:

That CRAIG ELLIS WILLS, Ph.D., the witness
whose deposition is hereinbefore set forth, was
duly sworn by me before the commencement of such
deposition and that such deposition was taken
before me and is a true record of the testimony
given by such witness.

I further certify that the adverse party,
RAVENWHITE SECURITY, INC., was represented by
counsel at the deposition.

I further certify that the deposition of
CRAIG ELLIS WILLS, Ph.D., occurred at the offices
of DLA PIPER One Liberty Place 1650 Market Street
Suite 5000 Philadelphia, PA 19103 on Tuesday
June 17, 2025 commencing at 9:00 a.m. to
2:56 p.m.

I further certify that I am not related to
any of the parties to this action by blood or
marriage, I am not employed by or an attorney to
any of the parties to this action, and that I am
in no way interested, financially or otherwise,
in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my
hand this 20th day of June, 2025.

Lisa Neal

Lisa Claud Neal, RPR,

CSR, CLI Notary PA-NJ-DE

- - -

| A | | | |
|-------------------|-----------------------|-------------------|-------------------|
| ability | access | accounting | 95:1 |
| 139:9 | 36:14, 40:6, | 112:18, 112:22, | added |
| able | 41:8, 43:14, | 113:7 | 64:10, 66:7, |
| 27:3, 43:14, | 43:15, 65:12, | accurate | 95:1, 96:18 |
| 85:11, 102:6, | 65:19, 66:2, | 8:2, 8:6, | adding |
| 102:14, 102:16, | 66:8, 66:11, | 34:20, 35:4 | 79:2 |
| 103:15, 115:2, | 66:17, 67:11, | acme | additional |
| 139:2 | 77:7, 77:8, | 112:3, 112:11 | 107:9, 107:17 |
| about | 80:4, 80:8, | acquired | address |
| 11:18, 15:2, | 83:14, 84:6, | 119:13 | 44:11 |
| 17:6, 19:12, | 85:13, 86:20, | across | addressed |
| 20:6, 20:22, | 87:17, 91:13, | 6:22, 7:2, | 68:12 |
| 25:10, 26:12, | 92:5, 92:21, | 110:14 | adverse |
| 28:16, 30:4, | 102:7, 102:14, | action | 142:10 |
| 30:19, 31:20, | 102:17, 110:14, | 9:22, 10:2, | affiliated |
| 32:20, 35:20, | 111:13, 111:18, | 142:20, 142:22 | 124:6, 124:13, |
| 38:9, 39:4, | 111:19, 113:6, | actions | 125:4, 125:5, |
| 47:3, 51:20, | 119:17, 125:4, | 24:21, 26:12, | 125:8, 125:14, |
| 52:9, 58:10, | 135:15 | 26:14, 26:22, | 125:15, 125:20, |
| 58:11, 58:16, | accessed | 29:6, 32:9, | 126:6, 126:9, |
| 58:17, 59:3, | 36:5, 86:7, | 32:13, 34:6, | 126:11, 134:7, |
| 59:8, 59:14, | 89:16, 89:20, | 34:16, 110:18, | 134:11, 134:19, |
| 75:8, 79:22, | 94:20, 106:11 | 110:19 | 135:3, 135:4, |
| 82:14, 95:21, | accessibility | active | 135:14, 135:15, |
| 101:13, 105:18, | 108:1 | 110:5, 110:12, | 136:2, 136:14, |
| 106:1, 106:5, | accessible | 113:5 | 138:1 |
| 107:9, 107:16, | 48:1, 48:11, | activities | after |
| 108:15, 112:6, | 48:17, 48:20, | 30:13, 30:17 | 14:18, 26:11, |
| 115:3, 115:4, | 75:10, 75:14, | activity | 29:19, 120:14 |
| 115:7, 117:11, | 78:17, 78:20, | 31:7, 31:11, | again |
| 118:1, 118:4, | 79:6, 83:7, | 32:5, 32:18, | 13:16, 15:6, |
| 118:10, 118:12, | 83:13, 84:8, | 33:5, 33:8, | 26:6, 40:15, |
| 118:20, 121:19, | 85:6, 87:16, | 33:14 | 44:10, 50:19, |
| 123:12, 126:16, | 89:10, 90:3, | actually | 52:8, 55:4, |
| 128:13, 128:20, | 90:20, 91:9, | 53:21 | 59:6, 67:19, |
| 128:21, 132:3, | 91:14, 91:20, | ad | 77:22, 81:11, |
| 132:11, 133:20, | 93:9, 95:16, | 23:3 | 82:18, 84:4, |
| 134:3, 135:11, | 96:7, 97:10, | add | 86:6, 86:7, |
| 138:9, 139:13, | 101:21, 102:3, | 84:19 | 87:21, 88:7, |
| 139:15 | 102:6, 102:12, | add-on | 89:14, 103:7, |
| above | 103:10, 103:20, | 47:16, 49:7, | 105:1, 106:14, |
| 115:13, 118:19 | 104:17, 104:21, | 50:10, 50:15, | 118:15, 120:20, |
| absolutely | 108:11 | 51:8, 52:17, | 134:16, 140:9 |
| 136:20 | accessing | 53:18, 66:6, | agree |
| abstract | 49:15 | 82:10, 93:22, | 59:15, 78:14 |
| 4:7, 29:6 | accomplishment | 94:18 | agreed |
| | 17:16 | add-ons | 30:11 |
| | according | 49:8, 53:15, | agreeing |
| | 50:3, 81:13 | | 31:14 |

| | | | |
|---|---|---|--|
| <p>ahead 25:22, 27:2, 32:8, 65:7</p> <p>all 7:12, 16:18, 19:8, 26:21, 36:12, 36:22, 37:1, 44:20, 49:17, 54:14, 57:17, 64:5, 67:6, 69:1, 72:17, 73:3, 88:20, 90:19, 90:22, 99:9, 100:8, 101:11, 102:21, 112:2, 112:9</p> <p>allow 53:15, 97:21</p> <p>allowed 47:17, 47:18, 47:21, 49:8, 49:12</p> <p>allows 98:9</p> <p>along 18:19, 20:1, 20:5, 52:22, 65:9, 78:6, 92:9, 99:2, 99:3, 114:1</p> <p>alongside 129:9</p> <p>already 38:15, 113:4, 135:17</p> <p>also 9:21, 23:5, 23:7, 23:16, 47:21, 61:12, 71:14, 74:13, 75:13, 79:7, 86:11, 137:6</p> <p>although 23:12, 71:1</p> <p>always 24:4, 85:20</p> <p>amount 61:17, 87:22,</p> | <p>98:10, 99:18, 100:9, 100:11, 100:12, 130:6</p> <p>analysis 109:3</p> <p>analyze 24:9, 28:12</p> <p>analyzing 23:22</p> <p>another 21:15, 47:9, 76:19, 95:7, 99:2, 110:20, 126:6, 126:8, 126:10, 126:12, 134:7, 134:11, 134:19</p> <p>answer 11:1, 11:7, 11:15, 78:14, 96:21, 128:17, 129:11, 129:18, 131:5, 131:19, 132:10, 132:16, 133:8, 137:2, 138:14, 138:16, 140:12</p> <p>answered 70:13, 106:14, 132:7</p> <p>answers 10:16, 130:19, 131:2, 131:10, 131:12, 131:14, 132:21, 138:2, 138:4</p> <p>any 7:1, 7:12, 7:15, 8:5, 10:19, 11:15, 14:4, 14:18, 17:2, 28:16, 33:13, 37:2, 41:9, 58:6, 65:11, 75:9, 75:17, 75:20, 76:8, 76:15, 78:22, 79:8,</p> | <p>94:5, 94:22, 96:8, 100:5, 107:9, 107:17, 130:12, 130:15, 130:18, 131:10, 132:21, 135:15, 142:20, 142:22</p> <p>anyone 12:21, 15:13, 16:14</p> <p>anything 7:5, 11:21, 36:13, 86:2, 86:16, 90:10, 91:19, 101:18, 128:18, 130:8</p> <p>api 54:22, 55:5</p> <p>apologies 67:21</p> <p>apparently 64:4</p> <p>appeal 1:2</p> <p>appearances 2:1</p> <p>appears 7:3, 31:9, 31:13, 35:2, 117:17</p> <p>application 39:14, 40:3, 41:10, 43:11, 47:15, 49:5, 49:11, 49:17, 50:7, 50:12, 50:14, 50:17, 51:22, 52:14, 52:15, 52:18, 52:19, 52:21, 53:4, 53:5, 53:12, 53:21, 54:4, 54:5, 54:6, 54:14, 55:1, 55:11, 55:12, 56:9, 56:11, 57:5, 57:12, 57:14,</p> | <p>57:16, 60:9, 63:8, 67:13, 68:15, 68:17, 72:9, 75:10, 76:5, 76:11, 78:7, 78:17, 84:13, 85:7, 85:17, 89:2, 92:20, 93:7, 99:3</p> <p>applications 23:7, 36:7, 49:8, 65:12, 65:15, 76:17</p> <p>applying 24:11</p> <p>appreciate 121:2</p> <p>approach 24:20, 29:4, 34:5, 34:15</p> <p>appropriate 65:10, 103:16</p> <p>approximately 9:5, 9:9, 12:9, 15:18, 16:2, 16:6, 128:13, 130:4</p> <p>arbitrary 106:7</p> <p>areas 70:1, 83:15, 83:18, 83:21, 88:21, 92:20, 93:1, 93:8, 100:8, 106:17</p> <p>aren't 97:3</p> <p>around 37:16, 76:1, 79:17</p> <p>art 47:6, 117:22</p> <p>article 24:19, 25:2, 25:7, 25:9, 25:14, 29:15, 30:2, 34:4,</p> |
|---|---|---|--|

| | | | |
|--|--|--|--|
| 34:20, 35:5, 41:14, 61:10 as-is 60:2 aside 16:11, 52:14, 57:14, 87:15, 94:10, 94:18, 107:15 asked 51:21, 121:19, 123:12, 126:16, 132:2, 133:4, 133:20 asking 34:8, 45:9, 53:10, 68:7, 84:18, 84:22, 98:4, 115:3, 131:1, 136:21 aspect 26:11 aspects 18:22, 22:22, 42:12, 42:19, 58:12, 73:4 assert 140:18 assist 8:19 assistance 12:3, 131:15 associated 40:3, 42:7, 57:7, 72:11, 78:10, 91:22 assume 11:2 assuming 86:8 attach 78:8 attached 99:5, 99:15 attaching 78:5 attachment 76:21, 77:18, | 78:9, 79:2, 105:20, 105:21 attachments 76:11, 76:14 attitudes 24:21, 29:5, 29:16, 31:6, 34:6, 34:16 attorney 128:16, 130:22, 131:18, 132:14, 133:6, 138:22, 142:21 attractive 85:21, 86:19 authenticated 109:21, 110:7, 110:13, 110:17, 112:21, 125:12 authenticates 109:14 authentication 109:15, 115:16, 119:15, 125:16 authorized 119:16 available 48:4, 53:19, 78:3, 87:21, 88:3, 88:12, 93:3, 93:4, 97:12 aware 7:13, 8:12, 8:16, 29:20, 33:13, 37:2, 49:16, 66:12, 105:13, 133:3 awareness 24:21, 26:7, 29:5, 34:6, 34:16 away 35:10, 85:22, 86:16, 99:16, 108:12, 113:11 | 21:18, 36:16, 38:14, 38:17, 43:5, 48:8, 54:20, 58:5, 58:9, 82:8, 85:4, 86:4, 101:3, 103:5, 120:20, 124:15, 125:14, 125:22, 128:12, 137:1 back-end 48:5 background 14:6, 17:6, 35:13 backwards 73:22 base 25:19, 97:8 based 27:3, 27:13, 27:15, 27:16, 76:4, 76:10, 77:22, 90:13, 99:6, 108:6, 120:1 basic 10:13, 27:1 basically 36:13, 92:11, 116:3 basis 67:2, 129:16, 138:18, 140:11 because 17:11, 27:11, 27:20, 43:4, 45:21, 49:12, 50:18, 51:17, 52:3, 66:19, 77:9, 77:22, 85:22, 86:20, 91:6, 96:22, 107:14, 113:4, 113:17, 118:9, 136:1, 137:19 been 5:5, 8:8, 8:18, | 9:3, 9:6, 9:7, 10:11, 12:17, 12:18, 17:18, 17:20, 24:4, 27:19, 28:14, 28:17, 29:11, 34:3, 38:12, 40:7, 46:21, 51:15, 53:19, 54:2, 54:10, 55:17, 66:6, 73:18, 74:13, 75:7, 95:16, 99:20, 115:14 before 1:2, 7:17, 9:3, 10:12, 11:15, 38:14, 45:12, 73:20, 74:15, 75:8, 101:13, 106:15, 130:20, 131:2, 132:12, 132:22, 133:5, 138:17, 142:6, 142:8 began 19:19 begin 35:18, 69:14 beginning 14:1, 44:22, 45:1 begins 72:19, 74:9 being 21:12, 22:4, 26:19, 44:5, 45:22, 52:7, 60:9, 61:5, 61:6, 61:22, 64:22, 77:9, 82:16, 83:11, 87:16, 94:8, 115:2, 121:2, 121:18, 123:11, 126:16, 132:2, 133:20 believe 7:8, 14:6, |
|--|--|--|--|

| | | | |
|---|---|---|--|
| 25:21, 26:10, 32:9, 35:18, 38:22, 41:17, 43:2, 43:12, 51:12, 54:1, 57:8, 68:7, 68:8, 68:16, 71:1, 74:9, 75:1, 76:17, 78:20, 80:15, 89:7, 94:22, 116:13, 117:15, 138:19, 139:2, 139:12 below 80:1 bemben 2:14 besides 19:18 best 24:5, 24:9 between 32:2, 45:10, 128:14, 138:20, 138:21, 139:3 beyond 41:15 bit 11:18, 15:2, 17:6, 26:10, 44:21, 60:1, 75:7, 119:5 bits 59:4 block 26:19 blockers 23:3 blocking 26:20 blood 142:20 board 1:2 both 15:16, 108:22, 114:9, 114:12, | 116:8, 117:13, 117:18, 118:2, 118:15, 120:6, 125:19, 136:22 bottom 44:5, 50:1, 74:19 boundaries 101:16 bounds 76:1, 79:17 box 51:14 break 11:11, 11:16, 55:19, 56:1, 57:20, 58:6, 100:1, 100:15, 101:7, 101:14, 120:11, 120:21, 121:5, 138:4, 138:10 breaks 11:10 bringing 12:4 broader 33:18 broadly 23:13 broke 30:5 browser's 46:2, 51:10, 72:11 browsers 27:20, 27:21, 27:22, 28:1, 28:2, 28:8, 36:22, 37:1, 38:11, 39:12, 49:7, 58:11, 93:3, 93:13 browsing 39:5, 39:21, 40:12, 70:8, 92:1 built 49:14 | bullets 64:1 byproduct 104:15 <hr/> C <hr/> cache 21:15, 21:20, 27:12, 28:19, 28:21, 35:21, 36:2, 36:3, 36:9, 36:15, 36:21, 37:1, 37:3, 37:6, 37:10, 38:3, 38:5, 38:7, 38:11, 38:16, 38:20, 39:13, 39:17, 40:8, 40:21, 41:19, 41:21, 42:6, 42:13, 42:19, 43:3, 43:21, 44:2, 44:6, 45:10, 45:14, 45:15, 45:18, 46:3, 46:6, 46:8, 46:9, 46:13, 46:15, 46:16, 46:17, 46:19, 46:20, 46:22, 47:1, 47:8, 47:10, 58:12, 58:20, 68:20, 69:2, 69:22, 70:7, 70:11, 70:20, 71:2, 71:4, 71:14, 72:11, 80:1, 80:19, 80:21, 81:4, 81:6, 81:9, 81:11, 81:20, 82:15, 82:21, 90:7, 90:8, 92:10, 94:15, 108:7 caching 19:7 | call 17:15, 18:17, 23:20, 44:15, 136:7 called 34:4, 47:21, 63:19, 95:14, 95:20 calls 54:9, 130:21, 131:17 came 18:19, 26:9, 27:4 can't 8:5, 12:12, 20:15, 26:21, 32:1, 67:12, 110:22, 117:16 cannot 67:15, 67:20, 68:2, 68:4, 88:14 case 1:5, 25:20, 40:17, 65:2, 77:4, 77:17, 78:4, 83:14, 89:12, 93:6, 95:3, 95:6, 106:20, 124:9, 139:1, 139:5, 139:7, 139:21, 140:1, 140:4, 140:5, 140:8 cases 21:10, 21:11, 21:13, 64:5 caused 73:12, 74:4, 123:18, 124:18 causes 125:9, 135:16 certain 50:13, 50:14, 98:10, 117:20 certainly 6:3, 14:10, |
|---|---|---|--|

| | | | |
|---|---|--|---|
| 23:18, 30:3, 32:1, 33:11, 35:1, 46:11, 58:20, 78:19, 87:9, 89:6, 89:7, 90:16, 104:14, 106:15, 108:18, 108:22, 130:6 certainty 88:22, 117:17 certified 142:2 certify 142:3, 142:10, 142:13, 142:19 chance 112:8 change 7:19, 84:18 characteristics 83:3, 90:7, 90:10, 90:12, 97:2 characterized 94:15 check 136:9, 136:14 choice 32:17, 32:19 choose 76:8 chrome 36:17, 36:20, 37:9, 39:10, 40:10, 40:11, 40:17, 40:19, 40:20, 41:6, 41:7, 93:4 chrome's 37:3, 37:21 cited 13:8, 13:18, 14:5, 14:12 claim 72:17, 73:1, 73:5, 73:6, 74:2, 74:20, | 134:2 claimed 74:15 claims 90:17 clarify 7:16, 7:19, 10:21, 44:21, 58:11, 59:7, 73:4, 98:4, 98:8, 113:11 clarity 109:12, 117:19, 119:8 class 9:22, 10:2 claud 1:22, 142:2, 142:30 clean 6:13, 6:14 clear 10:16, 10:18, 39:8, 51:9, 60:5, 67:12, 68:19, 70:18, 79:4, 80:12, 82:13, 107:15, 117:16, 118:10 cleared 67:15, 67:20, 68:3, 68:4, 68:22 clearing 68:10 clearly 22:10, 136:12 clears 68:5, 68:18 cli 1:22, 142:31 client 45:16, 45:20, 65:8, 72:20, 73:8, 73:13, 74:5, 75:3, 75:4, 75:9, 78:2, 92:6, | 97:8, 104:4, 123:19, 124:19, 125:10, 125:18, 125:19, 126:2, 133:17 client's 45:18, 46:15, 46:17, 48:1, 90:20, 126:7, 134:5 close 12:19, 138:18 closed 85:17 cnn 19:16, 19:18, 26:3, 26:6, 27:9 coauthor 25:11 coauthored 34:4 code 27:17, 28:10, 28:13, 28:15, 52:18, 52:20, 52:21, 53:1, 53:3, 53:11, 53:13, 53:15, 53:20, 54:2, 54:7, 66:21, 66:22, 67:5 coin 95:17 coined 95:17 colleague 19:13 collected 130:11 collecting 24:5 color 61:15 colors 28:4 column 32:12, 72:17, 72:21, 79:21, | 80:13, 80:15, 116:14, 116:16, 116:18, 117:4 com 112:3, 112:11 combine 26:4 come 6:22, 7:2, 25:15, 36:16, 69:2, 94:12 comes 7:10, 38:13, 43:5, 51:15, 86:4, 99:2, 99:3 coming 20:1, 115:5 commencement 142:6 commencing 142:17 communicate 54:5, 54:13, 54:16, 54:22, 55:12, 139:2, 139:10, 139:22 communicated 75:15, 75:16, 139:17, 139:18 communicates 65:8 communicating 55:15, 89:11, 102:1, 103:11, 110:20, 111:1 communication 139:9 communications 140:2 community 111:10, 124:7 compared 31:12 comparison 32:2 complete 8:2, 8:6, 34:19 completely 56:14, 117:2 |
|---|---|--|---|

| | | |
|---|---|--|
| computer 18:6, 18:21, 36:9, 36:11, 49:5, 50:4, 50:17, 51:3, 56:10, 56:19, 56:21, 57:14, 58:13, 59:21, 60:4, 61:4, 76:16, 91:11, 96:4, 96:5, 96:9, 98:12, 106:8, 124:6, 125:3 computer's 86:13 computers 50:5, 51:16 computing 18:18 concern 20:5, 30:12, 33:18 concerned 30:4, 30:16, 30:19, 31:5, 31:10, 31:20 concerns 6:1, 10:4, 10:8, 23:8, 52:6, 119:20, 120:2 concluded 141:9 conduct 22:16, 22:18, 23:10 conferences 22:12 configuration 43:10, 95:22, 96:3 configured 40:7, 42:14, 58:22, 59:2, 64:7, 72:10, 72:14 confirming 140:10 | confused 81:4 conjecture 119:6 connection 5:19 consider 18:5, 44:20, 77:19, 89:13, 89:21, 91:17, 96:1 considered 13:7, 13:15, 13:17, 76:2, 87:3, 95:9, 97:5, 104:18, 105:3, 106:3, 107:3, 107:5, 107:7, 107:11, 107:18, 107:19, 108:7 consisted 115:17 consistent 31:18, 83:10, 108:8 consists 127:18 consult 116:12, 130:18, 132:6, 134:13 contacted 21:17 contain 103:9, 111:11, 126:10 contained 90:2 content 19:8, 19:10, 38:15, 38:19, 39:20, 40:8, 40:21, 62:4, 62:5, 102:2, 102:5, 102:7 contents 38:11 context 89:19 | continues 99:1 control 26:18, 32:22 conversations 22:15, 138:20 cookies 21:19, 25:19, 26:18, 26:20, 27:3, 27:13, 33:19, 35:17, 35:19, 37:20, 38:2, 38:4, 39:20, 41:15, 43:1, 43:21, 44:2, 44:14, 45:15, 46:13, 46:16, 47:3, 47:7, 47:8, 47:9, 47:10, 48:9, 48:18, 59:19, 62:22, 63:20, 64:14, 64:20, 64:21, 65:10, 67:12, 67:15, 67:20, 68:6, 68:10, 68:18, 68:19, 68:21, 69:1, 69:22, 70:7, 70:19, 82:9, 87:11, 88:18, 88:21, 92:10, 93:1, 93:16, 93:18, 93:21, 94:2, 94:4, 94:7, 94:8, 94:10, 94:13, 94:15, 94:16, 94:17, 95:18, 95:20, 99:4, 99:8, 108:7, 108:9, 108:20, 109:1, 109:3, 109:4, 111:7, 111:11, 114:9, 114:10, 114:13, 114:16, 115:14, 115:20, 116:7, 116:8, 116:9, 117:14, 118:2, 118:5, 118:8, 119:20, 120:4, 126:17 copy 6:12, 6:14, 34:20, 35:4 correct 8:10, 17:8, 29:17, 31:9, 34:7, 34:11, 34:17, 34:18, 36:21, 47:4, 49:1, 53:22, 60:16, 63:16, 69:15, 72:13, 73:8, 77:7, 81:22, 82:1, 82:5, 89:22, 93:10, 93:16, 95:5, 97:9, 99:10, 101:18, 107:4, 109:10, 112:4, 114:6, 132:8, 134:2, 137:16, 139:20, 140:19, 140:20 correctly 17:10, 17:17, 110:1 correspond 71:5 corresponding 110:6, 110:9, 110:10 corresponds 73:11, 123:17 could 20:6, 21:7, 21:18, 25:15, 26:1, 26:12, 27:2, 27:4, 27:8, 27:10, 27:17, 27:18, 38:15, 46:5, 48:15, 49:14, |
|---|---|--|

| | | | |
|---|--|--|--|
| 50:13, 51:13, 56:2, 61:17, 62:15, 65:18, 66:2, 67:19, 76:14, 76:20, 77:8, 77:13, 87:2, 87:9, 89:6, 92:21, 94:6, 94:9, 94:18, 94:22, 95:18, 99:13, 99:14, 103:3, 118:22 counsel 11:5, 11:8, 12:22, 16:4, 16:12, 16:15, 55:2, 58:7, 68:2, 101:9, 120:22, 129:2, 129:9, 129:16, 129:22, 131:11, 131:16, 138:4, 138:9, 138:17, 138:20, 142:12 counsel's 129:14, 131:7, 131:21, 132:18, 133:10 counting 15:21 couple 23:9, 55:20, 56:3, 127:22 course 18:3, 20:13, 20:17 courses 17:21, 18:1, 18:8, 18:13 court 8:13, 8:20, 9:19, 10:14, 67:18, 68:1, 121:6 cover 6:17 covered 138:22, 139:10 | craig 1:14, 3:8, 5:4, 5:16, 142:4, 142:14 create 63:4 created 67:8, 67:9, 115:14 cross-examination 128:14, 138:21 csr 1:22, 142:31 css 25:18, 27:3, 27:15, 28:13 current 109:21, 110:7, 110:13, 111:19, 112:20 currently 17:7, 17:21 <hr/> D <hr/> data 23:20, 23:21, 23:22, 24:6, 24:9, 24:12, 36:5, 37:9, 39:16, 41:22, 42:6, 46:5, 46:9, 61:12, 61:16, 61:17, 61:18, 62:5, 62:7, 62:9, 62:11, 62:14, 62:18, 62:21, 63:2, 63:5, 63:9, 63:14, 65:19, 66:2, 66:17, 71:15, 72:6, 75:15, 83:7, 83:16, 85:8, 85:10, 85:13, 85:16, 86:15, 86:17, 86:18, 87:2, 87:7, 87:9, | 87:17, 87:21, 88:3, 88:11, 88:15, 89:3, 89:4, 89:8, 89:9, 89:15, 90:2, 90:14, 91:12, 91:19, 92:16, 94:2, 94:10, 94:19, 95:4, 95:16, 95:19, 97:7, 97:14, 97:21, 98:9, 98:11, 98:13, 98:16, 98:18, 102:9, 102:14, 102:17, 102:21, 103:3, 103:6, 103:9, 103:14, 104:3, 104:5, 104:8, 104:10, 104:15, 104:19, 105:5, 106:11, 106:13 date 1:15 day 100:22, 142:26 dc 2:16 dealing 93:4 decide 39:11 decided 14:9 declaration 5:20, 6:11, 6:15, 6:19, 7:1, 7:7, 7:16, 11:19, 11:20, 11:22, 12:6, 12:10, 13:1, 13:4, 13:9, 13:20, 14:2, 14:5, 14:14, 14:19, 15:6, 15:22, 16:19, 24:15, 34:10, | 35:12, 43:18, 69:8, 69:9, 114:1, 114:3, 116:18, 134:14, 135:8, 135:10, 135:11, 136:5 define 85:19, 92:20, 93:7, 103:22, 104:3 defined defines 90:1, 127:19 definitely 20:14 definition 75:20, 84:9, 90:5, 90:13, 121:19, 122:9, 122:14, 122:21, 123:4 degree 83:20, 104:9 demographics 30:6, 31:8, 31:12 demonstrate 25:15 deny 119:17 depends 97:6 deponent 1:14 deposed 9:3, 9:6, 9:7, 10:11 deposition 5:19, 6:20, 14:22, 15:3, 15:4, 15:11, 15:19, 16:4, 16:8, 16:16, 29:3, 34:3, 34:14, 108:17, 142:5, 142:7, |
|---|--|--|--|

| | | | |
|---|---|--|--|
| 142:12, 142:13 depositions 9:10 depot 1:5, 2:9, 8:9, 8:19, 12:22, 16:15 depot's 14:13, 14:15, 14:17, 14:21 describe 115:2 described 79:22, 80:11 describes 94:14, 114:9 description 4:6 designate 36:8 designated 71:5, 71:8, 71:18 desirable 33:11 destruct 99:6 detail 80:1 details 37:2 determine 27:18 device 48:1, 65:8, 72:21, 73:8, 73:13, 74:5, 75:3, 75:5, 75:9, 84:1, 90:20, 100:6, 104:4, 115:15, 115:22, 118:13, 123:19, 124:3, 124:19, 125:18, 125:19, 126:2, 133:17 devices 114:10 | didc 122:9, 122:11, 124:10, 124:11, 125:6, 125:19, 126:10, 136:9, 136:17, 136:18, 136:21, 137:7, 137:18 difference 45:9 different 6:12, 11:11, 28:4, 30:6, 32:8, 32:13, 35:17, 35:19, 42:12, 44:8, 44:15, 44:17, 47:7, 51:7, 58:12, 68:14, 70:1, 74:3, 85:18, 88:18, 95:15, 95:17, 96:17, 109:5, 124:18, 126:21, 127:5, 134:12, 134:20, 135:2 differentiates 106:9 direct 103:18, 103:21, 106:17, 139:3 directed 37:10 directing 106:13 direction 22:21 directly 10:6, 102:9 director 2:14 directories 59:9, 64:7 directory 39:15, 42:7, 51:2, 66:18, 67:9 directs 124:5 | disclose 128:18 discloses 69:21, 108:20, 114:15, 115:19, 126:5 disclosure 123:13, 123:21, 124:21, 126:3 discoverable 140:2, 140:4 discovered 140:8 discuss 24:19, 35:16, 35:19, 58:6, 101:8, 120:21, 138:3 discussed 29:13, 128:19, 133:22 discussing 34:3, 46:21, 69:14, 114:6, 133:15 discussion 100:17 disk 37:10, 37:16, 59:5, 71:17, 86:21, 98:21, 99:12, 99:17, 100:12 disks 87:12 displaying 66:5 distinct 135:21 distributed 18:2, 18:17, 18:18, 19:2, 23:11 distribution 19:8, 19:11 district 8:13, 8:19, 9:19 | dla 2:2, 142:15 dns 109:14 doctrine 140:14 document 29:11, 49:21, 76:20, 77:3, 77:5 documents 13:7, 13:9, 13:18, 13:19, 14:7, 16:7, 16:10, 77:13, 77:18, 77:19, 106:8, 130:12, 130:16, 130:18 doing 5:13, 19:20, 20:9, 22:19, 54:21, 63:6, 96:19, 96:20 domain 108:20, 109:14, 109:20, 110:5, 110:6, 110:9, 110:10, 110:15, 110:17, 110:21, 111:2, 111:3, 111:17, 111:20, 111:22, 112:11, 112:19, 113:5, 124:4, 124:5, 124:10, 124:11, 124:12, 125:6, 125:7, 125:8, 125:9, 125:11, 125:15, 125:16, 125:20, 126:7, 126:9, 126:11, 126:13, 134:7, 134:11, 134:12, 134:19, 134:20, 135:3, 135:4, 135:5, 135:18, 136:2, 136:3, 136:14, 138:1 |
|---|---|--|--|

| | | | |
|--|---|---|---|
| domain-wide 112:15 domains 109:1, 109:5, 124:7, 124:13, 125:4, 126:6, 135:15, 135:16 done 10:7, 23:16, 24:3, 24:11, 32:20, 48:9, 60:13, 86:9 down 10:14, 30:6, 86:2, 86:16 download 76:11 downloaded 21:14, 38:13, 76:21, 115:11 downloads 77:18 downside 86:21 dr 5:11, 29:10, 55:22, 58:5, 101:3, 120:20, 121:2, 121:12, 121:18, 123:11, 128:12, 133:9 draft 12:5 drafted 12:2, 12:7 drafting 122:3 drafts 12:2 drink 130:10 due 119:20 duly 5:5, 142:6 duration 98:12, 98:15, 98:19 | during 58:5, 73:13, 73:18, 74:5, 74:14, 101:7, 120:21, 123:19, 124:19, 128:15, 129:2, 129:9, 130:8, 130:13, 130:17, 131:11, 131:16, 132:3, 132:12, 133:15, 133:22, 138:4, 138:9 <hr/> E <hr/> e-community 108:21, 109:16, 109:20, 110:4, 111:7, 111:13, 111:16, 111:17, 112:15, 112:19 each 39:13, 39:14, 39:18, 55:12, 109:20, 114:16, 117:8, 124:9, 124:12, 126:17 earlier 29:14, 58:10, 105:18, 115:2 early 18:10, 19:5 easy 17:3 ecc 125:17, 135:17, 136:2, 136:10, 136:15, 136:19, 137:5, 137:22 editing 12:3 eec 122:17, 125:20 effect 134:9 effective 23:4 effectively 45:17 | either 23:13, 62:20, 64:13, 118:22 elaborate 48:12 electronic 124:7 element 72:19, 73:2, 73:5, 73:6, 74:2, 74:20, 123:15, 124:15, 124:16, 124:22, 125:22, 126:1, 126:4, 133:15, 134:2, 134:13, 134:19, 134:21, 137:17 ellis 1:14, 3:8, 5:4, 5:16, 142:4, 142:14 else 15:13, 23:14, 38:6, 130:8 email 76:4, 76:10, 76:12, 76:19, 76:21, 78:9, 105:20 embodied 119:14 employed 142:21 encompass 36:12 encounters 54:7 end 14:12, 115:16, 115:20, 116:4, 116:5, 124:8 ended 22:12 english 118:15 enhanced 53:7, 53:8 | enhancements 49:12 enough 106:2 entail 84:21 entire 12:5 entitled 24:20 entries 45:18, 46:14, 46:17 error 7:7 errors 7:1, 7:13 esquire 2:3, 2:4, 2:13, 2:14 essentially 27:5, 28:12, 59:3, 71:2, 76:15 europe 33:17 even 32:2, 49:16, 67:4, 89:8, 94:16, 96:21, 99:11, 99:16, 105:13, 119:12, 119:13 ever 136:9 every 11:10, 47:16, 105:13, 105:14 everything 10:13, 83:22, 84:3, 91:1, 91:4, 91:7, 91:8 evidence 3:6 exactly 66:14, 68:6 examination 5:8, 121:15, |
|--|---|---|---|

| | | | |
|---|--|---|---|
| 128:9 examined 5:5 example 37:10, 38:8, 40:9, 40:10, 41:20, 50:22, 51:1, 76:4, 76:8, 77:12, 77:14, 77:16, 80:22, 81:5, 81:15, 85:17, 89:17, 105:19, 112:10, 112:17, 113:7 examples 23:9, 90:14, 91:16 excited 69:5 execute 53:12 executed 47:17, 52:19, 52:22, 53:16, 54:8, 57:1, 60:10, 61:2, 61:6, 64:22 executing 56:9, 56:12, 57:4, 57:13, 66:20, 66:21, 67:5 execution 54:19, 54:20 exhaustive 43:6 exhibit 6:8, 29:1, 29:2, 29:7, 34:2, 34:9, 34:13, 34:14, 38:9, 49:19, 59:16, 61:1, 63:19, 67:10, 69:4, 108:14, 113:21 exhibits 13:8, 13:19, | 13:22, 14:1 exist 67:4, 97:1 existed 120:4, 136:10 existence 137:6 exists 137:5 expect 11:10, 42:11, 42:20, 43:14, 51:4, 83:1, 89:7, 90:10, 91:6 expectation 64:9, 98:22, 120:7 expectations 93:12, 93:15 expected 93:13 expects 51:6, 72:15 expert 20:19 expertise 20:11, 20:20 expiration 99:5, 99:7, 99:15 explain 27:14, 36:1, 47:11, 60:1, 114:20, 138:18 explicit 72:3, 78:22, 79:8, 80:2, 80:7, 81:21, 82:3, 82:7, 82:11, 82:14, 82:16 explicitly 68:12, 77:1, 95:14, 95:20, 99:14, 105:13, 106:12, 107:7, 136:17 | explorer 39:11 expose 26:6 extended 53:9 extent 37:1, 128:18 <hr/> F <hr/> facebook 20:3 fact 78:4, 136:6 factors 98:12 faculty 18:21 fair 10:17, 11:3, 11:4, 11:16, 30:15, 35:20, 38:3, 59:12, 63:11, 77:14, 77:15, 87:19, 94:3, 103:12, 104:7, 104:13, 107:1, 137:4, 137:7 fall 12:13 familiar 36:17, 37:5, 76:5 familiarity 41:10 faster 86:20 favorite 61:14 feature 27:20, 72:3, 80:2, 80:8, 81:21, 82:4, 82:8, 82:12, 82:15, 82:16, 99:7, 117:6 features 95:1 | federal 9:19 feel 30:1, 101:17, 114:21 felton 45:5, 45:13 few 10:12, 73:4, 108:13 figure 70:10, 92:3, 127:10, 127:16, 132:3 figures 132:11 file 37:16, 37:17, 39:15, 39:19, 40:2, 40:4, 40:22, 41:5, 41:18, 41:22, 42:3, 42:16, 43:3, 43:4, 44:4, 46:7, 50:20, 58:18, 58:21, 59:9, 59:14, 60:16, 60:18, 64:4, 64:6, 66:10, 66:17, 66:22, 67:3, 70:12, 71:6, 71:10, 71:11, 71:19, 77:2, 77:5, 77:7, 77:8, 77:12, 78:5, 78:8, 85:20, 86:3, 86:7, 91:1, 91:5, 91:7, 91:8, 95:22, 96:3 files 38:21, 39:1, 39:21, 41:3, 41:4, 41:8, 41:12, 43:2, 45:22, 46:4, |
|---|--|---|---|

| | | | |
|--|--|---|---|
| 46:12, 47:21, 48:19, 51:2, 59:1, 59:14, 66:11, 66:15, 67:6, 70:15, 91:10, 93:2 final 12:4 finally 104:10 financially 142:23 find 26:1, 29:22, 72:15, 74:8 findings 30:10 fine 17:4 firefox 41:21, 42:6, 42:8, 42:12, 93:5 first 13:16, 19:9, 30:9, 31:14, 32:11, 72:19, 73:6, 73:12, 73:14, 73:17, 74:4, 75:3, 92:2, 101:20, 109:12, 109:15, 115:8, 115:17, 117:4, 117:5, 123:18, 123:20, 123:22, 124:2, 124:18 five 100:2 floor 2:15 focus 23:15 focusing 20:18 folder 77:13, 77:19, 77:20, 106:8 | folders 59:9, 67:9 follow 75:21, 129:13, 131:6, 131:21, 132:17, 133:10 follows 5:6, 136:6 followup 24:8 forcing 45:15 forever 87:1, 98:3, 99:13, 99:17 form 46:14, 46:17, 80:2, 88:13, 94:5, 96:14, 100:7, 123:6 format 60:21 formed 107:1, 131:14 forth 142:5 found 14:8, 21:11, 30:3, 30:11, 116:21 foundation 37:12, 40:14, 42:10 four 127:12 fox 2:12 frame 18:8, 21:22, 25:8, 47:14, 49:10, 51:20, 52:4, 52:8 free 30:1, 101:17, 114:21 front 9:11, 59:17 full 5:14, 5:16 | further 114:15, 119:11, 121:3, 124:16, 126:1, 141:6, 142:10, 142:13, 142:19 <hr/> G <hr/> gaining 22:3 game 61:15 gathered 30:8 gave 131:2, 131:10, 132:22, 133:5 gdpr 33:20, 34:1 generally 10:3, 11:22, 25:9, 36:1, 47:11, 93:3, 108:15 generate 125:17 generated 126:20, 127:4, 127:8 generates 126:7, 134:6 getting 20:3, 60:17, 79:16, 96:16, 96:22 give 8:2, 8:6, 10:15, 16:5, 112:4, 127:21, 137:2 given 81:14, 95:13, 102:9, 115:3, 118:13, 135:18, 142:9 gives 64:1 giving 82:19 | gmail 76:6, 78:7, 78:10, 78:12, 79:1 gnu 64:3 go 10:12, 19:16, 20:22, 25:22, 27:2, 38:17, 39:11, 41:8, 47:2, 58:9, 63:18, 64:12, 69:9, 74:11, 79:11, 79:19, 82:8, 88:20, 110:17, 111:4, 113:16, 136:13, 137:1, 141:1, 141:2 goes 24:16, 28:1, 65:7, 66:20, 72:22, 85:22, 86:15, 99:16, 103:5, 112:18, 124:4, 125:3, 125:5, 136:13 goggle 40:10 going 6:8, 11:2, 19:17, 19:21, 21:1, 21:7, 40:20, 41:8, 49:19, 55:17, 64:19, 64:20, 73:22, 96:17, 99:13, 123:15, 129:13, 131:6, 131:20, 132:17, 133:4, 133:10, 136:14 goldstein 2:12 gone 27:11, 98:18 good 5:11, 17:4, |
|--|--|---|---|

| | | | |
|---|---|--|--|
| 55:18, 101:6 goodness 20:3 google 20:4, 37:3, 37:9, 37:21, 40:10, 41:6 google's 36:17 govern 33:14 graduate 20:13, 25:12, 25:21 granted 67:1 graphics 47:19 great 101:7 greatest 17:16 grew 52:7 ground 10:13 guess 55:6, 56:13, 59:7, 61:8, 88:16, 92:10, 93:11, 139:8 guidance 90:18 guy 18:6 | happen 88:19 happened 21:7, 29:20 happening 22:11, 23:8, 24:7 happens 27:22, 105:15, 106:17, 108:9 happy 57:19, 79:15 hard 96:20 head 76:9, 139:7 header 22:5, 22:7 helpful 30:2, 74:11, 114:22 helps 116:13, 117:1 here 7:8, 8:2, 13:6, 14:10, 14:12, 25:4, 26:7, 31:2, 31:18, 31:22, 32:3, 32:8, 32:10, 32:12, 32:13, 35:16, 38:9, 41:16, 42:2, 43:21, 44:3, 44:11, 45:14, 45:15, 46:11, 47:2, 51:8, 51:9, 52:9, 62:1, 67:10, 68:12, 69:21, 73:22, 74:7, 74:22, 79:14, 81:17, 82:10, 83:10, 84:5, 84:9, 90:6, 96:22, 106:22, 108:7, 115:12, 115:13, 116:12, | 118:16, 121:3, 132:9, 134:16 hereby 142:3 hereinbefore 142:5 hereunto 142:25 higher 31:21 highest 31:14 hinton 15:7, 108:14, 108:16, 108:19, 108:22, 109:7, 110:3, 111:5, 112:14, 113:2, 113:3, 113:12, 122:14, 123:20, 124:20, 126:3, 126:5, 134:1, 135:14, 136:9, 136:16, 137:2, 137:4, 137:5, 137:10, 138:3, 138:9 hinton's 122:9, 123:12 history 25:18, 26:1, 27:3, 27:5, 27:12, 27:15, 27:16, 27:21, 28:3, 28:6, 28:21, 38:9, 38:10, 39:3, 39:5, 39:6, 39:8, 39:9, 39:22, 40:12, 43:3, 48:19, 70:8, 70:11, 93:2 hold 41:2 home 1:5, 2:9, 8:9, 8:18, 12:22, | 14:13, 14:15, 14:17, 14:21, 16:15, 124:4, 124:12, 125:7, 125:11, 126:13 hour 11:10, 55:18, 99:21, 128:13, 128:15, 129:3, 129:9, 130:5, 130:9, 130:13, 131:16 hours 12:11, 12:12, 12:16, 12:19, 16:3, 16:13, 99:16 http 22:6 hulu 10:5 hundred 12:16, 12:19, 27:7, 88:22, 117:17 hundreds 12:11 |
| H | | | I |
| half 9:16 hand 6:8, 29:1, 49:19, 108:13, 142:26 handed 29:11 hanging 113:21 handling 69:4 | | | idea 17:11, 27:1, 113:19 identification 29:8 identified 21:10, 22:10 identifies 124:12, 125:7 identify 114:10, 119:16 identifying 21:9, 21:12, 22:9 identity 108:21 illustrated 21:11 image 45:22 |

| | | | |
|---|--|---|---|
| <p>immediately 38:13, 88:15, 94:21, 106:16, 110:22, 118:11</p> <p>implemented 37:4, 37:7</p> <p>implications 20:2</p> <p>important 32:16, 33:1, 33:2, 33:9, 97:18</p> <p>improperly 119:13</p> <p>inadvertent 22:8</p> <p>inc 1:5, 1:9, 2:9, 2:19, 142:11</p> <p>include 14:10, 53:12, 62:11, 83:16</p> <p>includes 83:7</p> <p>including 119:14</p> <p>indeed 6:18, 6:21, 19:20, 22:11, 51:5, 60:7, 114:7, 114:11, 116:3</p> <p>independent 56:12, 56:14, 56:16</p> <p>index 14:1</p> <p>indicate 30:4, 112:20, 118:21, 119:22</p> <p>indicated 115:13</p> <p>indicates 61:12, 75:14, 78:1, 97:18</p> <p>indicating 6:15, 45:19, 77:2</p> | <p>indication 82:19</p> <p>information 21:3, 21:5, 21:9, 21:12, 21:13, 21:19, 22:3, 22:7, 22:9, 26:8, 26:15, 26:17, 28:3, 28:9, 28:11, 28:18, 28:20, 32:21, 36:4, 41:19, 43:15, 48:6, 48:7, 51:7, 54:13, 58:21, 59:20, 61:3, 72:15, 83:1, 92:9, 92:12, 111:12, 119:12</p> <p>infringement 9:22</p> <p>initial 12:2, 12:5, 105:15</p> <p>initiate 105:11</p> <p>initiated 105:10</p> <p>inside 52:19, 60:8, 97:14</p> <p>install 40:19, 50:16</p> <p>installation 42:14, 104:3</p> <p>installed 43:10, 43:13, 49:4, 49:6, 49:9, 50:10, 50:15, 52:16, 53:4, 53:6, 53:16, 53:22, 54:7, 54:11, 72:10</p> <p>instance 59:8, 65:16, 65:22, 109:13</p> | <p>instead 107:14</p> <p>institute 17:8</p> <p>instruct 129:10, 131:5, 131:18, 132:15, 133:7</p> <p>instructing 129:17, 138:13, 140:12</p> <p>instruction 129:14, 131:7, 132:18, 133:11</p> <p>instructions 131:21</p> <p>instructs 11:8</p> <p>intended 43:6</p> <p>intends 81:17</p> <p>intentional 22:8</p> <p>interacted 48:5</p> <p>interaction 47:20, 105:11</p> <p>interactions 104:15</p> <p>interdisciplinary 23:22, 24:13</p> <p>interested 142:23</p> <p>interface 23:17, 55:1, 67:17</p> <p>interfaces 49:13</p> <p>internals 40:16</p> <p>internet 10:9, 23:13, 24:3, 24:7, 38:21, 39:1, 39:11, 39:21, 41:3, 41:4, 43:2, 45:22,</p> | <p>46:4, 46:7, 46:12, 48:19, 70:12, 70:15, 93:2</p> <p>interpretation 73:5, 79:10, 82:5</p> <p>intervention 78:22, 79:1, 79:3, 79:9, 87:18, 88:3, 88:10, 88:16, 88:19, 104:12, 104:22, 105:7</p> <p>introduced 45:6</p> <p>introduction 50:1</p> <p>invention 117:6</p> <p>invoke 54:18</p> <p>involve 9:21, 23:1, 23:19</p> <p>involved 8:13, 9:22, 105:13</p> <p>involving 8:14, 10:5</p> <p>ipr 1:5, 5:21, 8:14</p> <p>irrespective 100:9</p> <p>issue 24:5</p> <p>itself 51:11, 63:8, 65:19, 66:2, 81:7, 97:21, 102:12</p> <hr/> <p style="text-align: center;">J</p> <hr/> <p>javascript 25:22, 28:10, 28:15</p> <p>jennifer 2:4, 15:12,</p> |
|---|--|---|---|

| | | | |
|---|---|---|--|
| 129:7 joe 15:12, 129:7 joseph 2:3 journal 22:14 june 1:15, 142:17, 142:26 | 46:11, 50:9, 54:3, 54:17, 55:3, 55:4, 55:6, 55:7, 55:10, 55:13, 55:16, 56:17, 60:22, 65:11, 66:13, 66:16, 69:18, 71:21, 74:7, 79:12, 79:13, 84:3, 84:17, 94:21, 96:6, 96:7, 96:15, 97:2, 99:22, 109:7, 113:15, 128:1, 130:2, 135:21, 137:18, 139:4 knowing 40:16 knowledge 26:5, 44:7 known 92:22, 94:12 knows 66:14 | lead 126:12 leading 24:8, 122:5 leafed 32:7 leafing 32:9 learned 24:11 least 12:19, 13:7, 13:18, 72:10, 78:15, 118:5, 118:7 legal 5:15, 5:16 less 50:12, 50:14, 83:19 let's 11:18, 15:2, 16:2, 16:18, 24:14, 35:11, 43:17, 47:2, 51:1, 59:16, 69:3, 69:7, 76:3, 108:12, 111:4, 112:1, 119:9 letting 99:22 level 20:18, 20:19, 52:13 liberty 1:17, 142:15 librach 2:4 light 75:13, 122:1 likely 40:17, 71:9, 118:14, 120:6 limitation 95:11 limitations 85:3 | limiting 75:20, 78:16 line 14:8, 20:6, 72:18, 72:21, 72:22, 74:9, 74:22, 75:1, 79:22, 80:13, 116:15, 117:5, 118:20, 119:10 lines 116:14, 116:18 link 28:5, 28:6, 28:8, 28:14 links 28:2, 28:4 linux 64:3 lisa 1:22, 142:2, 142:30 list 13:8, 13:18, 13:22, 25:19, 41:14, 43:7 litigation 8:14 little 11:18, 15:2, 17:5, 27:14, 44:21, 75:7, 81:3, 99:21, 119:5, 120:14 llp 2:2 loaded 47:16 loading 46:1 local 36:9, 47:22, 48:6, 48:11, 48:14, 48:17, 60:6, 65:13, 65:19, 66:3, 90:19, 91:2, 94:11 |
| K | L | | |
| keep 113:22 keeping 130:3 kessler 2:12 key 72:1 kind 18:4, 19:14, 20:17, 23:7, 23:19, 46:8, 49:13, 61:11, 84:4, 88:15, 93:1, 93:12, 94:14, 96:17, 96:20 kinds 24:12, 32:8, 40:8, 40:20, 51:7, 85:18, 88:18, 95:18 know 10:11, 10:20, 11:12, 12:12, 20:2, 20:14, 20:18, 20:19, 21:8, 22:10, 26:18, 27:7, 31:17, 31:22, 35:13, 37:8, 37:17, 37:20, 40:1, 41:13, 41:17, 41:20, 42:2, 42:5, 42:15, 45:20, | laid 89:14 language 118:16 large 19:2 larger 61:17 last 12:13, 15:22 late 18:20, 19:4 later 52:3, 74:1 latter 18:19 laws 33:13 lawsuits 10:1, 10:2 | | |

| | | | |
|---|--|--|--|
| locally 95:22, 96:2 location 1:17, 31:7, 31:12, 36:10, 40:11, 41:14, 41:18, 63:2, 65:4, 71:9, 72:11, 76:15, 77:6, 77:7, 77:9, 77:12, 78:16, 87:6, 87:18, 89:5, 91:18, 94:1, 97:21, 100:5, 102:15, 102:22, 106:10, 106:11, 107:2, 107:11, 107:12, 107:14, 107:18 locations 40:21, 41:11, 58:18, 64:6, 71:6, 90:15, 91:17, 92:14 log 115:2 logical 59:4 login 114:17, 116:1, 116:5, 117:8, 119:20, 126:17, 127:14, 127:17 long 6:13, 15:18, 17:18, 37:15, 43:1, 57:20, 73:1, 74:2, 74:19, 98:1, 98:16, 121:6, 128:2, 128:3, 128:7, 129:21 longer 98:22, 100:12 look 18:14, 19:3, 30:1, 64:12, 79:11, 79:15, 79:19, 88:20, 106:19, 112:8, 114:21, 119:9, 136:18, 137:1, 137:6 looked 14:11, 15:5, 15:6, 15:7, 19:1, 132:12 looking 18:22, 19:10, 20:9, 23:3, 23:6, 27:6, 27:8, 27:11, 61:13, 80:10, 81:19, 90:6 looks 25:4, 29:12, 30:5, 64:4, 136:17 lost 68:2, 86:18, 117:2 lot 18:20, 19:5, 19:13, 20:9, 24:3, 24:4, 24:6, 50:19 lots 19:17 lsos 61:19 lunch 100:1, 100:16, 100:18, 101:5 <hr/> M <hr/> machine 40:19, 86:1, 86:4, 86:16, 117:8, 117:13, 119:12 macintosh 64:2 macro 50:3 made 29:19, 48:5 mail 105:19 maintain 27:21, 28:8 maintains 84:4 majority 9:13, 9:15 make 60:5, 88:3, 88:11, 89:4, 93:4, 93:9, 96:12, 107:10, 107:18, 111:21, 120:2, 125:10 makes 36:20, 87:21, 88:1, 88:9, 108:3, 136:20 making 73:3 manage 84:20, 85:1 managed 84:12, 84:14, 84:16 many 9:5, 9:9, 12:11, 15:18, 16:3, 44:12, 66:7 mark 29:2 marked 4:6, 29:6, 34:14 market 1:18, 2:5, 142:15 marriage 142:21 materials 13:15, 14:4, 14:8, 14:11, 15:9, 139:11 matter 89:4, 116:10, 142:24 maybe 16:5, 22:3, 61:7, 115:10 mean 15:20, 15:21, 16:9, 48:12, 60:12, 65:14, 67:8, 71:12, 73:17, 74:12, 84:14, 84:20, 85:15, 90:22, 91:2, 93:17, 96:2, 97:19, 98:2, 99:12, 103:21, 114:20, 119:2, 119:5 meaning 102:17, 122:1 means 111:1 measurement 24:4 mechanism 54:15, 55:7, 68:5, 68:9, 68:13 media 50:3 meet 15:10, 95:10 meeting 16:3 meetings 15:15, 15:16, 16:11 memorize 132:21 memory 37:14, 59:4, 59:11, 68:8, 68:11, 71:15, 71:17, 86:13, 86:17, 86:19, 86:22, 87:2, 87:7, 87:9, 87:12, 98:15, 100:10, 111:7, 111:10, 134:17 | | | |
|---|--|--|--|

| | | | |
|--|---|---|---|
| mention 24:19, 43:21 mentioned 18:7 merely 78:17 message 109:16 met 15:12 method 59:19 mh 44:5 mid 18:20 middle 24:18, 31:3, 59:18, 112:13, 114:14 might 21:9, 21:14, 24:12, 25:17, 26:6, 64:16, 76:2, 94:1, 110:19 mine 131:13 minute 85:5 minutes 57:21, 100:3, 121:8, 127:22 mischaracterize 101:18 misheard 13:14, 61:7 mobile 23:7 modified 53:3, 53:11, 53:21, 54:2 modify 84:18 moment 69:4, 112:5, 113:12 moments 108:13 | monitored 31:11, 32:6, 32:18, 33:5, 33:9 monitoring 30:13, 30:16, 31:7, 33:14 more 9:15, 12:15, 23:13, 23:19, 33:18, 55:18, 55:21, 56:3, 75:19, 78:15, 79:19, 80:1, 83:19, 106:5, 113:17, 113:18 morning 5:11 most 23:12, 31:10, 33:16, 49:11, 53:17, 120:6 mostly 69:13 move 108:12 movie 59:20, 60:3, 60:9, 60:14, 60:15, 60:19, 60:20, 61:2, 61:5, 61:6, 61:9, 61:11, 61:16, 61:20, 62:2, 62:4, 62:7, 62:8, 62:14 movies 62:11 mozilla 41:21, 42:5, 42:7 much 12:9, 16:6, 33:18, 47:16, 101:12 multiple 126:5, 135:14 | must 73:18, 74:13, 101:21 myself 16:9, 18:5, 113:18, 130:11 <hr/> N <hr/> nall 2:4, 55:17, 55:22, 100:16, 121:5, 121:8, 121:12, 126:22, 128:1, 128:5 name 5:15, 5:16, 61:14, 61:18, 62:15 native 53:1, 53:3, 53:14, 53:20 nature 24:13 neal 1:22, 142:2, 142:30 necessarily 36:11, 59:10, 60:12, 93:16 need 11:7, 11:11, 50:18, 56:1, 57:21, 67:11, 72:3, 80:7, 82:7, 82:11, 84:11, 93:16, 93:20, 102:12, 102:22, 112:22, 128:2 needed 134:16 needing 82:14 needs 54:8, 72:4, 78:19, 78:21, 80:6, 80:17, 84:5, 85:6, | 85:7, 85:9, 85:10, 85:11, 90:2, 97:10, 97:11, 97:12, 97:13, 97:15, 97:19, 97:21, 98:7, 102:3, 102:13, 102:14, 102:16, 102:17, 103:2, 103:9, 103:13, 103:15, 107:21, 108:1 network 72:20, 73:7, 73:11, 73:14, 73:19, 73:20, 74:6, 74:14, 74:15, 105:14, 114:17, 115:3, 115:4, 115:7, 115:8, 115:18, 123:17, 123:20, 123:22, 124:3, 124:8, 124:20, 126:1, 126:8, 126:14, 127:5, 127:9, 127:14, 127:18, 133:16, 134:6, 135:4, 135:5, 135:12, 135:19, 135:22, 136:1, 136:7, 137:19 networking 19:22 networks 18:2, 18:5, 19:9, 19:11 never 28:5, 89:10, 89:15, 103:5, 103:6 new 27:9, 44:3, 45:6, 76:21, 114:17 next 61:14, 70:13, |
|--|---|---|---|

| | | | |
|--|---|---|---|
| 100:2, 118:22, 119:9 nice 47:19 normal 44:13, 44:16, 64:20 normally 38:2 notary 1:23, 142:3, 142:31 note 7:11 nothing 66:5, 66:9 noticed 130:17 noticing 19:14 notion 115:1 number 4:6, 17:4, 28:2, 56:22, 57:3, 84:21 nw 2:15 | 133:6, 133:18, 134:22, 136:11, 137:8, 138:5, 138:11, 138:19, 139:13, 139:15 objects 19:19, 38:12, 47:22, 48:7, 48:11, 48:15, 48:17, 60:6, 60:7, 65:13, 65:20, 94:12 observing 22:2 obviously 54:17, 136:19 occasionally 36:19 occurred 73:19, 74:15, 130:20, 142:14 occurs 7:7 offer 20:17 offered 32:2 office 1:1, 9:12 offices 142:14 oh 20:2, 28:6, 82:9, 129:19 okay 6:10, 11:13, 13:14, 17:1, 18:12, 18:17, 19:18, 27:10, 30:22, 47:17, 47:21, 48:2, 55:21, 57:3, 57:19, 59:16, 66:6, 66:11, 69:20, 70:5, 75:3, 80:6, 82:10, 86:13, 98:15, 100:3, | 102:11, 105:12, 106:22, 107:22, 108:2, 109:11, 111:9, 112:1, 112:7, 113:10, 115:18, 116:6, 116:16, 116:20, 117:2, 117:18, 120:9, 120:10, 129:21, 137:4, 140:22 once 20:15, 52:16, 54:6, 98:17, 110:16 one 1:17, 7:2, 9:20, 10:5, 16:22, 18:3, 19:9, 19:12, 21:6, 21:21, 23:2, 23:5, 24:2, 27:21, 31:15, 31:21, 34:9, 36:10, 39:9, 46:12, 56:20, 57:18, 59:18, 60:12, 61:19, 62:13, 62:21, 66:14, 68:2, 70:7, 70:11, 70:14, 72:2, 72:17, 91:7, 92:9, 105:1, 109:13, 109:20, 119:1, 125:4, 142:15 one's 20:19, 32:20 ones 33:16 ongoing 22:21 online 30:17, 31:6, 31:11, 32:5, 32:18, 33:5, 33:8 | only 53:18, 59:19, 75:14, 87:11, 87:14, 98:16, 109:13, 134:16, 137:21 open 132:9 operates 47:12 operating 18:2 operation 89:3 opinion 53:2, 57:11, 67:3, 75:8, 83:5, 101:16, 105:4, 107:2, 107:10, 107:17, 108:5, 108:19, 114:8, 114:12, 118:1, 122:3, 122:8, 122:13, 122:16, 122:20, 123:1, 123:3, 123:7, 126:19, 127:3, 127:7, 134:1, 140:9 opinions 7:15, 7:18, 137:15 opposite 136:22 opt 32:4, 33:4 opts 33:7 order 28:3 ordinary 117:22, 121:22 orient 69:13, 76:3 osx 64:2 other 7:13, 8:16, |
| O | | | |
| oath 7:21 object 11:6, 38:18, 66:3, 115:10 objection 8:21, 34:22, 35:6, 37:11, 40:13, 42:9, 87:5, 88:5, 88:13, 90:21, 96:14, 105:8, 122:4, 122:15, 123:6, 124:1, 125:1, 127:15, 128:16, 129:10, 130:21, 131:4, 131:17, 132:14, | | | |

| | | | |
|--|--|--|---|
| 9:18, 14:4, 14:7, 14:10, 18:21, 19:17, 19:20, 21:1, 21:3, 21:5, 21:10, 21:13, 24:11, 31:18, 31:21, 41:18, 46:9, 54:21, 55:12, 70:14, 83:1, 85:21, 91:10, 94:7, 94:9, 94:19, 94:22, 106:19, 110:18, 110:19, 111:2, 124:6 otherwise 7:4, 7:10, 35:8, 142:23 out 19:17, 32:5, 33:4, 33:8, 37:15, 37:17, 51:14, 52:7, 56:5, 86:21, 89:9, 89:14, 94:11, 107:22, 111:7 outcome 142:24 outside 12:21, 16:14, 68:9 over 10:12, 17:20, 33:17, 43:1, 43:20, 67:19, 99:21 overview 69:16 own 15:6, 39:13, 50:7, 50:12, 52:13 owned 91:10 owner 1:11 | owner's 4:5 <hr/> P <hr/> pa 1:20, 2:7, 142:16 pa-nj-de 1:23, 142:31 pace 22:19 page 3:7, 6:17, 13:12, 13:13, 16:20, 16:21, 24:16, 24:18, 28:2, 28:13, 30:9, 30:20, 31:4, 32:10, 38:14, 43:20, 44:5, 45:3, 46:2, 50:2, 59:18, 63:18, 64:17, 66:5, 111:6, 115:9 pages 66:8 paper 29:12, 32:3 papers 19:10, 20:5 pappas 2:13, 3:9, 5:10, 9:2, 29:9, 35:3, 35:9, 37:19, 41:1, 42:18, 55:2, 55:9, 55:20, 56:4, 57:19, 58:4, 87:6, 87:13, 88:8, 89:1, 91:3, 97:4, 100:2, 100:4, 100:14, 101:2, 105:17, 120:10, 120:14, 120:19, 122:4, 122:15, 123:6, | 124:1, 125:1, 127:1, 127:15, 127:21, 128:6, 128:11, 129:1, 129:12, 129:16, 129:20, 131:1, 131:6, 131:9, 131:20, 132:1, 132:17, 132:20, 133:9, 133:13, 133:21, 135:7, 137:3, 137:13, 138:7, 138:13, 138:17, 139:4, 139:8, 139:14, 139:20, 140:5, 140:10, 140:17, 140:22, 141:5 paragraph 7:6, 13:3, 13:13, 16:19, 17:4, 24:14, 24:15, 24:16, 30:10, 35:11, 35:16, 35:18, 35:21, 43:17, 43:18, 44:22, 45:2, 47:2, 69:7, 69:11, 69:12, 69:19, 70:3, 109:6, 109:8, 109:9, 110:3, 111:4, 112:1, 112:3, 112:10, 112:14, 113:11, 114:2, 114:5, 114:14, 115:6, 116:17, 118:3, 118:12, 118:19, 118:22, 119:5, 119:10, 123:12, 135:10 paragraphs 137:16 parallel 8:13, 8:19 part 10:19, 14:6, | 18:19, 22:6, 28:20, 28:21, 29:15, 38:10, 38:16, 38:20, 46:1, 47:1, 51:10, 51:12, 51:18, 51:22, 52:12, 52:17, 52:22, 57:9, 57:10, 57:17, 62:10, 64:7, 65:18, 78:6, 89:3, 92:7, 104:2, 107:5, 108:16, 109:11, 123:15 particular 20:18, 36:8, 39:7, 39:9, 40:8, 40:20, 40:21, 41:9, 51:19, 57:1, 85:7, 111:14 parties 8:14, 21:3, 21:6, 21:8, 22:2, 25:16, 26:5, 26:16, 26:19, 30:12, 30:16, 33:3, 33:10, 33:15, 142:20, 142:22 parts 90:4 party 21:18, 119:13, 142:10 pass 78:6, 121:4, 127:20 passed 20:4, 21:9, 21:12, 48:8 passes 92:8 passing 21:2, 21:5 patent 1:1, 1:2, 1:7, |
|--|--|--|---|

| | | | |
|---|---|---|---|
| <p>1:11, 4:5, 6:2, 6:4, 6:5, 9:11, 9:21, 15:7, 15:8, 69:3, 69:5, 69:15, 69:17, 69:21, 72:16, 75:13, 78:1, 79:11, 79:14, 79:21, 80:11, 80:14, 89:15, 89:20, 90:4, 90:14, 92:4, 117:19, 121:20, 123:16, 124:16, 127:19</p> <p>pending 11:15</p> <p>people 18:21, 31:19, 51:17</p> <p>percent 30:11, 50:3, 51:16, 88:22, 117:17</p> <p>percentage 31:14</p> <p>perfect 17:12</p> <p>perform 19:7, 110:18</p> <p>performance 19:6, 19:7, 19:10, 24:3</p> <p>perhaps 26:18, 51:14</p> <p>period 20:7, 98:3</p> <p>periods 43:1</p> <p>permission 67:6</p> <p>permissions 66:11, 66:17, 66:22, 67:1, 67:4</p> <p>persist 43:1, 85:16, 87:1, 97:22,</p> | <p>98:1, 98:2, 98:3, 98:10, 99:13</p> <p>persistence 83:21, 85:10, 85:15, 85:19, 86:12, 86:14, 87:22, 89:9, 97:11, 97:14, 97:15, 97:20, 98:7, 98:13, 99:10, 99:19, 100:7, 100:10, 100:11, 100:13</p> <p>persistent 80:3, 80:6, 80:18, 81:2, 81:12, 83:8, 83:11, 83:13, 83:17, 83:19, 83:20, 84:2, 104:6, 104:8, 107:22, 108:10</p> <p>persists 86:3, 98:19</p> <p>person 15:15, 15:17, 117:21</p> <p>personalized 24:20, 29:4, 34:5, 34:15</p> <p>petition 14:14, 14:15, 14:18, 14:22</p> <p>petitioner 1:6, 8:9</p> <p>ph 1:14, 3:8, 5:4, 18:16, 113:14, 113:16, 142:4, 142:14</p> <p>philadelphia 1:20, 2:7, 142:16</p> <p>phrase 79:12, 94:15, 95:17</p> <p>phrases 44:12</p> | <p>physical 59:4, 59:10</p> <p>piece 46:5, 47:5</p> <p>piper 2:2, 142:15</p> <p>place 1:17, 7:3, 40:18, 41:5, 42:3, 72:14, 142:15</p> <p>places 39:18, 40:1, 40:4, 42:13, 42:21, 43:8, 43:13, 51:6, 58:13, 58:17, 58:22, 59:2, 64:11</p> <p>placing 124:9</p> <p>plain 121:22</p> <p>play 60:14</p> <p>player 63:1, 64:8</p> <p>please 5:14, 10:15, 13:3, 69:11, 127:11</p> <p>pllc 2:12</p> <p>point 11:21, 14:18, 18:3, 49:10, 69:6, 125:8, 125:15</p> <p>pointing 80:12, 81:20, 116:14</p> <p>points 116:18</p> <p>polytechnic 17:8</p> <p>popular 25:19, 47:15, 49:11, 52:10,</p> | <p>53:17</p> <p>portion 66:13, 71:13, 71:16</p> <p>portions 12:7</p> <p>possession 111:12</p> <p>post 27:9</p> <p>potential 26:12, 26:14</p> <p>potentially 103:3</p> <p>practical 51:18, 52:11</p> <p>practice 52:7, 64:15</p> <p>preceding 118:11</p> <p>preference 33:10</p> <p>preferences 33:4</p> <p>premise 25:13, 27:2</p> <p>preparation 11:19, 14:22, 16:4, 16:8, 108:17, 130:13</p> <p>prepare 15:4, 129:8, 129:22, 130:19, 138:8</p> <p>prepared 131:2, 132:10</p> <p>preparing 6:20, 12:10, 13:1, 14:14, 15:10, 15:19, 16:15</p> <p>present 136:16, 136:20, 136:22, 137:22</p> <p>presumably 77:6</p> <p>pretty 47:15</p> |
|---|---|---|---|

| | | | |
|--|---|---|--|
| <p>previous 73:14, 73:19, 74:6, 74:14, 78:14, 115:7, 115:9, 115:18, 118:12, 119:4, 119:14, 120:3, 123:20, 123:22, 124:2, 124:20, 135:3, 135:5, 135:22, 136:1, 140:19</p> <p>previously 27:19, 28:7, 28:17, 38:12, 118:18</p> <p>primary 15:9</p> <p>prior 47:6</p> <p>privacy 10:4, 10:8, 10:9, 18:4, 18:7, 18:9, 18:13, 20:4, 20:10, 20:13, 22:17, 22:22, 23:8, 24:21, 29:5, 33:13, 34:5, 34:16</p> <p>private 32:20</p> <p>privilege 140:15, 140:17, 140:18</p> <p>probably 9:7, 16:13, 17:16, 20:7, 49:11, 64:11, 95:13</p> <p>problem 67:22, 112:9</p> <p>proceeding 6:1, 6:9, 8:9, 8:15, 8:20, 49:20, 109:3, 113:22</p> <p>proceedings 8:17, 9:10,</p> | <p>9:16, 9:18, 9:19, 141:8</p> <p>process 12:22, 55:14, 56:7, 56:8, 56:15, 56:18, 57:7, 57:12, 57:15, 57:18, 63:13, 65:18, 66:21, 67:1, 85:22, 98:17, 98:18, 123:13, 135:13, 135:16, 135:20, 136:8, 137:20, 137:21</p> <p>processes 56:22, 57:4</p> <p>produced 139:11</p> <p>product 128:17, 129:19, 130:22, 131:18, 132:15, 133:7, 138:12, 138:22, 139:11, 140:13, 140:21</p> <p>professor 17:7, 17:19</p> <p>program 50:8, 51:2, 105:19</p> <p>programming 55:1</p> <p>progress 61:15, 73:3</p> <p>project 25:14</p> <p>projects 22:20, 23:3, 23:18, 23:19</p> <p>promise 101:11</p> <p>prompting 18:12</p> <p>pronounce 17:10, 17:11, 113:14</p> <p>pronouncing 17:17</p> | <p>provide 27:20, 31:1, 59:19, 111:13, 111:18, 113:13</p> <p>provided 5:20, 72:5, 72:6, 112:10, 131:11, 131:12</p> <p>provides 110:14, 119:7, 119:11</p> <p>providing 129:17</p> <p>public 142:3</p> <p>publication 19:4, 20:8</p> <p>published 19:9, 22:11, 25:3, 25:5</p> <p>publishing 20:5</p> <p>pulling 19:18</p> <p>purposes 51:18, 52:11</p> <p>put 35:10, 40:20, 43:14, 51:7, 75:22, 76:18, 77:10, 113:11</p> <p>puts 40:7</p> <p>putting 70:18</p> | <p>70:14, 75:21, 79:16, 88:7, 96:22, 98:5, 107:13, 116:22, 117:3, 129:11, 129:18, 139:8</p> <p>questioning 139:17</p> <p>questions 11:6, 31:5, 55:21, 76:10, 121:3, 131:15, 132:3, 132:7, 132:11, 132:22, 133:3, 134:3, 138:3, 138:9, 140:13, 140:19, 141:7</p> <p>quite 84:22</p> <p>quote 21:8, 23:6, 71:22, 95:20</p> |
| | | | R |
| | | | <p>ram 71:15, 86:14, 86:17, 98:15, 100:11</p> <p>rather 73:1, 80:2</p> <p>ravenwhite 1:9, 2:19, 142:11</p> <p>re-ask 116:22</p> <p>re-retrieve 38:18</p> <p>read 15:5, 27:5, 91:13, 109:8, 109:11, 109:22, 112:5, 123:15</p> <p>reading 44:4, 60:2, 62:21, 64:13, 108:6, 112:7, 117:21</p> |
| | | | Q |
| | | <p>qualifiers 44:18</p> <p>qualities 82:20, 82:22, 83:3</p> <p>question 10:20, 11:1, 11:7, 11:15, 24:8, 28:16, 59:6, 61:7, 61:8, 69:18,</p> | |

| | | | |
|--|--|--|--|
| ready 121:13 really 19:2, 79:16, 96:18, 96:21 realm 18:6 reason 8:5, 35:3, 35:7 reasonable 55:5, 55:6, 120:7 reasons 52:5 reauthenticate 113:1 reboots 86:5 recall 7:5, 7:6, 25:6, 26:10, 58:14, 58:15, 88:15, 121:18, 123:11, 126:15, 130:15, 132:2, 133:14, 133:19, 134:3, 134:7, 136:16, 137:11, 139:6 receive 45:16, 72:19, 73:7 received 73:20, 74:16 receives 109:15 receiving 126:1, 133:16 recess 58:2, 100:18, 100:20, 120:17, 121:10, 128:13 recite 75:2 recites 74:20, 123:16, 124:17, 126:1 recognize 29:10, 49:20 | recollection 29:18, 72:7 record 5:15, 18:14, 19:4, 34:2, 70:18, 99:21, 100:17, 101:5, 101:6, 109:12, 113:20, 140:11, 142:8 redirect 128:14, 128:21, 129:22, 130:14, 130:17, 130:19, 131:11, 132:4, 132:13, 132:22, 133:1, 133:4, 133:5, 133:15, 133:22, 138:21, 139:3 redirection 125:13 reduce 26:15, 26:17 refer 6:4, 13:22, 17:2, 22:6, 39:4, 44:13 reference 47:5, 95:13, 108:14, 114:6, 140:9 referenced 13:9, 13:19, 118:18 referencing 45:11 referred 34:9 referrer 22:5 referring 6:6, 21:4, 32:14, 33:20, 43:9, 45:12, 46:19, 59:3, 63:16, 80:19, 116:7, 117:13, | 124:15, 125:22, 134:10, 134:18 refers 60:15 refresh 134:17 regarding 29:16, 123:13 regular 22:14, 67:16 reiterate 101:15, 103:8 relate 10:3, 84:1, 98:8 related 23:13, 23:17, 23:20, 112:2, 126:19, 127:3, 138:3, 142:19 relates 37:21, 70:7, 70:14, 82:15, 117:7, 133:16, 135:13 relating 39:16 relative 42:14 relevance 61:9 relevant 111:11 relied 46:5 rely 46:10, 123:21, 124:21, 126:3 relying 90:5, 109:2, 109:5, 134:12, 134:21, 137:14 remember 10:4, 12:13, 20:15, 25:11, 26:21, 32:1, 38:11, 110:22 remove 84:19, 86:9 | removed 99:14 removes 99:3 repeat 33:6, 65:21 rephrase 107:13 replace 120:3 replaced 114:16, 115:21, 116:2 replacement 117:7, 117:12, 119:19 replaces 116:7 replacing 120:6, 126:17 report 41:13, 41:17, 71:22, 79:14, 107:6 reporter 1:22, 10:15, 22:13, 67:18, 68:1, 142:2 reporting 26:8 represent 127:13 representative 81:8, 81:9 represented 142:11 representing 83:8, 83:16 represents 127:17 request 72:20, 73:7, 73:11, 73:20, 74:16, 92:8, 105:11, 105:14, 105:16, 106:17, 123:17, 125:9, 125:10, 125:13, |
|--|--|--|--|

| | | | |
|---|--|--|---|
| 126:2, 126:8, 126:9, 126:13, 126:14, 133:17, 134:6, 135:2, 135:12, 135:20, 136:7, 137:20 requested 115:10 requests 48:5, 111:21 require 88:16 required 88:11, 104:18 requirement 105:4 requirements 79:18, 107:8, 107:10, 107:16, 107:17 research 10:6, 19:1, 20:9, 22:17, 22:18, 23:1, 23:10, 23:14 reserve 141:3 resides 77:3 resource 72:20, 73:7, 73:11, 74:15, 105:14, 123:17, 126:2, 126:8, 126:14, 133:17, 134:6, 135:1, 135:12, 135:19, 136:7, 137:19 resources 111:20 respect 33:3, 33:10, 126:16, 137:16 respondent's 3:6, 29:6 responding 21:18 response 125:12 | restate 56:20 restoring 124:10 restriction 87:15 restrictions 65:12, 92:13 restroom 130:10 result 34:1, 105:15, 125:12 resulted 136:2 results 24:2, 30:1, 31:1, 31:17, 32:11 retain 37:15 retained 8:8, 8:18, 83:11 retrieve 45:16, 45:20, 64:18, 64:20, 64:21, 65:3, 77:5 retrieved 46:1, 64:16 return 54:20, 86:4 review 6:19, 6:22, 14:4, 14:13, 14:17, 14:21, 109:9, 130:12, 141:4 reviewed 13:6, 13:17, 14:7, 15:5, 15:9, 108:16 reviewing 16:7, 16:10, 130:15 richard 2:14 | right 6:2, 6:3, 7:12, 8:22, 16:18, 19:8, 31:8, 35:17, 39:7, 42:1, 51:11, 57:2, 58:19, 62:1, 64:9, 64:17, 65:5, 67:14, 68:20, 70:9, 70:15, 70:20, 71:7, 72:12, 73:3, 76:12, 76:16, 76:22, 80:14, 80:16, 81:7, 81:13, 82:10, 82:17, 93:11, 97:16, 101:11, 102:1, 102:8, 105:22, 106:4, 110:15, 110:16, 111:8, 111:20, 112:11, 112:15, 141:3 roll 83:4 round 56:5 routinely 114:16 rpr 1:22, 142:30 rules 10:13 run 27:17, 115:13 running 36:6, 36:14, 41:7, 48:2, 48:16, 48:22, 49:2, 51:13, 51:17, 55:14, 56:6, 56:7, 56:18, 57:6, 57:9, 60:8, 62:17, 63:10, 63:12, 65:17, | 66:1, 86:2, 98:17, 98:18 <hr/> S <hr/> said 22:13, 28:9, 28:18, 29:14, 31:22, 48:10, 48:21, 49:9, 51:16, 56:5, 81:4, 83:10, 101:19, 134:5, 140:21 same 13:12, 22:19, 38:14, 39:2, 41:2, 44:20, 46:20, 48:18, 51:5, 55:14, 56:7, 60:7, 62:14, 64:19, 68:4, 71:2, 74:1, 76:20, 77:22, 83:2, 91:11, 94:13, 94:16, 100:21, 131:4 sampling 43:7 save 77:4 saved 76:15 saves 62:18 say 9:8, 9:15, 10:8, 10:13, 13:6, 13:17, 22:19, 27:8, 27:10, 30:15, 33:3, 44:3, 44:10, 45:15, 46:13, 51:13, 51:22, 52:2, 52:11, 53:8, 68:13, 69:21, 71:11, 76:18, |
|---|--|--|---|

| | | | |
|--|---|---|--|
| 79:1, 80:17, 82:1, 82:2, 82:9, 83:19, 85:1, 85:14, 88:21, 91:4, 91:21, 93:11, 97:13, 98:7, 99:18, 105:1, 107:1, 112:16, 113:20, 114:15, 116:6, 117:16, 118:7, 118:14, 135:9, 136:4, 137:5, 137:9, 137:10, 137:11, 137:12, 140:1, 140:5 saying 61:1, 62:2, 62:6, 62:8, 85:8, 102:5, 110:4, 113:2, 113:9, 113:14, 115:19, 137:11 says 24:1, 26:7, 30:10, 45:5, 50:2, 59:19, 60:2, 64:1, 71:1, 73:6, 73:7, 73:10, 74:2, 81:20, 82:10, 99:15, 109:13, 109:19, 111:10, 112:14, 113:3, 116:2, 117:6, 119:11, 136:17, 139:21 scenario 107:6 science 18:6, 18:21, 23:20 scope 33:19, 122:15, 124:1, 125:1, 127:15 search 7:9 | second 20:16, 74:3, 74:5, 74:13, 75:4, 92:2, 115:7, 115:18, 124:17, 124:20, 135:3, 135:5, 135:22, 136:1 section 63:19 secure 114:9, 114:12, 116:8, 117:14, 118:2, 118:5, 118:7, 122:21, 126:20, 127:4, 127:7 secured 123:1 security 1:9, 2:19, 111:11, 119:11, 119:20, 120:1, 142:11 see 13:10, 13:20, 19:4, 24:22, 25:1, 25:22, 28:13, 30:10, 30:14, 31:3, 32:10, 43:21, 44:1, 45:6, 46:15, 46:18, 50:5, 50:6, 59:18, 59:22, 63:20, 63:21, 70:2, 70:5, 72:18, 73:1, 73:14, 74:6, 74:11, 74:21, 75:5, 75:19, 80:9, 109:17, 111:14, 114:18, 117:9, 119:17, 119:18 seeing 21:22 seeks 125:3 | seem 77:21, 96:16, 106:20 seems 77:15, 95:7, 95:15, 103:2, 106:15, 113:8, 113:17, 119:21, 139:16 self 99:6 send 65:9, 92:14, 92:17, 93:8, 93:13, 96:9, 105:21 sending 94:7, 94:9, 94:11 sends 92:11, 92:12 sense 116:20, 120:2 sent 22:4, 22:9, 94:8, 94:20, 96:11, 104:16, 104:20, 105:6, 125:18 sentence 13:16, 50:2, 61:14, 81:19, 82:14, 82:18, 109:13, 117:5, 117:6, 118:9, 119:9, 119:21 separate 47:10, 49:17, 50:13, 56:8, 56:15, 56:18, 57:12, 68:9, 68:13, 68:16, 127:9, 135:21 separately 86:8 server 38:18, 45:17, 48:6, 48:8, | 65:5, 65:9, 72:6, 75:16, 75:17, 75:18, 78:3, 78:4, 78:10, 78:12, 78:21, 79:8, 80:4, 80:8, 83:14, 84:6, 84:9, 85:12, 87:17, 87:22, 88:4, 88:12, 89:10, 89:16, 89:21, 90:3, 90:20, 91:15, 91:20, 91:21, 91:22, 92:2, 92:3, 92:5, 92:8, 92:12, 92:15, 92:18, 92:21, 93:8, 93:9, 93:14, 94:20, 95:16, 96:7, 96:10, 96:11, 97:9, 97:11, 101:22, 102:3, 102:6, 102:13, 102:14, 102:16, 102:19, 103:1, 103:7, 103:10, 103:17, 103:20, 104:1, 104:12, 104:17, 104:21, 105:6, 105:12, 105:21, 108:2, 108:11, 110:20, 111:2, 112:18, 112:22, 113:7, 124:5, 125:5 servers 19:17, 111:2 session 73:14, 73:19, 74:6, 74:14, 98:20, 109:22, 110:5, 110:8, 110:14, 111:14, 111:19, 112:21, |
|--|---|---|--|

| | | | |
|---|--|--|---|
| 113:5, 113:6, 114:17, 115:4, 115:5, 115:8, 115:9, 123:20, 123:22, 124:3, 124:8, 124:20, 126:17, 126:21, 127:5, 127:14, 127:17, 135:6, 135:22, 136:1 sessions 9:8, 115:18, 127:9, 127:18 set 21:19, 42:17, 43:9, 50:20, 54:15, 109:20, 111:17, 112:15, 142:5, 142:25 sets 109:16 setting 110:4 sever 106:12, 124:5 share 85:11, 96:8 shareable 103:3 shared 26:15, 26:18, 47:22, 48:7, 48:11, 48:14, 48:17, 60:7, 65:13, 65:19, 66:3, 78:3, 78:21, 79:7, 84:8, 94:12, 95:19, 102:18, 102:19, 102:22, 103:6, 103:9, 103:16, 103:19, 104:11 shares 87:20 shorter 86:11 should 7:3, 80:5 | show 28:4 showed 26:11 showing 31:19, 32:13, 62:1 shown 61:5, 67:9, 70:21 shows 25:4 shut 86:2 shuts 86:16 signature 6:16, 6:18 signature-plkal 142:28 similar 48:8 similarly 74:12, 106:15 simple 76:4 simply 85:15, 115:19 since 15:21, 15:22, 20:8 single 111:2, 118:9, 119:4 singular 36:10, 118:17 sir 110:11 sit 7:8, 41:16, 42:2 site 19:16, 21:17, 21:18, 26:2, 26:3, 27:4 sites 19:20, 19:22, 26:5, 26:9 | sitting 106:22 situation 115:12 sixty-one 69:20, 70:5 skill 117:22 snyder 45:5, 45:13 social 19:22 software 47:17, 48:2, 48:4, 48:16, 48:22, 49:2, 49:4, 49:6, 50:19, 51:10, 51:11, 51:13, 53:6, 54:4, 54:5, 54:8, 54:10, 54:12, 60:11, 62:16, 62:18, 62:20, 63:1, 63:5, 63:8, 63:10, 63:12, 63:15, 63:16, 63:17, 64:8, 64:10, 64:12, 64:22, 65:3, 65:7, 65:17, 66:1, 67:10, 92:7, 92:16, 96:8, 96:17, 96:19, 104:2, 115:12 solely 71:10, 71:11 some 9:21, 9:22, 10:6, 20:11, 21:11, 23:18, 24:10, 25:21, 27:17, 31:1, 32:11, 36:8, 41:5, 42:22, 47:19, 50:4, 60:21, 69:1, | 71:5, 71:14, 71:18, 83:4, 83:12, 83:20, 84:12, 85:10, 85:14, 86:14, 87:21, 89:9, 92:11, 99:18, 100:6, 100:9, 100:11, 100:12, 102:18, 102:20, 103:1, 104:9, 115:9, 130:6 somehow 86:9 something 22:1, 22:6, 58:10, 80:22, 81:5, 81:15, 82:6, 82:19, 82:20, 84:7, 86:9, 90:8, 95:21, 97:1, 97:3, 98:14, 99:2, 99:12, 107:5, 134:9 sometimes 21:7, 23:16 somewhere 36:4, 37:15, 46:2, 51:4, 71:22, 72:1, 72:5, 78:5 sorry 7:11, 13:13, 33:6, 34:8, 56:20, 65:21, 67:18, 67:19, 68:1, 70:16, 70:17, 88:6, 105:2, 110:12, 112:8, 117:3, 134:15 sort 75:22 sound 6:2 sounds 6:3, 85:5, |
|---|--|--|---|

| | | | |
|--|--|---|--|
| 87:14 speak 129:5 special 20:12 specific 11:21, 26:22, 27:5, 33:16, 40:11, 41:14, 41:18, 42:3, 45:16, 79:12 specifically 38:1, 40:2, 50:10, 55:10, 129:6 specification 90:18, 122:1 specifics 12:14, 37:6, 37:18, 40:16, 42:16, 54:17, 55:4, 55:7, 55:15, 60:22 specified 84:5 specifies 81:18 speculate 96:21 spend 12:10, 15:19, 16:3, 16:7, 101:12 spoke 39:4 spot 13:12 standard 40:18, 42:13, 42:20, 43:8, 44:9, 44:10, 44:12, 44:15, 48:9, 51:6, 58:13, 58:17, 64:11, 118:20 standards 93:12 standpoint 53:11 | start 16:2, 35:12, 67:19, 108:15, 114:2 started 7:17, 18:22, 19:14, 20:1, 86:6 starting 72:18, 80:13, 116:14, 117:5, 119:10 starts 72:21, 111:7, 118:19 state 5:14, 80:3, 80:6, 80:18, 81:2, 81:12, 83:8, 83:11, 83:13, 83:17, 84:2, 84:6, 104:6, 104:9, 107:22, 108:2, 108:10 statement 30:12 states 1:1, 9:11 stay 99:1, 99:17 step 18:15, 85:4 sterne 2:12 steven 2:13 stick 40:9, 59:16 still 11:7, 22:16, 22:18, 22:20, 23:10, 50:16, 85:2, 87:3, 93:6, 95:5 stops 98:18 store 37:13, 37:14, | 38:11, 39:16, 41:21, 42:6, 42:12, 59:20, 61:13, 85:7, 85:10, 86:12, 86:19, 89:8, 98:14, 98:20 stored 28:19, 36:5, 37:21, 38:3, 38:4, 38:6, 38:10, 38:19, 41:15, 42:4, 42:20, 46:2, 46:14, 46:16, 47:22, 48:15, 58:21, 59:1, 60:3, 60:6, 60:19, 60:20, 61:18, 61:22, 63:3, 63:20, 63:22, 66:15, 69:22, 70:19, 70:22, 71:9, 71:16, 71:18, 72:4, 73:13, 73:18, 74:4, 74:13, 81:10, 81:15, 86:2, 86:6, 86:17, 86:22, 87:2, 87:7, 87:11, 87:12, 90:8, 90:11, 90:15, 91:19, 95:22, 96:2, 98:11, 98:21, 102:8, 104:4, 104:5, 104:19, 105:5, 115:14, 122:10, 122:11, 122:17, 122:22, 123:2, 123:5, 123:8, 123:19, 124:19 stores 21:20, 37:9, 40:12, 41:11, 61:3, 62:4, | 62:5, 64:3, 71:14, 84:1, 89:2, 98:14 storing 7:4, 39:19, 45:19, 50:20, 58:12, 62:3, 62:7, 84:7, 85:20, 86:20, 87:8, 87:9, 95:4, 99:11 street 1:18, 2:5, 2:15, 22:14, 142:15 strike 106:6, 126:22, 127:1 string 7:3, 7:9, 7:10 structure 39:15, 42:16, 59:10 student 25:12, 25:21 studied 19:2 studies 31:19 study 19:19 studying 20:2 stuff 19:7, 54:21, 85:20, 86:12, 91:11 stylesheet 25:18 subsequent 111:21 subsequently 43:15 substance 58:7, 101:8, 120:22 substantial 12:7 |
|--|--|---|--|

| | | | |
|---|---|--|---|
| successful 125:16 suggested 116:16 suite 1:19, 2:6, 142:16 sure 12:20, 13:11, 13:14, 26:20, 33:7, 44:19, 56:21, 57:22, 65:22, 69:5, 76:7, 76:13, 77:15, 79:15, 84:22, 93:19, 94:21, 96:19, 113:13, 120:12, 128:1, 134:18, 138:15, 140:15, 141:5 survey 26:11, 30:1, 31:2 surveyed 29:15 swf 60:15, 60:17 sworn 5:5, 142:6 system 19:2, 37:16, 37:17, 39:15, 39:19, 40:2, 40:5, 40:22, 41:5, 41:18, 41:22, 42:3, 43:4, 50:20, 58:18, 58:21, 59:9, 59:14, 64:4, 64:6, 66:10, 66:17, 67:1, 67:4, 71:6, 71:10, 71:12, 71:20, 77:2, 78:6, 85:20, 86:3, 86:7, 91:1, | 91:5, 91:7, 91:8, 119:15, 119:17 systems 18:2, 18:3, 18:5, 18:17, 23:11 <hr/> T <hr/> table 32:10, 32:12, 32:14, 32:15 take 11:10, 11:15, 16:5, 26:13, 51:1, 55:19, 56:3, 57:20, 76:3, 100:14, 120:10, 121:5 taken 5:19, 100:21, 142:7 talk 11:18, 12:21, 15:2, 16:14, 17:5, 35:20, 47:3, 106:1, 112:6, 129:2, 135:11 talked 38:9, 101:13, 105:18, 107:8, 107:16, 128:20 talking 20:21, 39:3, 51:20, 52:4, 52:9, 58:10, 58:11, 58:16, 58:17, 59:3, 59:8, 59:13, 75:7, 108:15, 115:4, 115:7, 117:11, 118:1, 118:4 talks 118:9, 118:12, 118:20 taught 18:3, 20:14, | 20:16 teach 17:22, 18:1, 18:8, 18:13, 20:12 teaches 134:1 technical 52:13, 53:10 technology 35:12, 47:13 temporary 38:21, 39:1, 39:21, 41:3, 41:4, 43:2, 45:21, 46:4, 46:7, 46:12, 48:19, 70:12, 70:15, 93:2 ten 57:21 ten-minute 120:11 tens 12:11 term 37:15, 75:2, 75:19, 78:15, 79:5, 79:17, 84:15, 86:11, 98:22, 122:2 terms 19:18, 20:8, 23:21, 33:19, 41:11, 49:12, 75:12, 81:17, 115:22 testified 5:6 testimony 8:3, 8:6, 48:10, 58:7, 81:13, 101:9, 120:22, 129:8, 130:1, 130:14, 130:20, 131:3, 132:13, 133:1, 133:5, 133:14, | 142:8 th 2:15, 142:26 thank 5:13, 17:1, 17:13, 32:15, 74:10, 101:4, 109:9 thanks 113:10 therefore 28:7 thing 72:1, 95:12 things 19:6, 19:13, 21:6, 21:21, 22:5, 22:15, 23:2, 23:5, 24:2, 27:22, 30:7, 37:14, 42:22, 43:7, 47:20, 50:20, 60:13, 61:15, 64:3, 84:21, 85:21, 86:19, 86:21, 116:1 think 7:10, 9:13, 12:15, 15:8, 18:10, 29:14, 30:20, 31:16, 32:7, 32:16, 32:22, 33:2, 33:7, 33:11, 33:16, 33:22, 35:4, 35:8, 41:13, 41:16, 44:11, 50:11, 55:18, 57:20, 68:11, 71:21, 72:1, 72:6, 75:12, 78:13, 81:3, 83:9, 88:17, 92:22, 100:8, 106:5, 106:14, 106:16, 107:4, 110:2, |
|---|---|--|---|

| | | | |
|---|--|--|--|
| 117:2, 118:4, 119:6, 119:7, 120:5, 132:9, 140:22 third 21:3, 21:6, 21:8, 21:17, 22:2, 25:16, 26:5, 26:16, 26:19, 30:12, 30:16, 33:3, 33:9, 33:14, 119:13 third-party 21:17, 26:20, 30:5, 31:20 three 64:1, 64:5 through 22:3, 22:4, 24:16, 27:12, 32:10, 67:13, 67:16, 68:4, 68:14, 68:16 tied 10:5 time 1:16, 11:5, 11:11, 12:9, 16:6, 18:8, 20:1, 20:16, 21:22, 25:8, 43:2, 47:14, 49:8, 49:10, 51:20, 52:4, 52:8, 53:19, 55:19, 57:1, 73:22, 98:3, 98:10, 101:12, 116:1, 130:3 times 9:5, 27:9, 52:3 titled 34:15 today 5:12, 5:19, 7:21, 8:6, 10:14, 10:20, | 15:4, 15:11, 16:16, 17:17, 23:1, 23:14, 51:20, 52:2, 106:22, 121:3 today's 15:3 token 118:13 told 77:9 took 128:13 top 139:7 topics 20:13 tough 69:18 toward 29:16, 31:6, 44:22 track 130:3 tracking 25:17, 29:16, 29:20, 30:5, 31:6, 31:20 trademark 1:1, 9:11 traditional 44:13, 44:16, 68:6, 93:18, 93:21, 94:4, 94:17, 99:4, 99:8, 108:9 traffic 26:7 transcript 10:16, 141:4 transmit 65:4 transmitted 97:8 trial 1:2 triggered 135:17, 137:21 | triggering 136:8 triggers 135:12, 135:20, 137:20 true 41:2, 142:8 trust 113:17 try 10:15, 25:14, 83:4 trying 19:15, 23:21, 59:7, 74:8, 75:22, 85:2, 90:16, 98:6, 98:8, 116:11 tuesday 1:15, 142:16 turn 11:20, 13:3, 16:18, 24:14, 30:20, 35:11, 43:17, 43:20, 69:3, 69:7, 69:19, 72:16, 109:6, 112:1, 127:10 turning 126:15 twenty 121:8 two 28:4, 31:21, 32:3, 32:13, 39:12, 54:16, 55:13, 56:6, 69:22, 108:20, 109:2, 109:4, 127:18 two-thousand-tee-ns 52:5 type 23:17, 44:4, 45:6, 47:9, 62:13, 73:12, | 74:3, 74:4, 85:8, 95:7, 97:7, 99:9, 123:18, 124:17, 124:18 types 35:17, 35:19, 41:12, 69:22, 87:11, 88:20, 94:7, 94:9, 94:19, 95:15 typically 18:1, 58:22, 77:1, 99:4, 100:12 <hr/> U <hr/> ultimately 14:8, 14:11, 126:12 under 7:21 underlying 59:11 understand 5:18, 6:5, 7:20, 7:22, 8:1, 8:4, 10:19, 11:6, 11:9, 19:15, 25:16, 84:15, 90:16, 116:11, 139:21 understanding 24:1, 24:6, 73:21, 74:17, 79:5 understood 11:2, 11:17, 92:22 unfortunately 118:5 united 1:1, 9:11 university 20:11 unless 11:8, 99:1 unrelated 94:2, 94:4, |
|---|--|--|--|

| | | | |
|--|---|---|--|
| 94:5 until 100:21 unusual 20:10, 20:17 update 63:4 updated 115:21 updates 116:4 upload 76:20, 78:9, 78:11, 105:20 url 22:4, 27:18, 28:16, 45:17 urls 27:6, 27:7, 27:9 use 6:12, 27:2, 28:2, 33:19, 36:19, 36:20, 39:10, 39:11, 71:19, 108:20, 110:13, 113:6, 121:22, 136:20, 137:18 useful 14:9 user 23:17, 27:4, 31:6, 33:7, 38:13, 39:9, 44:7, 47:19, 49:15, 64:16, 67:12, 68:18, 76:11, 76:19, 77:10, 77:17, 78:22, 79:1, 79:2, 79:8, 86:8, 87:18, 88:2, 88:10, 88:16, 92:1, 99:2, 104:15, 105:9, 105:10, 105:12, 105:15, | 105:19, 106:12, 106:18, 109:14, 109:19, 110:5, 110:14, 110:16, 111:16, 111:18, 111:19, 112:14, 112:18, 112:20, 113:1, 113:4, 113:6, 114:10, 119:16, 124:3, 124:4, 125:11 user's 21:14, 26:1, 33:3, 39:5, 41:22, 47:18, 49:5, 50:17, 51:3, 56:10, 56:19, 56:21, 57:13, 58:13, 59:21, 60:4, 61:3, 61:14, 61:18, 62:15, 70:8, 71:6, 71:19, 76:16, 84:1, 96:4, 96:5, 97:8, 98:12, 100:6, 104:12, 104:22, 105:6, 109:17, 117:8, 117:12, 119:12, 124:6, 125:3, 136:13 users 10:9, 25:15, 26:8, 29:15, 29:20, 30:3, 30:11, 30:15, 31:10, 32:4, 32:17, 32:21, 91:10 uses 125:16 using 49:14, 81:16, 90:17, 114:9, 122:8, 122:13, 122:20, 123:3 <hr/> V <hr/> vague 87:5, 88:5, | 90:21, 105:8, 133:18, 134:22, 136:11 values 115:21, 116:4 var-gee-see 113:16 var-gese 113:14 vargahse 15:8 varghese 113:22, 114:6, 114:8, 114:15, 114:21, 115:1, 115:19, 116:3, 116:11, 116:21, 117:11, 117:15, 118:1, 119:7, 120:3, 126:15, 126:16, 127:11, 127:17, 132:6, 132:12 varghese's 117:14, 122:21, 123:4, 123:8, 132:3, 132:11 varheese 113:13 various 18:22, 52:5 verbal 10:15 vernacular 39:1 version 12:4, 12:6, 50:4 via 54:22, 108:2 view 78:13 virtually 15:16 visited 26:2, 26:3, 27:19, 28:5, 28:7, 28:14, | 28:17 visits 64:16 visualize 24:2, 24:10 vouch 109:15, 123:13, 125:9, 125:10, 125:13, 126:12 vouch-for 135:13, 135:16, 135:20, 136:8, 137:20, 137:21 vouched 109:22 <hr/> W <hr/> wait 128:5 wall 22:14 want 10:12, 17:5, 29:1, 43:5, 69:9, 73:4, 76:18, 79:11, 82:8, 100:16, 101:12, 101:15, 108:13, 108:15, 113:12, 121:7 wanted 58:9, 63:4, 113:10, 114:1 wants 37:15 washington 2:16, 27:9 way 17:2, 25:17, 48:18, 51:5, 54:18, 54:19, 60:3, 60:5, 62:2, 62:3, 62:14, 64:19, 72:17, 75:20, 77:22, 83:12, 84:12, 92:9, 92:11, 94:13, |
|--|---|---|--|

| | | | |
|---|--|---|---|
| <p>94:17, 102:18, 102:20, 103:1, 142:23</p> <p>ways</p> <p>28:9, 32:4</p> <p>we'll</p> <p>17:15, 36:16, 91:4, 113:16, 121:5, 128:5</p> <p>we're</p> <p>52:4, 52:8, 59:8, 59:13, 73:3, 73:22, 96:16, 96:22, 115:3, 115:4, 115:6, 115:11</p> <p>we've</p> <p>34:3, 34:13, 55:17, 75:7, 99:20</p> <p>web</p> <p>18:4, 18:7, 18:8, 18:13, 18:19, 19:1, 19:7, 19:15, 19:16, 20:9, 20:13, 22:17, 22:22, 23:6, 23:13, 24:20, 29:4, 34:5, 34:15, 46:15, 46:17, 46:19, 46:22, 64:16, 65:16, 65:18, 65:22, 66:2, 66:4, 75:18, 78:7, 89:10, 89:16, 89:21, 90:3, 91:14, 91:20, 91:21, 92:5, 92:8, 92:21, 93:8, 93:9, 93:14, 94:20, 96:11, 97:9, 101:21, 102:6, 102:13, 102:16, 102:18, 102:19, 102:22,</p> | <p>103:7, 103:10, 103:16, 103:20, 104:1, 104:12, 104:17, 104:21, 106:12</p> <p>website</p> <p>20:22, 21:2, 21:15, 25:16, 49:16, 92:1, 103:6</p> <p>websites</p> <p>21:2, 25:20, 49:13</p> <p>week</p> <p>16:1</p> <p>welcome</p> <p>6:12, 58:5, 79:20, 101:3, 120:20, 128:12, 134:13</p> <p>went</p> <p>26:4</p> <p>weren't</p> <p>140:3, 140:7</p> <p>whatever</p> <p>27:10, 44:13, 93:5, 120:3</p> <p>wherein</p> <p>73:10, 74:2</p> <p>whereof</p> <p>142:25</p> <p>wherever</p> <p>48:14, 141:2</p> <p>whether</p> <p>20:15, 22:7, 22:8, 25:22, 26:2, 27:18, 28:5, 31:22, 32:17, 37:8, 39:20, 40:1, 42:5, 50:11, 56:17, 65:11, 69:1, 93:4, 97:5, 97:7, 107:2, 111:1, 122:9, 122:14, 122:21, 123:4, 126:20, 127:4,</p> | <p>136:16, 137:6, 137:11, 137:14, 139:18</p> <p>whole</p> <p>84:21, 130:4</p> <p>wide</p> <p>18:18</p> <p>widely</p> <p>52:7</p> <p>wildly</p> <p>47:15, 52:10</p> <p>wills</p> <p>1:14, 3:8, 5:4, 5:11, 5:17, 29:7, 29:10, 55:22, 58:5, 101:3, 120:20, 121:2, 121:12, 121:18, 123:11, 128:12, 133:9, 142:4, 142:14</p> <p>windows</p> <p>50:22, 64:2, 77:14</p> <p>within</p> <p>18:6, 41:5, 42:7, 47:18, 51:2, 54:9, 62:11, 62:17, 62:20, 63:10, 66:18, 66:20, 68:22, 74:1, 102:15, 109:13, 111:3, 111:20</p> <p>without</p> <p>32:1, 40:15, 44:6, 78:21, 79:8, 87:18, 88:19, 104:12, 104:21, 105:6, 106:12, 106:17, 131:15</p> <p>witness</p> <p>3:7, 8:22, 35:1, 35:7, 37:13, 40:15, 42:11, 56:2, 57:22, 87:8,</p> | <p>88:6, 88:14, 90:22, 96:15, 105:9, 120:12, 121:4, 122:6, 122:16, 123:7, 124:2, 125:2, 127:16, 127:20, 128:3, 128:20, 129:18, 131:5, 131:8, 131:19, 131:22, 132:15, 132:19, 133:7, 133:12, 133:19, 135:1, 136:12, 137:9, 138:6, 138:14, 138:20, 139:3, 139:10, 139:19, 140:1, 140:12, 141:4, 142:4, 142:9, 142:25</p> <p>wolfe</p> <p>2:3, 3:10, 8:21, 34:22, 35:6, 37:11, 40:13, 42:9, 87:5, 88:5, 88:13, 90:21, 96:14, 99:20, 105:8, 120:13, 121:17, 122:7, 122:19, 123:10, 124:14, 125:21, 127:2, 127:20, 128:16, 129:10, 129:19, 130:21, 131:4, 131:17, 132:14, 133:6, 133:18, 134:22, 136:11, 137:8, 138:5, 138:11, 138:15, 139:1, 139:6, 139:12, 139:16, 140:3, 140:7, 140:15, 140:20, 141:3, 141:6</p> <p>worcester</p> <p>17:7</p> |
|---|--|---|---|

| | | | |
|--|--|--|---|
| word 7:3, 7:9, 84:20, 116:2 words 110:13 work 14:7, 17:14, 18:16, 19:6, 19:14, 23:12, 27:15, 128:17, 129:19, 129:21, 130:22, 131:18, 132:15, 133:7, 138:11, 138:22, 139:10, 140:13, 140:21 worked 25:12 works 40:17, 55:8, 120:15 world 18:18 wpi 17:14, 17:15, 17:20, 17:22 wrap 101:13, 141:1 write 11:22, 45:17, 63:2, 91:13 writing 11:19, 14:18, 25:7, 62:21, 63:9, 63:13, 64:13, 107:6 written 10:14, 25:2, 44:6, 53:15, 92:17, 120:8 wrote 15:21, 25:20, 25:21, 34:21, 35:5 www 112:11 <hr/> Y <hr/> yeah 43:3, 111:8, | 118:6, 128:6 years 17:20 yep 43:19 york 27:9 you'r 136:21 yourself 12:1 <hr/> " <hr/> "a 29:4 <hr/> . <hr/> .1 135:2 .4 135:6 <hr/> 0 <hr/> 00 1:16, 120:13, 142:17 01316 1:5, 5:21 <hr/> 1 <hr/> 1 120:13 10 2:15, 9:7, 30:21, 30:22, 111:6 10,594,823 1:8 1001 69:4 1002 6:8 1004 113:21 1005 108:14 1014 49:19, 59:17, | 61:1, 63:19 10594823 6:2 11 35:11, 35:16, 35:18, 100:20 1101 2:15 12 9:7, 100:18, 100:21 121 3:10 128 3:9 13 79:22, 80:13, 80:16, 116:15, 116:19, 117:5, 119:10 132 109:6, 109:10, 123:12 134 112:2, 112:10 14 32:10, 116:19 15 13:13, 16:5, 16:13, 72:18, 72:21, 100:18, 100:21 16 43:17, 43:18, 44:22, 45:2 1650 1:18, 2:5, 142:15 166 114:2, 114:4, 114:14, 115:6 17 1:15, 142:17 18 47:2 19103 1:20, 2:7, 142:16 | 1990 18:20, 19:5 1a 92:3 1b 123:16, 124:15, 124:16, 125:22, 126:1, 135:19 1b1 134:20, 137:17, 137:18, 137:19 1b4 134:13, 134:17, 134:21 1bi 133:15 <hr/> 2 <hr/> 2 141:8, 142:18 20 16:13, 142:26 2000 19:5, 47:14, 52:9 20005 2:16 2004 19:12, 20:7, 21:22 2005 19:12, 20:7, 21:22, 22:20, 47:14, 49:10 2010 18:11, 49:10 2011 25:5 2015 22:20 202 2:17 2024 1:5, 5:21 2025 1:15, 51:21, 142:17, 142:26 215 2:8 |
|--|--|--|---|

| | | |
|---|---|--|
| <p>23 39:2</p> <p>232 111:4, 111:6</p> <p>24 99:15</p> <p>25 142:26</p> <p>26 4:7, 116:14, 116:16, 116:18, 117:4</p> <p>2600 2:17</p> <hr/> <p>3</p> <hr/> <p>30 13:4, 13:12, 13:13, 17:20</p> <p>33 72:18</p> <p>3300 2:8</p> <p>35 72:21</p> <p>37 27:8</p> <p>371 2:17</p> <p>39 100:20</p> <hr/> <p>4</p> <hr/> <p>47 74:9</p> <p>4b 127:10, 127:13, 127:16, 132:3, 132:12</p> <hr/> <p>5</p> <hr/> <p>5000 1:19, 2:6, 142:16</p> <p>51 75:1</p> <p>53 74:22, 75:1</p> | <p>54 72:22</p> <p>56 141:8, 142:18</p> <p>57 69:7, 69:11, 69:12</p> <hr/> <p>6</p> <hr/> <p>60 70:3</p> <p>61 69:19, 70:4</p> <p>63 30:11</p> <p>656 2:8</p> <hr/> <p>8</p> <hr/> <p>823 6:3, 6:5, 8:12, 15:7, 69:5, 69:14, 69:16, 69:21, 70:10, 70:21, 71:1, 72:1, 72:7, 72:16, 75:13, 77:22, 78:1, 79:11, 79:14, 79:21, 80:11, 80:14, 81:17, 89:15, 89:19, 90:2, 90:4, 90:14, 90:17, 92:3, 94:14, 97:17, 108:8, 115:5, 121:20, 122:1, 123:16, 124:16, 127:19</p> <p>84 135:11</p> <hr/> <p>9</p> <hr/> <p>9 1:16, 142:17</p> <p>98 51:16</p> | |
|---|---|--|