

In the Matter Of:

Topsoe, Inc., vs

L'Air Liquide, et al.

HARALD KLEIN

April 02, 2026



<p style="text-align: center;">1</p> <p>1 IN THE UNITED STATES DISTRICT COURT</p> <p>2</p> <p>3 BEFORE THE PATENT TRIAL AND APPEAL BOARD</p> <p>4</p> <p>5 TOPSOE, INC.,</p> <p>6 Petitioner</p> <p>7 v.</p> <p>8 L'AIR LIQUIDE, SOCIÉTÉ ANONYME POUR L'ETUDE ET</p> <p>9 L'EXPLOITATION DES PROCÉDÉS GEORGES CLAUDE,</p> <p>10 Patent Owner</p> <p>11</p> <p>12 Case IPPR2025-01173</p> <p>13 Patent No. 11,673,805</p> <p>14 ORAL DEPOSITION</p> <p>15 HARALD KLEIN</p> <p>16 APRIL 2, 2026</p> <p>17</p> <p>18 ORAL DEPOSITION OF HARALD KLEIN, produced as a</p> <p>19 witness at the instance of the Patent Owner and duly</p> <p>20 sworn, was taken in the above-styled and numbered cause</p> <p>21 on the 2nd day of April, 2026, from 9:00 a.m. to</p> <p>22 2:55 p.m., before Melinda Barre, Certified Shorthand</p> <p>23 Reporter in and for the State of Texas, reported by</p> <p>24 computerized stenotype machine.</p> <p>25</p>	<p style="text-align: right;">3</p> <p>1</p> <p>2 INDEX</p> <p>3 PAGE</p> <p>4 HARALD KLEIN</p> <p>5 Examination by Mr. Robinson4</p> <p>6 Examination by Mr. Phillips121</p> <p>7 Re-Examination by Mr. Robinson125</p> <p>8 Re-Examination by Mr. Phillips136</p> <p>9 Signature Page138</p> <p>10 Court Reporter's Certificate140</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>
<p style="text-align: center;">2</p> <p>1 APPEARANCES</p> <p>2</p> <p>3 FOR PETITIONER:</p> <p>4 Mr. Jeffrey J. Phillips</p> <p>5 SPENCER FANE LLP</p> <p>6 3040 Post Oak Boulevard, Suite 1400</p> <p>7 Houston, Texas 77056</p> <p>8</p> <p>9 Telephone: 713.212.2710</p> <p>10 E-mail: jphillips@spencerfane.com</p> <p>11</p> <p>12 FOR PATENT OWNER:</p> <p>13 Mr. Eagle Robinson</p> <p>14 NORTON ROSE FULBRIGHT US LLP</p> <p>15 98 San Jacinto Boulevard</p> <p>16 Austin, Texas 78701</p> <p>17</p> <p>18 Telephone: 512.474.5201</p> <p>19 E-mail: eagle.robinson@nortonrosefulbright.com</p> <p>20</p> <p>21 ALSO PRESENT: Kyril Talanov</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p style="text-align: right;">4</p> <p>1 HARALD KLEIN,</p> <p>2 having been first duly sworn, testified as follows:</p> <p>3 EXAMINATION</p> <p>4 QUESTIONS BY MR. ROBINSON:</p> <p>5 Q. Good morning, Dr. Klein. Thank you</p> <p>6 for being here today.</p> <p>7 A. Good morning.</p> <p>8 Q. Have you been deposed before, sir?</p> <p>9 A. No.</p> <p>10 Q. Okay. So a couple of basic kind of</p> <p>11 just ground rules that will make things</p> <p>12 simpler. The court reporter can't capture</p> <p>13 anything that isn't verbal. So I'll ask you</p> <p>14 to answer questions out loud and not while I'm</p> <p>15 asking. Fair?</p> <p>16 A. Fair.</p> <p>17 Q. Okay. Thank you. If I ask a</p> <p>18 question you don't understand, feel free to</p> <p>19 ask me to clarify.</p> <p>20 A. Yes, I will.</p> <p>21 Q. And if you need a break for the</p> <p>22 bathroom or anything else, please feel free to</p> <p>23 speak up. The only thing I'll ask is that if</p> <p>24 there's a question pending, that we answer</p> <p>25 that question and then take a break.</p>

<p style="text-align: right;">5</p> <p>1 A. Okay. 2 Q. Dr. Klein, you have been retained on 3 behalf of Topsoe in two IPR petitions, 4 correct? 5 A. Correct. 6 Q. And those petitions, I believe, are 7 proceeding at 1173 and 1174. Does that sound 8 familiar? 9 A. No. I don't recall. What's the 10 number? 11 Q. So let me ask it a little bit 12 different way. You understand that there were 13 two IPR petitions filed? 14 A. Yep. 15 Q. Do you understand that the second of 16 those IPR petitions was not instituted? 17 A. Yes. 18 Q. Okay. So we're only here to talk 19 about the first of those two IPR petitions? 20 A. Exactly, yeah. 21 Q. Dr. Klein, I'm going to hand you 22 what was submitted with those petitions and 23 marked by Topsoe as Exhibit 1004. Do you 24 recognize that document, sir? 25 A. Yes. That's my CV less the</p>	<p style="text-align: right;">7</p> <p>1 in medicine, I always stated I have an 2 undergraduate in chemical engineering. 3 But to be a little bit more precise, I 4 stated here process engineering. 5 Q. Does that differ in Germany from 6 what U.S. schools typically offer as chemical 7 engineering? 8 A. No, it does not. 9 Q. And so it looks like your master's 10 degree is from University of Wisconsin Madison 11 in chemical engineering? 12 A. Exactly. I went -- after the 13 bachelor in Germany I went to Wisconsin, and 14 then I finished my American master's degree 15 before I finished my German master's degree in 16 process engineering again. 17 Q. Okay. And then your Ph.D., I'm 18 going to ask you to translate roughly in 19 1996 -- maybe that's the subject of your 20 thesis? 21 A. Investigations on the Thermodynamic 22 Behavior of Thermocompressors. 23 Q. And can you briefly describe what 24 thermocompressors are? 25 A. It's a thermodynamic cycle my</p>
<p style="text-align: right;">6</p> <p>1 publication. 2 Q. So just to briefly walk through your 3 education, sir, it looks like your 4 undergraduate degree was obtained from the 5 University of Stuttgart? 6 A. Correct. 7 Q. In process engineering? 8 A. Yes. 9 Q. Would you briefly describe what 10 process engineering is. 11 A. It's different to the States. In 12 the States there is just mechanical 13 engineering, chemical engineering, civil 14 engineering. In Germany we have also chemical 15 engineering but at some universities it's 16 called in German Verfahrenstechnik 17 engineering. 18 Q. You're going to have to spell that. 19 A. V-e-r-f-a-h-r-e-n-s technik with a K 20 at the end. It's called Verfahrenstechnik. 21 The best translation for Verfahrenstechnik 22 would be process engineering, but in some 23 schools in Germany it's also called chemical 24 engineering. 25 So when I was in the States</p>	<p style="text-align: right;">8</p> <p>1 professor had in mind. It's related to the 2 Ericsson process. It's a gas process. He had 3 the idea of combining that with an internal 4 combustion, a lot of heat regeneration, 5 regeneration of heat. We did experimental 6 studies there; and I did also lots of 7 theoretical investigations, dynamic 8 simulations, thermodynamic simulations. That 9 was my thesis. 10 Q. You mentioned simulations. Were 11 there any physical prototypes or models built 12 of that system? 13 A. Yes. We had an experimental setup 14 in our laboratories in Stuttgart. 15 Q. And that would be a laboratory-scale 16 system? 17 A. Yes. It's got a piston machine, and 18 we talk about 1 liter of volume. 19 Q. So I think of 1 liter in terms of 20 displacement. 21 A. Yes, uh-huh. 22 Q. Okay. And then it looks like from 23 your Ph.D. you then went to work for Linde 24 Group? 25 A. Exactly.</p>

<p style="text-align: right;">9</p> <p>1 Q. And it looks like from 1996 to 2002 2 you were a process engineer for hydrogen and 3 synthesis gas plants? 4 A. Yeah. That was a part of Linde 5 Engineering, and one product line was hydrogen 6 and synthesis gas plants. I started at the 7 process design department doing process design 8 work, process design of hydrogen blend, 9 hydrogen-CO blend, methanol blends, ammonia 10 blends. 11 Q. What did that process design work 12 involve? 13 A. We did process simulations of the 14 whole process; and then it went down to 15 equipment design, heat exchangers, separation 16 vessels, reactors documenting the process, the 17 equipment and data sheets. And then it went 18 away into the engineering for two years. And 19 then we went back to the process as 20 process engineer -- to the project, sorry, as 21 process engineers for commissioning the plant. 22 Q. Okay. So initially those 23 simulations and design work involved designing 24 a new plant? 25 A. Yes.</p>	<p style="text-align: right;">11</p> <p>1 two years we step back into to -- in this 2 project what we designed, and then we went to 3 the plant to support the commissioning of the 4 plant. 5 Q. Commissioning to get it running the 6 way it's intended to? 7 A. Exactly, commissioning -- well, when 8 you work for an engineering company, you 9 deliver the plant turnkey, lump sum, whatever, 10 and then the operational team of the client is 11 already in the control room. And as engineers 12 from the engineering company, you are more or 13 less responsible for the startup, for the 14 commissioning; and you educate already the new 15 operational team which will run the plant in 16 the future. 17 Q. Okay. 18 A. And that was also my job to go to 19 the commissioning jobs. 20 Q. How many plants were you involved in 21 commissioning during that period from '96 to 22 2002? 23 A. I was involved in two big ammonia 24 plants. 25 Q. Okay.</p>
<p style="text-align: right;">10</p> <p>1 Q. And what did that plant produce? 2 A. I was involved in pure hydrogen 3 plants, producing hydrogen out of natural gas. 4 I was involved in designing ammonia plants, 5 producing ammonia, and also methanol plants. 6 Q. So approximately -- it sounds like 7 you said you started out with design, then 8 spent two years in engineering and then went 9 back to process design with commissioning 10 plants. 11 A. No, no. Sorry. My time was from 12 '96 to 2002. That was the process design 13 department. 14 Q. Okay. 15 A. But our job as process engineers at 16 the early phase in the basic engineering we do 17 the design work, process simulations, 18 equipment design. And then when you at the 19 end want to build a new plant, it goes the way 20 in engineering where we were not involved 21 anymore. 22 Q. Okay. 23 A. Instrumentation, 3D layout and so on 24 and then actually the construction on-site. 25 And then it takes two years and then after</p>	<p style="text-align: right;">12</p> <p>1 A. But I went also on-site for 2 troubleshooting, running blends, doing 3 aftersales. I went also to a plant quite 4 often for aftersales, troubleshooting. 5 And to clear a little bit 6 your question, you said it was designing 7 new plants. That was the main job. But 8 we were also working on retrofitting 9 existing plants doing debottlenecking 10 studies -- that's also the job of a 11 process engineer and engineering 12 company -- a blend which was delivered 13 five years ago, already running for 14 five years. It gets back to the company, 15 Hey, we need more capacity. Can you do a 16 debottlenecking study? 17 So it was not only designing 18 new plants but also doing debottlenecking 19 studies of existing plants. 20 Q. Thank you. 21 A. To be very precise there. 22 Q. I appreciate that. 23 So then in 2002 it looks 24 like a relativity short period with 25 Linde-BOC process plants in Tulsa. Can</p>

<p style="text-align: right;">13</p> <p>1 you tell me about that?</p> <p>2 A. Yes. At that period our U.S. office</p> <p>3 was in Tulsa, Oklahoma.</p> <p>4 Q. Okay.</p> <p>5 A. And we sold two hydrogen plants to</p> <p>6 Praxair, both in Texas City. And I went to</p> <p>7 the Tulsa office to support the engineers</p> <p>8 there as the lead process engineer for the</p> <p>9 basic engineering of these two Texas City</p> <p>10 plants for Praxair.</p> <p>11 And this basic</p> <p>12 engineering -- at the end the process</p> <p>13 design and the matrix would have been</p> <p>14 design. That's a typical period of three</p> <p>15 months. And then I went back to Munich.</p> <p>16 Then the detailed engineering proceeded,</p> <p>17 of course, in Tulsa.</p> <p>18 Q. So this would have been</p> <p>19 precommissioning on those two plants?</p> <p>20 A. Not precommissioning, just basic</p> <p>21 engineering. And the commissioning then I was</p> <p>22 not involved. The commissioning then was</p> <p>23 two years later.</p> <p>24 But at that time I was</p> <p>25 already in the responsible position of</p>	<p style="text-align: right;">15</p> <p>1 Q. And was there any CO2 capture on</p> <p>2 those two hydrogen plants?</p> <p>3 A. No.</p> <p>4 Q. What types of reactors did those two</p> <p>5 plants use, do you recall?</p> <p>6 A. Yes. A conventional steam reformer</p> <p>7 and a thermic steam reformer with auxiliary</p> <p>8 firing and then a water-gas shift reactor.</p> <p>9 Q. Just to clarify and maybe get to an</p> <p>10 acronym that will make the reporter's job a</p> <p>11 little bit easier, when you say steam reactor,</p> <p>12 conventional steam reactor --</p> <p>13 A. Steam reformer.</p> <p>14 Q. -- or steam reformer, would that be</p> <p>15 a steam methane reformer or an SMR?</p> <p>16 A. Yes.</p> <p>17 Q. So if I say "SMR" today --</p> <p>18 A. Let's stick to that, yeah.</p> <p>19 Q. Okay. And then on the water-gas</p> <p>20 shift reactor downstream of the SMR --</p> <p>21 A. Yes.</p> <p>22 Q. -- can we refer to that as a WGS</p> <p>23 reactor?</p> <p>24 A. Definitely.</p> <p>25 Q. All right. So from Tulsa you said</p>
<p style="text-align: right;">14</p> <p>1 leading the department; and, therefore, I</p> <p>2 could not go to the commissioning of the</p> <p>3 plant, which I would have loved to. But</p> <p>4 as a manager, you don't have time to do</p> <p>5 that or they don't give you the time to do</p> <p>6 that.</p> <p>7 Q. So I think I unintentionally asked</p> <p>8 that question in a precise way I did not mean</p> <p>9 to.</p> <p>10 The time that you were in</p> <p>11 Tulsa, that was before the time that those</p> <p>12 two plants were commissioned?</p> <p>13 A. Yes, yes, of course.</p> <p>14 Q. Okay.</p> <p>15 A. Yeah, yeah. We did the engineering.</p> <p>16 And then two years later after the basic</p> <p>17 engineering, we have the detailed engineering</p> <p>18 and the construction and the precommissioning</p> <p>19 and then finally it was two years later it was</p> <p>20 commissioned with the Praxair.</p> <p>21 Q. Okay. Thank you.</p> <p>22 Do you recall what type of</p> <p>23 separation process those two plants used</p> <p>24 for separating hydrogen?</p> <p>25 A. PSA, pressure-swing adsorption.</p>	<p style="text-align: right;">16</p> <p>1 you went back to Munich. Was that the 2002 to</p> <p>2 2007 role listed on your CV?</p> <p>3 A. Yes.</p> <p>4 Q. And that was as the manager for</p> <p>5 process engineering for hydrogen and synthesis</p> <p>6 gas plants?</p> <p>7 A. Yes.</p> <p>8 Q. Okay.</p> <p>9 A. My boss retired; and after coming</p> <p>10 back from Tulsa with the U.S. experience, they</p> <p>11 thought that I would be the right person to</p> <p>12 lead the team.</p> <p>13 Q. Okay. Just out of curiosity, were</p> <p>14 you in Tulsa for about a year? Was it less</p> <p>15 than a year?</p> <p>16 A. No, no. That was just three months.</p> <p>17 Q. Three months. Okay.</p> <p>18 So then as the manager for</p> <p>19 process engineering for hydrogen and</p> <p>20 synthesis gas plants, it says you were</p> <p>21 responsible for the process design</p> <p>22 department?</p> <p>23 A. Yes. I was responsible for my</p> <p>24 former colleagues, so to say.</p> <p>25 Q. That's what I was just going to ask.</p>

<p style="text-align: right;">17</p> <p>1 That's the same department you started out in 2 '96 to 2002, right? 3 A. Yeah. 4 Q. Okay. So it says "steam reforming 5 and partial oxidation." Is this steam 6 reforming sort of the conventional SMR that we 7 mentioned a moment ago? 8 A. Yes. 9 Q. And the partial oxidation, can we 10 refer to that as POX today? 11 A. Yes. 12 Q. Or POX. 13 A. POX is fine. 14 Q. Okay. And then the physical and 15 chemical absorption processes, what did that 16 involve? 17 A. Physical absorption with a B, very 18 important, that's chilled methanol using as a 19 solvent. That's for scrubbing out CO2 out of 20 synthesis gases, not for synthesis gases from 21 steam reformers, preferably for synthesis 22 gases out of POX processes. 23 Chemical absorption means 24 using amines, also to take out CO2 out of 25 the synthesis gas but preferably for steam</p>	<p style="text-align: right;">19</p> <p>1 gray hydrogen releasing all the CO2 to 2 atmosphere. 3 Then in the mid 2004/2005 4 this climate discussion came up more and 5 more, and people came up more and more 6 thinking about the idea of capturing the 7 CO2 instead of freezing that to 8 atmosphere. If you do that with a 9 hydrogen plant based on SMR, this is 10 called the blue hydrogen. 11 But there were also the 12 ideas of retrofitting fossil-based power 13 plants, coal-fired power plants, and also 14 gas-fired power plants to capture the CO2 15 out of the flue gas of the power plants. 16 And that was a new -- I wouldn't say 17 trend. It was a necessity to discuss that 18 in mid 2005, I would say, to do something 19 against climate change. 20 That was the philosophy in 21 Europe, yeah. Therefore, we were 22 looking -- of course, we know of the 23 technology; and, therefore, we thought 24 that Linde Engineering will play also a 25 role when we capture the CO2 because we</p>
<p style="text-align: right;">18</p> <p>1 reformer gases, gases coming out from a 2 steam reformer and WGS coming from an SMR 3 and the WGS. Preferably we used chemical 4 solvents like amines. 5 When we have POX with or 6 without WGS, the preferred solution is 7 physical solvents. And our physical 8 solvent process was -- still is deep cold 9 methanol. That's the distinction between 10 physical and chemical absorption. 11 Q. Thank you. And then the last is 12 cryogenic separation of synthesis gases. What 13 did that involve? 14 A. That's the cryogenic separation of 15 at the end separating hydrogen from carbon 16 monoxide, CO, with the cryogenic process. 17 Q. And then the last sentence there 18 under that manager of process engineering 19 role, it says, "Development of innovative 20 process routes for synthesis gas and CO2-free 21 concepts for large scale energy applications." 22 What did that involve, sir? 23 A. Coming back to your question 24 regarding the Texas City plants, whether we 25 did CO2 capture there, that was 2002. It was</p>	<p style="text-align: right;">20</p> <p>1 have all the processes already, 2 absorption, PSA. 3 Q. Okay. And so the -- you mentioned 4 the absorption. That was the, if I remember 5 correctly, physical absorption coming out of 6 the POX reactor and the chemical absorption 7 downstream of a SMR and WGS? 8 A. Exactly. 9 Q. Okay. Go ahead. 10 A. To make the picture complete, if you 11 allow me that, when it's an SMR and a WGS and 12 it's a conventional hydrogen plant at that 13 base at Texas City, we did not take out the 14 CO2. 15 But we left it to the PSA 16 and then all the CO2 ended up in the -- 17 let's stick to one term for this 18 discussion -- res gas, which is probably 19 the most easiest one. 20 At that time CO2 had to be 21 taken out when you go -- instead of 22 hydrogen as the product, when you go for 23 ammonia because each ammonia plant is 24 usually parallel. The ammonia goes into a 25 urea, u-r-e-a, plant. And this urea plant</p>

<p style="text-align: right;">21</p> <p>1 producing fertilizer required the CO2 and, 2 therefore, the CO2 was captured. Not for 3 climate reasons but for the use in 4 fertilizer urea plants. 5 Q. In that instance was the CO2 6 separated from the hydrogen before going to 7 the urea plant? 8 A. It was separated before going to the 9 PSA, and then the CO2 went to the urea plant 10 wherein the CO2 reacts with the ammonia to 11 this urea. So we captured the CO2 out of the 12 CO2-rich shifted gas. 13 Q. As a feedstock for the urea plant? 14 A. Exactly. 15 Q. Okay. So then in 2008 you 16 transitioned to academia, it looks like? 17 A. Yes, I did. 18 Q. What prompted that change? 19 A. That's a good question I have to 20 answer a lot in my life, and I did that a lot 21 the last 18 years. 22 I was quite happy with 23 Linde. It was a good job. I really loved 24 it. And it was not on my agenda to go 25 back to university. That's the statement,</p>	<p style="text-align: right;">23</p> <p>1 Being the manager of the process design 2 department, I -- the most fulfilling role 3 for me was hiring young engineers, masters 4 or Ph.D.s, and educating them and telling 5 them within the first year, half year 6 actually sitting on the projects already. 7 And then I don't want to be 8 too cocky here, but I thought that I have 9 the right language to tell people 10 something what they have to know. That 11 was my biggest motivation to go back to 12 academia. 13 Q. I have no sense for how academia 14 works in Germany. Are you required to 15 research as well as teach? 16 A. Yes. 17 Q. Do you have to obtain grants to fund 18 that research? 19 A. Yes. 20 Q. And how do you go about obtaining 21 grants to fund your research? 22 A. So when you become a professor and 23 you -- when you become professor in the 24 mid-40s, as I became, you're tenured from the 25 very first day; and then they just tell you,</p>
<p style="text-align: right;">22</p> <p>1 No. 1. 2 But then occasions come up, 3 as they do, and people try to convince 4 you. Then even when you say at the 5 beginning, No, that's not for me, they 6 tease you. And then you try. And when 7 you try, you want to be good. And at the 8 end you are No. 1, and then you have the 9 decision to do. 10 It went all the way up to 11 the board of Linde, the CEO. He asked me 12 to his office, and I expected a completely 13 different question. I expected, What 14 shall we do that you state? 15 But then the CEO said, We as 16 Linde would like that you do that because 17 then we'd have a strategic connection to 18 Technical University Munich. At the end 19 it was my decision. It was not that funny 20 at that date. But at the end a lot of 21 people wanted it, and I wanted it as well. 22 And it was very challenging. 23 The most important 24 motivation for me was teaching. I like to 25 teach and being back in the classroom.</p>	<p style="text-align: right;">24</p> <p>1 Do research and do teaching. 2 Teaching is well defined. 3 We have the study programs, basic things 4 like thermodynamics or advanced things 5 like advanced process control. Let's 6 stick by the study programs. 7 Research you're completely 8 open. And I was in the lucky situation 9 that I got four research positions fixed 10 from the university to get started. But 11 then if you want to grow, you have to 12 apply for grants. You're completely 13 right. 14 In Germany it works like -- 15 let's say there is -- you can separate it 16 into two areas, public-funded research. I 17 would say if I would be in the States, a 18 lot of that would work with the DOE. And 19 we have similar ministries in Germany. 20 And they put out calls and then you apply 21 for the call and you spend work in the 22 research application -- sorry, in the 23 project proposal application, yes. And 24 then you get the grant or sometimes you do 25 not get the grant.</p>

<p style="text-align: right;">25</p> <p>1 And when you have the grant, 2 there is usually one, two, three Ph.D. 3 workers you can hire and then you grow 4 your group. Now I have the size of 25. 5 So third-party money how we call it. 6 Public funding with my research is very 7 application related. I don't do 8 fundamental research. So in the research 9 grants I got it's always the companies, 10 industrial companies, industrial partners 11 are involved with, which have also an 12 interest in these topics. 13 The second is you don't go 14 out for public grants. You agree with the 15 company directly -- or a company 16 approaches you. You approach a company. 17 Hey, I have an idea. The company say, I 18 have a need. 19 And then you agree on a 20 one-to-one B lateral research coverage; 21 and then the company pays you directly 22 with some overhead, which the university 23 administration takes off, skims off. And 24 then you have also the same situation. 25 You have enough money to hire one, two</p>	<p style="text-align: right;">27</p> <p>1 Q. Okay. How much do you try to grow 2 year over year? Do you have a target? 3 A. I have no growing targets anymore. 4 I'm actually -- I'm 63. I know my exact 5 retirement date, which is March, the end of 6 March 2030, and I have so many Ph.D. students 7 to graduate. 8 If I keep on writing 9 proposals, proposals, proposals, I take -- 10 I have income -- I take them in. All have 11 to graduate. I've slowed down the intake 12 now, and I plotted a curve. When I 13 retire, I want to have less than ten left 14 to be graduated. 15 Q. Gotcha. 16 A. So no growth plans anymore. 17 Q. Looking at your list of publications 18 attached to your CV, how many of those before 19 2020 relate to hydrogen separation plants -- 20 or, sorry, hydrogen production plants? 21 A. Before 2020 -- the big growth to 25 22 came actually during COVID, 2020. And that 23 was a time all these hydrogen projects were on 24 the calls. So up to 2020 there was not a lot 25 of hydrogen research in my group. So most of</p>
<p style="text-align: right;">26</p> <p>1 researchers for that project. 2 I would say my split is 3 80 percent public funded/20 percent direct 4 research with the industry. 5 Q. So 20 percent is industry funded? 6 A. Yeah. Maybe nowadays even less. It 7 was 20. Maybe it's 10 now. 8 Q. Approximately what's your total 9 research budget in any given year? 10 A. 1 million Euro. 11 Q. Okay. 12 A. Doesn't matter. Same order of 13 magnitude. 14 Q. And that's enough for 25 15 researchers? 16 A. I have four researchers funded by 17 the university. 18 Q. Okay. 19 A. The one I started I still have. 20 Q. Okay. 21 A. But our system works if you do not 22 grow, they even cut you the four you have at 23 the beginning. 24 Q. Okay. 25 A. So you have to grow to survive.</p>	<p style="text-align: right;">28</p> <p>1 the hydrogen-related publications, they are 2 after 2020. 3 Q. Okay. I want to make sure I 4 understand something that you just said. 5 Hydrogen projects were on the calls? 6 A. Yeah, because -- 7 THE REPORTER: On the calls? 8 A. On the research calls from the 9 government. The German Ministry for Research 10 and Education, that's one important ministry. 11 The other one is Ministry for Transportation. 12 They have their budget from 13 the government; and then these ministries, 14 they set up -- they create calls. And 15 most of that was hydrogen, hydrogen, 16 hydrogen, green hydrogen. 17 Since I know hydrogen from 18 my career at Linde, I was quite 19 successful; and they trusted me that this 20 is the right lab to place some of these 21 hydrogen-related projects. That was the 22 reason we did grow so much after 2020. 23 Q. Okay. And forgive me but "created 24 calls," were those like requests for research? 25 Certainly not telephone calls.</p>

<p style="text-align: right;">29</p> <p>1 A. We call it the research list. It's 2 published on their website; and they say 3 research groups, research groups along with 4 industry companies. 5 We have here, to give you an 6 example, H2 Geka [phonetic.] We want to 7 research how can hydrogen electrolyzers 8 become more efficient to be manufactured. 9 Then they tell the number. This was a big 10 one. 30 million. And then they set a 11 deadline and you can try to find partners. 12 You have to find partners, industrial 13 partners. Also industrial partners 14 contact you because they think you're the 15 right research partner. 16 Then this research call has 17 a deadline. You can write in -- you can 18 hand in a sketch, 20 pages. You outline 19 your research idea. And then they 20 evaluate the sketches, the research ideas. 21 And if you drop out, you 22 don't get the project. And if you get 23 selected -- Hey, this research sketch 24 sounds interesting -- they notify you. 25 They consult you. And then they say, Go</p>	<p style="text-align: right;">31</p> <p>1 proceeding? 2 A. Yes. 3 Q. So at a high level what is GHR 4 reactor? 5 A. Gas-heated reformer. 6 Q. And what is an ATR? 7 A. Autothermal reformer. 8 Q. So the '805 patent depicts both 9 series configurations and parallel 10 configurations for the GHR and the ATR, 11 correct? 12 A. Yes, it does. 13 Q. And you understand today that the 14 IPR petition we're here to talk about deals 15 with the series configuration, correct? 16 A. Yes, only the series. 17 Q. Okay. 18 MR. PHILLIPS: Real quick so 19 that she doesn't hit you, make sure 20 that he finishes his question before 21 you answer. 22 THE WITNESS: Yes. 23 MR. PHILLIPS: She's doing a 24 pretty good job, but you're kind of 25 running on top of each other.</p>
<p style="text-align: right;">30</p> <p>1 for a full project proposal now. List all 2 your budget you need and so on. 3 Then if you don't do too 4 many mistakes, you're through usually. 5 Q. Okay. So it's almost a request for 6 like research abstracts; and then if they get 7 through that, then they ask for a full 8 proposal? 9 A. Sketch is probably not good. 10 Research abstract, 20 pages abstract; and then 11 you're through if you don't. 12 Q. If you don't screw it up? 13 A. If you don't screw up, yeah. 14 Q. All right. Do you have a copy of 15 the '805 patent there, Doctor? 16 A. Yes. 17 Q. Dr. Klein, just so it's clear for 18 the record, the '805 patent is U.S. patent 19 11,673,805, correct? 20 A. Yep. 21 Q. And that's Exhibit 1001 that was 22 submitted with the IPR petition? 23 A. Yes. 24 Q. And you recognize this as the Air 25 Liquide patent that is challenged in this</p>	<p style="text-align: right;">32</p> <p>1 THE REPORTER: Thank you. 2 BY MR. ROBINSON: 3 Q. What are the differences between an 4 SMR and a GHR? 5 A. An SMR is the conventional steam 6 reformer where the heat for the endothermal 7 reforming direction is supplied from an 8 external heat source, which is a firing. 9 That's what we realized in Texas City. 10 GHR on the reaction side in 11 the catalyst tubes the same reactions take 12 place, also the endothermal steam 13 reforming reaction, along with the 14 water-gas shift reaction. 15 So SMR plus WGS, in both 16 reformers these two reactions take place 17 on the catalyst side inside the tubes. In 18 a conventional SMR the heat is supplied 19 from external firing. You just burn gases 20 and supply the heat with open flames. 21 In a gas-heated reformer, 22 GHR -- we can also take that as an 23 abbreviation for you -- the heat is 24 supplied by cooling a general hot gas, no 25 open flame.</p>

<p style="text-align: right;">33</p> <p>1 Q. Do the operating temperatures differ 2 between an SMR and a GHR? 3 A. Yes, it does. 4 Q. How so? 5 A. The operating temperature of an SMR 6 is way higher. The operating temperature of a 7 GHR is lower. 8 Q. You mentioned a catalyst. Do the 9 catalysts differ between an SMR and a GHR? 10 A. No, they don't. Both are 11 nickel-based catalysts. 12 Q. What about the feedstocks that can 13 be processed in an SMR versus a GHR? 14 A. The feedstocks are the same. The 15 feedstock is in both cases a hydrogen, natural 16 gas, mixed with steam. 17 Q. Does the higher operating 18 temperature of an SMR enable a broader range 19 of feedstock characteristics in an SMR? 20 A. No, it does not. 21 Q. Are there any other characteristics 22 of an SMR that enable a broader range of 23 feedstocks? 24 A. No. 25 Q. By "broader range of feedstocks," I</p>	<p style="text-align: right;">35</p> <p>1 MR. PHILLIPS: Objection to 2 form. 3 A. Refinery of gases. If you mean that 4 hydrogen-rich gases contain already some 5 hydrogen from the refinery. Correct. Yes, 6 these gases can be used as feedstocks in SMRs. 7 BY MR. ROBINSON: 8 Q. Can refinery gas be processed in 9 GHRs as well? 10 A. Yes, they can. 11 Q. Are there any different operating 12 characteristics required to do that in a GHR 13 versus an SMR? 14 A. As with the natural gas case, the 15 operating temperature in the GHR will be 16 lower. 17 Q. So, generally speaking, in an SMR 18 the inputs are methane and steam, correct? 19 A. When you talk about the natural gas, 20 it's mainly methane and steam; but no natural 21 gas is pure methane. There are always some 22 levels of higher hydrocarbons: ethane, C3, C4. 23 Q. Okay. The primary target chemical 24 reaction in an SMR is to convert methane and 25 steam into carbon monoxide and hydrogen,</p>
<p style="text-align: right;">34</p> <p>1 mean different mixtures of carbons and 2 hydrogens. 3 A. What do you mean with "hydrogens"? 4 Q. So, for example -- that was a 5 terrible way to phrase that question. 6 Different feedstocks, for 7 example, can include natural gas, refinery 8 gas, or naphtha, correct? 9 A. Yes. 10 Q. Okay. And all of those can be 11 processed in an SMR, correct? 12 A. Naphtha can be processed in an SMR. 13 However, if you process naphtha directly in an 14 SMR, there are special nickel catalysts for 15 naphtha reforming as compared to natural gas 16 reforming. 17 Q. And naphtha generally can't be 18 processed in a GHR, can it? 19 A. I never saw this application. 20 Q. You've never seen naphtha processed 21 in a GHR? 22 A. No. 23 Q. Okay. Same question for refinery 24 gases. Refinery gases can be processed in an 25 SMR, correct?</p>	<p style="text-align: right;">36</p> <p>1 correct? 2 A. That's correct. 3 Q. Does the operating temperature in an 4 SMR versus the operating temperature in a GHR 5 impact how much of the methane and steam can 6 be converted into carbon monoxide and 7 hydrogen? 8 A. Yes, it does. 9 Q. How so? 10 A. The reaction of methane and water is 11 an endothermic reaction; therefore, it 12 requires energy; therefore, we have the firing 13 in an SMR. And it's what we call -- in 14 chemical thermodynamics it's called an 15 equilibrium controlled reaction. 16 It does not proceed 17 completely from the reactants to the 18 products. And because it's an endothermic 19 reaction, there's a principle called 20 Le Chatelier's principle. Maybe there is 21 also a French accent somewhere. 22 Q. Thank you. 23 A. It's an endothermic reaction and 24 thermodynamic -- at the end it's the second 25 law of thermodynamics. It says that the</p>

<p style="text-align: right;">37</p> <p>1 higher the temperature the higher is the 2 conversion from methane and steam to carbon 3 monoxide and hydrogen.</p> <p>4 Q. So all other things being equal, in 5 an SMR a greater proportion of methane and 6 steam will be converted to carbon monoxide and 7 hydrogen than would be the case in a GHR?</p> <p>8 A. Yes.</p> <p>9 Q. What are the differences between an 10 SMR and an ATR?</p> <p>11 A. Okay. ATR. Besides steam and 12 methane, you also add oxygen to the feed. And 13 this oxygen reacts with the methane, but the 14 oxygen is under-stoichiometric. There is not 15 enough oxygen to combust all the methane. 16 And, therefore, the main reaction is the 17 oxidation of methane to CO, carbon monoxide, 18 and to hydrogen.</p> <p>19 That's the simplified view 20 what's happening in the first part of an 21 ATR. And that goes to the point that all 22 oxygen is consumed, but there is not 23 enough oxygen to burn partially. At the 24 end we -- we talked about POX. The first 25 part of an ATR is the POX. It's a partial</p>	<p style="text-align: right;">39</p> <p>1 energy supply, the gas cools down when it 2 goes into this catalytic steam reforming 3 combined with the water-gas shift in the 4 second part of the ATR. So this is the 5 simplified picture of an ATR.</p> <p>6 Q. So for an ATR how does the operating 7 temperature in the catalytic step compare to 8 the operating temperature in an SMR?</p> <p>9 A. It's higher.</p> <p>10 Q. It's higher in the ATR?</p> <p>11 A. Yes.</p> <p>12 Q. Okay. What is the net effect of 13 that relative to -- let me ask this in steps. 14 The operating temperature in 15 the catalytic step of the ATR is higher 16 than the operating temperature in an SMR. 17 For a given feedstock how does the 18 proportion of methane converted to 19 hydrogen in an ATR compare to the 20 proportion of hydrogen -- or proportion of 21 methane converted to hydrogen in an SMR?</p> <p>22 A. To answer that question, we would 23 have to talk a little bit more on the flame 24 because what I explained was the very short 25 version that the main reaction is methane plus</p>
<p style="text-align: right;">38</p> <p>1 oxidation of methane, not to CO2 but to 2 CO. But there is not enough oxygen. It's 3 under-stoichiometric. Therefore, after 4 the oxygen is used up, methane is left to 5 a big portion.</p> <p>6 And, therefore, after this 7 open flame the POX zone of an ATR, we have 8 another -- we have a catalytic step. The 9 same catalyst as an SMR, nickel based. We 10 had steam at the inlet.</p> <p>11 After the flame of the ATR 12 we have a hot temperature. And now this 13 oxygen-free gas, the leftover of methane 14 with the steam, goes into the catalytic 15 bed; and then steam reforming and 16 water-gas shift reaction takes place 17 without heat supply because the heat -- 18 sorry, the energy is in the gas because it 19 has been heated up by combusting part of 20 the methane.</p> <p>21 And then it goes into this 22 bed, catalytic bed, to perform the steam 23 reforming reaction and the water-gas shift 24 reaction. And because the steam reforming 25 reaction is endothermic and we don't have</p>	<p style="text-align: right;">40</p> <p>1 oxygen to CO and hydrogen.</p> <p>2 Since this is an open flame 3 and no catalyst controls the reaction, 4 part of the methane reacts with oxygen 5 also to CO2 and water. Let's call this 6 the full oxidation. And this reaction 7 actually generates the high temperature.</p> <p>8 This reaction is much more 9 exothermic than the POX. We didn't talk 10 about -- but oxidation reactions are 11 exothermic. So, therefore, we increase 12 the temperature to very high temperature, 13 1800, 2,000 degrees C. But the main 14 driver for that high temperature, it's not 15 the POX of CH4. It's the oxidation of 16 CH4, combusting completely to CO2 and 17 water.</p> <p>18 Besides that in the POX we 19 create CO and hydrogen, and hydrogen is 20 very reactive with oxygen. And some part 21 of the just-created hydrogen reacts with 22 the oxygen as well in a highly exothermic 23 reaction to generate water. And basically 24 the full oxidation reactions, they are the 25 main driver to increase the temperature to</p>

<p style="text-align: right;">41</p> <p>1 1800, 2,000 degree, to have high-enough 2 temperature inlet of the non-heated 3 catalytic bed of the ATR. 4 Now, coming back to your 5 question what's the portion of methane 6 converted in the flame, there are two 7 reactions methane as converted to the 8 methane converted in the catalytic bed. 9 That depends how high you control the -- 10 how much -- at the beginning I said there 11 is not enough oxygen to combust -- to 12 partially oxidize all methane. 13 On the other hand, some of 14 the oxygen takes the methane to full 15 combustion. So the ratio, how much 16 methane at the end you convert in the 17 flame, depends how much oxygen you add to 18 the first part of the ATR. And this 19 defines also then the portion of methane 20 converted in the two reactions as compared 21 to the SMR reaction in the catalytic bed. 22 I cannot -- this number 23 depends on the temperature -- with the 24 oxygen at the end you do the temperature 25 control and the portion -- if you want the</p>	<p style="text-align: right;">43</p> <p>1 The higher you are in 2 temperature the less methane you have, and 3 you want to have -- we talk about methane 4 slip. And the methane content, the 5 methane slip, is slower when we have this 6 high outlet temperature. We can go higher 7 in outlet temperature in an ATR as we can 8 go in the SMR. 9 Q. I want to make sure I heard that 10 right. So the ATR can go higher than the SMR 11 in outlet temperature? 12 A. Yes, yes. 13 Q. Okay. At the higher outlet 14 temperature than a GHR. How does that higher 15 outlet temperature on an ATR impact the 16 downstream components in the system? 17 MR. PHILLIPS: Objection to 18 form. 19 A. The outlet temperature? The main 20 factor is how much methane is left, how much 21 methane is remaining unreacted in the outlet 22 of an ATR. 23 BY MR. ROBINSON: 24 Q. So will each of an SMR -- in a 25 hydrogen production plant will each of an SMR,</p>
<p style="text-align: right;">42</p> <p>1 number, it's not a -- I cannot tell you 2 right now. Main part is converted in the 3 catalytic bed. 4 Q. Okay. 5 A. Because ATR specialists and ATR 6 designers it's more a matter what outlet 7 temperature do you want to reach in both the 8 flame and out of the catalytic bed. 9 This temperature control 10 works with the amount of oxygen you supply 11 it at the very top. And to control that 12 temperature to -- let's say the outlet 13 temperature of the catalytic bed, it's 14 1,000, 1,050. This is the main parameter 15 what you have in mind when you do ATR 16 design, not looking so much on the ratio 17 but what's converted in the flame and 18 what's converted in the catalytic bed. 19 Q. Why is that outlet temperature 20 important? 21 A. It's coming back to the 22 thermodynamics. The outlet temperature 23 determines how much methane is left because 24 it's an endothermic reaction and it's 25 equilibrium control.</p>	<p style="text-align: right;">44</p> <p>1 ATR or GHR generally have a WGS reactor 2 downstream of the reformer? 3 A. If you want to generate hydrogen, 4 yes. 5 Q. How do the different outlet 6 temperatures of those different reformers 7 impact the design decisions for the WGS 8 reactor downstream? 9 A. The inlet of the WGS reactor is 10 lower than the outlet temperature of an SMR. 11 So, therefore, the outlet gas of an SMR has to 12 be cooled down. 13 The same applies to the ATR. 14 It has to be cooled down even more because 15 it's hotter. And the outlet of a GHR does 16 not go to a shift director. 17 In the setup what we talk 18 about here in the '805 patent, the outlet 19 of a GHR is the inlet of the ATR in the 20 series arrangement. And we talk only on 21 the series. 22 Q. Okay. 23 A. In the parallel it's a little bit 24 different, but we talk about petition 1. 25 Q. In the series configuration is there</p>

<p style="text-align: right;">45</p> <p>1 a WGS reactor downstream of the ATR then? 2 A. Yes. 3 Q. Okay. We've been going about an 4 hour. Should we take a quick break? 5 (Recess taken) 6 BY MR. ROBINSON: 7 Q. Dr. Klein, do the typical operating 8 pressures of gas leaving an SMR versus an ATR 9 differ? 10 A. No. They don't differ. 11 Q. How about the outlet pressure of gas 12 from an ATR versus from a GHR? 13 A. If you have the GHR in series with 14 an ATR, the outlet pressure of the ATR is 15 slightly lower due to the pressure drop 16 through the ATR. 17 Q. When you say "in series," do you 18 mean in series in the order shown in the '805 19 patent? 20 A. Yes. 21 Q. Does the increased outlet 22 temperature for an ATR versus a GHR impact the 23 outlet pressure from an ATR versus a GHR? 24 A. The outlet pressure of an ATR is 25 always lower. No matter how you vary the</p>	<p style="text-align: right;">47</p> <p>1 into the ATR, and after the ATR the 2 pressure is again a little bit lower. 3 But when we talk about 4 pressure drops, it's not that we change 5 the order of magnitude of the pressure 6 level. We have only minor pressure drops 7 in the range of 500 millibar or so. 8 Q. Just enough for the gas to flow 9 through the system? 10 A. Exactly. 11 Q. So typically for gas in a given 12 volume, increases in temperature would 13 increase pressure, right? 14 A. I'm sorry. I didn't get the 15 question. Can you repeat it, please? 16 Q. Sure. So for a given amount of gas 17 in a given volume -- 18 A. Yes. 19 Q. -- an increase in temperature will 20 generally increase pressure, correct? 21 A. Yes, yes. It's the ideal gas law 22 when the pressure is not too high, yeah. 23 Q. Okay. So if in the series 24 configuration of the '805 patent -- 25 A. Yes.</p>
<p style="text-align: right;">46</p> <p>1 temperature of the outlet, pressure has to be 2 lower; otherwise, the gas would not flow from 3 the GHR through the ATR, independent of the 4 temperature. 5 Q. Is the GHR always paired with an 6 ATR? 7 A. What do you mean with "always"? 8 Q. Are GHRs ever used in hydrogen 9 production without an ATR? 10 A. I'm not aware of it. 11 Q. Can a GHR be used in parallel with 12 an ATR? 13 A. Yes. 14 Q. And how do the outlet pressures 15 differ if they're used in parallel? 16 A. Parallel configuration means that 17 usually -- first it's parallel. So not all 18 gas goes through the GHR. Part of the gas 19 goes directly to the ATR. And then the outlet 20 of the GHR is mixed with the gas going 21 directly to the ATR. 22 And then these two gases 23 when they mix, they have the same 24 pressure, which is lower than the inlet of 25 the GHR. And these gases mixed go then</p>	<p style="text-align: right;">48</p> <p>1 Q. -- the feedstock first enters the 2 GHR, correct? 3 A. Yes. 4 Q. It exits the GHR and enters the ATR, 5 correct? 6 A. Yes. 7 Q. And then obviously after entering 8 the ATR it's got to exit the ATR? 9 A. Yes. 10 Q. So if the pressure -- given volume 11 of gas, given mass flow rate, the pressure at 12 the exit of the GHR is higher than the 13 pressure at the exit of the ATR, correct? 14 A. That's the reason why the gas flows 15 through, yeah. 16 Q. But the temperature at the exit of 17 the ATR is much higher than the temperature at 18 the exit of the GHR? 19 A. Yes. 20 Q. What other characteristics of the 21 gas have to change to maintain the same mass 22 flow rate and the lower pressure? 23 MR. PHILLIPS: Objection, form. 24 A. When we talk about flowing systems, 25 I think in steady-state operation the mass</p>

<p style="text-align: right;">49</p> <p>1 flow rate is constant. The mass flow rate is 2 higher through the ATR because we add the 3 oxygen. So the mass flow rate for the GHR is 4 at the inlet and at the outlet of the GHR the 5 same. 6 We add oxygen, then we have 7 a higher mass flow rate into the ATR; and 8 we have the same higher mass flow rate out 9 of the ATR of both zones, the erection 10 zone and the catalytic zone. 11 BY MR. ROBINSON: 12 Q. We have a higher mass flow rate, a 13 higher temperature but a lower pressure? 14 A. We have a higher temperature, yes, 15 slightly lower pressure due to the pressure 16 drop and due to the oxygen, its mass flow 17 which has to go through both zones of the ATR, 18 the combustion zone and the catalytic bed. 19 Q. So are there other changes in the 20 characteristics of the gas that avoid an 21 increase in pressure despite the increase in 22 mass and temperature? 23 MR. PHILLIPS: Objection, form. 24 A. The gas are reacting in both -- you 25 talk about the ATR now?</p>	<p style="text-align: right;">51</p> <p>1 THE REPORTER: Of mols? 2 THE WITNESS: The particles, 3 the molecules, increase of 4 molecules. 5 A. -- increase of temperature and a 6 slight decrease of pressure, which is not -- 7 the minor effect. The other two are the major 8 effects. 9 BY MR. ROBINSON: 10 Q. So volume flow rate exiting the ATR 11 is also higher than the volume flow rate 12 entering the GHR? 13 A. Yes. 14 Q. And higher than the volume flow rate 15 entering the ATR? 16 A. If you add the volume flow rates of 17 the oxygen and the gas coming from the GHR, 18 yes, it's higher. 19 Q. Would it be higher even than the -- 20 sorry. 21 It seems like the volume 22 flow rate exiting the ATR would have to be 23 greater than the sum of the volume flow 24 rates of the methane feedstock and the 25 oxygen feedstock. Is that correct?</p>
<p style="text-align: right;">50</p> <p>1 Q. The outlet of the ATR. 2 A. The outlet of the ATR has more -- it 3 has a different composition we generate in 4 several reactions in the combustion zone and 5 also in the catalytic zone. We generate more 6 molecules at the end as had at the inlet. 7 You can look at the steam 8 reforming reaction. One methane plus one 9 water creates three water hydrogen and one 10 CO. So we increase the molar flow rate, 11 as they call it. The molar flow rate 12 relates directly to the volume flow rate. 13 When we talk about -- you 14 gave the example of a fixed volume 15 increasing the temperature. We increase 16 the pressure. 17 In flowing systems we don't 18 talk about the volume. We talk about 19 volume flow rates because everything is in 20 steady state and flowing through. So we 21 have a higher volume flow rate outlet of 22 an ATR as at the inlet of the ATR. 23 Q. Okay. 24 A. For two main effects, increase of 25 mols --</p>	<p style="text-align: right;">52</p> <p>1 MR. PHILLIPS: Objection, form. 2 A. When you talk about the series 3 configuration, the feedstock to the ATR is not 4 methane; but it's the gas from the GHR. 5 BY MR. ROBINSON: 6 Q. Uh-huh. 7 A. With lot of hydrogen already in it, 8 CO2 in it, CO in it and not reformed methane. 9 But you're right, the volume 10 flow rate is higher at the outlet of the 11 ATR. 12 Q. Let me -- that's a fair point. Let 13 me see if I can clarify the way I asked that 14 question. 15 It seems like the volume 16 flow rate of the outlet of the ATR is 17 higher than the sum of the volume flow 18 rate of the gas from the GHR that enters 19 the ATR and the flow rate of the oxygen 20 that's added to the ATR. 21 A. Yes. 22 Q. And I believe you testified 23 earlier -- I'm asking you to confirm; I'm not 24 trying to put words in your mouth -- that in 25 any plant for producing hydrogen there will be</p>

<p style="text-align: right;">53</p> <p>1 a WGS reactor downstream of the reformers. Is 2 that accurate? 3 A. Having hydrogen as a product, yes, 4 water-gas shift, WGS, reactor would be 5 present. 6 Q. Downstream of the reformers? 7 A. Yes. 8 Q. I'm going to ask you to refer to 9 your declaration, please, Dr. Klein. 10 A. Yes. 11 Q. Specifically at paragraph 28 on 12 page 21. 13 A. Paragraph 28 on page 21. 14 Q. Second-to-last full sentence on that 15 page says, "It is known by a POSA that steam 16 reforming is almost always accompanied by the 17 water-gas shift reaction shown in reaction 3." 18 Do you see that sentence, 19 sir? 20 A. Yes, I see it. 21 Q. So, first question, what does POSA 22 stand for? 23 A. Person of ordinary skill in the art. 24 I would consider me as a POSA. 25 Q. In fact, you're significantly more</p>	<p style="text-align: right;">55</p> <p>1 there are some applications, exceptions where 2 the water-gas shift reaction does not take 3 place; but these are not important for the 4 case what we have here. 5 For the hydrogen production 6 in the context of the '805 patent the 7 water-gas shift reaction is always 8 accompanied by -- the steam-reforming 9 reaction is always accompanied by the 10 water-gas shift reaction. 11 Q. What are the exceptions in syngas 12 where the water-gas shift reaction is not 13 present? 14 A. If you don't want to produce 15 hydrogen but CO-rich syngases, first you leave 16 the shift out, not to convert the CO; but then 17 the CO is still not high enough for your 18 application. 19 We talk about gas-to-liquid 20 applications or Oxo alcohols, synthesis 21 gas for -- 22 THE REPORTER: Oxide? 23 THE WITNESS: Oxo alcohols. 24 A. And in these applications you feed 25 CO2, along with the methane and the steam, to</p>
<p style="text-align: right;">54</p> <p>1 qualified than a POSA, correct? 2 MR. PHILLIPS: Objection, form. 3 A. I educate POSAs. 4 BY MR. ROBINSON: 5 Q. So "almost always" leaves open the 6 possibility that the water-gas shift reaction 7 is not necessarily present in steam reforming, 8 correct? 9 MR. PHILLIPS: Objection to 10 form. 11 A. It's always present in the context 12 of the '805 patent and all the exhibits we 13 have here on the table. I put in the 14 always -- the "almost always" because there 15 are some exceptions in syngas technology. And 16 this is in the paragraph -- or in the 17 chapter V, Field of Technology and State of 18 the Art. 19 BY MR. ROBINSON: 20 Q. I'm sorry. Chapter V of what? 21 A. Sorry. The header of that one page 22 back. 23 Q. Okay. 24 A. In this paragraph I describe the 25 field of technology and state of the art. And</p>	<p style="text-align: right;">56</p> <p>1 any reformer; and then the water-gas shift 2 reaction does not take place. It's the 3 reverse water-gas shift reaction which is 4 taking place then; and, therefore, to also 5 cover these cases I put in the "almost." 6 BY MR. ROBINSON: 7 Q. I want to make sure I understand 8 that. 9 So if you don't want to 10 produce hydrogen, then with the methane 11 and steam feedstock to the reformer you 12 add CO2? 13 A. Yes. 14 Q. To increase the carbon monoxide 15 output from the reformer? 16 A. Yes. 17 Q. Okay. So if we look at the '805 18 patent in figure 3, is there a WGS reactor in 19 this system? 20 A. Yeah. It's tech No. 203. 21 Q. And that's where the water-gas shift 22 reaction takes place? 23 A. Yes. 24 Q. And that WGS reactor is necessary 25 for producing hydrogen to implement that</p>

<p style="text-align: right;">57</p> <p>1 water-gas shift reaction? 2 A. Yes. You want to convert the CO to 3 your wanted product, hydrogen. 4 Q. I'm going to ask you to refer to the 5 Reinertsen reference that's marked 6 Exhibit 1005, please. 7 A. Yes. I have it. 8 Q. Just so it's clear for the record, 9 this Reinertsen reference is publication 10 No. U.S. 2023/0119784 A1, correct? 11 A. Correct. 12 Q. And is that the Reinertsen reference 13 that's referenced as Exhibit 1005 in your 14 declaration, sir? 15 A. Yes. 16 Q. So starting with figure 2 of that 17 reference, the Reinertsen reference, please. 18 A. I have it, yes. 19 Q. Block No. 6 is a water-gas shift 20 reactor, correct? 21 A. Correct. 22 Q. And that's where the water-gas shift 23 reaction takes place in Reinertsen? 24 A. In 6 water-gas shift reaction takes 25 place, yes.</p>	<p style="text-align: right;">59</p> <p>1 lines down in the right-hand column do you see 2 where it says, "The known technique of 3 pressure-swing absorption/adsorption (PSA)"? 4 A. I see it, yes. 5 Q. That isn't limited to adsorption, is 6 it? 7 A. It's adsorption with a D. 8 Q. But Reinertsen also includes 9 absorption with a B as in boy, correct? 10 A. A POSA would clearly identify that 11 at position 10 here it can be only a D. It's 12 a D. 13 Q. Why is that? 14 A. Because pressure-swing adsorption in 15 the context of hydrogen separation has to be 16 D. A pressure-swing absorption, I'm not aware 17 of what that means. 18 Q. Are you familiar with Torkild 19 Reinertsen, the person? 20 A. No, no. 21 Q. I want to be clear. You're not 22 aware of pressure-swing absorption with a B at 23 all or just in this particular location of a 24 process? 25 A. Pressure-swing absorption. If you</p>
<p style="text-align: right;">58</p> <p>1 Q. And what is block No. 7 in 2 Reinertsen's figure 2? 3 A. It's a unit. It's a block which 4 condensates -- cools down the shifted gas and 5 separates the condensate 72. 6 Q. Okay. And what is block No. 8? 7 A. Block No. 8 is a CO2 removal block. 8 Q. Specifically that's an amine-type 9 separation process? 10 A. Exactly, exactly, yeah. 11 Q. And that's one of the chemical 12 absorption processes -- 13 A. Exactly. 14 Q. I know it's a little unnatural. 15 Okay. So referring then to, 16 I guess, block 10 in Reinertsen's 17 figure 2, what is that? 18 A. That's a hydrogen removal block with 19 a PSA. 20 Q. And when Reinertsen says "PSA," that 21 could be pressure-swing absorption or 22 adsorption, correct? 23 A. Only "ad" with a D. 24 Q. I'm going to ask you to refer to 25 paragraph 103 of Reinertsen. About 12 to 13</p>	<p style="text-align: right;">60</p> <p>1 go back to figure 1 with the -- no. We are at 2 figure 1. We are at tech figure 2. 3 Actually, the amine system 4 for CO2 removal is an absorption with an 5 amine. And in this amine system we have 6 different pressures, preferably a high 7 pressure at the absorption and a low 8 pressure to regenerate the loaded amine. 9 So, therefore, you could 10 consider 8 as a chemical absorption system 11 where pressure change plays a role along 12 with temperature change as well. So that 13 would be a pressure-swing absorption along 14 with a temperature-swing absorption as 15 well at 8. 16 So that would be an example 17 of a pressure-swing absorption, but 18 usually that's not classified as 19 pressure-swing absorption. It's just a 20 chemical-based absorption system to 21 separate CO2. 22 Q. So I want to make sure I understand 23 the pressures that you just described for the 24 amine system at 8. 25 When you say the absorption</p>

<p style="text-align: right;">61</p> <p>1 happens at a high pressure, in this 2 instance when capturing CO₂, does that 3 mean that the CO₂ is captured at a high 4 pressure? 5 A. That's not correct. The shifted 6 gas -- after knocking out the condensate in 7, 7 the shifted gas 71 enters the absorption at 8 high pressure and, therefore, the gas 82 on 9 our picture, which is the CO₂-depleted gas, 10 the hydrogen-rich gas, this is kept under 11 pressure. 12 So 71 and 82, they have 13 roughly the same pressure. Despite some 14 pressure drop through the absorber, stream 15 81, which is CO₂, is at low pressure. 16 Q. Okay. So if 8 is an example of a 17 pressure-swing absorption process, why 18 couldn't 10 for removal of hydrogen also be a 19 pressure-swing absorption with a B as in boy 20 process? 21 MR. PHILLIPS: Objection to 22 form. 23 A. The absorption in 8 works with 24 chemical components, caustic components like 25 amines; and they absorb the sour component</p>	<p style="text-align: right;">63</p> <p>1 does it? 2 A. No. I didn't put any comment in my 3 declaration on this incorrect. 4 Q. So referring then to Reinertsen's 5 figure 3, in Reinertsen's figure 3 No. 6 is 6 the WGS reactor, correct? 7 A. Correct. 8 Q. And that's where the water-gas shift 9 reaction happens? 10 A. Yes. 11 Q. And what is block No. 12? 12 A. Block No. 12 is a hydrogen 13 separation with a selective palladium 14 membrane. 15 Q. When you look at the outputs of 16 block No. 12, what is stream 121? 17 A. That's hydrogen. 18 Q. And what is stream 122? 19 A. That's -- you can characterize it in 20 several ways. It's the retentate. It's the 21 retentate of the membrane process. It can 22 also be classified as a hydrogen-depleted 23 stream or as a CO₂-enriched stream, which is 24 all the same. 25 Q. And is stream 121 the high pressure</p>
<p style="text-align: right;">62</p> <p>1 CO₂. That's the basic principle of this 2 chemical absorption. 3 There is no chemical solvent 4 which absorbs hydrogen because hydrogen is 5 not polar molecule as CO₂. So there is 6 just not a solvent available to absorb 7 hydrogen. 8 BY MR. ROBINSON: 9 Q. Do you have any other explanation 10 for Reinertsen's use of the word "absorption" 11 with a B as in boy in the context of hydrogen 12 removal? 13 A. I have no other explanation than 14 it's not. It has to be "ad," not B. 15 Q. So -- 16 A. A clerical error, whatever. 17 Q. So Reinertsen was just wrong when he 18 listed absorption in addition to adsorption? 19 A. I think so, yes. 20 Q. Did you notice anything else in 21 Reinertsen that is technically incorrect? 22 A. I didn't see any other issues, no. 23 Q. And your declaration doesn't say 24 anything about that error of listing 25 absorption in the context of hydrogen removal,</p>	<p style="text-align: right;">64</p> <p>1 or low pressure? 2 A. That's at high pressure. 3 Q. And stream 122, is that at a high 4 pressure or a low pressure? 5 A. That's at low pressure. 6 Q. How do you know that? 7 A. I know it from the principle how a 8 membrane process works. Especially this 9 process works that hydrogen is the permeate, 10 p-e-r-m-e-a-t-e, that permeates through the 11 membrane. And when it permeates through the 12 membrane, you need a driving force; and the 13 driving force has to be a pressure difference 14 between stream 61 and the stream 121. 15 Q. Wouldn't that mean the stream 121 16 would be at a lower pressure than the stream 17 61? 18 A. Yes. 19 Q. Okay. And would the stream 121 be a 20 lower pressure than the stream 122? 21 A. Yes. 22 Q. Okay. So the stream 121 would be 23 the lower pressure side of the membrane in 12? 24 A. Yes. 25 Q. Okay. And if we refer to</p>

<p style="text-align: right;">65</p> <p>1 paragraph 62 of Reinertsen, it actually says 2 that the retentate is at an elevated pressure, 3 typically above 10 bar, more typically between 4 20 and 40 bar, but sometimes even at pressures 5 up to 100 bar, correct? 6 A. That's paragraph 62, yes, correct. 7 Q. And that retentate stream is the 8 stream 122 in figure 3, correct? 9 A. Correct. 10 Q. Then in figure 3 what is block 11 No. 7? 12 A. Block No. 7, that's a block which 13 knocks out -- separates condensate. 14 Q. Condensate being water? 15 A. Being water, yes. 16 Q. What's block No. 8, sir? 17 A. Block No. 8 is the same as block 18 No. 8 in the previous figure. It's 19 chemical-based absorption in amine system. 20 Q. And then what is block No. 9? 21 A. Block No. 9 is a compressor. 22 Q. If we can refer to figure 4 in 23 Reinertsen. What is block No. 13? 24 A. It's a cryogenic CO2 separation 25 unit.</p>	<p style="text-align: right;">67</p> <p>1 A. Correct. 2 Q. So looking at those three figures, 3 Reinertsen discloses palladium and PSA 4 hydrogen separation, correct? 5 A. Yes. 6 Q. And discloses amine and cryogenic 7 CO2 separation, correct? 8 A. Correct. 9 Q. But Reinertsen does not disclose any 10 example of PSA hydrogen separation upstream of 11 cryogenic CO2 separation, correct? 12 A. He doesn't show explicitly a flow 13 sheet. That's correct. If you refer to 14 table 1, which is also labeled as figure 10, 15 you see in column 1 as comparative example -- 16 this is figure 2, yep. This is his 17 comparative example. You see that there is a 18 check in the PSA line, and there is no check 19 in the palladium membrane line. 20 And then you look at the 21 remaining columns and you compare always 22 the palladium membrane line and the PSA 23 line. You see there's always only one 24 check. So that reads palladium membrane 25 and a PSA are possible for hydrogen</p>
<p style="text-align: right;">66</p> <p>1 Q. And what is block No. 12? 2 A. That's the selective palladium 3 membrane. 4 Q. For hydrogen separation? 5 A. Yes. 6 Q. Is it fair to say that the 7 difference between figure 3 and figure 4 of 8 Reinertsen is the use of CO2 cryogenic 9 separation of block 13 instead of amine 10 separation in block 8? 11 A. Correct. 12 Q. Figure 4 has a compressor 9 still, 13 correct, downstream of block 13? 14 A. Yes. 15 Q. Just to recap, figure 2 of 16 Reinertsen includes amine/CO2 separation 17 upstream of hydrogen PSA separation, correct? 18 A. If you refer to No. 8, yes. 19 Q. And in figure 3 there's palladium 20 hydrogen separation upstream of amine CO2 21 separation, correct? 22 A. Correct. 23 Q. And in figure 4 there is palladium 24 hydrogen separation upstream of cryogenic CO2 25 separation, correct?</p>	<p style="text-align: right;">68</p> <p>1 separation. And when there is a PSA, 2 there is no membrane; and when there is a 3 membrane, there is no PSA. So they are 4 either one. 5 And in Reinertsen this means 6 that the teaching is that a membrane and a 7 PSA can be selected, either one of them, 8 for hydrogen separation. He shows -- 9 sorry. I'm finished. 10 Q. The comparative example of figure 2 11 has amine separation upstream of the PSA 12 hydrogen separation, correct? 13 A. That's his comparative example, yes. 14 Q. And then every other example has a 15 palladium membrane for hydrogen separation, 16 correct? 17 A. When you refer to figure 3 and 4, 18 yes, the palladium membrane is the selected 19 method for hydrogen separation, yes. 20 Q. And if we stick with table 1 in 21 figure 10, embodiment examples 1 through 5 all 22 include palladium membrane for hydrogen 23 separation, correct? 24 A. His examples, besides the 25 comparative example, all include palladium</p>

<p style="text-align: right;">69</p> <p>1 membrane, yes. 2 Q. And in each of embodiment examples 1 3 through 5 with the palladium membrane and the 4 CO2 leading that palladium membrane at a 5 higher pressure, either the amine separation 6 or the cryogenic separation can follow the 7 palladium membrane, correct? 8 A. That's correct. 9 Q. But in the PSA example, PSA hydrogen 10 separation is only downstream of the amine 11 separation in Reinertsen? 12 A. In his figure 2 the PSA is 13 downstream of the CO2 separation, yes. 14 Q. So in each of Reinertsen's 15 comparative and embodiment examples, the CO2 16 separation happens at a higher pressure, 17 correct? 18 A. Can you repeat? You're referring to 19 his comparative example and also to his 20 embodiments? What do you -- sorry. 21 Q. Yes. In each of the comparative 22 example and the embodiment examples 1 through 23 5, CO2 separation happens at a higher 24 pressure, correct? 25 A. Yes.</p>	<p style="text-align: right;">71</p> <p>1 BY MR. ROBINSON: 2 Q. And what is block 13? 3 A. Block 13 is the CO2 separation. 4 Q. What type of CO2 separation is used 5 there? 6 A. It's an absorption system with a 7 chemical solvent. 8 Q. Is that an amine system? 9 A. Yes. 10 Q. And so what is stream 131? 11 A. That's the retentate of the 12 membrane, the CO stream. 13 Q. I'm sorry. The CO stream or the 14 CO2? 15 A. CO2. I'm sorry. Thank you. 16 Q. And what is block 14? 17 A. Compressor. 18 Q. And what is stream 132? 19 A. 132, that's the hydrogen-rich 20 permeate out of -- sorry, sorry. 132, that's 21 the hydrogen-rich stream out of the CO2 22 separation. 23 Q. And what's block 15? 24 A. That's a PSA. 25 Q. And what's block 16?</p>
<p style="text-align: right;">70</p> <p>1 MR. ROBINSON: I'm sorry. Can 2 we take another quick bio break? 3 MR. PHILLIPS: Sure. 4 (Recess taken) 5 BY MR. ROBINSON: 6 Q. Dr. Klein, I'm going to ask you to 7 refer to the Rytter reference marked 8 Exhibit 1009. So it's clear for the record, 9 Dr. Klein, that's International Publication 10 No. WO 2019/162236, correct? 11 A. Correct. 12 Q. And that's the Rytter reference 13 referred to as Exhibit 1009 in your 14 declaration? 15 A. Correct. 16 Q. So I should refer to figure 2, 17 please. Actually, figure 3. 18 What is block 12 in Rytter's 19 figure 3? 20 A. Block 12 is water-gas shift, WGS. 21 Q. And that's where the water-gas shift 22 reaction takes place? 23 A. Yes. 24 MR. PHILLIPS: Objection to 25 form.</p>	<p style="text-align: right;">72</p> <p>1 A. A compressor. 2 Q. So this figure 3 in the Rytter 3 reference is similar to Reinertsen's 4 comparative example in that amine CO2 5 separation is upstream of PSA hydrogen 6 separation, correct? 7 A. Correct. 8 Q. What does Rytter's figure 4 show? 9 A. Figure 4 shows how a hydrogen blend 10 can be implemented into a methanol production 11 plant. 12 Q. There are, it looks like, three 13 dashed lines from the methanol plant to the 14 hydrogen plant numbered 7141, 721 and 411. Do 15 you see those, Dr. Klein? 16 A. Yes. 17 Q. What is stream 7141? 18 Tell you what. I didn't 19 mean to make this a scavenger hunt. Let's 20 start with stream 411. And I'll refer you 21 to page 15 of Rytter. It's also labeled 22 page 17 of 35, the last line. What's 23 stream 411? 24 A. That's the pre-reformed gas. 25 Q. So natural gas?</p>

<p style="text-align: right;">73</p> <p>1 A. No. It's not natural gas anymore 2 because it's out of the pre-reformer No. 4; 3 and, therefore, natural gas has been 4 pre-reformed already. 5 Q. So the bottom of the page labeled 17 6 of 35 literally refers to that as pretreated 7 NG 411. I think you're saying the same thing, 8 but I want to give you an opportunity to 9 confirm. 10 A. Pretreated natural gas, it can also 11 be labeled as pre-reformed natural gas. 12 Q. And that pre-reformed or pretreated 13 natural gas is then combusted in block 1 or 14 reformed in block 1? 15 MR. PHILLIPS: Objection to 16 form. 17 A. Can you refer to which paragraph in 18 my report you're referring to talking about 19 this block 1? 20 BY MR. ROBINSON: 21 Q. I'm actually referring to Rytter at 22 the moment. 23 Is the hydrogen plant of 24 Rytter's figure 4 similar to the hydrogen 25 plant of Rytter's figure 3?</p>	<p style="text-align: right;">75</p> <p>1 A. Yes. 2 Q. The page labeled page 2 of 3 shows 3 that it's international publication No. WO 4 2014/091098, correct? 5 A. Correct. 6 Q. And that's the Darde reference 7 referred to in your declaration as 8 Exhibit 1008? 9 A. Correct. 10 Q. If we refer to figure 1 -- I guess 11 there's only figure. 12 A. Not annotated, just the figure, the 13 Darde figure. 14 Q. What is block No. 4? 15 A. That's an SMR. 16 Q. That's the steam methane reformer 17 that we mentioned earlier, correct? 18 A. Correct. 19 Q. And what is block No. 6? 20 A. Block No. 6 is a waste heat boiler. 21 Q. And what is block No. 6B? 22 A. It's a part of the waste heat 23 boiler. 24 Q. It's a cooling module, correct? 25 A. Yes.</p>
<p style="text-align: right;">74</p> <p>1 A. I did analyze figure 4 in my report, 2 right? You're referring to figure 4 in 3 Rytter? 4 Q. Yes. 5 A. Okay. If you just take the block 6 labeling, figure 4 and figure 3, the hydrogen 7 blend in figure 4 has the same blocks as the 8 hydrogen blend in figure 3. What's missing is 9 the heat integration between autothermal 2 and 10 reformer 1 in figure 4. That's the difference 11 I can see. 12 Q. So the pretreated natural gas 411, 13 what happens to it at block 1? 14 A. It's a gas-heated reformer, steam 15 reforming. And water-gas shift reaction is 16 taking place in 1. 17 Q. So the treated natural gas is a 18 feedstock for the GHR in block 1? 19 A. Yes. 20 Q. Okay. I'd ask you to refer to 21 Exhibit 1008, please, the Darde reference, the 22 basic translation of the Darde reference. 23 The first page of that 24 exhibit is the Questel translator's 25 certificate of the translation?</p>	<p style="text-align: right;">76</p> <p>1 Q. What's happening in the waste heat 2 boiler and the cooling module? 3 A. The hot outlet gas from the steam 4 methane reformer is cooled down to get the 5 correct inlet temperature for the water-gas 6 shift reactor. 7 Q. And what is block No. 7? 8 A. That's the water-gas shift, WGS. 9 Q. And that's where the water-gas shift 10 reaction takes place? 11 MR. PHILLIPS: Objection to 12 form. 13 A. Water-gas shift reaction takes place 14 in 7 in the water-gas shift reactor, and it 15 also takes place in the unit No. 4 in the SMR. 16 BY MR. ROBINSON: 17 Q. In the SMR? 18 A. Yeah. 19 Q. Dr. Klein, during any of our breaks 20 today have you had any substantive discussions 21 with counsel? 22 A. Sorry? 23 Q. Have you discussed the substance of 24 your past or planned testimony during any of 25 the breaks today with counsel?</p>

<p style="text-align: right;">77</p> <p>1 A. You mean with my attorneys? 2 Q. Yes. 3 A. On a break today we had a 4 conversation, yes. We discussed how it's 5 going on, yeah. 6 Q. What was the substance of those 7 conversations? 8 MR. PHILLIPS: Well, I'm going 9 to instruct the witness not to 10 answer on communications between 11 counsel and his witness. 12 MR. ROBINSON: PTAB rules are 13 pretty clear that there are not to 14 be any substantive discussions of 15 the testimony during breaks. 16 MR. PHILLIPS: Okay. 17 MR. ROBINSON: So if there have 18 been, then I'm entitled to ask about 19 them. 20 A. There were no substantive 21 discussions on the issue. What do you mean in 22 particular? 23 BY MR. ROBINSON: 24 Q. I mean about the substance of your 25 testimony today.</p>	<p style="text-align: right;">79</p> <p>1 hydrogen separation? 2 A. Chemical absorption hydrogen 3 separation, that's not known to me. 4 Q. Sorry. How does the suitable 5 temperature for PSA hydrogen separation differ 6 from the suitable temperature for chemical 7 absorption CO2 separation? 8 A. It's in the same range. 9 Q. Same range? 10 A. Yes. 11 Q. Okay. Does the temperature decrease 12 further for the hydrogen-depleted stream at 12 13 versus the input stream at 9? 14 A. There is no substantial temperature 15 decrease in a PSA to the residual gas at 12. 16 Q. What is the pressure of the stream 17 at 9 in Darde's figure? 18 A. It's a high pressure. 19 Q. Is the hydrogen stream exiting 20 block 11 high pressure? 21 A. Yes. Some pressure drop, minor; but 22 the pressure level is high. 23 Q. How about the hydrogen-depleted 24 stream 12, is that a low pressure? 25 A. That's at low pressure, yes.</p>
<p style="text-align: right;">78</p> <p>1 A. No. It was not discussed. 2 Q. So a moment ago I asked, have you 3 discussed the substance of your past or 4 planned testimony during any of the breaks 5 today with counsel? And you said you had a 6 conversation. 7 Did that conversation 8 address the substance of any of your 9 testimony today? 10 A. No. 11 Q. What's block No. 8 in Darde's 12 figure? 13 A. That's also a cooling unit. 14 Q. And what is the purpose of that 15 cooling unit? 16 A. The purpose is to cool down the 17 shifted gas to separate surplus water as 18 condensates and to reach the suitable 19 temperature in stream No. 9. 20 Q. Suitable temperature for PSA unit at 21 block 11? 22 A. 11 is the PSA, yes. 23 Q. How does the suitable temperature 24 for a PSA hydrogen separation differ from the 25 suitable temperature for chemical absorption</p>	<p style="text-align: right;">80</p> <p>1 Q. How does the cost of a cryogenic CO2 2 capture system compare to the cost of a 3 amine-based chemical absorption CO2 capture 4 system? 5 MR. PHILLIPS: Objection, form. 6 A. What do you mean with "cost"? 7 BY MR. ROBINSON: 8 Q. Well, let's start with the initial 9 equipment cost. 10 A. CapEx, capital expenditures. Okay. 11 It's a comparison between an amine-based CO2 12 removal as compared to a cryogenic CO2 13 removal? Did I get that right? 14 Q. Correct. 15 A. I mean, to determine the exact 16 capital expenditures, you would have to define 17 the boundary conditions. For instance, when 18 you talk about the amine-based system, do you 19 mean the amine-based system downstream of a 20 shift or do you mean the amine-based system as 21 shown in Reinertsen in his comparative example 22 downstream of a PSA? And then we bring in the 23 cryogenic unit for separation, which is always 24 downstream of the hydrogen separation. 25 So the amine system could be</p>

<p style="text-align: right;">81</p> <p>1 upstream or downstream of the hydrogen 2 separation. So, therefore, when we want 3 to compare the costs, we have to define 4 which amine-based system you mean. 5 Q. Well, let's go back to Reinertsen's 6 figure 10, which is table 1. If we look at 7 embodiment example 1 and embodiment example 2 8 where you have either amine separation or 9 cryogenic separation downstream of palladium 10 membrane, which one would be more expensive in 11 terms of initial CapEx? 12 A. I would say they are in the same 13 order of magnitude. 14 Q. How about in terms of cost to 15 operate? Does the cryogenic separation system 16 require more energy input? 17 MR. PHILLIPS: Objection, form. 18 A. The amine-based system would require 19 thermal energy to regenerate the loaded 20 solvent, the amine. When the cryogenic 21 separation is downstream the palladium 22 membrane, as in Reinertsen, the CO2-rich 23 stream is at the same pressure level as at the 24 inlet of the membrane. 25 Depending on the natural gas</p>	<p style="text-align: right;">83</p> <p>1 have this low-pressure steam available; 2 how expensive is electricity; do you have 3 to compress or is the natural gas pressure 4 at the end high enough. 5 So regarding OpEx this 6 question cannot be answered. Besides you 7 do a engineering design and an engineering 8 techno-economical analysis at the end 9 taking into account the cost of 10 low-pressure steam and electricity. 11 BY MR. ROBINSON: 12 Q. In a hydrogen production plant is it 13 common to have low-pressure steam available? 14 A. In a conventional plant like Darde 15 there is steam available. On the figure we 16 just had a look at, this plant is exporting 17 steam, which is a high-volatile steam. It has 18 more energy than required for amine 19 regeneration. 20 Yes, you could use that 21 steam; but you also could sell that steam 22 probably for a higher price than misusing 23 it for amine regeneration. Steam is 24 available if you want to use it or sell 25 it. It's another design-specific thing</p>
<p style="text-align: right;">82</p> <p>1 pressure you have available at the very 2 start before the reformers, this pressure 3 might be high enough to avoid compression 4 upstream of the cryogenic one. But it 5 could also be that, although you keep the 6 CO2 at high pressure as compared to the 7 membrane inlet, there is still some 8 compression required; otherwise, the 9 cryogenic separation would not run in a 10 thermodynamic favorable range. 11 So the operating cost would 12 depend on whether you have to compress 13 this CO2 rich stream upstream of the 14 cryogenic one or not. So then, as always 15 in chemical engineering/process 16 engineering, it depends a lot on the 17 boundary conditions. 18 And then we come to a point 19 we have compression energy which is 20 high-volatile electrical energy, and for 21 the thermal regeneration of the amine you 22 have only low-pressure steam to take into 23 account. 24 And then it comes to a 25 question of the specific site, whether you</p>	<p style="text-align: right;">84</p> <p>1 you can just answer after you do your 2 specific site evaluation. 3 Q. What about in the Reinertsen plants? 4 A. Sorry? 5 Q. What about the Reinertsen examples, 6 is there low-pressure steam available there? 7 A. The embodiments do not show the 8 cooling off to the ATR in none of the figures 9 down to the water-gas shift; but, of course, 10 stream 12 needs to be cooled in all figures. 11 Usually you use that heat to generate higher 12 volatile steam than just low-pressure steam. 13 So the same question comes 14 again, do we utilize that steam, getting a 15 price for it; or do we throttle it down, 16 expand it, just to use it in an amine 17 system. 18 Q. If Reinertsen doesn't use that steam 19 for its amine system, then how would 20 Reinertsen regenerate in its amine system? 21 A. They can export the high-pressure 22 steam available at 12 for some price and he 23 can -- if he can sell the high-pressure steam 24 created with stream 12, that's then usually a 25 site, a location where you also can import for</p>

<p style="text-align: right;">85</p> <p>1 a lower price the 5-bar steam required for 2 amine regeneration. 3 Q. And if instead cryogenic CO2 4 separation is put downstream of PSA hydrogen 5 separation, then compression will always be 6 needed for the cryogenic CO2 separation, 7 correct? 8 A. Yes. 9 Q. And that generally has to be done 10 with imported electricity instead of steam? 11 A. Yes. 12 Q. What kinds of pressures are 13 typically required in cryogenic CO2 14 separation? 15 MR. PHILLIPS: Objection, form. 16 A. The pressure has to be high enough 17 that when you cool down the CO2, that the CO2 18 condenses at a temperature which is above the 19 sublimation temperature of CO2. So the 20 pressure determines the temperature where it 21 condenses to get separated, and this 22 temperature has to be above the sublimation 23 point. 24 The extra pressure then 25 depends on the composition of the CO2-rich</p>	<p style="text-align: right;">87</p> <p>1 BY MR. ROBINSON: 2 Q. Is CO2 ever cryogenically separated 3 in these types of processes at atmospheric 4 pressure? 5 A. Not to my knowledge. 6 Q. What types of pressures are 7 typically used for cryogenic separation of CO2 8 in these types of processes? 9 A. It can go up 80 bar. 10 Q. And is there a lower end of that 11 range? 12 A. It depends on the -- what do you 13 mean with lower range? 14 Q. Is there a minimum pressure that 15 would typically be used for a cryogenic CO2 16 separation in an industrial process? 17 MR. PHILLIPS: Object to form. 18 A. Coming back to my point that this 19 minimum pressure will depend on the CO2 20 content and the content of the other gases, 21 but that's a person skilled in the art with a 22 thermodynamic calculation tool. This pressure 23 will be determined for every application no 24 matter -- or then depending on the composition 25 of the gas. So there is no general minimum</p>
<p style="text-align: right;">86</p> <p>1 gas, what's the content of the condensable 2 CO2; and what's the content of the 3 components you do not want to condense 4 like not separated hydrogen, CO not 5 shifted, methane not reformed. 6 BY MR. ROBINSON: 7 Q. Is it fair to say you want the 8 concentration of the CO2 to be as high as 9 possible for that process? 10 A. That's a good condition for a 11 cryogenic separation, yes. 12 Q. Can you give me some typical ranges 13 of temperatures and pressures at which CO2 is 14 cryogenically separated? 15 MR. PHILLIPS: Objection, form. 16 A. In the range of minus 60. At 17 atmospheric pressure CO2 would condense at 18 minus 78.5. It's in my report in paragraph 19 156. And a person skilled in the art that's 20 what thermodynamic calculations will then 21 reveal. 22 Depending on the pressure 23 what we compress the residual gas to, this 24 temperature will go up from minus 78.5, 25 let's say, to minus 60 as I estimate it.</p>	<p style="text-align: right;">88</p> <p>1 pressure. It depends on the composition of 2 the gas. 3 BY MR. ROBINSON: 4 Q. Well, you said it would never be 5 done at atmospheric pressure, correct? 6 A. Yes. 7 Q. What's the lowest pressure at which 8 a POSITA or a POSA would reasonably expect 9 cryogenic CO2 separation to be viable? 10 MR. PHILLIPS: Objection to 11 form. 12 A. Depending on the composition, I 13 cannot answer. If there is 20 percent CO2 and 14 80 percent hydrogen, it's different than 15 60 percent CO2 and 40 percent hydrogen. 16 BY MR. ROBINSON: 17 Q. Well, let's go back to Darde's 18 figure. 19 What concentration of CO2 20 would you expect there to be in stream 12? 21 A. About 50 percent. 22 Q. So what's the minimum pressure you 23 would expect to be viable for cryogenic CO2 24 separation of that stream? 25 MR. PHILLIPS: Objection to</p>

<p style="text-align: right;">89</p> <p>1 form.</p> <p>2 A. If I answer that, it would be just</p> <p>3 an estimation. I have some thermodynamic</p> <p>4 experience, but that's not a</p> <p>5 back-of-the-envelope-calculation to determine</p> <p>6 with modern tools. A person skilled in the</p> <p>7 art will do it. I would estimate 40, 50 bar.</p> <p>8 BY MR. ROBINSON:</p> <p>9 Q. But that's highly dependent on a</p> <p>10 particular configuration of the overall</p> <p>11 system?</p> <p>12 A. Exactly.</p> <p>13 Q. And dependent on the composition of</p> <p>14 the feed stream entering that system?</p> <p>15 A. System is the whole plan and feed</p> <p>16 stream is the natural gas, or is the system</p> <p>17 the separation part?</p> <p>18 Q. Let me make sure I'm asking that in</p> <p>19 the way I understand, too.</p> <p>20 So if the -- if we just look</p> <p>21 at Darde's figure, the combination of</p> <p>22 system components will impact the</p> <p>23 composition of the stream 12, correct?</p> <p>24 A. It depends on the reformer</p> <p>25 temperature, unit 4, the outlet temperature.</p>	<p style="text-align: right;">91</p> <p>1 requires 50 bar, but the CO2-rich stream</p> <p>2 enters at 55 or 60 bar, would that eliminate</p> <p>3 the need for compression?</p> <p>4 A. Instead of -- eliminate? Sorry. I</p> <p>5 didn't understand it.</p> <p>6 Q. If the cryogenic CO2 separation</p> <p>7 requires 50 bar of pressure --</p> <p>8 A. Yeah.</p> <p>9 Q. -- but the CO2-rich stream entering</p> <p>10 it is at 55 or 60 bar --</p> <p>11 A. Then that would be beneficial for</p> <p>12 the separation process.</p> <p>13 Q. And may eliminate the need for</p> <p>14 compression?</p> <p>15 A. If the CO2-rich stream is available</p> <p>16 already at 60 bar, depending on the CO2</p> <p>17 recovery you want to achieve and the CO2</p> <p>18 purity, a process calculation simulating the</p> <p>19 cryogenic process would give the answer. It</p> <p>20 will result with 60 bar at a certain CO2</p> <p>21 recovery. When you talk about CO2 purity,</p> <p>22 this would require a more complicated</p> <p>23 cryogenic separation unit.</p> <p>24 We talk about the main steps</p> <p>25 are cooling it down to a partial</p>
<p style="text-align: right;">90</p> <p>1 It will depend what kind of WGS system you</p> <p>2 select in unit 7. And it's also dependent how</p> <p>3 much steam you add in stream 22 upstream of</p> <p>4 the reformer. We call that steam-to-carbon</p> <p>5 ratio. So these are the three main</p> <p>6 parameters.</p> <p>7 And the minor impact is</p> <p>8 natural gas, 98 percent methane or is it</p> <p>9 only 90 percent or 85 percent methane?</p> <p>10 How high is the content, the concentration</p> <p>11 of the C2/C3. That all determines the</p> <p>12 composition of 9 and then at the end of</p> <p>13 12.</p> <p>14 Q. So if a cryogenic CO2 separation</p> <p>15 system requires 50-bar pressure and a CO2-rich</p> <p>16 stream enters at 50 bar, then compression</p> <p>17 wouldn't be necessary?</p> <p>18 A. 50 percent, 50 bar? It would work</p> <p>19 in principle. It depends what CO2 recovery</p> <p>20 you want to achieve in your cryogenic</p> <p>21 separation unit. And also depending not only</p> <p>22 on the recovery rate, also on the purity you</p> <p>23 want to have out of your cryogenic CO2</p> <p>24 separation unit.</p> <p>25 Q. What if the cryogenic CO2 separation</p>	<p style="text-align: right;">92</p> <p>1 condensation, but we do not condense only</p> <p>2 CO2. We also condense some methane, even</p> <p>3 some hydrogen. And to bring that out you</p> <p>4 have to install then -- after the one- or</p> <p>5 two-step partial condensation, you have to</p> <p>6 install also a thermal separation called</p> <p>7 distillation. And when you do that, you</p> <p>8 make the CO2 more pure. On the other</p> <p>9 hand, you lose also some CO2.</p> <p>10 And if you don't want to</p> <p>11 lose the CO2, then you have to take --</p> <p>12 then we go into a very detailed thing.</p> <p>13 Then you have to operate your distillation</p> <p>14 column with a reflux to bring back the</p> <p>15 CO2, and this would require energy. And</p> <p>16 you can take out -- and you have to take</p> <p>17 this energy from the high-pressure feed</p> <p>18 gas at the end.</p> <p>19 So at the end the answer can</p> <p>20 be answered only if you define the</p> <p>21 recovery rate you want to have and the</p> <p>22 purity.</p> <p>23 Q. And so to make sure we're clear,</p> <p>24 when you say recovery rate, do you mean the</p> <p>25 percentage of CO2 in the -- the percentage of</p>

<p style="text-align: right;">93</p> <p>1 CO2 removed from the CO2-rich stream that 2 enters the cryogenic capture system? 3 A. Exactly. And percentage means not 4 concentration percentage but just the amount 5 you bring in, exactly. 6 Q. And then the CO2 purity is the 7 concentration of the CO2 that's actually 8 captured? 9 A. Exactly. 10 Q. Okay. It's generally desirable to 11 have high CO2 purity? 12 MR. PHILLIPS: Objection to 13 form. 14 A. Did you say general? 15 BY MR. ROBINSON: 16 Q. Sorry. Is high CO2 purity 17 desirable? 18 MR. PHILLIPS: Same objection. 19 A. It depends on the application, what 20 you want to -- where you want to utilize or 21 store the CO2. If you go for high purity CO2 22 for food industry, it's another requirement as 23 compared to probably future applications where 24 we want to store the CO2 underground. 25 BY MR. ROBINSON:</p>	<p style="text-align: right;">95</p> <p>1 Q. Do you consider SMR, GHR, ATR all 2 examples of steam reforming of methane? 3 A. Yes. 4 Q. Would a POSA as of August 11, 2020 5 have had experience with each of those types 6 of reformers? 7 A. If we define it in that way without 8 experience working in the field after 9 graduation, yes. 10 MR. ROBINSON: Why don't we 11 pause just a second and go off the 12 record. 13 (Luncheon recess) 14 BY MR. ROBINSON: 15 Q. All right, Dr. Klein. Would you 16 refer back to the Reinertsen reference, 17 please. 18 A. Yes. 19 Q. Specifically at paragraph 82. Do 20 you see where Reinertsen says that gas can be 21 separated based on the different properties of 22 the gas molecules? The first sentence. 23 A. The paragraph starting with, "Gases 24 in the mixture after the shift reactor," 25 right?</p>
<p style="text-align: right;">94</p> <p>1 Q. Dr. Klein, in your declaration I 2 don't see anywhere where you describe or 3 define a particular level of skill in this 4 field. Is there somewhere in your declaration 5 where you explain what degree or what level of 6 experience a person of skill would have had? 7 A. Yes. Paragraph 55. 8 Q. Oh, okay. So a person of skill in 9 the art would have had three to five years of 10 experience with steam reformer methane, 11 partial oxidation, water-gas shift process, 12 and synthesis gas separation and a graduate 13 degree in chemical engineering at the master's 14 level? 15 A. Yes. 16 Q. Would a POSA have had experience 17 with cryogenic CO2 separation? 18 A. That's covered in the synthesis gas 19 separation. I put it more broad here instead 20 of listing amine and PSA and all the other 21 options. 22 Q. So synthesis gas separation would 23 have necessarily included experience with 24 cryogenic CO2 separation? 25 A. Yes.</p>	<p style="text-align: right;">96</p> <p>1 Q. Yes. 2 A. Yes. 3 Q. And the examples of the most common 4 techniques are absorption with a B as in boy, 5 adsorption with a D as in dog, and cryogenic 6 distillation. Do you see that? 7 A. Yes. 8 Q. And then it goes on to say 9 specifically that CO2 is an acid gas, and the 10 most widely used method to separate CO2 from 11 other nonacid gas molecules is absorption with 12 a B as in boy. Do you see that? 13 A. Yes. Just for clarification, what's 14 entitled here as acid gas I said in the 15 morning sour gas, which is the same meaning. 16 Q. Then it goes on to describe aqueous 17 solutions of alcoholamines for CO2 separation? 18 A. Yeah. That's what we -- that's the 19 amines we talked about, yeah. 20 Q. Okay, great. Then in the very last 21 sentence it says, "Other absorption," with a B 22 as in boy, "technologies rely on alternative 23 physical liquid absorbents like methanol at 24 reduced temperature." 25 A. Exactly. I said in the morning deep</p>

<p style="text-align: right;">97</p> <p>1 cold temperature. Anyway ... 2 Q. Deep cold? 3 A. Yeah, very cold temperature. Here 4 it's reduced, minus 40. 5 Q. Then in paragraph 83 it describes 6 membranes to separate hydrogen, one example of 7 which would be a palladium membrane? 8 A. Yes. 9 Q. Correct? 10 A. Yes. 11 Q. Then paragraph 85 goes on to 12 describe combinations of solid membranes and 13 liquid membranes. Do you see that? 14 A. Which paragraph? Sorry. 15 Q. First sentence of paragraph 85. 16 A. 85. Sorry. Yes. I got that 17 paragraph. 18 Q. Then it lists PSA as an alternative 19 for separating hydrogen, correct? 20 A. Exactly. 21 Q. Okay. And down about seven or eight 22 lines it says, "It," PSA, "operates at near 23 ambient temperatures and differs significantly 24 from cryogenic distillation techniques." Do 25 you see that?</p>	<p style="text-align: right;">99</p> <p>1 Q. And block 9 is a compressor? 2 A. Correct. 3 Q. Block 7 is a dryer, correct? 4 A. It takes out condensate and plugs 5 the gas, yes. Can be considered as a dryer. 6 Q. So Reinertsen does not disclose a 7 compressor between palladium membrane at 12 8 and the CO2 capture unit at 13, does it? 9 A. No. 10 Q. I'm going to ask you to refer back 11 to the Rytter reference, please, Exhibit 1009, 12 specifically beginning at line 30 of the 13 page labeled page 11 of 35. 14 A. Which line? 15 Q. Beginning at line 30. 16 A. Yep. 17 Q. This language appears to be very 18 similar to that that we just reviewed in 19 Reinertsen in that gases can be separated more 20 or less completely based on the different 21 properties of the gas molecules. 22 A. (Witness nods head affirmatively.) 23 Q. And, then again, the most common 24 principles are absorption with B as in boy, 25 adsorption with D as in dog membranes and</p>
<p style="text-align: right;">98</p> <p>1 A. Yes. 2 Q. Then the last sentence of that 3 paragraph Reinertsen explains that, 4 "Alternatively, temperature can swing instead 5 of pressure." 6 Do you see that? 7 A. Yes. 8 Q. So rather than pressure-swing 9 adsorption, it would be temperature-swing 10 adsorption? 11 A. Temperature-swing adsorption is a 12 process known, yes, for separation of gases. 13 Q. And it looks like known for 14 separation of hydrogen? 15 A. Theoretically it's possible, but I'm 16 not aware of any large-scale process which 17 separates hydrogen based on a 18 temperature-swing adsorption. 19 Q. So if we go to Reinertsen's 20 figure 4, block 12 is a palladium membrane 21 hydrogen separation unit. Is that correct? 22 A. Correct. 23 Q. And stream 122 is at high pressure, 24 correct? 25 A. 122 is at high pressure, yes.</p>	<p style="text-align: right;">100</p> <p>1 cryogenic distillation. Do you see that? 2 A. Yes. 3 Q. It then goes on, "similarly to 4 describe CO2 as an acid gas for which the most 5 widely used method is absorption" with B as in 6 boy. Do you see that? 7 A. Yep. 8 Q. Similarly to Reinertsen, it goes on 9 to describe aqueous solutions of alcoholamines 10 of which one is an amine, correct? 11 A. (Witness nods head affirmatively.) 12 Q. And then again mentions alternative 13 liquid absorbents like methanol at reduced 14 temperature, which I think you referred to as 15 deep cold. 16 A. Yes. 17 Q. Okay. Similarly, Rytter goes on to 18 explain beginning at line 10 on page 12 of 35 19 that different types of membranes can be used. 20 Do you see that? 21 A. Yes. 22 Q. And then at lines 19 and 20, 23 similarly mentions a combination of solid 24 membranes and liquid membranes, correct? 25 A. That's correct.</p>

101	<p>1 Q. Looking at Reinertsen's figure 4, 2 does your declaration include any simulations 3 or comparative analysis showing the 4 differences in hydrogen production and CO2 5 capture if PSA unit were substituted for a 6 palladium membrane at block 12 for hydrogen 7 separation? 8 MR. PHILLIPS: Objection to 9 form. 10 A. No. I didn't perform any of these 11 process calculations. 12 BY MR. ROBINSON: 13 Q. Looking at Rytter's figure 3. 14 A. Yes. 15 Q. Does your declaration include any 16 simulations or comparative analysis showing 17 the difference in hydrogen production and CO2 18 capture if a PSA unit were substituted 19 upstream cryogenic CO2 capture? 20 A. No. I didn't perform these 21 calculations. 22 Q. Sticking with Rytter for a moment, 23 does your declaration include any simulations 24 or comparative analysis in terms of 25 reliability of a system with PSA hydrogen</p>	103	<p>1 PSA hydrogen separation? 2 MR. PHILLIPS: Objection to 3 form, vague. 4 A. No. I didn't perform these 5 calculations. 6 BY MR. ROBINSON: 7 Q. Referring back to Rytter's figure 3, 8 did your declaration include any modeling or 9 cost comparison between the system of Rytter's 10 figure 3 and the modified version of this that 11 you proposed with PSA hydrogen separation 12 upstream of cryogenic CO2 separation? 13 A. No. I didn't perform these 14 calculations. 15 Q. I'll ask you to refer back to your 16 declaration, please, Dr. Klein, specifically 17 at paragraph 159. 18 A. Yes. I have it. 19 Q. So this sentence scanning pages 107 20 and 108 says, "Thus, Reinertsen explicitly 21 mentions a cooling step that is to be carried 22 out at a pressure where CO2 is liquefied, 23 which a POSA would view as corresponding to a 24 compression step." 25 Do you see that sentence?</p>
102	<p>1 separation upstream of cryogenic CO2 capture 2 versus a system using amine CO2 capture? 3 MR. PHILLIPS: Objection to 4 form, vague. 5 A. No. I did not perform any of these 6 calculations. 7 BY MR. ROBINSON: 8 Q. Referring back to Reinertsen's 9 figure 4, does your declaration include any 10 simulations or comparative analysis in terms 11 of reliability of the systems shown in 12 figure 4 relative to a system like that of 13 figure 4 but with PSA hydrogen separation 14 instead of palladium membrane hydrogen 15 separation? 16 MR. PHILLIPS: Objection to 17 form, vague. 18 A. No. I didn't perform any of these 19 reliability calculations. 20 BY MR. ROBINSON: 21 Q. Sticking with Reinertsen's figure 4, 22 does your declaration perform any modeling or 23 cost comparison between the system in figure 4 24 with palladium membrane hydrogen separation 25 versus a system similar to figure 4 but with</p>	104	<p>1 A. Yes. 2 Q. As we discussed earlier, if a 3 CO2-rich stream is headed to a cryogenic 4 capture step but that CO2-rich stream is 5 already at a pressure sufficient for the 6 cryogenic capture, then there would be no need 7 for additional compression, would there? 8 MR. PHILLIPS: Objection to 9 form. 10 A. If the pressure would be high 11 enough, yes. No compression required. 12 BY MR. ROBINSON: 13 Q. Referring back to the Rytter 14 reference in figure 3, CO2 is separated at 15 block 13, correct? 16 A. Correct. 17 Q. And that's with an amine process? 18 A. Correct. 19 Q. Is the CO2 stream at 131 at high 20 pressure or low pressure? 21 A. It's at low pressure. Sorry. You 22 mean 13 ... 23 Q. 131. 24 A. That's at low pressure, yes. 25 Q. And 132 is high pressure?</p>

<p style="text-align: right;">105</p> <p>1 A. Yes. 2 Q. I'd like to briefly compare Rytter's 3 figure 3 with Darde's figure. 4 A. Yep. 5 Q. So in Darde's figure there's a 6 stream 9 that goes into the hydrogen 7 separation block at 11, right? 8 A. Yes. 9 Q. How do the pressure, temperature and 10 composition of stream 9 compare to the 11 pressure, temperature and composition of 12 stream 121 in Rytter's figure 3? 13 Actually, strike that. Let 14 me ask that a little bit differently. How 15 do pressure, temperature and -- well, 16 strike that. 17 Does your declaration 18 analyze the differences between the 19 pressure, temperature and composition of 20 stream 9 in Darde's figure with the 21 pressure, temperature and composition of 22 stream 121 in Rytter's figure 3? 23 A. Regarding the composition, stream 9 24 and stream 121, they contain the same 25 components: hydrogen, CO, CO2, methane, some</p>	<p style="text-align: right;">107</p> <p>1 What does "in the same range" mean? 2 A. For both processes, Rytter and 3 Darde, we start from natural gas. At the same 4 -- let's say we have the same natural gas for 5 both processes, 40 or 30 bar. Then we have a 6 Darde reformer in the Rytter GHR, ATR, 7 including a pre-reformer. Then we have the 8 water-gas shift reaction. 9 When you take the same 10 natural gas -- take a round number, 40 11 bar -- we have pressure drops all along 12 down to stream No. 9 and all the way down 13 to stream No. 121. The target in syngas 14 technology is always to utilize as much of 15 the natural gas pressure available in 16 stream 1 or in stream 31 to get the 17 highest pressure at 121 and 9. 18 So we want -- a designer 19 wants to minimize the pressure losses 20 through -- pressure drops through all 21 these units and wants to minimize the 22 pressure drops through all the units of 23 Rytter. 24 And assuming that the 25 pressure drops are the same in the</p>
<p style="text-align: right;">106</p> <p>1 water vapor. Regarding pressure and 2 temperature, they are also in the same range. 3 Q. Is that in your declaration 4 somewhere, Dr. Klein? 5 A. Are you referring to a specific 6 paragraph? 7 Q. I'm asking if you can find a 8 paragraph where that's described. 9 A. So regarding Darde, you find the 10 information on the composition in 11 paragraph 80. Regarding Rytter you can refer 12 to paragraph 77, "to produce synthesis gas 13 enriched in carbon dioxide and hydrogen 121 14 shifted gas." 15 Q. Paragraph 77 describing Rytter it 16 doesn't say anything about percentage or 17 concentrations of any of those components, 18 does it? 19 A. No, because -- no, it does not 20 disclose the -- the report does not list the 21 concentration. 22 Q. You said that the temperature and 23 pressure of stream 9 in Darde's figure versus 24 the temperature and pressure of stream 121 in 25 Rytter's figure 3 would be in the same range.</p>	<p style="text-align: right;">108</p> <p>1 water-gas shift reactor of Rytter and of 2 Darde, a slightly higher pressure drop in 3 Rytter because he has two, a GHR and an 4 ATR; Darde has only an SMR. Rytter also 5 has a pre-reformer which is not shown in 6 the Darde one. So we have two more units 7 in the Rytter, which causes probably .5 8 bar more pressure drop in the Rytter as in 9 the Darde reference. And that's what I 10 mean with having the same range when we 11 start from the same natural gas pressure. 12 If it would start from 13 different natural gas pressures for both 14 processes, that would not be a good 15 comparison. So we have the same starting 16 pressure. And then taking into account a 17 slightly higher pressure drop in the 18 Rytter, the Darde pressure would be .5 to 19 1 bar higher. 20 Let's say altogether we 21 would have probably 8 bar pressure drop 22 for all these units. So we would end up 23 at 32 or 32.8. 24 Q. So what dictates the pressure at 25 which natural gas is available at a given</p>

<p style="text-align: right;">109</p> <p>1 plant site? 2 A. Depends on the natural gas grid the 3 plant is linked to, whether it's a 4 high-pressure natural gas grid. 5 But pressure is only -- 6 let's say it's 40 bar. A hydrogen plant 7 would lift with that. If it's 10 bar, you 8 would install a natural gas compressor to 9 bring the natural gas feed to a pressure 10 of 30 or 40 bar. 11 Q. If natural gas is available at a 12 site at a pressure higher than 40 bar, would 13 you use that higher pressure as the starting 14 point? 15 A. How high? 16 Q. How high might natural gas be 17 available from the grid at a given site? 18 A. I don't know about the U.S. grid. 19 With the Darde reformer conventional SMR, if 20 there would be natural gas available at 80 21 bar, twice the number, you would not be able 22 to utilize that pressure in the conventional 23 SMR of the Darde type. 24 Q. So you'd have to reduce that 25 pressure before you could put it into Darde's</p>	<p style="text-align: right;">111</p> <p>1 plant is designed? 2 MR. PHILLIPS: Objection to 3 form, vague. 4 A. If you design a plant, you have to 5 take into account this -- we call it battery 6 limit condition. 7 BY MR. ROBINSON: 8 Q. Battery limit? 9 A. Yeah, battery limit. 10 Q. So the battery limit refers to the 11 pressure at which natural gas is available for 12 a given site? 13 A. Battery limit means just the 14 boundary between the plant and the 15 surroundings. So battery limit also applies 16 for other supplies from outside, cooling 17 water, what's the battery limit condition, 18 yes. 19 So the battery limit 20 condition of the natural gas is very 21 important if you design a synthesis gas 22 plant. 23 Q. So the battery limit conditions of 24 the natural gas could include the pressure at 25 which the natural gas is available?</p>
<p style="text-align: right;">110</p> <p>1 SMR? 2 A. Yes. 3 Q. How much would you have to reduce 4 the pressure? 5 A. I would say 40. 6 Q. And what if you've got Rytter's 7 combination of reformers? At what pressure -- 8 could you use 80 bar natural gas feedstock for 9 Rytter's system of figure 3? 10 A. Probably not 80, but you could go 11 higher than 40. 12 Q. How high could you go? 13 A. That's a detail design question 14 which I cannot answer right now. I'm not 15 involved in the latest design what the 16 engineering companies can achieve regarding 17 pressure in an ATR and in a GHR. 18 Q. What would have been achievable in 19 2020? 20 A. 40, 50 bar. 21 Q. Any higher than that? 22 A. I don't think so. 23 Q. Is a given plant -- well, is the 24 pressure at which natural gas is available for 25 a given plant a boundary condition for which a</p>	<p style="text-align: right;">112</p> <p>1 A. Correct. That's an important 2 parameter, yes. 3 Q. And the battery limit conditions of 4 the natural gas could include the assumed 5 volumetric or mass flow rate at which natural 6 gas is available at that pressure? 7 A. It's important. You'd need enough 8 natural gas to feed your blend. 9 And, again, when you design 10 a big plant, you need to be at the gas 11 pipeline, which has a high delivery rate. 12 If you get to the limits of your gas 13 supply, you just cannot design a plant 14 bigger than that. 15 Q. And the pressure at which natural 16 gas is available at a site impacts or is a 17 condition that can impact design choices for 18 the entirety of the plant, correct? 19 MR. PHILLIPS: Objection to 20 form. 21 A. It impacts the design. When the 22 pressure is low, then the design of the plant 23 would require a natural gas compressor; and, 24 therefore, it impacts the design of the plant 25 definitely, yes.</p>

<p style="text-align: right;">113</p> <p>1 BY MR. ROBINSON: 2 Q. And it could impact the type of 3 processes that you select for reformers? 4 A. Yes, it could. 5 Q. And the pressure at which natural 6 gas is available at a given site could impact 7 the type of process that you choose for 8 hydrogen separation? 9 A. Could be. 10 Q. And the pressure at which natural 11 gas is available at a given site could impact 12 the type of process that you choose for CO2 13 separation? 14 A. If theoretically you go with the 15 Rytter process -- sorry, the Reinertsen 16 process with a hydrogen separation via 17 palladium membrane, keeping the CO2-rich 18 stream at high pressure -- and you asked 19 whether it's possible to have a cryogenic 20 separation downstream, a Reinertsen membrane 21 without compression, this would require that 22 at the end the natural gas pressure is so high 23 that you end up at a high-enough pressure 24 downstream the membrane for the CO2 to drive 25 your cryogenic process without any compression</p>	<p style="text-align: right;">115</p> <p>1 or cryogenic separation for CO2? And let me 2 ask that in one piece so it's not confusing. 3 The pressure at which 4 natural gas is available at a given site 5 could impact the decision of whether to 6 use cryogenic separation or amine 7 separation for CO2, correct? 8 MR. PHILLIPS: Objection to 9 form. 10 A. If you use a PSA as upstream 11 hydrogen separation, then this does not impact 12 the choice of CO2 separation. 13 BY MR. ROBINSON: 14 Q. But if you use membrane separation 15 for H2, for hydrogen, then the pressure at 16 which natural gas is available at a site could 17 impact the decision of which type of 18 separation to use for CO2? 19 A. If you would use the membrane 20 system, you keep the CO2 stream at high 21 pressure, then it impacts the choice of the 22 CO2 separation, yes. Not for the PSA 23 separation. 24 Q. Is it possible to use PSA separation 25 for hydrogen and have the hydrogen output at</p>
<p style="text-align: right;">114</p> <p>1 step. 2 This is just a very, very, I 3 would say, generic case. I didn't see a 4 blend like that designed. From a theory, 5 it would work. In reality, even if you 6 take the membrane separation from 7 Reinertsen and keep the CO2-rich stream at 8 high pressure, in reality this pressure is 9 not high enough to get from your CO2 10 separation cryogenic base both the 11 recovery, the yield, and the purity. 12 Q. So just to clarify my original 13 question, the pressure at which natural gas is 14 available at a given site could impact the 15 type of process that you use for CO2 16 separation? 17 MR. PHILLIPS: Objection, form. 18 A. If you have a separation process 19 with a membrane, palladium membrane, which 20 keeps the CO2 stream at high pressure, 21 theoretically that impacts then the selection 22 of the CO2 separation. 23 BY MR. ROBINSON: 24 Q. So it could impact the decision of 25 whether, for example, to use amine separation</p>	<p style="text-align: right;">116</p> <p>1 high pressure? 2 MR. PHILLIPS: Objection to 3 form. 4 A. That's always the case when you use 5 PSA. You always have the hydrogen at high 6 pressure. 7 BY MR. ROBINSON: 8 Q. Is it possible to use PSA separation 9 for hydrogen and have the hydrogen output at 10 low pressure? 11 A. No. 12 Q. Completely impossible? 13 A. No. It's not possible. The 14 principle of the PSA is to keep the hydrogen 15 at high pressure. 16 Q. And there are no exceptions to that 17 rule? 18 A. Not for PSA. 19 Q. Are there hydrogen separation 20 processes other than PSA and chemical 21 absorption that would output the hydrogen at 22 low pressure? 23 A. Sorry. Can you rephrase your 24 question. 25 Q. Are there hydrogen separation</p>

<p style="text-align: right;">117</p> <p>1 processes other than PSA and chemical 2 absorption, like amine processes, that output 3 hydrogen at low pressure? 4 A. I don't understand your question 5 because you phrase -- other options for 6 hydrogen separation than PSA and amine 7 absorption? 8 Q. Sorry. Let me try that again. 9 Are there other options for 10 hydrogen separation other than PSA or 11 membrane separation that would output the 12 hydrogen at low pressure? 13 A. Still the question is not clear 14 because you phrase processes besides PSA and 15 membrane which keep the hydrogen at low 16 pressure. That's misleading because at PSA 17 the hydrogen is kept at high pressure. 18 Q. Okay. I'm not trying to imply that 19 PSA outputs hydrogen at low pressure. I'm 20 asking if there are options other than those 21 two that separate hydrogen and output the 22 hydrogen at a low pressure. 23 A. Membrane separation delivers the 24 hydrogen at low pressure. That's the only 25 option I have in mind now. The other option</p>	<p style="text-align: right;">119</p> <p>1 other processes besides PSA and membrane that 2 are available for separating hydrogen 3 downstream of a WGS reactor? 4 A. No. 5 Q. None at all? 6 A. Not on a large scale. 7 Q. I specifically did not limit that to 8 a large scale. 9 A. If you -- there are other separation 10 principles. The amount of research and lots 11 of research laboratories and research groups, 12 there are other principles. But these are far 13 away from technical realizations. 14 Q. What about for separating CO2, are 15 there alternatives to amine separation and 16 cryogenic separation? 17 A. Yes. There are alternatives. 18 Q. Are there proven alternatives? 19 MR. PHILLIPS: Objection to 20 form. 21 A. There is also a PSA technology 22 available to separate CO2. That's a PSA 23 system which goes into the vacuum for 24 regeneration. So a vacuum CO2 PSA. That's an 25 option. And there is also CO2 permeable</p>
<p style="text-align: right;">118</p> <p>1 of separating hydrogen is the PSA, and this 2 process keeps the hydrogen at high pressure. 3 Q. Are the PSA and membrane processes 4 the only ones available for separating 5 hydrogen? 6 A. And you're asking for a gas outlet 7 from a shift -- so we talk about separating 8 hydrogen out of a shifted gas as in the 9 context we discuss here? 10 Q. Yes. 11 A. The only process which is proven on 12 large scale and realized in large-scale 13 production plants is the PSA process. This 14 has a very mature level. We call it in Europe 15 TRL, technology readiness level. It's the 16 highest you can get. 17 The membrane process, as 18 suggested by Reinertsen, has not been 19 realized besides pilot scale so far. 20 There is no big hydrogen production plant 21 which operates on a palladium 22 membrane-based hydrogen separation. 23 So ... 24 Q. Regardless of whether they've been 25 realized in large-scale operation, are there</p>	<p style="text-align: right;">120</p> <p>1 membranes available, and that's also an 2 option. 3 There are more. You can 4 think about going to very deep 5 temperature, and then you freeze out the 6 CO2. This is a big research topic all 7 over the world because we talk about CO2. 8 We try to capture CO2 out of the air. 9 This also can be up or at. So CO2 there 10 is a lot of research going on trying all 11 kind of separation principles. 12 I think the most mature one 13 we cover with solvents, either chemical or 14 physical, cryo, vacuum PSA and CO2 15 selective membranes. 16 BY MR. ROBINSON: 17 Q. Those were all known in 2020? 18 A. Yes. 19 MR. PHILLIPS: Counsel, when 20 you get at a breaking point, can we 21 -- I don't want to interrupt if 22 you're in the middle of something. 23 MR. ROBINSON: I appreciate it. 24 MR. PHILLIPS: I think we've 25 kind of been going for over an hour.</p>

121	<p>1 So might be good for a bathroom 2 break. 3 MR. ROBINSON: I'm happy for a 4 bathroom break and to pass the 5 witness if you've got any. 6 MR. PHILLIPS: I'll just have a 7 couple questions, but let's take a 8 quick break. Are you done then? 9 You're going to be done in the next 10 segment? Is that the idea? 11 MR. ROBINSON: I'll pass him 12 now. I may have some recross 13 depending on what you ask him. 14 MR. PHILLIPS: Let's take a 15 break. 16 (Recess taken) 17 EXAMINATION 18 QUESTIONS BY MR. PHILLIPS: 19 Q. Dr. Klein, I want to take you back 20 to paragraph 28 in your report. 21 A. Got it. 22 Q. And I think we talked about this 23 sentence earlier; but do you see the sentence 24 that says, "It is known by a POSA that steam 25 reforming is almost always accompanied by the</p>	123	<p>1 Q. Thank you. Do you recall a few 2 moments ago Mr. Robinson asked you a series of 3 questions relating to replacing PSA hydrogen 4 separation instead of the palladium membrane 5 separation and doing that before cryogenic CO2 6 separation? Do you remember that? 7 A. Yes. 8 Q. And I think he specifically asked 9 you about whether you performed calculations, 10 whether you did modeling and whether you did 11 cost comparisons. Do you remember that? 12 A. I remember, yes. 13 Q. And what was your answer to those 14 questions? 15 A. The answer was that I did not 16 perform these calculations. 17 Q. So my question to you is did you 18 need to perform the calculations in order to 19 do your analysis? 20 A. No. I did not need that. 21 Q. And why? 22 A. To evaluate the '805 patent and all 23 the exhibits, the references we have on the 24 table, to evaluate and to judge the process 25 combinations, you can ask for effects, what is</p>
122	<p>1 water-gas shift reaction shown in reaction 3." 2 Do you see that? 3 A. Yes. 4 Q. What part of the hydrogen production 5 process is that sentence referring to? 6 A. To the steam reforming part. 7 Q. And so do you see a couple of 8 sentences later it starts, "The exit gas" and 9 then goes to the next page? 10 A. From a steam reforming unit, yeah. 11 Q. "The exit gas from a steam reforming 12 unit, therefore, typically contains CO, CO2, 13 H2, CH4 and steam." 14 Do you see that? 15 A. Yes, I see that. 16 Q. Can you explain what you meant by 17 that sentence. 18 A. It contains the reaction products 19 from the steam reforming reaction, hydrogen 20 and CO. It contains the product of the 21 water-gas shift reaction, CO2. It contains 22 CH4, which is not reacted. And it contains 23 steam, which is also not reacted; and steam is 24 available in big excess. So unreacted steam 25 and unreacted methane is also there.</p>	124	<p>1 the positive effect if you have a membrane 2 separation instead of a PSA. 3 The discussion is okay. The 4 advantage having a membrane separation you 5 keep the CO2 at high pressure, which saves 6 you some energy for the maybe cryogenic 7 CO2 separation; but then you have to 8 compress the hydrogen. 9 And vice versa. When you go 10 for a PSA, you keep the hydrogen pressure 11 and you have to compress definitely the 12 CO2-rich stream if you go for a cryogenic 13 separation. 14 So the effects are pretty 15 much clear. And to compare the actual 16 investment costs or energy consumption 17 figures, you would have to do the exact 18 calculations. But to find a general 19 judgment of all the options and the pros 20 and the cons of all the options, it's not 21 required to do a detailed calculation. 22 For instance, what's quite 23 obvious is when you want to do the 24 membrane separation, you keep the CO2 at 25 high pressure but you lose the hydrogen</p>

125	<p>1 pressure and, therefore, you would have to 2 compress the hydrogen. And a person 3 skilled in the art knows that hydrogen 4 compression is much more complicated, both 5 CapEx and OpEx wise, as compared to CO2 6 compression because hydrogen is the 7 molecule with the lower molecular weight. 8 That's a physical law. 9 And, therefore, some 10 judgments like, Yeah, let's keep the 11 hydrogen at high pressure and taking in a 12 PSA, instead invest the energy compressing 13 the CO2, there is no detailed calculation 14 required to judge that at the end the -- 15 and actually for hydrogen compression 16 would be higher. Therefore, a POSA could 17 do the evaluation even without any 18 detailed process calculations as you 19 asked. 20 Q. That's all I have. 21 MR. PHILLIPS: Pass the 22 witness. 23 RE-EXAMINATION 24 QUESTIONS BY MR. ROBINSON: 25 Q. At what pressure is hydrogen</p>	127
126	<p>1 typically transported? 2 MR. PHILLIPS: Objection to 3 form. 4 A. Transported from the production site 5 to consumer site, you mean? 6 BY MR. ROBINSON: 7 Q. Sure. 8 A. Okay. When you transport it in a 9 gaseous form, the pressure shall be 600, 10 sometimes up to 900 bar. You also can liquefy 11 it. Then you have also the storage density, 12 the volumetric storage density. Then it's 13 transported at slightly elevated pressure, 3, 14 4 bar, but then at deep cold temperatures. 15 Q. So if we look at Darde, for example, 16 in Darde's figure, the hydrogen coming from 17 the separation unit 11 -- 18 A. The PSA. 19 Q. -- previously you said might be at 20 32 bar. Do you recall that? 21 A. Yes. 22 Q. So if it's going to be transported 23 in gaseous form, it's still going to have to 24 be compressed -- 25 A. Sure.</p>	128
	<p>1 Q. -- by a massive degree? 2 MR. PHILLIPS: Objection to 3 form. 4 BY MR. ROBINSON: 5 Q. And if it's going to be liquefied, 6 there's going to be a ton of energy added to 7 liquefy it, correct? 8 MR. PHILLIPS: Objection to 9 form. 10 A. Correct. 11 BY MR. ROBINSON: 12 Q. Okay. Referring back to 13 paragraph 28 of your declaration, the 14 sentences spanning pages 21 and 22, "The exit 15 gas from a steam reforming unit, therefore, 16 typically contains CO, CO2, H2, CH4 and 17 steam," what exactly is a steam reforming 18 unit? 19 A. A steam reforming unit can be a 20 simple SMR as discussed and described by 21 Darde. I would consider this as a steam 22 reforming unit. 23 Steam reforming unit can be 24 an ATR stand-alone, just an ATR, which is 25 the first case which is described in the</p>	
	<p>1 '805 patent. Steam reforming unit can be 2 a GHR. It can also be a GHR in 3 combination with an ATR. 4 Q. Would a steam reforming unit include 5 the WGS reactor? 6 A. No. 7 Q. How do you know how much CO2 is 8 present in the exit gas of the steam reforming 9 unit? 10 A. The exact number would have to be 11 determined by a detailed process calculation 12 depending on the feed gas, the steam-to-column 13 ratio, the exit temperature. 14 Q. And it's possible, isn't it, to 15 adjust the parameters of an ATR, for example, 16 to avoid CO2 production in the exit gas? 17 MR. PHILLIPS: Objection, form. 18 A. I'm sorry. Can you repeat the 19 question. 20 BY MR. ROBINSON: 21 Q. It's possible to adjust the 22 parameters of an ATR to avoid the production 23 of CO2 in the exit gas, isn't it? 24 MR. PHILLIPS: Objection to 25 form.</p>	

<p style="text-align: right;">129</p> <p>1 A. Production of CO2 in the exit gas. 2 Production is taking place before the exit gas 3 is formed, right? 4 BY MR. ROBINSON: 5 Q. Let me ask it a little bit different 6 way so it's clear. It's possible to adjust 7 the parameters of an ATR to avoid the presence 8 of CO2 in the exit gas, isn't it? 9 A. That's not possible. 10 Q. Why not? 11 A. Because the water-gas shift reaction 12 always is accompanying the steam reforming 13 reaction. Water-gas shift accompanies the 14 steam reforming reaction. 15 Q. Why is that? 16 A. It's the second law of 17 thermodynamics which drives the components of 18 air, hydrogen, CO from the steam reforming 19 reaction, reaction No. 1. And steam is also 20 there. 21 So as soon as CO2 is formed 22 from the steam reforming reaction, there 23 is a driving force of the reaction CO plus 24 water to CO2 and hydrogen-rich No. 3 on 25 page 23. Driving force can be expressed a</p>	<p style="text-align: right;">131</p> <p>1 force goes down. If you go down with the 2 temperature, the driving force goes up. 3 That's the way you look at equilibrium 4 controlled reaction when you assume you have 5 all molecules in your reaction space. 6 At the very beginning when 7 you just have methane and steam, reaction 8 No. 1 kicks off. So you generate CO and 9 hydrogen. Lots of steam and lots of 10 methane also is still left. But at the 11 very first moment there's only the feed 12 CH4, water, and then the initially formed 13 CO and hydrogen. 14 And no matter which 15 temperature you have, if you don't have 16 CO2 in the feed, there is no CO2 on the 17 right-hand side of reaction No. 3. And, 18 therefore, no matter how high the 19 energetic temperature driving force is, it 20 has to form CO2 to fulfill the equilibrium 21 condition to push some CO plus hydrogen, 22 to push it to the right-hand side to 23 create some CO2. And then more and more 24 CO2 is created, and this is like a 25 resistance to move on.</p>
<p style="text-align: right;">130</p> <p>1 little bit more rigorously in terms of 2 second law of thermodynamics. 3 Q. What is the driving force of 4 reaction 3? 5 A. The driving force of reaction 3 6 is -- we call it Gibbs free energy of 7 reaction. 8 Q. Is that G-i-b-b-s? 9 A. And that takes into account the 10 energy driving force, so to say, and the 11 energy driving force and also the entropic 12 driving force. When you look at the number of 13 mols on the left and the right-hand side, they 14 are the same. So this reaction has almost no 15 entropic driving force. You keep the 16 disordinas [phonetic] constant. But there is 17 an energetic driving force which drives the 18 reaction to the right side. 19 Q. Is the energetic driving force 20 temperature dependent? 21 A. It is. 22 Q. Is there a minimum temperature that 23 needs to be present to drive that reaction? 24 A. It's an exothermic reaction. And 25 when you go up with temperature, the driving</p>	<p style="text-align: right;">132</p> <p>1 And like this resistance, 2 when you have enough CO2 on the right-hand 3 side and then the temperature, we come to 4 a thing called equilibrium condition; and 5 that can be calculated. And this 6 determines then how much CO2, along with 7 all the other components, come out of the 8 GHR and come out of the ATR -- or come out 9 of the SMR of Darde. 10 Q. Doesn't equilibrium require some 11 sufficient amount of time in a reformer, 12 meaning if the gas is passed through a 13 reformer fast enough, it will never reach 14 equilibrium? 15 A. I agree. Equilibrium is only one 16 side of looking at -- or one aspect how we 17 have to look at reactions. The other question 18 is how long does it take to achieve 19 equilibrium? Can we reach equilibrium? 20 Then it comes to residence 21 time in a GHR, in the catalytic bed of an 22 ATR. Then it comes to a design parameter, 23 let's say, catalyst volume. And for steam 24 reformer the designing parameter for the 25 catalyst volume is reaction No. 1, which</p>

<p style="text-align: right;">133</p> <p>1 is the steam reforming reaction. 2 And if a person technically 3 skilled in the art designs any reforming 4 unit with a high-enough catalyst volume to 5 go close enough to equilibrium for 6 reaction 1 with naked catalyst, then the 7 kinetics of the water-gas shift reactions 8 on this naked catalyst tells that the 9 reaction 3 is at equilibrium. It's faster 10 than the reaction 1. The catalyst volume 11 is designed for reaction No. 1 so we have 12 enough catalyst volume for reaction 3 that 13 equilibrium is established. 14 That's the common -- that's 15 what you see in theoretical calculations 16 of steam reforming units. That's what you 17 see when you take samples on actual 18 running plans, on pilot scale plans. You 19 always see that reaction No. 1 is a little 20 bit away from equilibrium, but reaction 21 No. 3 equilibrium is reached. 22 Q. Are there any documents cited in 23 your declaration that explain that 24 relationship in speed and completion between 25 reaction No. 1 and reaction No. 3?</p>	<p style="text-align: right;">135</p> <p>1 higher. 2 Q. Higher than the mass flow rate of 3 hydrogen? 4 A. Yes. 5 Q. Do you know approximately how much 6 higher? 7 A. No. 8 Q. Would it be double or less than 9 double? 10 A. I would say more than double. 11 Q. Would you expect it to be more than 12 triple? 13 A. Four times higher. 14 Q. Again, just approximately, I want to 15 make sure we're saying the same thing. You 16 would expect in Darde's figure the mass flow 17 rate of CO2 captured at stream 14 to be 18 roughly four times the mass flow rate of 19 hydrogen exiting the PSA 11? 20 A. Can we do a back-of-the-envelope 21 calculation because I ... 22 Q. Sure. 23 A. So the ratio is 44 divided by 8, 24 which is 5 point something. 25 Q. So roughly 5.5. So in Darde's</p>
<p style="text-align: right;">134</p> <p>1 A. 1017. Exhibit 1017, 1027, 1028, 2 1016. 3 Q. Can you point me to where 1016 4 describes that. 5 A. I cannot show you the paragraph 6 right now where Appl describes that. But this 7 is -- I don't have it with me. 8 Q. And those paragraphs of your 9 declaration don't cite a particular part of 10 1016 that describe that, do they? 11 A. In my declaration I don't cite 1016, 12 no. 13 Sorry. I knew there was 14 something. Paragraph 31. 15 Q. That doesn't point to a particular 16 page of 1016, does it? 17 A. No. 18 Q. Okay. 19 A. It's just citing Appl. 20 Q. Okay. If you look at Darde's figure 21 briefly, how would you expect -- would you 22 expect the mass flow rate of hydrogen produced 23 to be larger than the mass flow rate of CO2 24 produced, captured? 25 A. The mass flow rate of CO2 will be</p>	<p style="text-align: right;">136</p> <p>1 figure you'd expect the mass flow rate at 2 stream 14 of CO2 capture to be approximately 3 5.5 times the mass flow rate of hydrogen 4 exiting the PSA 11. Is that correct? 5 A. Assuming ideal conditions, that the 6 recovery rate of hydrogen in the PSA is a 7 hundred percent, the CO2 recovery rate in unit 8 13 is a hundred percent. But we use about 9 10 percent of the hydrogen in the PSA, and we 10 use also some CO2 into streams -- well, 15 11 doesn't matter. It comes back. In stream 16. 12 Let's say 5. 13 Q. Okay. 14 A. The volume flow rate of hydrogen is 15 four times higher. 16 Q. All right. 17 MR. ROBINSON: Pass him back if 18 you've got anything else. 19 MR. PHILLIPS: Yeah. 20 RE-EXAMINATION 21 QUESTIONS BY MR. PHILLIPS: 22 Q. Dr. Klein, just one more question. 23 I want to take you back to paragraph 28 for a 24 second in your report. 25 A. Yes.</p>

137

1 Q. So for a steam reforming unit in a
 2 hydrogen production plant, can you describe
 3 any situations, any scenarios where there is
 4 not at least some CO2 in the exit to the steam
 5 reforming unit?
 6 **A. There's always CO2 in the exit.**
 7 Q. That's all I have.
 8 MR. ROBINSON: Let's go home.
 9 (Proceedings concluded at 2:55 p.m.)
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

139

1 I, HARALD KLEIN, have read the foregoing deposition
 2 and hereby affix my signature that same is true and
 3 correct, except as noted above.
 4
 5 _____
 HARALD KLEIN
 6
 7 THE STATE OF _____)
 8 COUNTY OF _____)
 9 Before me, _____, on this day
 10 personally appeared HARALD KLEIN, known to me or proved
 11 to me on the oath of _____ or through
 12 _____ (description of identity card
 13 or other document) to be the person whose name is
 14 subscribed to the foregoing instrument and acknowledged
 15 to me that he/she executed the same for the purpose and
 16 consideration therein expressed.
 17 Given under my hand and seal of office on this ____
 18 day of _____, _____.
 19
 20 _____
 NOTARY PUBLIC IN AND FOR
 21 THE STATE OF _____
 22 My Commission Expires: _____
 23
 24 _____ No Changes Made _____ Amendment Sheet(s) Attached
 25

138

1 CHANGES AND SIGNATURE
 2 WITNESS NAME: HARALD KLEIN
 3 DATE OF DEPOSITION: April 2, 2026
 4 PAGE LINE CHANGE REASON
 5 _____
 6 _____
 7 _____
 8 _____
 9 _____
 10 _____
 11 _____
 12 _____
 13 _____
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 _____
 20 _____
 21 _____
 22 _____
 23 _____
 24 _____
 25 _____


140

1 IN THE UNITED STATES DISTRICT COURT
 2 _____
 3 BEFORE THE PATENT TRIAL AND APPEAL BOARD
 4 _____
 5 TOPSOE, INC.,
 6 Petitioner
 7 v.
 8 L'AIR LIQUIDE, SOCIÉTÉ ANONYME POUR L'ETUDE ET
 L'EXPLOITATION DES PROCÉDÉS GEORGES CLAUDE,
 9
 10 Patent Owner
 11 _____
 12 Case IPPR2025-01173
 Patent No. 11,673,805
 13
 14 REPORTER'S CERTIFICATE
 15 ORAL DEPOSITION OF HARALD KLEIN
 16 April 2, 2026
 17
 18 I, Melinda Barre, Certified Shorthand Reporter in
 19 and for the State of Texas, hereby certify to the
 20 following:
 21 That the witness, HARALD KLEIN, was duly sworn by
 22 the officer and that the transcript of the oral
 23 deposition is a true record of the testimony given by
 24 the witness;
 25 That the original deposition was delivered to


141

1 Eagle Robinson.
 2 That a copy of this certificate was served on all
 3 parties and/or the witness shown herein
 4 on _____.
 5 I further certify that pursuant to FRCP Rule
 6 30(f)(1), that the signature of the deponent:
 7 _____ was requested by the deponent or a party before
 8 the completion of the deposition and that the signature is
 9 to be before any notary public and returned within 30 days
 10 from date of receipt of the transcript. If returned,
 11 the attached Changes and Signature Page contains any
 12 changes and the reasons therefor:
 13 _____ was not requested by the deponent or a
 14 party before the completion of the deposition.
 15 I further certify that I am neither counsel for,
 16 related to, nor employed by any of the parties or
 17 attorneys in the action in which this proceeding was
 18 taken, and further that I am not financially or
 19 otherwise interested in the outcome of the action.
 20
 21
 22
 23
 24
 25

143

1 COUNTY OF HARRIS)
 2 STATE OF TEXAS)
 3 I hereby certify that the witness was notified on
 4 _____ that the witness has 30 days or (____
 5 days per agreement of counsel) after being notified by
 6 the officer that the transcript is available for review
 7 by the witness and if there are changes in the form or
 8 substance to be made, then the witness shall sign a
 9 statement reciting such changes and the reasons given by
 10 the witness for making them;
 11 That the witness' signature was/was not returned as
 12 of _____.
 13 Subscribed and sworn to on this, the ____ day of
 14 _____, 2026.
 15
 16 
 17 _____
 18
 19 Melinda Barre
 20 Texas CSR 2192
 21 Expiration: 12/31/27
 22 LEXITAS LEGAL
 23 Firm No. 95
 24 lexitaslegal.com/premier
 25 888.267.1200

142

1 Certified to by me on this, the _____ day
 2 of _____, 2026.
 3
 4 
 5
 6 _____
 7 Melinda Barre
 8 Texas CSR 2192
 9 Expiration: 12/31/27
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1
 2
 3
 4
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1	<p>15 71:23 72:21 136:10</p> <p>156 86:19</p> <p>159 103:17</p> <p>16 71:25 136:11</p> <p>17 72:22 73:5</p> <p>18 21:21</p> <p>1800 40:13 41:1</p> <p>19 100:22</p> <p>1996 7:19 9:1</p>	<p>75:2 101:13 103:7,10 104:14 105:3,12,22 106:25 110:9 122:1 126:13 129:24 130:4,5 131:17 133:9,12,21,25</p> <p>30 29:10 99:12,15 107:5 109:10</p> <p>31 107:16 134:14</p> <p>32 108:23 126:20</p> <p>32.8 108:23</p> <p>35 72:22 73:6 99:13 100:18</p> <p>3D 10:23</p>	
<p>1 8:18,19 22:1,8 26:10 44:24 60:1,2 67:14,15 68:20,21 69:2,22 73:13,14,19 74:10,13,16,18 75:10 81:6,7 107:16 108:19 129:19 131:8 132:25 133:6,10,11,19,25</p> <p>1,000 42:14</p> <p>1,050 42:14</p> <p>10 26:7 58:16 59:11 61:18 65:3 67:14 68:21 81:6 100:18 109:7 136:9</p> <p>100 65:5</p> <p>1001 30:21</p> <p>1004 5:23</p> <p>1005 57:6,13</p> <p>1008 74:21 75:8</p> <p>1009 70:8,13 99:11</p> <p>1016 134:2,3,10,11,16</p> <p>1017 134:1</p> <p>1027 134:1</p> <p>1028 134:1</p> <p>103 58:25</p> <p>107 103:19</p> <p>108 103:20</p> <p>11 78:21,22 79:20 95:4 99:13 105:7 126:17 135:19 136:4</p> <p>11,673,805 30:19</p> <p>1173 5:7</p> <p>1174 5:7</p> <p>12 58:25 63:11,12,16 64:23 66:1 70:18,20 79:12,15,24 84:10,22,24 88:20 89:23 90:13 98:20 99:7 100:18 101:6</p> <p>121 63:16,25 64:14,15,19,22 105:12,22,24 106:13,24 107:13,17</p> <p>122 63:18 64:3,20 65:8 98:23,25</p> <p>13 58:25 65:23 66:9,13 71:2,3 99:8 104:15,22 136:8</p> <p>131 71:10 104:19,23</p> <p>132 71:18,19,20 104:25</p> <p>14 71:16 135:17 136:2</p>	2	4	
	<p>2 57:16 58:2,17 60:2 66:15 67:16 68:10 69:12 70:16 74:9 75:2 81:7</p> <p>2,000 40:13 41:1</p> <p>20 26:5,7 29:18 30:10 65:4 88:13 100:22</p> <p>2002 9:1 10:12 11:22 12:23 16:1 17:2 18:25</p> <p>2004/2005 19:3</p> <p>2005 19:18</p> <p>2007 16:2</p> <p>2008 21:15</p> <p>2014/091098 75:4</p> <p>2019/162236 70:10</p> <p>2020 27:19,21,22,24 28:2,22 95:4 110:19 120:17</p> <p>2023/0119784 57:10</p> <p>203 56:20</p> <p>2030 27:6</p> <p>21 53:12,13 127:14</p> <p>22 90:3 127:14</p> <p>23 129:25</p> <p>25 25:4 26:14 27:21</p> <p>28 53:11,13 121:20 127:13 136:23</p> <p>2:55 137:9</p>	5	<p>4 65:22 66:7,12,23 68:17 72:8,9 73:2,24 74:1,2,6,7,10 75:14 76:15 89:25 98:20 101:1 102:9,12,13,21,23,25 126:14</p> <p>40 65:4 88:15 89:7 97:4 107:5,10 109:6,10,12 110:5,11,20</p> <p>411 72:14,20,23 73:7 74:12</p> <p>44 135:23</p> <p>5 68:21 69:3,23 108:7,18 135:24 136:12</p> <p>5-bar 85:1</p> <p>5.5 135:25 136:3</p> <p>50 88:21 89:7 90:16,18 91:1,7 110:20</p> <p>50-bar 90:15</p> <p>500 47:7</p> <p>55 91:2,10 94:7</p>
	3	6	
	<p>3 53:17 56:18 63:5 65:8,10 66:7,19 68:17 70:17,19 72:2 73:25 74:6,8</p>	<p>6 57:19,24 63:5 75:19,20</p> <p>60 86:16,25 88:15 91:2,10,16,20</p> <p>600 126:9</p> <p>61 64:14,17</p> <p>62 65:1,6</p> <p>63 27:4</p> <p>6B 75:21</p>	

<hr/> 7 <hr/>	absorption 17:15,17,23 18:10 20:2, 4,5,6 58:12,21 59:9,16,22,25 60:4,7, 10,13,14,17,19,20,25 61:7,17,19,23 62:2,10,18,25 65:19 71:6 78:25 79:2, 7 80:3 96:4,11,21 99:24 100:5 116:21 117:2,7	air 30:24 120:8 129:18
7 58:1 61:6 65:11,12 76:7,14 90:2 99:3	absorption/adsorption 59:3	alcoholamines 96:17 100:9
71 61:7,12	abstract 30:10	alcohols 55:20,23
7141 72:14,17	abstracts 30:6	alternative 96:22 97:18 100:12
72 58:5	academia 21:16 23:12,13	Alternatively 98:4
721 72:14	accent 36:21	alternatives 119:15,17,18
77 106:12,15	accompanied 53:16 55:8,9 121:25	altogether 108:20
78.5 86:18,24	accompanies 129:13	ambient 97:23
<hr/> 8 <hr/>	accompanying 129:12	American 7:14
8 58:6,7 60:10,15,24 61:16,23 65:16, 17,18 66:10,18 78:11 108:21 135:23	account 82:23 83:9 108:16 111:5 130:9	amine 60:3,5,8,24 65:19 66:9,20 67:6 68:11 69:5,10 71:8 72:4 80:25 81:8,20 82:21 83:18,23 84:16,19,20 85:2 94:20 100:10 102:2 104:17 114:25 115:6 117:2,6 119:15
80 26:3 87:9 88:14 106:11 109:20 110:8,10	accurate 53:2	amine-based 80:3,11,18,19,20 81:4, 18
805 30:15,18 31:8 44:18 45:18 47:24 54:12 55:6 56:17 123:22 128:1	achievable 110:18	amine-type 58:8
81 61:15	achieve 90:20 91:17 110:16 132:18	amine/co2 66:16
82 61:8,12 95:19	acid 96:9,14 100:4	amines 17:24 18:4 61:25 96:19
83 97:5	acronym 15:10	ammonia 9:9 10:4,5 11:23 20:23,24 21:10
85 90:9 97:11,15,16	actual 124:15 133:17	amount 42:10 47:16 93:4 119:10 132:11
<hr/> 9 <hr/>	ad 58:23 62:14	analysis 83:8 101:3,16,24 102:10 123:19
9 65:20,21 66:12 78:19 79:13,17 90:12 99:1 105:6,10,20,23 106:23 107:12,17	add 37:12 41:17 49:2,6 51:16 56:12 90:3	analyze 74:1 105:18
90 90:9	added 52:20 127:6	annotated 75:12
900 126:10	addition 62:18	anymore 10:21 27:3,16 73:1
96 10:12 11:21 17:2	additional 104:7	appears 99:17
98 90:8	address 78:8	Appl 134:6,19
<hr/> A <hr/>	adjust 128:15,21 129:6	application 24:22,23 25:7 34:19 55:18 87:23 93:19
A1 57:10	administration 25:23	applications 18:21 55:1,20,24 93:23
abbreviation 32:23	adsorption 14:25 58:22 59:5,7,14 62:18 96:5 98:9,10,11,18 99:25	applies 44:13 111:15
absorb 61:25 62:6	advanced 24:4,5	apply 24:12,20
absorbents 96:23 100:13	advantage 124:4	approach 25:16
absorber 61:14	affirmatively 99:22 100:11	approaches 25:16
absorbs 62:4	aftersales 12:3,4	approximately 10:6 26:8 135:5,14 136:2
	agenda 21:24	aqueous 96:16 100:9
	agree 25:14,19 132:15	areas 24:16
	ahead 20:9	

arrangement 44:20
art 53:23 54:18,25 86:19 87:21 89:7
 94:9 125:3 133:3
aspect 132:16
assume 131:4
assumed 112:4
assuming 107:24 136:5
atmosphere 19:2,8
atmospheric 86:17 87:3 88:5
ATR 31:6,10 37:10,11,21,25 38:7,11
 39:4,5,6,10,15,19 41:3,18 42:5,15
 43:7,10,15,22 44:1,13,19 45:1,8,12,
 14,16,22,23,24 46:3,6,9,12,19,21
 47:1 48:4,8,13,17 49:2,7,9,17,25
 50:1,2,22 51:10,15,22 52:3,11,16,19,
 20 84:8 95:1 107:6 108:4 110:17
 127:24 128:3,15,22 129:7 132:8,22
attached 27:18
attorneys 77:1
August 95:4
autothermal 31:7 74:9
auxiliary 15:7
avoid 49:20 82:3 128:16,22 129:7
aware 46:10 59:16,22 98:16

B

bachelor 7:13
back 9:19 10:9 11:1 12:14 13:15
 16:1,10 18:23 21:25 22:25 23:11 41:4
 42:21 54:22 60:1 81:5 87:18 88:17
 92:14 95:16 99:10 102:8 103:7,15
 104:13 121:19 127:12 136:11,17,23
back-of-the-envelope 135:20
back-of-the-envelope-calculation
 89:5
bar 65:3,4,5 87:9 89:7 90:16,18 91:1,
 2,7,10,16,20 107:5,11 108:8,19,21
 109:6,7,10,12,21 110:8,20 126:10,14,
 20
base 20:13 114:10
based 19:9 38:9 95:21 98:17 99:20
basic 4:10 10:16 13:9,11,20 14:16
 24:3 62:1 74:22

basically 40:23
bathroom 4:22 121:1,4
battery 111:5,8,9,10,13,15,17,19,23
 112:3
bed 38:15,22 41:3,8,21 42:3,8,13,18
 49:18 132:21
beginning 22:5 26:23 41:10 99:12,
 15 100:18 131:6
behalf 5:3
Behavior 7:22
beneficial 91:11
big 11:23 27:21 29:9 38:5 112:10
 118:20 120:6 122:24
bigger 112:14
biggest 23:11
bio 70:2
bit 5:11 7:3 12:5 15:11 39:23 44:23
 47:2 105:14 129:5 130:1 133:20
blend 9:8,9 12:12 72:9 74:7,8 112:8
 114:4
blends 9:9,10 12:2
block 57:19 58:1,3,6,7,16,18 63:11,
 12,16 65:10,12,16,17,20,21,23 66:1,
 9,10,13 70:18,20 71:2,3,16,23,25
 73:13,14,19 74:5,13,18 75:14,19,20,
 21 76:7 78:11,21 79:20 98:20 99:1,3
 101:6 104:15 105:7
blocks 74:7
blue 19:10
board 22:11
boiler 75:20,23 76:2
boss 16:9
bottom 73:5
boundary 80:17 82:17 110:25
 111:14
boy 59:9 61:19 62:11 96:4,12,22
 99:24 100:6
break 4:21,25 45:4 70:2 77:3 121:2,
 4,8,15
breaking 120:20
breaks 76:19,25 77:15 78:4
briefly 6:2,9 7:23 105:2 134:21

bring 80:22 92:3,14 93:5 109:9
broad 94:19
broader 33:18,22,25
budget 26:9 28:12 30:2
build 10:19
built 8:11
burn 32:19 37:23

C

C2/c3 90:11
C3 35:22
C4 35:22
calculated 132:5
calculation 87:22 91:18 124:21
 125:13 128:11 135:21
calculations 86:20 101:11,21 102:6,
 19 103:5,14 123:9,16,18 124:18
 125:18 133:15
call 24:21 25:5 29:1,16 36:13 40:5
 50:11 90:4 111:5 118:14 130:6
called 6:16,20,23 19:10 36:14,19
 92:6 132:4
calls 24:20 27:24 28:5,7,8,14,24,25
capacity 12:15
Capex 80:10 81:11 125:5
capital 80:10,16
capture 4:12 15:1 18:25 19:14,25
 80:2,3 93:2 99:8 101:5,18,19 102:1,2
 104:4,6 120:8 136:2
captured 21:2,11 61:3 93:8 134:24
 135:17
capturing 19:6 61:2
carbon 18:15 35:25 36:6 37:2,6,17
 56:14 106:13
carbons 34:1
career 28:18
carried 103:21
case 35:14 37:7 55:4 114:3 116:4
 127:25
cases 33:15 56:5

<p>catalyst 32:11,17 33:8 38:9 40:3 132:23,25 133:4,6,8,10,12</p> <p>catalysts 33:9,11 34:14</p> <p>catalytic 38:8,14,22 39:2,7,15 41:3, 8,21 42:3,8,13,18 49:10,18 50:5 132:21</p> <p>caustic 61:24</p> <p>CEO 22:11,15</p> <p>certificate 74:25</p> <p>CH4 40:15,16 122:13,22 127:16 131:12</p> <p>challenged 30:25</p> <p>challenging 22:22</p> <p>change 19:19 21:18 47:4 48:21 60:11,12</p> <p>chapter 54:17,20</p> <p>characteristics 33:19,21 35:12 48:20 49:20</p> <p>characterize 63:19</p> <p>Chatelier's 36:20</p> <p>check 67:18,24</p> <p>chemical 6:13,14,23 7:2,6,11 17:15, 23 18:3,10 20:6 35:23 36:14 58:11 60:10 61:24 62:2,3 71:7 78:25 79:2,6 80:3 82:15 94:13 116:20 117:1 120:13</p> <p>chemical-based 60:20 65:19</p> <p>chilled 17:18</p> <p>choice 115:12,21</p> <p>choices 112:17</p> <p>choose 113:7,12</p> <p>cite 134:9,11</p> <p>cited 133:22</p> <p>citing 134:19</p> <p>City 13:6,9 18:24 20:13 32:9</p> <p>civil 6:13</p> <p>clarification 96:13</p> <p>clarify 4:19 15:9 52:13 114:12</p> <p>classified 60:18 63:22</p> <p>classroom 22:25</p> <p>clear 12:5 30:17 57:8 59:21 70:8</p>	<p>77:13 92:23 117:13 124:15 129:6</p> <p>clerical 62:16</p> <p>client 11:10</p> <p>climate 19:4,19 21:3</p> <p>close 133:5</p> <p>CO-RICH 55:15</p> <p>CO2 15:1 17:19,24 18:25 19:1,7,14, 25 20:14,16,20 21:1,2,5,9,10,11 38:1 40:5,16 52:8 55:25 56:12 58:7 60:4, 21 61:2,3,15 62:1,5 65:24 66:8,20,24 67:7,11 69:4,13,15,23 71:3,4,14,15, 21 72:4 79:7 80:1,3,11,12 82:6,13 85:3,6,13,17,19 86:2,8,13,17 87:2,7, 15,19 88:9,13,15,19,23 90:14,19,23, 25 91:6,16,17,20,21 92:2,8,9,11,15, 25 93:1,6,7,11,16,21,24 94:17,24 96:9,10,17 99:8 100:4 101:4,17,19 102:1,2 103:12,22 104:14,19 105:25 113:12,24 114:9,15,20,22 115:1,7,12, 18,20,22 119:14,22,24,25 120:6,7,8, 9,14 122:12,21 123:5 124:5,7,24 125:5,13 127:16 128:7,16,23 129:1,8, 21,24 131:16,20,23,24 132:2,6 134:23,25 135:17 136:2,7,10 137:4,6</p> <p>CO2-DEPLETED 61:9</p> <p>CO2-ENRICHED 63:23</p> <p>CO2-FREE 18:20</p> <p>CO2-RICH 21:12 81:22 85:25 90:15 91:1,9,15 93:1 104:3,4 113:17 114:7 124:12</p> <p>coal-fired 19:13</p> <p>cocky 23:8</p> <p>cold 18:8 97:1,2,3 100:15 126:14</p> <p>colleagues 16:24</p> <p>column 59:1 67:15 92:14</p> <p>columns 67:21</p> <p>combination 89:21 100:23 110:7 128:3</p> <p>combinations 97:12 123:25</p> <p>combined 39:3</p> <p>combining 8:3</p> <p>combust 37:15 41:11</p> <p>combusted 73:13</p> <p>combusting 38:19 40:16</p>	<p>combustion 8:4 41:15 49:18 50:4</p> <p>comment 63:2</p> <p>commissioned 14:12,20</p> <p>commissioning 9:21 10:9 11:3,5,7, 14,19,21 13:21,22 14:2</p> <p>common 83:13 96:3 99:23 133:14</p> <p>communications 77:10</p> <p>companies 25:9,10 29:4 110:16</p> <p>company 11:8,12 12:12,14 25:15, 16,17,21</p> <p>comparative 67:15,17 68:10,13,25 69:15,19,21 72:4 80:21 101:3,16,24 102:10</p> <p>compare 39:7,19 67:21 80:2 81:3 105:2,10 124:15</p> <p>compared 34:15 41:20 80:12 82:6 93:23 125:5</p> <p>comparison 80:11 102:23 103:9 108:15</p> <p>comparisons 123:11</p> <p>complete 20:10</p> <p>completely 22:12 24:7,12 36:17 40:16 99:20 116:12</p> <p>completion 133:24</p> <p>complicated 91:22 125:4</p> <p>component 61:25</p> <p>components 43:16 61:24 86:3 89:22 105:25 106:17 129:17 132:7</p> <p>composition 50:3 85:25 87:24 88:1, 12 89:13,23 90:12 105:10,11,19,21, 23 106:10</p> <p>compress 82:12 83:3 86:23 124:8, 11 125:2</p> <p>compressed 126:24</p> <p>compressing 125:12</p> <p>compression 82:3,8,19 85:5 90:16 91:3,14 103:24 104:7,11 113:21,25 125:4,6,15</p> <p>compressor 65:21 66:12 71:17 72:1 99:1,7 109:8 112:23</p> <p>concentration 86:8 88:19 90:10 93:4,7 106:21</p> <p>concentrations 106:17</p>
-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<p>concepts 18:21</p> <p>concluded 137:9</p> <p>condensable 86:1</p> <p>condensate 58:5 61:6 65:13,14 99:4</p> <p>condensates 58:4 78:18</p> <p>condensation 92:1,5</p> <p>condense 86:3,17 92:1,2</p> <p>condenses 85:18,21</p> <p>condition 86:10 110:25 111:6,17,20 112:17 131:21 132:4</p> <p>conditions 80:17 82:17 111:23 112:3 136:5</p> <p>configuration 31:15 44:25 46:16 47:24 52:3 89:10</p> <p>configurations 31:9,10</p> <p>confirm 52:23 73:9</p> <p>confusing 115:2</p> <p>connection 22:17</p> <p>cons 124:20</p> <p>considered 99:5</p> <p>constant 49:1 130:16</p> <p>construction 10:24 14:18</p> <p>consult 29:25</p> <p>consumed 37:22</p> <p>consumer 126:5</p> <p>consumption 124:16</p> <p>contact 29:14</p> <p>content 43:4 86:1,2 87:20 90:10</p> <p>context 54:11 55:6 59:15 62:11,25 118:9</p> <p>control 11:11 24:5 41:9,25 42:9,11, 25</p> <p>controlled 36:15 131:4</p> <p>controls 40:3</p> <p>conventional 15:6,12 17:6 20:12 32:5,18 83:14 109:19,22</p> <p>conversation 77:4 78:6,7</p> <p>conversations 77:7</p> <p>conversion 37:2</p>	<p>convert 35:24 41:16 55:16 57:2</p> <p>converted 36:6 37:6 39:18,21 41:6, 7,8,20 42:2,17,18</p> <p>convince 22:3</p> <p>cool 78:16 85:17</p> <p>cooled 44:12,14 76:4 84:10</p> <p>cooling 32:24 75:24 76:2 78:13,15 84:8 91:25 103:21 111:16</p> <p>cools 39:1 58:4</p> <p>copy 30:14</p> <p>correct 5:4,5 6:6 30:19 31:11,15 34:8,11,25 35:5,18 36:1,2 47:20 48:2, 5,13 51:25 54:1,8 57:10,11,20,21 58:22 59:9 61:5 63:6,7 65:5,6,8,9 66:11,13,17,21,22,25 67:1,4,7,8,11, 13 68:12,16,23 69:7,8,17,24 70:10, 11,15 72:6,7 75:4,5,9,17,18,24 76:5 80:14 85:7 88:5 89:23 97:9,19 98:21, 22,24 99:2,3 100:10,24,25 104:15,16, 18 112:1,18 115:7 127:7,10 136:4</p> <p>correctly 20:5</p> <p>cost 80:1,2,6,9 81:14 82:11 83:9 102:23 103:9 123:11</p> <p>costs 81:3 124:16</p> <p>counsel 76:21,25 77:11 78:5 120:19</p> <p>couple 4:10 121:7 122:7</p> <p>court 4:12</p> <p>cover 56:5 120:13</p> <p>coverage 25:20</p> <p>covered 94:18</p> <p>COVID 27:22</p> <p>create 28:14 40:19 131:23</p> <p>created 28:23 84:24 131:24</p> <p>creates 50:9</p> <p>cryo 120:14</p> <p>cryogenic 18:12,14,16 65:24 66:8, 24 67:6,11 69:6 80:1,12,23 81:9,15, 20 82:4,9,14 85:3,6,13 86:11 87:7,15 88:9,23 90:14,20,23,25 91:6,19,23 93:2 94:17,24 96:5 97:24 100:1 101:19 102:1 103:12 104:3,6 113:19, 25 114:10 115:1,6 119:16 123:5 124:6,12</p> <p>cryogenically 86:14 87:2</p>	<p>curiosity 16:13</p> <p>curve 27:12</p> <p>cut 26:22</p> <p>CV 5:25 16:2 27:18</p> <p>cycle 7:25</p> <hr/> <p style="text-align: center;">D</p> <hr/> <p>Darde 74:21,22 75:6,13 83:14 106:9 107:3,6 108:2,4,6,9,18 109:19,23 126:15 127:21 132:9</p> <p>Darde's 78:11 79:17 88:17 89:21 105:3,5,20 106:23 109:25 126:16 134:20 135:16,25</p> <p>dashed 72:13</p> <p>data 9:17</p> <p>date 22:20 27:5</p> <p>day 23:25</p> <p>deadline 29:11,17</p> <p>deals 31:14</p> <p>debottlenecking 12:9,16,18</p> <p>decision 22:9,19 114:24 115:5,17</p> <p>decisions 44:7</p> <p>declaration 53:9 57:14 62:23 63:3 70:14 75:7 94:1,4 101:2,15,23 102:9, 22 103:8,16 105:17 106:3 127:13 133:23 134:9,11</p> <p>decrease 51:6 79:11,15</p> <p>deep 18:8 96:25 97:2 100:15 120:4 126:14</p> <p>define 80:16 81:3 92:20 94:3 95:7</p> <p>defined 24:2</p> <p>defines 41:19</p> <p>degree 6:4 7:10,14,15 41:1 94:5,13 127:1</p> <p>degrees 40:13</p> <p>deliver 11:9</p> <p>delivered 12:12</p> <p>delivers 117:23</p> <p>delivery 112:11</p> <p>density 126:11,12</p>
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<p>department 9:7 10:13 14:1 16:22 17:1 23:2</p> <p>depend 82:12 87:19 90:1</p> <p>dependent 89:9,13 90:2 130:20</p> <p>depending 81:25 86:22 87:24 88:12 90:21 91:16 121:13 128:12</p> <p>depends 41:9,17,23 82:16 85:25 87:12 88:1 89:24 90:19 93:19 109:2</p> <p>depicts 31:8</p> <p>deposed 4:8</p> <p>describe 6:9 7:23 54:24 94:2 96:16 97:12 100:4,9 134:10 137:2</p> <p>describes 97:5 134:4,6</p> <p>describing 106:15</p> <p>design 9:7,8,11,15,23 10:7,9,12,17, 18 13:13,14 16:21 23:1 42:16 44:7 83:7 110:13,15 111:4,21 112:9,13,17, 21,22,24 132:22</p> <p>design-specific 83:25</p> <p>designed 11:2 111:1 114:4 133:11</p> <p>designer 107:18</p> <p>designers 42:6</p> <p>designing 9:23 10:4 12:6,17 132:24</p> <p>designs 133:3</p> <p>desirable 93:10,17</p> <p>detail 110:13</p> <p>detailed 13:16 14:17 92:12 124:21 125:13,18 128:11</p> <p>determine 80:15 89:5</p> <p>determined 87:23 128:11</p> <p>determines 42:23 85:20 90:11 132:6</p> <p>Development 18:19</p> <p>dictates 108:24</p> <p>differ 7:5 33:1,9 45:9,10 46:15 78:24 79:5</p> <p>difference 64:13 66:7 74:10 101:17</p> <p>differences 32:3 37:9 101:4 105:18</p> <p>differently 105:14</p> <p>differs 97:23</p> <p>dioxide 106:13</p>	<p>direct 26:3</p> <p>direction 32:7</p> <p>directly 25:15,21 34:13 46:19,21 50:12</p> <p>director 44:16</p> <p>disclose 67:9 99:6 106:20</p> <p>discloses 67:3,6</p> <p>discuss 19:17 118:9</p> <p>discussed 76:23 77:4 78:1,3 104:2 127:20</p> <p>discussion 19:4 20:18 124:3</p> <p>discussions 76:20 77:14,21</p> <p>disordinas 130:16</p> <p>displacement 8:20</p> <p>distillation 92:7,13 96:6 97:24 100:1</p> <p>distinction 18:9</p> <p>divided 135:23</p> <p>Doctor 30:15</p> <p>document 5:24</p> <p>documenting 9:16</p> <p>documents 133:22</p> <p>DOE 24:18</p> <p>dog 96:5 99:25</p> <p>double 135:8,9,10</p> <p>downstream 15:20 20:7 43:16 44:2, 8 45:1 53:1,6 66:13 69:10,13 80:19, 22,24 81:1,9,21 85:4 113:20,24 119:3</p> <p>drive 113:24 130:23</p> <p>driver 40:14,25</p> <p>drives 129:17 130:17</p> <p>driving 64:12,13 129:23,25 130:3,5, 10,11,12,15,17,19,25 131:2,19</p> <p>drop 29:21 45:15 49:16 61:14 79:21 108:2,8,17,21</p> <p>drops 47:4,6 107:11,20,22,25</p> <p>dryer 99:3,5</p> <p>due 45:15 49:15,16</p> <p>duly 4:2</p> <p>dynamic 8:7</p>	<hr/> <p style="text-align: center;">E</p> <hr/> <p>earlier 52:23 75:17 104:2 121:23</p> <p>early 10:16</p> <p>easier 15:11</p> <p>easiest 20:19</p> <p>educate 11:14 54:3</p> <p>educating 23:4</p> <p>education 6:3 28:10</p> <p>effect 39:12 51:7 124:1</p> <p>effects 50:24 51:8 123:25 124:14</p> <p>efficient 29:8</p> <p>electrical 82:20</p> <p>electricity 83:2,10 85:10</p> <p>electrolyzers 29:7</p> <p>elevated 65:2 126:13</p> <p>eliminate 91:2,4,13</p> <p>embodiment 68:21 69:2,15,22 81:7</p> <p>embodiments 69:20 84:7</p> <p>enable 33:18,22</p> <p>end 6:20 10:19 13:12 18:15 22:8,18, 20 27:5 36:24 37:24 41:16,24 50:6 83:4,8 87:10 90:12 92:18,19 108:22 113:22,23 125:14</p> <p>ended 20:16</p> <p>endothermal 32:6,12</p> <p>endothermic 36:11,18,23 38:25 42:24</p> <p>energetic 130:17,19 131:19</p> <p>energy 18:21 36:12 38:18 39:1 81:16,19 82:19,20 83:18 92:15,17 124:6,16 125:12 127:6 130:6,10,11</p> <p>engineer 9:2,20 12:11 13:8</p> <p>engineering 6:7,10,13,14,15,17,22, 24 7:2,4,7,11,16 9:5,18 10:8,16,20 11:8,12 12:11 13:9,12,16,21 14:15,17 16:5,19 18:18 19:24 82:16 83:7 94:13 110:16</p> <p>engineering/process 82:15</p> <p>engineers 9:21 10:15 11:11 13:7 23:3</p>
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

enriched 106:13	expand 84:16	19 72:2,8,9 73:24,25 74:1,2,6,7,8,10
entering 48:7 51:12,15 89:14 91:9	expect 88:8,20,23 134:21,22 135:11,16 136:1	75:10,11,12,13 78:12 79:17 81:6
enters 48:1,4 52:18 61:7 90:16 91:2 93:2	expected 22:12,13	83:15 88:18 89:21 98:20 101:1,13
entirety 112:18	expenditures 80:10,16	102:9,12,13,21,23,25 103:7,10
entitled 77:18 96:14	expensive 81:10 83:2	104:14 105:3,5,12,20,22 106:23,25
entropic 130:11,15	experience 16:10 89:4 94:6,10,16,23 95:5,8	110:9 126:16 134:20 135:16 136:1
equal 37:4	experimental 8:5,13	figures 67:2 84:8,10 124:17
equilibrium 36:15 42:25 131:3,20 132:4,10,14,15,19 133:5,9,13,20,21	explain 94:5 100:18 122:16 133:23	filed 5:13
equipment 9:15,17 10:18 80:9	explained 39:24	finally 14:19
erection 49:9	explains 98:3	find 29:11,12 106:7,9 124:18
Ericsson 8:2	explanation 62:9,13	fine 17:13
error 62:16,24	explicitly 67:12 103:20	finished 7:14,15 68:9
established 133:13	export 84:21	finishes 31:20
estimate 86:25 89:7	exporting 83:16	firing 15:8 32:8,19 36:12
estimation 89:3	expressed 129:25	fixed 24:9 50:14
ethane 35:22	external 32:8,19	flame 32:25 38:7,11 39:23 40:2 41:6,17 42:8,17
Euro 26:10	extra 85:24	flames 32:20
Europe 19:21 118:14		flow 46:2 47:8 48:11,22 49:1,3,7,8,12,16 50:10,11,12,19,21 51:10,11,14,16,22,23 52:10,16,17,19 67:12 112:5 134:22,23,25 135:2,16,18 136:1,3,14
evaluate 29:20 123:22,24	<hr/> F <hr/>	flowing 48:24 50:17,20
evaluation 84:2 125:17	fact 53:25	flows 48:14
exact 27:4 80:15 124:17 128:10	factor 43:20	flue 19:15
EXAMINATION 4:3 121:17	fair 4:15,16 52:12 66:6 86:7	follow 69:6
examples 68:21,24 69:2,15,22 84:5 95:2 96:3	familiar 5:8 59:18	food 93:22
exceptions 54:15 55:1,11 116:16	fast 132:13	force 64:12,13 129:23,25 130:3,5,10,11,12,15,17,19 131:1,2,19
excess 122:24	faster 133:9	forgive 28:23
exchangers 9:15	favorable 82:10	form 35:2 43:18 48:23 49:23 52:1 54:2,10 61:22 70:25 73:16 76:12 80:5 81:17 85:15 86:15 87:17 88:11 89:1 93:13 101:9 102:4,17 103:3 104:9 111:3 112:20 114:17 115:9 116:3 119:20 126:3,9,23 127:3,9 128:17,25 131:20
exhibit 5:23 30:21 57:6,13 70:8,13 74:21,24 75:8 99:11 134:1	feed 37:12 55:24 89:14,15 92:17 109:9 112:8 128:12 131:11,16	formed 129:3,21 131:12
exhibits 54:12 123:23	feedstock 21:13 33:15,19 39:17 48:1 51:24,25 52:3 56:11 74:18 110:8	fossil-based 19:12
existing 12:9,19	feedstocks 33:12,14,23,25 34:6 35:6	free 4:18,22 130:6
exit 48:8,12,13,16,18 122:8,11 127:14 128:8,13,16,23 129:1,2,8 137:4,6	feel 4:18,22	freeze 120:5
exiting 51:10,22 79:19 135:19 136:4	fertilizer 21:1,4	freezing 19:7
exits 48:4	field 54:17,25 94:4 95:8	French 36:21
exothermic 40:9,11,22 130:24	figure 56:18 57:16 58:2,17 60:1,2 63:5 65:8,10,18,22 66:7,12,15,19,23 67:14,16 68:10,17,21 69:12 70:16,17,	

fulfill 131:20
fulfilling 23:2
full 30:1,7 40:6,24 41:14 53:14
fund 23:17,21
fundamental 25:8
funded 26:5,16
funded/20 26:3
funding 25:6
funny 22:19
future 11:16 93:23

G

G-I-B-B-S 130:8
gas 8:2 9:3,6 10:3 16:6,20 17:25
 18:20 19:15 20:18 21:12 32:24 33:16
 34:7,8,15 35:8,14,19,21 38:13,18
 39:1 44:11 45:8,11 46:2,18,20 47:8,
 11,16,21 48:11,14,21 49:20,24 51:17
 52:4,18 55:21 58:4 61:6,7,8,9,10
 72:24,25 73:1,3,10,11,13 74:12,17
 76:3 78:17 79:15 81:25 83:3 86:1,23
 87:25 88:2 89:16 90:8 92:18 94:12,
 18,22 95:20,22 96:9,11,14,15 99:5,21
 100:4 106:12,14 107:3,4,10,15
 108:11,13,25 109:2,4,8,9,11,16,20
 110:8,24 111:11,20,21,24,25 112:4,6,
 8,10,12,16,23 113:6,11,22 114:13
 115:4,16 118:6,8 122:8,11 127:15
 128:8,12,16,23 129:1,2,8 132:12
gas-fired 19:14
gas-heated 31:5 32:21 74:14
gas-to-liquid 55:19
gaseous 126:9,23
gases 17:20,22 18:1,12 32:19 34:24
 35:3,4,6 46:22,25 87:20 95:23 98:12
 99:19
gave 50:14
Geka 29:6
general 32:24 87:25 93:14 124:18
generally 34:17 35:17 44:1 47:20
 85:9 93:10
generate 40:23 44:3 50:3,5 84:11
 131:8
generates 40:7

generic 114:3
German 6:16 7:15 28:9
Germany 6:14,23 7:5,13 23:14
 24:14,19
GHR 31:3,10 32:4,10,22 33:2,7,9,13
 34:18,21 35:12,15 36:4 37:7 43:14
 44:1,15,19 45:12,13,22,23 46:3,5,11,
 18,20,25 48:2,4,12,18 49:3,4 51:12,
 17 52:4,18 74:18 95:1 107:6 108:3
 110:17 128:2 132:8,21
GHRs 35:9 46:8
Gibbs 130:6
give 14:5 29:5 73:8 86:12 91:19
good 4:5,7 21:19,23 22:7 30:9 31:24
 86:10 108:14 121:1
Gotcha 27:15
government 28:9,13
graduate 27:7,11 94:12
graduated 27:14
graduation 95:9
grant 24:24,25 25:1
grants 23:17,21 24:12 25:9,14
gray 19:1
great 96:20
greater 37:5 51:23
green 28:16
grid 109:2,4,17,18
ground 4:11
group 8:24 25:4 27:25
groups 29:3 119:11
grow 24:11 25:3 26:22,25 27:1 28:22
growing 27:3
growth 27:16,21
guess 58:16 75:10

H

H2 29:6 115:15 122:13 127:16
half 23:5
hand 5:21 29:18 41:13 92:9

happening 37:20 76:1
happy 21:22 121:3
HARALD 4:1
head 99:22 100:11
headed 104:3
header 54:21
heard 43:9
heat 8:4,5 9:15 32:6,8,18,20,23 38:17
 74:9 75:20,22 76:1 84:11
heated 38:19
Hey 12:15 25:17 29:23
high 31:3 40:7,12,14 41:9 43:6 47:22
 55:17 60:6 61:1,3,8 63:25 64:2,3
 79:18,20,22 82:3,6 83:4 85:16 86:8
 90:10 93:11,16,21 98:23,25 104:10,
 19,25 109:15,16 110:12 112:11
 113:18,22 114:8,9,20 115:20 116:1,5,
 15 117:17 118:2 124:5,25 125:11
 131:18
high-enough 41:1 113:23 133:4
high-pressure 84:21,23 92:17
 109:4
high-volatile 82:20 83:17
higher 33:6,17 35:22 37:1 39:9,10,15
 43:1,6,10,13,14 48:12,17 49:2,7,8,12,
 13,14 50:21 51:11,14,18,19 52:10,17
 69:5,16,23 83:22 84:11 108:2,17,19
 109:12,13 110:11,21 125:16 135:1,2,
 6,13 136:15
highest 107:17 118:16
highly 40:22 89:9
hire 25:3,25
hiring 23:3
hit 31:19
home 137:8
hot 32:24 38:12 76:3
hotter 44:15
hour 45:4 120:25
hundred 136:7,8
hunt 72:19
hydrocarbons 35:22
hydrogen 9:2,5,8 10:2,3 13:5 14:24
 15:2 16:5,19 18:15 19:1,9,10 20:12,

22 21:6 27:19,20,23,25 28:5,15,16,17
29:7 33:15 35:5,25 36:7 37:3,7,18
39:19,20,21 40:1,19,21 43:25 44:3
46:8 50:9 52:7,25 53:3 55:5,15 56:10,
25 57:3 58:18 59:15 61:18 62:4,7,11,
25 63:12,17 64:9 66:4,17,20,24 67:4,
10,25 68:8,12,15,19,22 69:9 72:5,9,
14 73:23,24 74:6,8 78:24 79:1,2,5,19
80:24 81:1 83:12 85:4 86:4 88:14,15
92:3 97:6,19 98:14,17,21 101:4,6,17,
25 102:13,14,24 103:1,11 105:6,25
106:13 109:6 113:8,16 115:11,15,25
116:5,9,14,19,21,25 117:3,6,10,12,
15,17,19,21,22,24 118:1,2,5,8,20,22
119:2 122:4,19 123:3 124:8,10,25
125:2,3,6,11,15,25 126:16 129:18
131:9,13,21 134:22 135:3,19 136:3,6,
9,14 137:2

hydrogen-co 9:9

hydrogen-depleted 63:22 79:12,23

hydrogen-related 28:1,21

hydrogen-rich 35:4 61:10 71:19,21
129:24

hydrogens 34:2,3

I

idea 8:3 19:6 25:17 29:19 121:10

ideal 47:21 136:5

ideas 19:12 29:20

identify 59:10

impact 36:5 43:15 44:7 45:22 89:22
90:7 112:17 113:2,6,11 114:14,24
115:5,11,17

impacts 112:16,21,24 114:21 115:21

implement 56:25

implemented 72:10

imply 117:18

import 84:25

important 17:18 22:23 28:10 42:20
55:3 111:21 112:1,7

imported 85:10

impossible 116:12

include 34:7 68:22,25 101:2,15,23
102:9 103:8 111:24 112:4 128:4

included 94:23

includes 59:8 66:16

including 107:7

income 27:10

incorrect 62:21 63:3

increase 40:11,25 47:13,19,20 49:21
50:10,15,24 51:3,5 56:14

increased 45:21

increases 47:12

increasing 50:15

independent 46:3

industrial 25:10 29:12,13 87:16

industry 26:4,5 29:4 93:22

information 106:10

initial 80:8 81:11

initially 9:22 131:12

inlet 38:10 41:2 44:9,19 46:24 49:4
50:6,22 76:5 81:24 82:7

innovative 18:19

input 79:13 81:16

inputs 35:18

inside 32:17

install 92:4,6 109:8

instance 21:5 61:2 80:17 124:22

instituted 5:16

instruct 77:9

Instrumentation 10:23

intake 27:11

integration 74:9

intended 11:6

interest 25:12

interesting 29:24

internal 8:3

international 70:9 75:3

interrupt 120:21

invest 125:12

investigations 7:21 8:7

investment 124:16

involve 9:12 17:16 18:13,22

involved 9:23 10:2,4,20 11:20,23
13:22 25:11 110:15

IPR 5:3,13,16,19 30:22 31:14

issue 77:21

issues 62:22

J

job 10:15 11:18 12:7,10 15:10 21:23
31:24

jobs 11:19

judge 123:24 125:14

judgment 124:19

judgments 125:10

just-created 40:21

K

keeping 113:17

kicks 131:8

kind 4:10 31:24 90:1 120:11,25

kinds 85:12

kinetics 133:7

Klein 4:1,5 5:2,21 30:17 45:7 53:9
70:6,9 72:15 76:19 94:1 95:15 103:16
106:4 121:19 136:22

knew 134:13

knocking 61:6

knocks 65:13

knowledge 87:5

L

lab 28:20

labeled 67:14 72:21 73:5,11 75:2
99:13

labeling 74:6

laboratories 8:14 119:11

laboratory-scale 8:15

language 23:9 99:17

large 18:21 118:12 119:6,8

large-scale 98:16 118:12,25

larger 134:23	location 59:23 84:25	master's 7:9,14,15 94:13
lateral 25:20	long 132:18	masters 23:3
latest 110:15	lose 92:9,11 124:25	matrix 13:13
law 36:25 47:21 125:8 129:16 130:2	losses 107:19	matter 26:12 42:6 45:25 87:24 131:14,18 136:11
layout 10:23	lot 8:4 21:20 22:20 24:18 27:24 52:7 82:16 120:10	mature 118:14 120:12
Le 36:20	lots 8:6 119:10 131:9	meaning 96:15 132:12
lead 13:8 16:12	loud 4:14	means 17:23 46:16 59:17 68:5 93:3 111:13
leading 14:1 69:4	loved 14:3 21:23	meant 122:16
leave 55:15	low 60:7 61:15 64:1,4,5 79:24,25 104:20,21,24 112:22 116:10,22 117:3,12,15,19,22,24	mechanical 6:12
leaves 54:5	low-pressure 82:22 83:1,10,13 84:6,12	medicine 7:1
leaving 45:8	lower 33:7 35:16 44:10 45:15,25 46:2,24 47:2 48:22 49:13,15 64:16, 20,23 85:1 87:10,13 125:7	membrane 63:14,21 64:8,11,12,23 66:3 67:19,22,24 68:2,3,6,15,18,22 69:1,3,4,7 71:12 81:10,22,24 82:7 97:7 98:20 99:7 101:6 102:14,24 113:17,20,24 114:6,19 115:14,19 117:11,15,23 118:3,17 119:1 123:4 124:1,4,24
left 20:15 27:13 38:4 42:23 43:20 130:13 131:10	lowest 88:7	membrane-based 118:22
leftover 38:13	lucky 24:8	membranes 97:6,12,13 99:25 100:19,24 120:1,15
level 31:3 47:6 79:22 81:23 94:3,5,14 118:14,15	lump 11:9	mentioned 8:10 17:7 20:3 33:8 75:17
levels 35:22	luncheon 95:13	mentions 100:12,23 103:21
life 21:20		methane 15:15 35:18,20,21,24 36:5, 10 37:2,5,12,13,15,17 38:1,4,13,20 39:18,21,25 40:4 41:5,7,8,12,14,16, 19 42:23 43:2,3,4,5,20,21 50:8 51:24 52:4,8 55:25 56:10 75:16 76:4 86:5 90:8,9 92:2 94:10 95:2 105:25 122:25 131:7,10
lift 109:7		methanol 9:9 10:5 17:18 18:9 72:10, 13 96:23 100:13
limit 111:6,8,9,10,13,15,17,19,23 112:3 119:7	<hr/> M <hr/>	method 68:19 96:10 100:5
limited 59:5	machine 8:17	mid 19:3,18
limits 112:12	Madison 7:10	mid-40s 23:24
Linde 8:23 9:4 19:24 21:23 22:11,16 28:18	magnitude 26:13 47:5 81:13	middle 120:22
Linde-boc 12:25	main 12:7 37:16 39:25 40:13,25 42:2, 14 43:19 50:24 90:5 91:24	millibar 47:7
lines 59:1 72:13 97:22 100:22	maintain 48:21	million 26:10 29:10
linked 109:3	major 51:7	mind 8:1 42:15 117:25
liquefied 103:22 127:5	make 4:11 15:10 20:10 28:3 31:19 43:9 56:7 60:22 72:19 89:18 92:8,23 135:15	minimize 107:19,21
liquefy 126:10 127:7	manager 14:4 16:4,18 18:18 23:1	minimum 87:14,19,25 88:22 130:22
liquid 96:23 97:13 100:13,24	manufactured 29:8	
Liquide 30:25	March 27:5,6	
list 27:17 29:1 30:1 106:20	marked 5:23 57:5 70:7	
listed 16:2 62:18	mass 48:11,21,25 49:1,3,7,8,12,16, 22 112:5 134:22,23,25 135:2,16,18 136:1,3	
listing 62:24 94:20	massive 127:1	
lists 97:18		
liter 8:18,19		
literally 73:6		
loaded 60:8 81:19		

ministries 24:19 28:13
ministry 28:9,10,11
minor 47:6 51:7 79:21 90:7
minus 86:16,18,24,25 97:4
misleading 117:16
missing 74:8
mistakes 30:4
misusing 83:22
mix 46:23
mixed 33:16 46:20,25
mixture 95:24
mixtures 34:1
modeling 102:22 103:8 123:10
models 8:11
modern 89:6
modified 103:10
module 75:24 76:2
molar 50:10,11
molecular 125:7
molecule 62:5 125:7
molecules 50:6 51:3,4 95:22 96:11 99:21 131:5
mols 50:25 51:1 130:13
moment 17:7 73:22 78:2 101:22 131:11
moments 123:2
money 25:5,25
monoxide 18:16 35:25 36:6 37:3,6, 17 56:14
months 13:15 16:16,17
morning 4:5,7 96:15,25
motivation 22:24 23:11
mouth 52:24
move 131:25
Munich 13:15 16:1 22:18

N

naked 133:6,8

naphtha 34:8,12,13,15,17,20
natural 10:3 33:15 34:7,15 35:14,19, 20 72:25 73:1,3,10,11,13 74:12,17 81:25 83:3 89:16 90:8 107:3,4,10,15 108:11,13,25 109:2,4,8,9,11,16,20 110:8,24 111:11,20,24,25 112:4,5,8, 15,23 113:5,10,22 114:13 115:4,16
necessarily 54:7 94:23
necessity 19:17
needed 85:6
net 39:12
NG 73:7
nickel 34:14 38:9
nickel-based 33:11
nods 99:22 100:11
non-heated 41:2
nonacid 96:11
notice 62:20
notify 29:24
nowadays 26:6
number 5:10 29:9 41:22 42:1 107:10 109:21 128:10 130:12
numbered 72:14

O

Object 87:17
objection 35:1 43:17 48:23 49:23 52:1 54:2,9 61:21 70:24 73:15 76:11 80:5 81:17 85:15 86:15 88:10,25 93:12,18 101:8 102:3,16 103:2 104:8 111:2 112:19 114:17 115:8 116:2 119:19 126:2 127:2,8 128:17,24
obtain 23:17
obtained 6:4
obtaining 23:20
obvious 124:23
occasions 22:2
offer 7:6
office 13:2,7 22:12
Oklahoma 13:3
on-site 10:24 12:1

one- 92:4
one-to-one 25:20
open 24:8 32:20,25 38:7 40:2 54:5
operate 81:15 92:13
operates 97:22 118:21
operating 33:1,5,6,17 35:11,15 36:3, 4 39:6,8,14,16 45:7 82:11
operation 48:25 118:25
operational 11:10,15
Opex 83:5 125:5
opportunity 73:8
option 117:25 119:25 120:2
options 94:21 117:5,9,20 124:19,20
order 26:12 45:18 47:5 81:13 123:18
ordinary 53:23
original 114:12
outlet 42:6,12,19,22 43:6,7,11,13,15, 19,21 44:5,10,11,15,18 45:11,14,21, 23,24 46:1,14,19 49:4 50:1,2,21 52:10,16 76:3 89:25 118:6
outline 29:18
output 56:15 115:25 116:9,21 117:2, 11,21
outputs 63:15 117:19
overhead 25:22
oxidation 17:5,9 37:17 38:1 40:6,10, 15,24 94:11
Oxide 55:22
oxidize 41:12
Oxo 55:20,23
oxygen 37:12,13,14,15,22,23 38:2,4 40:1,4,20,22 41:11,14,17,24 42:10 49:3,6,16 51:17,25 52:19
oxygen-free 38:13

P

p-e-r-m-e-a-t-e 64:10
p.m. 137:9
pages 29:18 30:10 103:19 127:14
paired 46:5

<p>palladium 63:13 66:2,19,23 67:3,19, 22,24 68:15,18,22,25 69:3,4,7 81:9, 21 97:7 98:20 99:7 101:6 102:14,24 113:17 114:19 118:21 123:4</p> <p>paragraph 53:11,13 54:16,24 58:25 65:1,6 73:17 86:18 94:7 95:19,23 97:5,11,14,15,17 98:3 103:17 106:6, 8,11,12,15 121:20 127:13 134:5,14 136:23</p> <p>paragraphs 134:8</p> <p>parallel 20:24 31:9 44:23 46:11,15, 16,17</p> <p>parameter 42:14 112:2 132:22,24</p> <p>parameters 90:6 128:15,22 129:7</p> <p>part 9:4 37:20,25 38:19 39:4 40:4,20 41:18 42:2 46:18 75:22 89:17 122:4,6 134:9</p> <p>partial 17:5,9 37:25 91:25 92:5 94:11</p> <p>partially 37:23 41:12</p> <p>particles 51:2</p> <p>partner 29:15</p> <p>partners 25:10 29:11,12,13</p> <p>pass 121:4,11 125:21 136:17</p> <p>passed 132:12</p> <p>past 76:24 78:3</p> <p>patent 30:15,18,25 31:8 44:18 45:19 47:24 54:12 55:6 56:18 123:22 128:1</p> <p>pause 95:11</p> <p>pays 25:21</p> <p>pending 4:24</p> <p>people 19:5 22:3,21 23:9</p> <p>percent 26:3,5 88:13,14,15,21 90:8, 9,18 136:7,8,9</p> <p>percentage 92:25 93:3,4 106:16</p> <p>perform 38:22 101:10,20 102:5,18, 22 103:4,13 123:16,18</p> <p>performed 123:9</p> <p>period 11:21 12:24 13:2,14</p> <p>permeable 119:25</p> <p>permeate 64:9 71:20</p> <p>permeates 64:10,11</p> <p>person 16:11 53:23 59:19 86:19</p>	<p>87:21 89:6 94:6,8 125:2 133:2</p> <p>petition 30:22 31:14 44:24</p> <p>petitions 5:3,6,13,16,19,22</p> <p>Ph.d. 7:17 8:23 25:2 27:6</p> <p>Ph.d.s 23:4</p> <p>phase 10:16</p> <p>PHILLIPS 31:18,23 35:1 43:17 48:23 49:23 52:1 54:2,9 61:21 70:3,24 73:15 76:11 77:8,16 80:5 81:17 85:15 86:15 87:17 88:10,25 93:12,18 101:8 102:3,16 103:2 104:8 111:2 112:19 114:17 115:8 116:2 119:19 120:19,24 121:6,14,18 125:21 126:2 127:2,8 128:17,24 136:19,21</p> <p>philosophy 19:20</p> <p>phonetic 29:6 130:16</p> <p>phrase 34:5 117:5,14</p> <p>physical 8:11 17:14,17 18:7,10 20:5 96:23 120:14 125:8</p> <p>picture 20:10 39:5 61:9</p> <p>piece 115:2</p> <p>pilot 118:19 133:18</p> <p>pipeline 112:11</p> <p>piston 8:17</p> <p>place 28:20 32:12,16 38:16 55:3 56:2,4,22 57:23,25 70:22 74:16 76:10,13,15 129:2</p> <p>plan 89:15</p> <p>planned 76:24 78:4</p> <p>plans 27:16 133:18</p> <p>plant 9:21,24 10:1,19 11:3,4,9,15 12:3 14:3 19:9 20:12,23,25 21:7,9,13 43:25 52:25 72:11,13,14 73:23,25 83:12,14,16 109:1,3,6 110:23,25 111:1,4,14,22 112:10,13,18,22,24 118:20 137:2</p> <p>plants 9:3,6 10:3,4,5,10 11:20,24 12:7,9,18,19,25 13:5,10,19 14:12,23 15:2,5 16:6,20 18:24 19:13,14,15 21:4 27:19,20 84:3 118:13</p> <p>play 19:24</p> <p>plays 60:11</p> <p>plotted 27:12</p>	<p>plugs 99:4</p> <p>point 37:21 52:12 82:18 85:23 87:18 109:14 120:20 134:3,15 135:24</p> <p>polar 62:5</p> <p>portion 38:5 41:5,19,25</p> <p>POSA 53:15,21,24 54:1 59:10 88:8 94:16 95:4 103:23 121:24 125:16</p> <p>POSAS 54:3</p> <p>POSITA 88:8</p> <p>position 13:25 59:11</p> <p>positions 24:9</p> <p>positive 124:1</p> <p>possibility 54:6</p> <p>power 19:12,13,14,15</p> <p>POX 17:10,12,13,22 18:5 20:6 37:24, 25 38:7 40:9,15,18</p> <p>Praxair 13:6,10 14:20</p> <p>pre-reformed 72:24 73:4,11,12</p> <p>pre-reformer 73:2 107:7 108:5</p> <p>precise 7:3 12:21 14:8</p> <p>precommissioning 13:19,20 14:18</p> <p>preferably 17:21,25 18:3 60:6</p> <p>preferred 18:6</p> <p>presence 129:7</p> <p>present 53:5 54:7,11 55:13 128:8 130:23</p> <p>pressure 45:11,14,15,23,24 46:1,24 47:2,4,5,6,13,20,22 48:10,11,13,22 49:13,15,21 50:16 51:6 60:7,8,11 61:1,4,8,11,13,14,15 63:25 64:1,2,4, 5,13,16,20,23 65:2 69:5,16,24 79:16, 18,20,21,22,24,25 81:23 82:1,2,6 83:3 85:16,20,24 86:17,22 87:4,14, 19,22 88:1,5,7,22 90:15 91:7 98:5,23, 25 103:22 104:5,10,20,21,24,25 105:9,11,15,19,21 106:1,23,24 107:11,15,17,19,20,22,25 108:2,8,11, 16,17,18,21,24 109:5,9,12,13,22,25 110:4,7,17,24 111:11,24 112:6,15,22 113:5,10,18,22,23 114:8,13,20 115:3, 15,21 116:1,6,10,15,22 117:3,12,16, 17,19,22,24 118:2 124:5,10,25 125:1, 11,25 126:9,13</p> <p>pressure-swing 14:25 58:21 59:3, 14,16,22,25 60:13,17,19 61:17,19</p>
-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

98:8	prompted 21:18	quick 31:18 45:4 70:2 121:8
pressures 45:8 46:14 60:6,23 65:4 85:12 86:13 87:6 108:13	properties 95:21 99:21	
pretreated 73:6,10,12 74:12	proportion 37:5 39:18,20	<hr/> R <hr/>
pretty 31:24 77:13 124:14	proposal 24:23 30:1,8	range 33:18,22,25 47:7 79:8,9 82:10 86:16 87:11,13 106:2,25 107:1 108:10
previous 65:18	proposals 27:9	ranges 86:12
previously 126:19	proposed 103:11	rate 48:11,22 49:1,3,7,8,12 50:10,11, 12,21 51:10,11,14,22 52:10,16,18,19 90:22 92:21,24 112:5,11 134:22,23, 25 135:2,17,18 136:1,3,6,7,14
price 83:22 84:15,22 85:1	pros 124:19	rates 50:19 51:16,24
primary 35:23	prototypes 8:11	ratio 41:15 42:16 90:5 128:13 135:23
principle 36:19,20 62:1 64:7 90:19 116:14	proven 118:11 119:18	RE-EXAMINATION 125:23 136:20
principles 99:24 119:10,12 120:11	PSA 14:25 20:2,15 21:9 58:19,20 59:3 66:17 67:3,10,18,22,25 68:1,3,7, 11 69:9,12 71:24 72:5 78:20,22,24 79:5,15 80:22 85:4 94:20 97:18,22 101:5,18,25 102:13 103:1,11 115:10, 22,24 116:5,8,14,18,20 117:1,6,10, 14,16,19 118:1,3,13 119:1,21,22,24 120:14 123:3 124:2,10 125:12 126:18 135:19 136:4,6,9	reach 42:7 78:18 132:13,19
proceed 36:16	PTAB 77:12	reached 133:21
proceeded 13:16	public 25:6,14 26:3	reactants 36:17
proceeding 5:7 31:1	public-funded 24:16	reacted 122:22,23
proceedings 137:9	publication 6:1 57:9 70:9 75:3	reacting 49:24
process 6:7,10,22 7:4,16 8:2 9:2,7,8, 11,13,14,16,19,20,21 10:9,12,15,17 12:11,25 13:8,12 14:23 16:5,19,21 18:8,16,18,20 23:1 24:5 34:13 58:9 59:24 61:17,20 63:21 64:8,9 86:9 87:16 91:12,18,19 94:11 98:12,16 101:11 104:17 113:7,12,15,16,25 114:15,18 118:2,11,13,17 122:5 123:24 125:18 128:11	publications 27:17 28:1	reaction 32:10,13,14 35:24 36:10, 11,15,19,23 37:16 38:16,23,24,25 39:25 40:3,6,8,23 41:21 42:24 50:8 53:17 54:6 55:2,7,9,10,12 56:2,3,22 57:1,23,24 63:9 70:22 74:15 76:10,13 107:8 122:1,18,19,21 129:11,13,14, 19,22,23 130:4,5,7,14,18,23,24 131:4,5,7,17 132:25 133:1,6,9,10,11, 12,19,20,25
processed 33:13 34:11,12,18,20,24 35:8	published 29:2	reactions 32:11,16 40:10,24 41:7,20 50:4 132:17 133:7
processes 17:15,22 20:1 58:12 87:3,8 107:2,5 108:14 113:3 116:20 117:1,2,14 118:3 119:1	pure 10:2 35:21 92:8	reactive 40:20
produce 10:1 55:14 56:10 106:12	purity 90:22 91:18,21 92:22 93:6,11, 16,21 114:11	reactor 15:8,11,12,20,23 20:6 31:4 44:1,8,9 45:1 53:1,4 56:18,24 57:20 63:6 76:6,14 95:24 108:1 119:3 128:5
produced 134:22,24	purpose 78:14,16	reactors 9:16 15:4
producing 10:3,5 21:1 52:25 56:25	push 131:21,22	reacts 21:10 37:13 40:4,21
product 9:5 20:22 53:3 57:3 122:20	put 24:20 52:24 54:13 56:5 63:2 85:4 94:19 109:25	readiness 118:15
production 27:20 43:25 46:9 55:5 72:10 83:12 101:4,17 118:13,20 122:4 126:4 128:16,22 129:1,2 137:2	<hr/> Q <hr/>	reads 67:24
products 36:18 122:18	qualified 54:1	Real 31:18
professor 8:1 23:22,23	Questel 74:24	reality 114:5,8
programs 24:3,6	question 4:18,24,25 12:6 14:8 18:23 21:19 22:13 31:20 34:5,23 39:22 41:5 47:15 52:14 53:21 82:25 83:6 84:13 110:13 114:13 116:24 117:4,13 123:17 128:19 132:17 136:22	realizations 119:13
project 9:20 11:2 24:23 26:1 29:22 30:1	questions 4:4,14 121:7,18 123:3,14 125:24 136:21	realized 32:9 118:12,19,25
projects 23:6 27:23 28:5,21		reason 28:22 48:14

reasons 21:3	67:3,9 68:5 69:11 80:21 81:22 84:3,5, 18,20 95:16,20 98:3 99:6,19 100:8 103:20 113:15,20 114:7 118:18	residence 132:20
recall 5:9 14:22 15:5 123:1 126:20		residual 79:15 86:23
recap 66:15		resistance 131:25 132:1
recess 45:5 70:4 95:13 121:16	Reinertsen's 58:2,16 62:10 63:4,5 69:14 72:3 81:5 98:19 101:1 102:8,21	responsible 11:13 13:25 16:21,23
recognize 5:24 30:24	relate 27:19	result 91:20
record 30:18 57:8 70:8 95:12	related 8:1 25:7	retained 5:2
recovery 90:19,22 91:17,21 92:21, 24 114:11 136:6,7	relates 50:12	retentate 63:20,21 65:2,7 71:11
recross 121:12	relating 123:3	retire 27:13
reduce 109:24 110:3	relationship 133:24	retired 16:9
reduced 96:24 97:4 100:13	relative 39:13 102:12	retirement 27:5
refer 15:22 17:10 53:8 57:4 58:24 64:25 65:22 66:18 67:13 68:17 70:7, 16 72:20 73:17 74:20 75:10 95:16 99:10 103:15 106:11	relativity 12:24	retrofitting 12:8 19:12
reference 57:5,9,12,17 70:7,12 72:3 74:21,22 75:6 95:16 99:11 104:14 108:9	releasing 19:1	reveal 86:21
referenced 57:13	reliability 101:25 102:11,19	reverse 56:3
references 123:23	rely 96:22	reviewed 99:18
referred 70:13 75:7 100:14	remaining 43:21 67:21	rich 82:13
referring 58:15 63:4 69:18 73:18,21 74:2 102:8 103:7 104:13 106:5 122:5 127:12	remember 20:4 123:6,11,12	right-hand 59:1 130:13 131:17,22 132:2
refers 73:6 111:10	removal 58:7,18 60:4 61:18 62:12,25 80:12,13	rigorously 130:1
refinery 34:7,23,24 35:3,5,8	removed 93:1	Robinson 4:4 32:2 35:7 43:23 45:6 49:11 51:9 52:5 54:4,19 56:6 62:8 70:1,5 71:1 73:20 76:16 77:12,17,23 80:7 83:11 86:6 87:1 88:3,16 89:8 93:15,25 95:10,14 101:12 102:7,20 103:6 104:12 111:7 113:1 114:23 115:13 116:7 120:16,23 121:3,11 123:2 125:24 126:6 127:4,11 128:20 129:4 136:17 137:8
reflux 92:14	report 73:18 74:1 86:18 106:20 121:20 136:24	role 16:2 18:19 19:25 23:2 60:11
reformed 52:8 73:14 86:5	reporter 4:12 28:7 32:1 51:1 55:22	room 11:11
reformer 15:6,7,13,14,15 18:1,2 31:5,7 32:6,21 44:2 56:1,11,15 74:10, 14 75:16 76:4 89:24 90:4 94:10 107:6 109:19 132:11,13,24	reporter's 15:10	roughly 7:18 61:13 135:18,25
reformers 17:21 32:16 44:6 53:1,6 82:2 95:6 110:7 113:3	request 30:5	round 107:10
reforming 17:4,6 32:7,13 34:15,16 38:15,23,24 39:2 50:8 53:16 54:7 74:15 95:2 121:25 122:6,10,11,19 127:15,17,19,22,23 128:1,4,8 129:12, 14,18,22 133:1,3,16 137:1,5	requests 28:24	routes 18:20
regenerate 60:8 81:19 84:20	require 81:16,18 91:22 92:15 112:23 113:21 132:10	rule 116:17
regeneration 8:4,5 82:21 83:19,23 85:2 119:24	required 21:1 23:14 35:12 82:8 83:18 85:1,13 104:11 124:21 125:14	rules 4:11 77:12
Reinertsen 57:5,9,12,17,23 58:20, 25 59:8,19 62:17,21 65:1,23 66:8,16	requirement 93:22	run 11:15 82:9
	requires 36:12 90:15 91:1,7	running 11:5 12:2,13 31:25 133:18
	res 20:18	Rytter 70:7,12 72:2,21 73:21 74:3 99:11 100:17 101:22 104:13 106:11, 15 107:2,6,23 108:1,3,4,7,8,18 113:15
	research 23:15,18,21 24:1,7,9,16,22 25:6,8,20 26:4,9 27:25 28:8,9,24 29:1,3,7,15,16,19,20,23 30:6,10 119:10,11 120:6,10	Rytter's 70:18 72:8 73:24,25 101:13 103:7,9 105:2,12,22 106:25 110:6,9
	researchers 26:1,15,16	

S		
samples 133:17	119:9,15,16 120:11 123:4,5,6 124:2,4,7,13,24 126:17	size 25:4
saves 124:5	series 31:9,15,16 44:20,21,25 45:13,17,18 47:23 52:2 123:2	sketch 29:18,23 30:9
scale 18:21 118:12,19 119:6,8 133:18	set 28:14 29:10	sketches 29:20
scanning 103:19	setup 8:13 44:17	skill 53:23 94:3,6,8
scavenger 72:19	sheet 67:13	skilled 86:19 87:21 89:6 125:3 133:3
scenarios 137:3	sheets 9:17	skims 25:23
schools 6:23 7:6	shift 15:8,20 32:14 38:16,23 39:3 44:16 53:4,17 54:6 55:2,7,10,12,16 56:1,3,21 57:1,19,22,24 63:8 70:20,21 74:15 76:6,8,9,13,14 80:20 84:9 94:11 95:24 107:8 108:1 118:7 122:1,21 129:11,13 133:7	slight 51:6
screw 30:12,13	shifted 21:12 58:4 61:5,7 78:17 86:5 106:14 118:8	slightly 45:15 49:15 108:2,17 126:13
scrubbing 17:19	short 12:24 39:24	slip 43:4,5
Second-to-last 53:14	show 67:12 72:8 84:7 134:5	slowed 27:11
segment 121:10	showing 101:3,16	slower 43:5
select 90:2 113:3	shown 45:18 53:17 80:21 102:11 108:5 122:1	SMR 15:15,17,20 17:6 18:2 19:9 20:7,11 32:4,5,15,18 33:2,5,9,13,18,19,22 34:11,12,14,25 35:13,17,24 36:4,13 37:5,10 38:9 39:8,16,21 41:21 43:8,10,24,25 44:10,11 45:8 75:15 76:15,17 95:1 108:4 109:19,23 110:1 127:20 132:9
selected 29:23 68:7,18	shows 68:8 72:9 75:2	SMRS 35:6
selection 114:21	side 32:10,17 64:23 130:13,18 131:17,22 132:3,16	sold 13:5
selective 63:13 66:2 120:15	significantly 53:25 97:23	solid 97:12 100:23
sell 83:21,24 84:23	similar 24:19 72:3 73:24 99:18 102:25	solution 18:6
sense 23:13	similarly 100:3,8,17,23	solutions 96:17 100:9
sentence 18:17 53:14,18 95:22 96:21 97:15 98:2 103:19,25 121:23 122:5,17	simple 127:20	solvent 17:19 18:8 62:3,6 71:7 81:20
sentences 122:8 127:14	simpler 4:12	solvents 18:4,7 120:13
separate 24:15 60:21 78:17 96:10 97:6 117:21 119:22	simplified 37:19 39:5	sort 17:6
separated 21:6,8 85:21 86:4,14 87:2 95:21 99:19 104:14	simulating 91:18	sound 5:7
separates 58:5 65:13 98:17	simulations 8:8,10 9:13,23 10:17 101:2,16,23 102:10	sounds 10:6 29:24
separating 14:24 18:15 97:19 118:1,4,7 119:2,14	sir 4:8 5:24 6:3 18:22 53:19 57:14 65:16	sour 61:25 96:15
separation 9:15 14:23 18:12,14 27:19 58:9 59:15 63:13 65:24 66:4,9,10,16,17,20,21,24,25 67:4,7,10,11 68:1,8,11,12,15,19,23 69:5,6,10,11,13,16,23 71:3,4,22 72:5,6 78:24 79:1,3,5,7 80:23,24 81:2,8,9,15,21 82:9 85:4,5,6,14 86:11 87:7,16 88:9,24 89:17 90:14,21,24,25 91:6,12,23 92:6 94:12,17,19,22,24 96:17 98:12,14,21 101:7 102:1,13,15,24 103:1,11,12 105:7 113:8,13,16,20 114:6,10,16,18,22,25 115:1,6,7,11,12,14,18,22,23,24 116:8,19,25 117:6,10,11,23 118:22	site 82:25 84:2,25 109:1,12,17 111:12 112:16 113:6,11 114:14 115:4,16 126:4,5	source 32:8
	sitting 23:6	space 131:5
	situation 24:8 25:24	spanning 127:14
	situations 137:3	speak 4:23
		speaking 35:17
		special 34:14
		specialists 42:5
		specific 82:25 84:2 106:5
		specifically 53:11 58:8 95:19 96:9 99:12 103:16 119:7 123:8
		speed 133:24

spell 6:18	16,19,24 81:23 82:13 84:10,24 88:20,24 89:14,16,23 90:3,16 91:1,9,15 93:1 98:23 104:3,4,19 105:6,10,12,20,22,23,24 106:23,24 107:12,13,16 113:18 114:7,20 115:20 124:12 135:17 136:2,11	80:2,4,18,19,20,25 81:4,15,18 84:17,19,20 89:11,14,15,16,22 90:1,15 93:2 101:25 102:2,12,23,25 103:9 110:9 115:20 119:23
spend 24:21		
spent 10:8		
split 26:2		
stand 53:22		
stand-alone 127:24		
start 72:20 80:8 82:2 107:3 108:11,12		
started 9:6 10:7 17:1 24:10 26:19		
starting 57:16 95:23 108:15 109:13		
starts 122:8		
startup 11:13		
state 22:14 50:20 54:17,25		
stated 7:1,4		
statement 21:25		
States 6:11,12,25 24:17		
steady 50:20		
steady-state 48:25		
steam 15:6,7,11,12,13,14,15 17:4,5,21,25 18:2 32:5,12 33:16 35:18,20,25 36:5 37:2,6,11 38:10,14,15,22,24 39:2 50:7 53:15 54:7 55:25 56:11 74:14 75:16 76:3 82:22 83:1,10,13,15,17,21,23 84:6,12,14,18,22,23 85:1,10 90:3 94:10 95:2 121:24 122:6,10,11,13,19,23,24 127:15,17,19,21,23 128:1,4,8 129:12,14,18,19,22 131:7,9 132:23 133:1,16 137:1,4		
steam-reforming 55:8		
steam-to-carbon 90:4		
steam-to-column 128:12		
step 11:1 38:8 39:7,15 103:21,24 104:4 114:1		
steps 39:13 91:24		
stick 15:18 20:17 24:6 68:20		
Sticking 101:22 102:21		
storage 126:11,12		
store 93:21,24		
strategic 22:17		
stream 61:14 63:16,18,23,25 64:3,14,15,16,19,20,22 65:7,8 71:10,12,13,18,21 72:17,20,23 78:19 79:12,13,		
streams 136:10		
strike 105:13,16		
students 27:6		
studies 8:6 12:10,19		
study 12:16 24:3,6		
Stuttgart 6:5 8:14		
subject 7:19		
sublimation 85:19,22		
submitted 5:22 30:22		
substance 76:23 77:6,24 78:3,8		
substantial 79:14		
substantive 76:20 77:14,20		
substituted 101:5,18		
successful 28:19		
sufficient 104:5 132:11		
suggested 118:18		
suitable 78:18,20,23,25 79:4,6		
sum 11:9 51:23 52:17		
supplied 32:7,18,24		
supplies 111:16		
supply 32:20 38:17 39:1 42:10 112:13		
support 11:3 13:7		
surplus 78:17		
surroundings 111:15		
survive 26:25		
swing 98:4		
sworn 4:2		
syngas 54:15 55:11 107:13		
syngases 55:15		
synthesis 9:3,6 16:5,20 17:20,21,25 18:12,20 55:20 94:12,18,22 106:12 111:21		
system 8:12,16 26:21 43:16 47:9 56:19 60:3,5,10,20,24 65:19 71:6,8		
systems 48:24 50:17 102:11		
<hr/> T <hr/>		
table 54:13 67:14 68:20 81:6 123:24		
takes 10:25 25:23 38:16 41:14 56:22 57:23,24 70:22 76:10,13,15 99:4 130:9		
taking 56:4 74:16 83:9 108:16 125:11 129:2		
talk 5:18 8:18 31:14 35:19 39:23 40:9 43:3 44:17,20,24 47:3 48:24 49:25 50:13,18 52:2 55:19 80:18 91:21,24 118:7 120:7		
talked 37:24 96:19 121:22		
talking 73:18		
target 27:2 35:23 107:13		
targets 27:3		
teach 22:25 23:15		
teaching 22:24 24:1,2 68:6		
team 11:10,15 16:12		
tease 22:6		
tech 56:20 60:2		
technical 22:18 119:13		
technically 62:21 133:2		
technik 6:19		
technique 59:2		
techniques 96:4 97:24		
techno-economical 83:8		
technologies 96:22		
technology 19:23 54:15,17,25 107:14 118:15 119:21		
telephone 28:25		
telling 23:4		
tells 133:8		
temperature 33:5,6,18 35:15 36:3,4 37:1 38:12 39:7,8,14,16 40:7,12,14,25 41:2,23,24 42:7,9,12,13,19,22 43:2,6,7,11,14,15,19 44:10 45:22		

<p>46:1,4 47:12,19 48:16,17 49:13,14,22 50:15 51:5 60:12 76:5 78:19,20,23,25 79:5,6,11,14 85:18,19,20,22 86:24 89:25 96:24 97:1,3 98:4 100:14 105:9,11,15,19,21 106:2,22,24 120:5 128:13 130:20,22,25 131:2,15,19 132:3</p> <p>temperature-swing 60:14 98:9,11, 18</p> <p>temperatures 33:1 44:6 86:13 97:23 126:14</p> <p>ten 27:13</p> <p>tenured 23:24</p> <p>term 20:17</p> <p>terms 8:19 81:11,14 101:24 102:10 130:1</p> <p>terrible 34:5</p> <p>testified 4:2 52:22</p> <p>testimony 76:24 77:15,25 78:4,9</p> <p>Texas 13:6,9 18:24 20:13 32:9</p> <p>theoretical 8:7 133:15</p> <p>theoretically 98:15 113:14 114:21</p> <p>theory 114:4</p> <p>thermal 81:19 82:21 92:6</p> <p>thermic 15:7</p> <p>thermocompressors 7:22,24</p> <p>thermodynamic 7:21,25 8:8 36:24 82:10 86:20 87:22 89:3</p> <p>thermodynamics 24:4 36:14,25 42:22 129:17 130:2</p> <p>thesis 7:20 8:9</p> <p>thing 4:23 73:7 83:25 92:12 132:4 135:15</p> <p>things 4:11 24:3,4 37:4</p> <p>thinking 19:6</p> <p>third-party 25:5</p> <p>thought 16:11 19:23 23:8</p> <p>throttle 84:15</p> <p>time 10:11 13:24 14:4,5,10,11 20:20 27:23 132:11,21</p> <p>times 135:13,18 136:3,15</p> <p>today 4:6 15:17 17:10 31:13 76:20,</p>	<p>25 77:3,25 78:5,9</p> <p>ton 127:6</p> <p>tool 87:22</p> <p>tools 89:6</p> <p>top 31:25 42:11</p> <p>topic 120:6</p> <p>topics 25:12</p> <p>Topsoe 5:3,23</p> <p>Torkild 59:18</p> <p>total 26:8</p> <p>transitioned 21:16</p> <p>translate 7:18</p> <p>translation 6:21 74:22,25</p> <p>translator's 74:24</p> <p>transport 126:8</p> <p>Transportation 28:11</p> <p>transported 126:1,4,13,22</p> <p>treated 74:17</p> <p>trend 19:17</p> <p>triple 135:12</p> <p>TRL 118:15</p> <p>troubleshooting 12:2,4</p> <p>trusted 28:19</p> <p>tubes 32:11,17</p> <p>Tulsa 12:25 13:3,7,17 14:11 15:25 16:10,14</p> <p>turnkey 11:9</p> <p>two-step 92:5</p> <p>type 14:22 71:4 109:23 113:2,7,12 114:15 115:17</p> <p>types 15:4 87:3,6,8 95:5 100:19</p> <p>typical 13:14 45:7 86:12</p> <p>typically 7:6 47:11 65:3 85:13 87:7, 15 122:12 126:1 127:16</p> <hr/> <p style="text-align: center;">U</p> <hr/> <p>u-r-e-a 20:25</p> <p>U.S. 7:6 13:2 16:10 30:18 57:10 109:18</p>	<p>uh-huh 8:21 52:6</p> <p>under-stoichiometric 37:14 38:3</p> <p>undergraduate 6:4 7:2</p> <p>underground 93:24</p> <p>understand 4:18 5:12,15 28:4 31:13 56:7 60:22 89:19 91:5 117:4</p> <p>unintentionally 14:7</p> <p>unit 58:3 65:25 76:15 78:13,15,20 80:23 89:25 90:2,21,24 91:23 98:21 99:8 101:5,18 122:10,12 126:17 127:15,18,19,22,23 128:1,4,9 133:4 136:7 137:1,5</p> <p>units 107:21,22 108:6,22 133:16</p> <p>universities 6:15</p> <p>university 6:5 7:10 21:25 22:18 24:10 25:22 26:17</p> <p>unnatural 58:14</p> <p>unreacted 43:21 122:24,25</p> <p>upstream 66:17,20,24 67:10 68:11 72:5 81:1 82:4,13 90:3 101:19 102:1 103:12 115:10</p> <p>urea 20:25 21:4,7,9,11,13</p> <p>utilize 84:14 93:20 107:14 109:22</p> <hr/> <p style="text-align: center;">V</p> <hr/> <p>V-E-R-F-A-H-R-E-N-S 6:19</p> <p>vacuum 119:23,24 120:14</p> <p>vague 102:4,17 103:3 111:3</p> <p>vapor 106:1</p> <p>vary 45:25</p> <p>verbal 4:13</p> <p>Verfahrenstechnik 6:16,20,21</p> <p>versa 124:9</p> <p>version 39:25 103:10</p> <p>versus 33:13 35:13 36:4 45:8,12,22, 23 79:13 102:2,25 106:23</p> <p>vessels 9:16</p> <p>viable 88:9,23</p> <p>vice 124:9</p> <p>view 37:19 103:23</p>
-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

volatile 84:12

volume 8:18 47:12,17 48:10 50:12,
14,18,19,21 51:10,11,14,16,21,23
52:9,15,17 132:23,25 133:4,10,12
136:14

volumetric 112:5 126:12

W

walk 6:2

wanted 22:21 57:3

waste 75:20,22 76:1

water 36:10 40:5,17,23 50:9 65:14,15
78:17 106:1 111:17 129:24 131:12

water-gas 15:8,19 32:14 38:16,23
39:3 53:4,17 54:6 55:2,7,10,12 56:1,
3,21 57:1,19,22,24 63:8 70:20,21
74:15 76:5,8,9,13,14 84:9 94:11
107:8 108:1 122:1,21 129:11,13
133:7

ways 63:20

website 29:2

weight 125:7

WGS 15:22 18:2,3,6 20:7,11 32:15
44:1,7,9 45:1 53:1,4 56:18,24 63:6
70:20 76:8 90:1 119:3 128:5

widely 96:10 100:5

Wisconsin 7:10,13

wise 125:5

WO 70:10 75:3

word 62:10

words 52:24

work 8:23 9:8,11,23 10:17 11:8
24:18,21 90:18 114:5

workers 25:3

working 12:8 95:8

works 23:14 24:14 26:21 42:10
61:23 64:8,9

world 120:7

write 29:17

writing 27:8

wrong 62:17

Y

year 16:14,15 23:5 26:9 27:2

years 9:18 10:8,25 11:1 12:13,14
13:23 14:16,19 21:21 94:9

yield 114:11

young 23:3

Z

zone 38:7 49:10,18 50:4,5

zones 49:9,17