

# UNITED STATES INTERNATIONAL TRADE COMMISSION

---

-----x

In the Matter of

Investigation No.

337-TA-1373

CERTAIN ELECTRONIC DEVICES,  
INCLUDING SMARTPHONES, COMPUTERS,  
TABLET COMPUTERS, AND COMPONENTS  
THEREOF

-----x

## OPEN SESSIONS

Pages: 306 through 569 (with excerpts)

Place: Washington, D.C.

Date: August 14, 2024

---

## HERITAGE REPORTING CORPORATION

*Official Reporters*

1220 L Street, N.W., Suite 206

Washington, D.C. 20005

(202) 628-4888

contracts@hrccourtreporters.com

1 UNITED STATES INTERNATIONAL TRADE COMMISSION  
2 Washington, D.C.  
3 Before the Honorable MaryJoan McNamara  
4 Administrative Law Judge

5 -----x  
6 In the Matter of Investigation No.  
7 337-TA-1373  
8 CERTAIN ELECTRONIC DEVICES,  
9 INCLUDING SMARTPHONES, COMPUTERS,  
10 TABLET COMPUTERS, AND COMPONENTS  
11 THEREOF

12 -----x  
13  
14 International Trade Commission  
15 500 E Street, SW  
16 Washington, D.C.

17  
18 Evidentiary Hearing  
19 Wednesday, August 14, 2024  
20 Volume II

21  
22 The parties met pursuant to notice of the  
23 Administrative Law Judge at 9:30 a.m. Eastern.

24  
25 Reported by: Linda S. Kinkade RDR CRR RMR RPR CSR

1 A P P E A R A N C E S:

2

3 Counsel for Complainants InterDigital Inc., InterDigital VC  
4 Holdings, Inc., InterDigital Patent Holdings, Inc., and  
5 InterDigital Madison Patent Holdings SAS:

6 ALSTON & BIRD LLP

7 950 F Street, NW

8 Washington, DC 20004

9 (202) 239-3300

10 M. Scott Stevens, Esq.

11

12 -and-

13 ALSTON & BIRD LLP

14 560 Mission Street, Suite 2100

15 San Francisco, California 94105

16 (415) 243-1000

17 Philip Ducker, Esq.

18 Katherine G. Rubschlager, Esq.

19

20 -and-

21 ALSTON & BIRD LLP

22 1201 West Peachtree Street

23 Atlanta, Georgia 30309

24 (404) 881-7000

25 Neal A. Larson, Esq.

1 A P P E A R A N C E S (continued):

2

3 -and-

4 Counsel for Complainants InterDigital Inc., InterDigital VC  
5 Holdings, Inc., InterDigital Patent Holdings, Inc., and  
6 InterDigital Madison Patent Holdings SAS:

7 ALSTON & BIRD LLP

8 555 Fayetteville Street

9 Raleigh, North Carolina 27601

10 (919) 862-2200

11 Jenny J. Wang, Esq.

12

13 -and-

14 ALSTON & BIRD LLP

15 90 Park Avenue

16 New York, New York 10023

17 (212) 210-9400

18 Ravi Shah, Esq.

19 Christopher L. McArdle, Esq.

20

21

22

23

24

25 CONTINUED ON FOLLOWING PAGE

1 A P P E A R A N C E S (continued):

2

3 Counsel for Respondents Lenovo PC HK Limited, Lenovo (United  
4 States) Inc., and Motorola Mobility LLC:

5 FISH & RICHARDSON P.C.

6 1000 Maine Avenue, SW, Suite 1000

7 Washington, DC 20024

8 (202) 783-5070

9 Adam R. Shartzler, Esq.

10 Michael J. McKeon, Esq.

11 Richard A. Sterba, Esq.

12 Linhong Zhang, Esq.

13 April Sunyoung Park, Esq.

14 John Thuermer, Esq.

15 Joshua Carrigan, Esq.

16 Daniel Lee, Esq.

17

18

19

20

21

22

23

24

25 CONTINUED ON FOLLOWING PAGE

1 A P P E A R A N C E S (continued):

2 -and-

3 Counsel for Respondents Counsel for Respondents Lenovo PC HK  
4 Limited, Lenovo (United States) Inc., and Motorola Mobility  
5 LLC:

6 FISH & RICHARDSON P.C.  
7 500 Arguello Street  
8 Redwood City, California 94063  
9 (650) 839-5070  
10 Leeron Kalay, Esq.

11

12 -and-

13 FISH & RICHARDSON P.C.  
14 7 Times Square  
15 New York, New York 10036  
16 (212) 765-5070  
17 Scott M. Flanz, Esq.

18

19

20

21

22

23

24

25 CONTINUED ON FOLLOWING PAGE

1 A P P E A R A N C E S (continued):

2 -and-

3 Counsel for Respondents Counsel for Respondents Lenovo PC HK  
4 Limited, Lenovo (United States) Inc., and Motorola Mobility  
5 LLC:

6 FISH & RICHARDSON P.C.

7 1180 Peachtree Street, NE, 21st Fl

8 Atlanta, Georgia 30309

9 (404) 892-5005

10 Brian P. Boyd, Esq.

11

12

13 Counsel for the Office of Unfair Import Investigations:

14 U.S. International Trade Commission

15 500 E Street, SW

16 Washington, DC 20436

17 (202) 205-2000

18 Jennifer Dienes, Esq.

19 Investigative Attorney

20 Jeffrey Hsu, Esq.

21 Supervisory Attorney

22

23 \*\*\* Index appears at end of transcript \*\*\*

24

25

## P R O C E E D I N G S

(In session at 9:55 a.m.)

JUDGE MCNAMARA: Good morning, everyone.

Sorry for holding up the proceedings. Thank you everybody. I do have the timing, the timesheet, which I appreciate.

So is there anything you'd like me to address before we get started with Mr. Herrington and finish up this morning?

MR. MCKEON: Your Honor, we're all set to go on the domestic industry issue and final witness on that. But there are some issues with the slides for the next witness that Complainant is calling, Dr. Richardson. If we could take that up now, get it resolved now, or we could get the witness done and take it up after that.

JUDGE MCNAMARA: I think that makes sense to do. Let's do it that way. Thank you, Mr. McKeon.

I think you had a little more time left, Mr. Sterba?

MR. STERBA: Good morning, Your Honor. Richard Sterba. Yes, we do have a little bit more time left with Mr. Herrington.

JUDGE MCNAMARA: Mr. Herrington, good morning. My apologies for holding you up.

MR. STERBA: Your Honor, if anyone needs to

1 apologize to the witness, it's me.

2 JUDGE MCNAMARA: I'm not sure why you're saying  
3 that, but I'll take it.

4 RYAN N. HERRINGTON,

5 having been previously duly sworn or affirmed  
6 on his oath, was thereafter examined and testified further  
7 as follows:

8 MR. STERBA: Your Honor, one housekeeping matter  
9 before we resume the examination.

10 Counsel brings to my attention that in two of  
11 Mr. Herrington's answers yesterday he revealed some  
12 substance that could arguably be confidential business  
13 information.

14 And for that purpose, the parties have -- sorry  
15 if I didn't mention this to you specifically -- the private  
16 parties have discussed two answers that Mr. Herrington gave  
17 that we would like to redesignate as confidential, and I  
18 have those pages and line numbers.

19 Would that be okay with you?

20 MS. DIENES: That's fine with the Staff.

21 JUDGE MCNAMARA: I think that's great. You  
22 can -- have you talked to Ms. Kinkade yet or will you do  
23 that yet?

24 MR. STERBA: I have not talked to Ms. Kinkade  
25 yet, Your Honor. I wanted to bring it up to you first, and

1 my apologies to the Staff.

2 It is page 285, lines 9-18, and page 295:17  
3 through 296:5.

4 JUDGE MCNAMARA: Very good. Thank you. And I  
5 guess the rushes came through last night so you can just  
6 redesignate them.

7 MR. STERBA: I apologize for the inconvenience,  
8 but thank you for your attention to that.

9 JUDGE MCNAMARA: That would be a good time, too,  
10 for each side to go through the transcript, and if there's  
11 anything that you see that you need to correct, you can take  
12 care of that, and that won't be as much of a burden for  
13 Ms. Kinkade that way.

14 CROSS-EXAMINATION (resumed)

15 BY MR. STERBA:

16 Q. Mr. Herrington, good morning. Welcome back.

17 A. Thank you, good morning.

18 Q. I would just like to remind you that we are on  
19 the public record. I intend for us to remain on the public  
20 record for the remainder of your examination.

21 And, again, I would offer the caution that if you  
22 think your answer is going to reveal any confidential  
23 information, that you would stop me and we can switch over  
24 to the confidential record and you can finish your answer.  
25 Okay?

1           A.    I will try.

2           Q.    Your counsel would have given you that similar  
3 admonition if they had been allowed to talk with you last  
4 night.

5           A.    Of course.

6                   (Whereupon, the hearing proceeded in confidential  
7 session.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 BY MR. STERBA:

3 Q. Still on the public record.

4 Until this past weekend, you did not have any  
5 mechanism other than a sales-based allocation to exclude  
6 research and development not directed to the domestic  
7 industry products, correct?

8 A. I don't think there was a need to exclude, but to  
9 the extent that someone wanted to try to exclude even based  
10 on these speculative numbers that did not come from the  
11 licensee, I don't think that had been done until the  
12 adjusted exhibits were presented based on, in my view, a  
13 request from Judge McNamara to do that.

14 Q. All right. Let me try this one more time.

15 Other than a sales-based allocation, you do not  
16 have any other mechanism to exclude research and development  
17 not directed to the domestic industry products, correct?

18 A. If you're asking did I only do a sales-based  
19 allocation, that is correct.

20 Q. And that's because at the time you believed you  
21 did not need to, correct?

22 A. I still believe you don't need to, based on all  
23 the evidence in the record and the way the Commission has  
24 ruled in the past.

25 Q. And you believe that even if the research and

1 development is directed to products without any sales,  
2 correct?

3 A. Well, again, it's in the ordinary course of  
4 business. Again, it's hard for me to answer without going  
5 on the confidential record, because this is unique and  
6 there's unique facts and circumstances in this case. So I  
7 cannot answer on the public record the way I want to answer.

8 Q. That's a perfectly appropriate response, sir.  
9 We're going to leave that right there.

10 A. Okay.

11 MS. RUBSCHLAGER: Your Honor, is it possible to  
12 go onto the confidential record? I'm seeing some hesitancy  
13 from Mr. Herrington to answer Mr. Sterba's questions, and I  
14 think at this point --

15 JUDGE MCNAMARA: Why don't we just do that.

16 MR. STERBA: Actually, let's just go to the  
17 deposition transcript, please, because this is --

18 MS. RUBSCHLAGER: Your Honor, he should be able  
19 to answer the questions freely, and we are talking about an  
20 economic prong which is usually discussed on the  
21 confidential record.

22 JUDGE MCNAMARA: Here is what we'll do. I think,  
23 so that he can testify freely, let's just go on the  
24 confidential record, leave it there. We can fix it later as  
25 need be, but let's just keep going so it's unfettered.

1                   MR. STERBA: That would be fine. Thank you, Your  
2 Honor.

3                   JUDGE MCNAMARA: And, by the way, we'll try to  
4 make up the time for which I was late this morning. I'll  
5 figure it out. We'll get it done.

6                   (Whereupon, the hearing proceeded in confidential  
7 session.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 BY MR. STERBA:

3 Q. Earlier in your testimony I heard you refer to  
4 the sales-based allocation, I think, as, quote, the most  
5 used allocation method. Would that be fair?

6 A. Yes. I think it's generally the most used, based  
7 on my experience that I've seen at the ITC.

8 Q. But there are other allocation methods, yes?

9 A. Yes, I would agree with that.

10 Q. For example, there's an activity-based  
11 allocation?

12 A. I've seen that.

13 Q. And you did not use that in this investigation,  
14 right?

15 A. I saw no evidence that Apple tracks it in any way  
16 to be able to do it in that manner, correct, or Vizio.

17 Q. A headcount allocation is another way to do an  
18 allocation; is that right?

19 A. Again, if the information is available, that's  
20 certainly a way you can consider.

21 Q. And you did not perform a headcount allocation in  
22 this investigation, right?

23 A. Again, I didn't think it was necessary. What I  
24 did I thought was reasonable. I didn't see any evidence  
25 that would allow me to even consider that type of allocation

1 methodology.

2 Q. A unit-based allocation is yet another  
3 methodology, correct?

4 A. A unit-based is, and I actually performed that  
5 for one of the Respondents or one of the licensees and I  
6 didn't for the other, for reasons that I gave in my expert  
7 report.

8 Q. Okay. You've done a headcount-based allocation  
9 in the past, correct?

10 A. I'm sure I have, especially if the information is  
11 available and I think it's the most proficient for the facts  
12 and circumstances in that particular case.

13 Q. Okay. Then you would agree that a  
14 headcount-based allocation is a reasonable allocation  
15 method, right?

16 A. A headcount-based? Depending on the facts and  
17 circumstances, it may be reasonable, but it's something that  
18 you have to consider with those facts and circumstances of  
19 that case. It's certainly I think been accepted in the  
20 past.

21 Q. Have you ever criticized another expert for not  
22 having performed a headcount allocation?

23 A. I'm sure I may have, especially if the  
24 information is available.

25 Q. Okay. I do want to talk about your adjusted

1 numbers now, the changes you made, the new math. Okay?

2 A. (Nodding head up and down.)

3 Q. You effectively subtracted out \$10 billion from  
4 the top line, correct?

5 A. Yes. I think I was trying to subtract out \$2.5  
6 billion per quarter.

7 Q. Ten billion over a year.

8 A. Yes.

9 Q. And you said you did that based on some  
10 information that was included in Mr. Clarke's rebuttal  
11 report; is that right?

12 A. Yes, I believe so.

13 Q. You don't actually know how much money Apple  
14 spends on R&D on products that are never sold, right?

15 A. I think no one knows. I think that's part of the  
16 issue, in the sense that that's why these numbers are  
17 speculative.

18 I think, again, now I feel like I've got to go  
19 back on the confidential record, Your Honor, to talk again  
20 about it.

21 (Whereupon, the hearing proceeded in confidential  
22 session.)

23

24

25

1 O P E N S E S S I O N

2 BY MR. STERBA:

3 Q. Thank you, sir. I want to talk about quickly in  
4 the process of being established and significance and  
5 unusual events. Okay?

6 A. Okay.

7 Q. The complaint in this investigation was filed on  
8 September 1st, 2023, correct?

9 A. That's correct, original.

10 Q. Thank you. And the iPhone 15 Pro was first  
11 available for sale on September 15th, 2023, correct?

12 A. I believe that's correct.

13 Q. Apple introduces a new iPhone once a year, right?

14 A. Yes, only once a year for their flagship product,  
15 correct.

16 Q. It's an annual event, correct?

17 A. I think I even said that on direct, it is an  
18 annual event.

19 Q. Mr. Stevens during his opening referred to it as  
20 a specific cadence. Do you recall that?

21 A. He may have used those terms. I don't recall  
22 exactly.

23 Q. Okay. It's your testimony that the introduction  
24 of the iPhone 15 Pro was significant because of the  
25 introduction of the A17 chipset; is that right?

1           A.    I think that's, in part, what made it unusual.  I  
2 think significant is, in part, because it's only one product  
3 that they are releasing once a year for their flagship  
4 product, and what, in part, made it unusual was this  
5 introduction of the A17 chip with the AV1 decoder.

6           Q.    And you know that a year prior, Apple released  
7 the iPhone 14 Pro, correct?

8           A.    That's reasonable.  I don't know exactly, but,  
9 yeah, sounds right.

10          Q.    The iPhone 14 Pro included an A16 chipset,  
11 correct?

12          A.    That may have been true.  I don't think it has  
13 the AV1 decoder.

14          Q.    That may very well be true.  New phone, new  
15 chipset, right?

16          A.    Are you talking about the 14?

17          Q.    iPhone 14 Pro A16 chipset, correct?

18          A.    That may have been.  I don't recall.

19          Q.    Take a look at CX-1019, please, Mr. Lee, and  
20 page 4 of that document.

21                 Mr. Herrington, CX-1019 includes a table showing  
22 the various iPhone products and their chipsets.  Have you  
23 ever seen this before?

24          A.    I don't recall off the top of my head.

25          Q.    Do you see the reference to the iPhone 11 Pro Max

1 and the A13 Bionic chip about one-third of the way up from  
2 the bottom?

3 A. I see it highlighted, yes.

4 Q. Okay. You don't have any reason to dispute that  
5 the iPhone 11 Pro included an A13 Bionic chip, right?

6 A. Not as I sit here.

7 Q. Above that, the iPhone 12 is released with an A14  
8 Bionic chip?

9 A. I see that.

10 Q. Above that, the iPhone 13 A15 Bionic chip?

11 A. I see that.

12 Q. 14 Pro Max A16 Bionic chip?

13 A. I see that.

14 Q. And then second from the top, the iPhone 15 Pro  
15 with an A17 Pro chipset.

16 Do you see that?

17 A. I do.

18 Q. That's five instances of Apple releasing a new  
19 iPhone product with a new chipset, correct?

20 A. That may be.

21 Q. You would agree with me now that Apple releasing  
22 a new phone with a new chipset is not unusual, correct?

23 A. Again, I think what I would define unusual, in  
24 the sense here, is what the chipset had in it, and  
25 specifically the AV1 decoder.

1 Q. You know other products included an AV1 decoder  
2 prior to the release of the iPhone 15 Pro, correct?

3 A. That may be true. I don't recall one way or the  
4 other.

5 Q. Would it be less significant if other products  
6 previously offered the AV1 decoder functionality?

7 A. Not to me, because the iPhone is their flagship  
8 product.

9 Q. During your direct examination you showed a  
10 video --

11 You can take that down, please.

12 During your direct examination you were showed a  
13 video, and, as I understand it, the purpose of the video was  
14 to show the significance of the release of the A17 chip; is  
15 that right?

16 A. I think the video just goes to show that Apple  
17 considered this a major advancement.

18 Q. Does Apple release videos for all the new  
19 chipsets that they release?

20 A. It wouldn't surprise me if they do, but I don't  
21 know one way or the other.

22 Q. If they did, would it make the release of the  
23 iPhone 15 and the A17 chipset less significant?

24 A. Not to me.

25 Q. Let's talk about significance. Are Apple's

1 investments so massive to be per se significant?

2 A. I don't think you ever look at something as -- on  
3 an absolute value. I think you put it into context. But I  
4 certainly think looking at the numbers and looking at their  
5 size is something that the Commission takes into account.

6 Q. You would agree that Complainant's domestic  
7 industry investments do not need to constitute 100 percent  
8 of their worldwide R&D expenditures to be significant,  
9 correct?

10 A. That Complainant's investments do not need to be  
11 100 percent to be significant, I would agree with that.  
12 There is no bright-line test.

13 Q. You would agree that if 10 percent of the  
14 Complainant's R&D expenditures are invested domestically,  
15 that could constitute a significant investment for purposes  
16 of section 337, correct?

17 A. There's no bright-line test, so now you're asking  
18 me hypotheticals. I think it depends on the facts and  
19 circumstances of the case.

20 Q. In this investigation, without revealing the  
21 identity of the licensee or the analysis, I'm just going to  
22 ask you about a percentage.

23 If you can't answer, just say you can't answer.

24 But you testified in this investigation that a  
25 company's -- strike that.

1           You've testified in this investigation that 4.5  
2 percent of a company's worldwide R&D spends are -- that are  
3 in the U.S., that's significant, correct, quantitatively  
4 significant?

5           MS. RUBSCHLAGER: Objection, Your Honor. I think  
6 we're getting on to the confidential record again with  
7 specific numbers.

8           JUDGE MCNAMARA: I think it's difficult for --

9           MR. STERBA: Okay.

10          JUDGE MCNAMARA: -- for this to be done without  
11 being on the confidential record.

12          (Whereupon, the hearing proceeded in confidential  
13 session.)

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 BY MR. STERBA:

3 Q. Mr. Herrington, at the end of your direct  
4 examination yesterday you testified about the veracity of  
5 the statement in Mr. McKeon's opening. Do you recall that?

6 A. I recall looking through the InterDigital 10-K  
7 and just reading numbers into the record. I don't recall  
8 exactly what I said about Mr. McKeon's statement.

9 Q. Well, specifically, you were asked about  
10 InterDigital's relative spend on patent litigation versus  
11 research and development, right?

12 A. Yes.

13 Q. And based on a few numbers that you looked at  
14 from InterDigital's 2023 10-K that were highlighted during  
15 your direct examination, you testified under oath that  
16 Mr. McKeon's statement, quote, would appear based on that  
17 document to be false.

18 You said that, right?

19 A. Yes, I believe I said that, based on the numbers  
20 that are in the document.

21 Q. You would agree that's a fairly serious  
22 accusation to make, right?

23 A. I am just pointing out what I saw in the  
24 document. I don't know how serious it is.

25 Q. I think telling the judge that a lawyer for the

1 Respondents made a false statement during his opening is a  
2 pretty serious accusation. You'd agree?

3 A. Not necessarily. This is what I do for a living,  
4 is that usually -- Mr. Clarke is getting up here and will  
5 say what I say is incorrect.

6 Q. So I have to tell you, Mr. Herrington, Mr. McKeon  
7 is my friend, and I was very surprised to hear you make that  
8 accusation against him. So I'm going to spend a few more  
9 minutes with you today, and I would like to revisit his  
10 statement and see what Mr. McKeon actually said and see how  
11 it compares to the evidence. Okay?

12 A. Sounds good.

13 Q. All right. So let's see what Mr. McKeon actually  
14 told the Court during his opening.

15 Let's look at RDX-1C, slide 6. This was the  
16 slide that Mr. McKeon showed during his opening.

17 If we can look at the hearing transcript, this is  
18 page 83, starting at line 25, Mr. McKeon says:

19 And, in fact, if you look in 2020 and 2021, \$170  
20 million in 2020, in 2021 \$175 million, just in patent  
21 litigation and licensing. That's what they -- and if you  
22 look at their R&D budget, it pales in comparison to that,  
23 what they call R&D, which is essentially getting patents.  
24 That's their business. So we have to be clear here, and  
25 they filed, of course, the case that brought us here today

1 in 2023.

2 Do you remember that testimony from Mr. McKeon --  
3 strike that.

4 Do you remember that statement in Mr. McKeon's  
5 opening?

6 A. Something to that effect.

7 Q. Well, let's take a look at it. This is worth  
8 doing. The hearing transcript at 83, please.

9 At the bottom, line 25, and the next page, 84.

10 Mr. McKeon is comparing InterDigital's spend on  
11 litigation and licensing to what they spend on their R&D  
12 budget, right?

13 A. Litigation and licensing, yes. And I think what  
14 I was pointing out was litigation.

15 Q. Oh. What you were talking about was something  
16 different from what Mr. McKeon was talking about, right?

17 A. Potentially. I was focused -- I think the  
18 numbers I read was litigation.

19 Q. So you would agree with me that in 2020 and 2021,  
20 InterDigital spent more money on litigation and licensing  
21 than on R&D, right?

22 A. Again, I don't know exactly where these numbers  
23 come from. I'm sure you could take me to their 10-K and try  
24 to show me these numbers. If you want to tell me that  
25 that's what it's going to say, then I can agree that that's

1 what the numbers are, similar to what I agreed on my direct  
2 to what the numbers were in the record.

3 Q. I am going to tell you what that's going to say,  
4 and I'm not sure I need to burden the Court with an entire  
5 walkthrough of this, but I do need the record to be clear on  
6 this.

7 A. Fair enough.

8 Q. I think Mr. McKeon's statement, the annual  
9 reports for 2020 and 2021 bear this out, based on that slide  
10 and what you've seen so far, you would not disagree with  
11 that, correct?

12 A. I have no -- as I sit here, I don't have any  
13 reason to disagree with that, that those numbers are  
14 inaccurate.

15 Q. Do you know whether Mr. McKeon's statement that  
16 he made in his opening is also true for 2022 and 2023?

17 A. I don't have any opinion one way or the other  
18 whether they are true or not.

19 Q. Well, I'll tell you, it's a little bit confusing,  
20 because InterDigital in 2022 does something a little clever.  
21 They reclassify certain expenses in their operating expense  
22 categories. Do you know about that?

23 A. Not as I sit here.

24 Q. You would agree with me that the optics of  
25 InterDigital spending more on litigation and licensing than

1 on research and development costs is not a very good look,  
2 right?

3 A. I don't think I can agree with that.

4 Q. You think it's okay to spend more on litigation  
5 and licensing than R&D?

6 A. I don't have an opinion one way or the other.

7 Q. Let's look at the 2022 Annual Report. This is  
8 Exhibit RX-857.

9 Mr. Herrington, this is the 2022 Annual Report.  
10 I'd like to direct you to page 70 of this. We see a  
11 discussion at the bottom there called Reclassifications.  
12 Okay?

13 A. Okay.

14 Q. And right about the middle there, we see language  
15 that reads, which resulted in reclassifying certain  
16 portfolio-related costs out of the licensing line,  
17 previously referred to as patent administration and  
18 licensing, and into research and portfolio development.

19 Do you see that?

20 A. I do.

21 Q. Okay. So in 2022, InterDigital combined its R&D  
22 costs and its litigation costs under a new category called  
23 research and portfolio development and separated out its  
24 licensing costs, right?

25 A. I think what it's saying is it's reclassifying

1 the licensing line into research and portfolio development.

2 Q. Okay. Let's look at page 56 of this Annual  
3 Report where we see the investments of InterDigital at the  
4 top in research and portfolio development of \$185 million  
5 and licensing at \$71 million. Do you see that?

6 A. Yes.

7 Q. Okay. You can take that down.

8 Let's take a look at the 2023 10-K now, which is  
9 the document that you were shown yesterday during your  
10 direct examination. Okay?

11 A. (Nodding head up and down.)

12 Q. That's CX-1013, please.

13 And if we could have page 56 of that document.

14 I'd like to direct your attention to the research  
15 and portfolio development and the licensing lines. Do you  
16 see those here, sir? \$195 million in 2023, \$185 million in  
17 2022 for research and portfolio development, right?

18 A. I see that.

19 Q. And we know now that that includes their  
20 litigation costs, right?

21 A. I thought it said it was including licensing.

22 Q. Well, sir, licensing is a separate line item now  
23 starting in 2022, right?

24 A. I do see it as a separate line item.

25 Q. Right. And licensing expenses in 2023, \$79

1 million, \$71 million in 2022. Do you see that?

2 A. I do see that.

3 Q. Okay. And yesterday during your direct  
4 examination you testified regarding the research and  
5 development expenses for InterDigital, and you testified  
6 that in 2023, 2022, and 2021 the research and innovation  
7 costs were \$78.3 million, \$74.3 million, and \$89.4 million  
8 respectively.

9 A. That sounds close to what I said, yes.

10 Q. And then you read into the record yesterday  
11 InterDigital's intellectual property enforcement costs for  
12 2022, 2023, and 2021, correct?

13 A. I believe that's correct.

14 Q. And what you said was those litigation costs were  
15 just under 49 million in 2022 -- strike that.

16 And you can take this down, please.

17 Those litigation costs were just under \$49  
18 million in 2023 and nearly \$44.5 million in 2022; is that  
19 correct?

20 A. I don't recall exactly, but that's probably  
21 close.

22 Q. Can we see yesterday's hearing transcript, page  
23 274, please. We'll look at lines 3-8.

24 This is your testimony from yesterday. You were  
25 reading from the document, and you read the intellectual

1 property enforcement costs. Do you see that?

2 A. Yes.

3 Q. \$48.8 million, \$44.4 million, \$34.3 million,  
4 right?

5 A. Yes.

6 Q. You can take that down, please.

7 Can we have the 2023 Annual Report back, please,  
8 page 56.

9 We saw those licensing costs being \$79 million  
10 and \$71 million, right?

11 A. I see that.

12 Q. So for 2023, InterDigital's combined litigation  
13 and licensing costs were roughly \$128 million dollars,  
14 correct?

15 A. If that's what the math is, yes.

16 Q. And you would agree that in 2023, InterDigital's  
17 licensing and litigation costs were more than its R&D costs,  
18 correct?

19 A. Licensing and litigation?

20 Q. Yes, sir.

21 A. That may be so. I don't recall.

22 Q. Well, the licensing and litigation costs were  
23 \$128 million, right?

24 A. Okay.

25 Q. And the R&D costs were \$78 million, right?

1 A. Okay.

2 Q. And you would agree with me that \$128 million is  
3 greater than \$78 million, correct?

4 A. I agree with that.

5 Q. So in 2023, InterDigital's licensing and  
6 litigation costs were more than its R&D costs, right?

7 A. So, again, with the licensing included, okay,  
8 yes.

9 Q. And in 2022, InterDigital's combined litigation  
10 and licensing costs were approximately \$115 million,  
11 correct?

12 A. Okay.

13 Q. And then in 2022, InterDigital's litigation and  
14 licensing costs were more than its R&D costs, right?

15 A. Okay.

16 Q. And we've already discussed that in both 2021 and  
17 in 2020, InterDigital's litigation and licensing costs were  
18 higher than its R&D costs, right?

19 A. Litigation and licensing, yes, which I think --

20 Q. Yes, sir, litigation and licensing, greater than  
21 R&D, right?

22 A. Yes. And I was looking yesterday at just  
23 litigation, correct.

24 Q. So now, as you sit here today, you would agree  
25 with me that Mr. McKeon's statement that InterDigital's

1 spend on litigation and licensing exceeded its spend on R&D  
2 in 2020. That was a true statement, correct?

3 A. If you include licensing, that may be true.

4 Q. Just listen to my question, please,  
5 Mr. Herrington. We're almost done, I promise.

6 You would agree with me now that Mr. McKeon's  
7 statement that InterDigital's spend on litigation and  
8 licensing exceeded its spend on R&D in 2020. That was a  
9 true statement, correct?

10 A. When you include licensing, that's true.

11 Q. And Mr. McKeon's statement would also be true for  
12 2021, correct?

13 A. When you include licensing, I believe that's  
14 true.

15 Q. Mr. McKeon's statement would also be true for  
16 2022, right?

17 A. When you include licensing, I believe the math is  
18 what it is.

19 Q. And Mr. McKeon's statement would also be true in  
20 2023, right?

21 A. If you include licensing.

22 Q. Thank you, Mr. Herrington.

23 MR. STERBA: I pass the witness.

24 JUDGE MCNAMARA: Thank you, Mr. Sterba.

25 Ms. Dienes?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

EXAMINATION BY COUNSEL FOR ITC STAFF

BY MS. DIENES:

Q. Good morning, Mr. Herrington.

A. Good morning.

Q. Yesterday you --

MS. DIENES: Your Honor, could we please go on  
the confidential record?

(Whereupon, the hearing proceeded in confidential  
session.)

## O P E N   S E S S I O N

## REDIRECT EXAMINATION

1  
2  
3 BY MS. RUBSCHLAGER:

4       Q.   Mr. Herrington, Mr. Sterba asked you a lot of  
5 questions about InterDigital's litigation and licensing  
6 spend. Do you recall that?

7       A.   Yes.

8       Q.   Did Apple take a license?

9       A.   Apple did take a license.

10      Q.   Did Vizio take a license?

11      A.   Vizio did take a license.

12      Q.   What about Lenovo?

13      A.   As far as I know, Lenovo has not taken a license;  
14 otherwise, I don't think we would be here.

15      Q.   And when did -- do you know when Lenovo contacted  
16 InterDigital about potentially taking a license in this  
17 case?

18      A.   I believe, as we heard in the opening statement,  
19 it was after the complaint was filed, at least they reached  
20 out to a patent pool.

21      Q.   Mr. Herrington, am I correct that you've been  
22 involved in many ITC litigations or cases before?

23      A.   I have, over 60 at this point for ITC section 337  
24 specific investigations.

25      Q.   And would you agree with me that litigating cases

1 like this especially at the ITC are costly and expensive?

2 A. Yes, they are.

3 Q. Thank you, Mr. Herrington.

4 MS. RUBSCHLAGER: I have no further questions.

5 JUDGE MCNAMARA: All right. Thank you.

6 Mr. Sterba?

7 MR. STERBA: Your Honor, very brief. Richard  
8 Sterba.

9 RE-CROSS-EXAMINATION

10 BY MR. STERBA:

11 Q. You just testified that Lenovo doesn't have a  
12 license from InterDigital. Is that what I heard?

13 A. That's what I said, yes. I'm not aware of one.

14 Q. Are you aware of any license between InterDigital  
15 and Lenovo?

16 A. Oh, there may be. There may be licenses between  
17 them, but nothing related to the asserted patents.

18 Q. What's your basis for that statement, sir?

19 A. My basis? I assume if there was, we wouldn't be  
20 here.

21 Q. Okay. Fair enough.

22 MR. STERBA: I have no further questions,  
23 Your Honor.

24 JUDGE MCNAMARA: Thank you, Mr. Sterba.

25 Mr. Herrington, you may step down. Thank you

1 very much.

2 And I see you are not being called back, so your  
3 testimony has concluded. Thank you again.

4 THE WITNESS: Thank you, Your Honor.

5 MR. STERBA: Your Honor, at the risk of  
6 belaboring this point, Richard Sterba for Respondents, I  
7 lodged an objection yesterday to a video that was shown.  
8 There is ongoing discussion between the parties as to  
9 whether that video would be admissible for all purposes or  
10 admissible only for demonstrative purposes.

11 JUDGE MCNAMARA: Where are you right now?

12 MR. STERBA: Pardon me?

13 JUDGE MCNAMARA: On that issues, on that  
14 discussion, where are you on that discussion?

15 MR. STERBA: I think it wasn't clear, Your Honor,  
16 whether it's admitted merely for demonstrative purposes or  
17 for any purpose.

18 JUDGE MCNAMARA: I think there are two ways of  
19 looking at it. I was thinking about this last night going  
20 home. You could consider some of it an excited utterance,  
21 in a way, which would get around, of course, hearsay, but I  
22 think it should just come in for demonstrative purposes.

23 MR. STERBA: Thank you, Your Honor.

24 MS. RUBSCHLAGER: Thank you, Your Honor.

25 MR. BRADLEY: Good morning, Your Honor. Kirk

1 Bradley for Complainants. It's great to be here again. I  
2 was here in February for the Markman.

3 JUDGE MCNAMARA: Yes, you were.

4 MR. BRADLEY: Complainants call Dr. Iain  
5 Richardson.

6 MR. MCKEON: Your Honor, for this witness, we  
7 have some objections to slides. Do you want to take that up  
8 now to get that resolved?

9 JUDGE MCNAMARA: I think it makes sense to do it.

10 Dr. Richardson, you may still come up. And I'll  
11 administer the oath after they lodge their objection, after  
12 Mr. McKeon lodges his objection.

13 MR. FLANZ: Good morning, Your Honor. Scott  
14 Flanz on behalf of Respondents.

15 JUDGE MCNAMARA: Yes. How are you?

16 MR. FLANZ: I'm doing well. It's a pleasure to  
17 be before you again.

18 Some disputes arose last night with the exchange  
19 of Dr. Richardson's demonstrative, specifically with respect  
20 to the '877 patent slides.

21 In our view, in Respondent's view, these are two  
22 entirely new theories, theories that were not in the  
23 prehearing brief, and, therefore, are in violation of your  
24 ground rules.

25 Should I pause?

1 JUDGE MCNAMARA: Since I have the deck slide in  
2 front of me, if you could direct me to the appropriate  
3 slides to which you object.

4 MR. FLANZ: Absolutely, Your Honor. There are a  
5 couple that I think are good examples, but we do have a full  
6 list. So should I direct you to the example first?

7 JUDGE MCNAMARA: Take them slowly. Ms. Kinkade  
8 and I will write them down.

9 MR. FLANZ: I would like to begin with slide 92,  
10 so that would be CDX-0005C.92.

11 JUDGE MCNAMARA: Ms. Dienes, were you in on this  
12 conversation?

13 MS. DIENES: Yes, Your Honor, I was part of the  
14 meet-and-confer.

15 JUDGE MCNAMARA: Thank you.

16 MR. FLANZ: Do you have that exhibit?

17 JUDGE MCNAMARA: Yes. Thank you.

18 MR. FLANZ: And I can show it on the ELMO in case  
19 that's helpful for everyone.

20 This is an excerpt from Complainant's prehearing  
21 brief right here on page 22. So, in our view, and as you  
22 heard from Mr. McKeon during opening, Complainant's position  
23 has always been that they didn't need to identify the MCIF  
24 and SCF filters. So here it is in black and white in their  
25 prehearing brief. InterDigital is arguing that Respondents

1 contend that InterDigital must identify these two filters,  
2 and InterDigital writes, not so.

3           So if we turn to slide 92 here, this is the first  
4 that we have seen from InterDigital showing that they  
5 believe the Subpel\_Filters can be decomposed from GF into  
6 SCF and MCIF here. So this diagram looks to me like  
7 balloons. We've never seen this diagram before. We have  
8 never seen this decomposition before. Based on the  
9 prehearing brief, their argument we understood was they  
10 didn't even have to identify this, which is why they didn't.

11           And so, in our view, this slide -- and there are  
12 a number of other slides which I can list for you, that have  
13 the balloons on them as well -- we think all of those are  
14 inadmissible.

15           JUDGE MCNAMARA: I'm going to need to know each  
16 of the slides individually.

17           MR. FLANZ: Of course. So our list with respect  
18 to those are -- if I might just grab the binder.

19           JUDGE MCNAMARA: While you are getting those, who  
20 will be responding for InterDigital?

21           MR. BRADLEY: Kirk Bradley will, Your Honor.

22           JUDGE MCNAMARA: Okay. Good. Thank you.

23           MR. FLANZ: Your Honor, that would be slides  
24 91 -- I believe it's 66 through 70.

25           JUDGE MCNAMARA: Just a minute. Okay.

1           MR. FLANZ: I'll show you, Your Honor, so we can  
2 all look at it.

3           JUDGE MCNAMARA: Is that the entirety of the  
4 list?

5           MR. FLANZ: No. The full list where we're  
6 objecting to new theories is 66 through 70, 81, 89, 91, 92,  
7 98, and 90, 94, and 99.

8           JUDGE MCNAMARA: And what you're saying, if I  
9 understand correctly, is that none of these illustrations  
10 was used at any time in any -- in Dr. Richardson's report,  
11 during his deposition testimony, these were not used  
12 anywhere.

13           MR. FLANZ: Yes, Your Honor, with just a little  
14 bit more than that. So not only did they not use the  
15 illustrations, but they hadn't shown any way of decomposing  
16 the GF function into MCIF and SCF. So that's one argument,  
17 the new disclosure of decomposing GF into MCIF and SCF. The  
18 other argument is they are identifying a new GF, one that  
19 wasn't previously disclosed.

20           As you might recall from the opening, Mr. McKeon  
21 pointed to Round2 being an issue with their GF, and their  
22 new GF doesn't include Round2. And so, as an example for  
23 that one, I can point you to slide 94. I'll put that up.

24           JUDGE MCNAMARA: I guess while I have the entire  
25 list, I took down at 91, 92 being close to the order that

1 you provided them, 66 through 70, 81, 89, 91, 92, 98, 90,  
2 94, and 99.

3 MR. FLANZ: That's correct. So, Your Honor, with  
4 respect to the second argument, this is a great example of  
5 such a slide where they are identifying a new GF.

6 JUDGE MCNAMARA: And you are on slide which  
7 again?

8 MR. FLANZ: I'm on slide 94. And I will confess  
9 this is my first time using the ELMO, so I'm going to try  
10 and zoom in.

11 And it looks like it's there, and you can see  
12 they have drawn this box around a portion of this function,  
13 and you see on the bottom outside the box is this Round2.

14 And so our argument would be, previously they  
15 pointed to the whole function. Now they are pointing to  
16 just a specific part of that function. They never  
17 previously said that they were going to point to such a  
18 specific part of that function as the single GF filter.  
19 And, in fact, Your Honor, they previously said that this  
20 Subpel\_Filter function actually was multiple filters, not a  
21 single filter.

22 And so as an example of that argument, you can  
23 look to their prehearing brief at page 19, which I'll show.

24 JUDGE MCNAMARA: Let me pull it out. Go ahead.

25 MR. FLANZ: Yes, Your Honor. So if you look

1 here, I just put together the prehearing brief side by side  
2 with the cutout from slide 98 of Dr. Richardson's  
3 demonstratives, and so you can see on the left in the  
4 prehearing brief, InterDigital argued that each row in the  
5 array is a filter. So each row.

6 And on the right you can see this new  
7 demonstrative which has multiple rows and they are saying  
8 that it is a single filter.

9 JUDGE MCNAMARA: Okay. What page, again, are you  
10 on?

11 MR. FLANZ: So this is slide 98 and page 19 of  
12 the prehearing brief.

13 JUDGE MCNAMARA: Okay. I see.

14 MR. FLANZ: Again, I have highlighted here, you  
15 can see, again, InterDigital reiterated its position that  
16 they disagreed with us that they had -- that we had to find  
17 MCIF and SCF.

18 So we're happy to -- I'll proceed however you  
19 want, Your Honor, to walk through each slide, but, at a high  
20 level, our objections are, one, this is a totally new GF  
21 that wasn't in the prehearing brief and we think is contrary  
22 to it; and, two, they never identified how to decompose GF  
23 into the SC function and the MC function, which is, by the  
24 way, why Mr. McKeon presented that in opening.

25 And I will note that this morning, a few minutes

1 before you arrived, we heard some additional prehearing  
2 brief cites from InterDigital. So I've had a chance to look  
3 at them, and I don't believe that they solve the problem.  
4 That being said, I haven't heard their argument on it.

5 JUDGE MCNAMARA: Yes, and they do have a right to  
6 make that argument.

7 MR. FLANZ: Of course.

8 JUDGE MCNAMARA: So is there anything else you  
9 would like me to look at so that I'm thoroughly briefed and  
10 you're on the record?

11 MR. FLANZ: I don't think -- I think that that's  
12 our position, although I would like the chance to respond if  
13 they make an argument.

14 JUDGE MCNAMARA: Of course. Sure.

15 MR. BRADLEY: Kirk Bradley, for Complainants.

16 So there's actually three issues here. That's  
17 why Mr. Flanz grouped them the way he did. I'll be clearer  
18 about it.

19 So the first objection they have, as I understand  
20 it, is on slide 66 through 70. The second objection they  
21 have is on slides 81, 89, 91, 92, 98. I think those --  
22 these sets rise and fall together, I believe. Their third  
23 objection is on 90, 94, and 99. That's why they go out of  
24 order, is because they should be grouped that way.

25 I will take them one at a time.

1 JUDGE MCNAMARA: Are you taking them as a group  
2 at a time?

3 MR. BRADLEY: I'm going to group them at a time.  
4 There's going to be three discussions here. The first  
5 discussion is on slide 66 through 70.

6 JUDGE MCNAMARA: Gotcha.

7 MR. BRADLEY: If we turn to slide 66, and,  
8 Your Honor, if it would be helpful, I could put it on the  
9 ELMO.

10 JUDGE MCNAMARA: I think it might be helpful for  
11 everyone.

12 MR. BRADLEY: So here is slide 66, which I think  
13 is fairly representative of 66 to 70. And what this slide  
14 says in the title is "Generating Additional Pixels." And we  
15 see here on the right side of the screen it has Fig. 8 from  
16 the patent, and all this does is show how you can take those  
17 pixels and then do an interpolation. This is a slide  
18 explaining interpolation.

19 And in our prehearing brief, on page 20, we  
20 address this precisely, and we say Fig. 8, again, the figure  
21 on the screen, depicts how pixels of a prediction block --  
22 that's the last part of Fig. 8 on the bottom right -- our  
23 brief says Fig. 8 depicts how pixels of that prediction  
24 block are generated from the pixel S at coordinates Xref  
25 Yref by applying single filters to the rows and columns of

1 the reference block. The reference block is the one in the  
2 upper left of Fig. 8 on this slide.

3 What we've said in our prehearing brief is that  
4 Fig. 8 shows how to start with a reference block with  
5 individual pixels and from those perform interpolation to  
6 get down to the prediction block in the bottom right.  
7 That's what our prehearing brief says on page 20.

8 This slide titled Generating Additional Pixels  
9 explains how that works, how you go from individual pixels,  
10 perform interpolation. I'm happy to explain what it's  
11 showing, but that's what it's showing. And it says here how  
12 to do it between values, and that's exactly what  
13 interpolation is, how to get pixels between values.

14 That's what this debate is about, and I think  
15 it's clear that what this whole patent is about  
16 interpolation -- I won't comment further on their  
17 objection -- but this is interpolation. And Dr. Richardson,  
18 I expect, is going to walk us through what it means to  
19 interpolate. And these slides 66 to 70 show that.

20 I'm happy to move on to the second unless  
21 Your Honor has questions.

22 JUDGE MCNAMARA: Just a quick question, because  
23 I'm at page 20 of the prehearing brief and I do see that  
24 explanation. So I would understand that there would be,  
25 again, that it would be not unusual to use Fig. 8 as a

1 pictorial.

2           So I understand that's exactly what you were  
3 doing.

4           MR. BRADLEY: Great, Your Honor.

5           JUDGE MCNAMARA: At least with this.

6           MR. BRADLEY: Yes, Your Honor. I would like then  
7 to turn to their second issue, and, again, that's the one  
8 that begins with slide 81.

9           JUDGE MCNAMARA: Before we move on, since you  
10 were providing groups, would you say that 67 through 70 --  
11 they have different headings, obviously, different  
12 descriptors, but at least one of them talks about generating  
13 additional pixels using the formulae.

14           So I guess my question is, there are different  
15 representations in 67 through 70. Are they also -- can you  
16 tag those also or correlate them with the prehearing brief?

17           MR. BRADLEY: It's the same point. It starts  
18 with a really easy example on 66 just to show a couple  
19 pixels, how you interpolate, and it builds from there.  
20 These are successive slides in the presentation, and it is  
21 just starting simple and then showing how it grows and grows  
22 in the context of the patent, of course, but it's just  
23 building on.

24           So it's the same thing. We have disclosed how  
25 you interpolate and so it's the same point.

1           JUDGE MCNAMARA: Okay. Also, at page 20 of the  
2 prehearing brief, you cite to a number of pages of  
3 Dr. Richardson's deposition, from what I can tell, or from  
4 one of his expert reports.

5           MR. BRADLEY: Yes, Your Honor. We certainly -- I  
6 don't believe the objection includes that this wasn't  
7 disclosed by Dr. Richardson. It's just whether it's in the  
8 prehearing brief. Certainly, and I can show Your Honor, but  
9 it's in paragraph 101 of his report where he explains  
10 interpolation. He uses the word "between," which is the  
11 exact word we see here, that we're generating pixels between  
12 values, for example, on slide 66.

13           I did -- I don't know if this helps Your Honor,  
14 but I did a search when I heard their objection over his  
15 report, and interpolation appears 469 times. These slides  
16 are dealing with interpolation.

17           JUDGE MCNAMARA: Okay. And so if I understand  
18 the objection that has been lodged, first of all, these  
19 exact pictorials of their theory had not been presented and  
20 now Respondents are claiming that this is an additional  
21 theory.

22           MR. BRADLEY: It's not a new theory. It's  
23 interpolation. It's a demonstrative to help elucidate  
24 Dr. Richardson 's testimony that I expect him to provide.

25           JUDGE MCNAMARA: Before we move on to the next

1 group, Ms. Dienes, where are you in this?

2 MS. DIENES: Your Honor, I largely agree with the  
3 Respondents that this information is not disclosed in the  
4 prehearing brief. I do not think it's a simple matter of,  
5 oh, it's a demonstrative of interpolation, and, therefore,  
6 it is fair game.

7 I don't think there is any problem with  
8 Complainants relying on Fig. 8, but if you had looked on  
9 slide 66, the figure to the left, that Complainants are  
10 claiming are merely a demonstrative, contains a lot of  
11 additional information, including additional numbers,  
12 different arrows pointing to things.

13 And then within this set, if you look at slide 68  
14 through 70, there's an identification of specific portions  
15 that are SCF and MCIF filter that were not previously  
16 disclosed as well as a previous identification of a GF  
17 filter on slide 70.

18 So I think it's not simply a demonstrative of the  
19 interpolation, and to the extent it is, it was not  
20 previously disclosed, and it contains a lot of additional  
21 information that was not disclosed in your prehearing brief  
22 and would be contrary to your Ground Rule 7.2.

23 JUDGE MCNAMARA: So here's the thing about that  
24 too. There is a bit of a problem that I've thought about.  
25 There is a notice disclosure in the prehearing brief.

1 Obviously there have been hundreds and hundreds of pages of  
2 depositions taken. And so generally I don't expect in the  
3 prehearing brief to see just a replication of what's in  
4 expert reports, expert rebuttal reports, but enough tie-in  
5 of the actual evidence and a discussion of the issue so that  
6 both sides are clear what the theory is and how they are  
7 being explained across the evidence that we have.

8           The prehearing brief is supposed to give you the  
9 theories that are being relied upon and they are supposed to  
10 give you some of the reasoning, but, again, it can't be an  
11 exact replication.

12           So was any of this discussed in expert reports,  
13 in Dr. Richardson's expert reports, and was the theory clear  
14 to both sides as to what -- and what the prehearing brief  
15 was referencing?

16           MS. DIENES: Your Honor, from the Staff's  
17 perspective, and I willfully admit, I am one person so I  
18 tend to rely on the prehearing briefs for the parties to  
19 disclose their theory. I do not think that the information  
20 contained on the demonstratives was clearly disclosed, from  
21 my perspective.

22           Dr. Richardson's report is well over a thousand  
23 pages, so I do not think, even with the explanation as to  
24 the purpose behind Ground Rule 7.2, that this meets the  
25 intent and the purpose behind Ground Rule 7.2. I think it

1 goes beyond that.

2           And, again, I don't think it's a simple  
3 demonstrative of interpolation. If it was, I don't think we  
4 would be here. I think it goes far beyond that.

5           JUDGE MCNAMARA: Okay.

6           Do you have a response to that?

7           MR. BRADLEY: Yes, I do, Your Honor. Let me move  
8 on to slide 68, which, again, at 66 through, I believe 70.  
9 This is 68.

10           And this is, again, building on 66, which begins  
11 to introduce the concept of interpolation, and now here we  
12 see in the green, the light green bar at the top, all this  
13 is showing is that interpolation is occurring for the SCF  
14 filter, which is the resampling filter that's in the claim.  
15 And the bottom one that says MCIF, that "I" stands for  
16 interpolation.

17           And all we're doing -- there's no debate that  
18 these filters are doing interpolation. We're demonstrating  
19 through a demonstrative that the two filters are doing  
20 interpolation and we're mapping that out, building on that  
21 slide 66 that does interpolation.

22           Also, we gave them cites to Dr. Richardson's  
23 report last night, and they looked at that and their  
24 objection this morning does seem to be the brief. But any  
25 notion that this is not part of the prehearing brief doesn't

1 make sense to me, because this, again, is showing the two  
2 filters that are in the claim, SCF and MCIF, that everyone  
3 agrees, I believe, do interpolation. And we're elucidating  
4 and demonstrating in a little bit more detail gradually how  
5 they are doing interpolation.

6 I don't think there's disputes about how  
7 interpolation occurs, but it is an important concept to  
8 understand to see what these filters are doing. And that's  
9 all these slides do.

10 JUDGE MCNAMARA: Okay. Let's take the next  
11 grouping, and that would be -- well, is there any other  
12 response that you'd like to make?

13 MR. FLANZ: Your Honor, if I may, very briefly.  
14 I appreciate the description that we're hearing now from  
15 InterDigital. Again, we were discussing 66 and 70, but I  
16 want to discuss the issue that's most crucial to us, and I  
17 think it's the same topic. You see the same bubble diagrams  
18 here.

19 JUDGE MCNAMARA: Give me a slide number, please.

20 MR. FLANZ: This is slide 91. And I can show you  
21 that 92 is very similar. So these are the ones that we care  
22 most about, slides 91 and 92.

23 And I appreciate the response that there was a  
24 discussion of coefficients and that there was a discussion  
25 of interpolation with a word between. These images, this

1 analysis was never in the prehearing brief. It wasn't in  
2 the expert reports at all.

3           It's true that the patent has a figure and the  
4 patent talks about interpolation, but they never identified  
5 where this interpolation was happening, how it was  
6 happening. And so we were never able to look at and respond  
7 to where SCF was or where MCIF was.

8           This is very new. This is something we didn't  
9 have a chance to examine. That's why I wanted to direct you  
10 back to slides 91 and 92, which is kind of where the rubber  
11 meets the road.

12           JUDGE MCNAMARA: And so my understanding, if my  
13 understanding is correct, the earlier group of slides from  
14 66 to 70 is essentially laying out the predicate of just how  
15 interpolation works. There is no theory other than a  
16 replication in some fashion of a discussion of the claim.  
17 Would that be accurate?

18           MR. FLANZ: So I think looking at 66 through 70,  
19 they are doing more than what they did before, and so I  
20 don't think that there was disclosure for that. But with  
21 respect to -- infringement is really --

22           JUDGE MCNAMARA: Sure. I'm trying to figure out  
23 how much of this is truly just a replication of a figure  
24 that explains something that everybody would be clear about  
25 with respect to the claim and when we're getting into

1 infringement and the variations and the argument, and that's  
2 not clear to me.

3 MR. FLANZ: Right.

4 JUDGE MCNAMARA: Unless you're now pointing me to  
5 slides 91 and 92.

6 MR. FLANZ: So 91 and 92 is definitely what we  
7 care most about. One more point with respect to  
8 Your Honor's question about 66 through 70.

9 Certainly we're not objecting to the figure in  
10 the patent, but I think that -- and it's true that the  
11 prehearing brief has coefficients listed, but they were  
12 never identified as SCF. There was no identification that,  
13 hey, this is what we're going to be looking at to prove our  
14 case that there's a composition of functions here. In fact,  
15 as I showed Your Honor, they didn't think that they needed  
16 to do that.

17 So I think our objection with respect to this  
18 group is going to center around 91 and 92, but I do feel  
19 like -- we do think that 66 through 70 sets the predicate  
20 for this inappropriate and undisclosed reasoning.

21 JUDGE MCNAMARA: So there are other slides as  
22 well. Again, they were grouped, as you provided, as 81, 89,  
23 91, 92, 98. But now you're focusing just on 91 and 92.

24 MR. BRADLEY: Your Honor, Kirk Bradley. I would  
25 like to correct my grouping based on what Mr. Flanz has

1 shown. I misspoke. The groupings are 66 to 70, along with  
2 91 and 92. I believe those all group together.

3 JUDGE MCNAMARA: Okay.

4 MR. BRADLEY: So then the second group would be  
5 just 81, 89, 98.

6 JUDGE MCNAMARA: Okay.

7 MR. BRADLEY: And the third group would be 90,  
8 94, 99.

9 JUDGE MCNAMARA: Okay.

10 MR. BRADLEY: I'm sorry to introduce that  
11 confusion.

12 JUDGE MCNAMARA: That's fine. We want to get  
13 this clear on the record. That's more important than  
14 anything. And understand exactly what the objections are  
15 too, and what was disclosed and what wasn't.

16 So I now understand, again, that you're focusing,  
17 Mr. Flanz, on 91 and 92. What about 81, 89 and 98?

18 MR. FLANZ: Yes, Your Honor. So I will show you  
19 98. This is slide 98. And --

20 JUDGE MCNAMARA: I've seen a variation of this.

21 MR. FLANZ: Exactly.

22 JUDGE MCNAMARA: If not this exact exhibit.

23 MR. FLANZ: Right. That's an excerpt, and it's  
24 zoomed in on the portion that we have an issue with. So  
25 rather than search for it, the problem here is that on their

1 slide they are identifying Subpel\_Filters as being the  
2 single horizontal filter GFH. And so our view, and I think  
3 it's -- well, I'll let Staff respond, but our view is that  
4 never before was there an identification that Subpel\_Filters  
5 is going to be satisfying the GF limitation in the claims.

6 In fact, to the contrary, as I showed Your Honor,  
7 the prehearing brief, which is here on the left --

8 JUDGE MCNAMARA: And again, we're on page 20.

9 MR. FLANZ: This is page 19 of the prehearing  
10 brief. And in this paragraph, InterDigital says that each  
11 row in the array is a filter, right?

12 So comparing the left to the right, in their  
13 prehearing brief they are arguing that each row is a filter.  
14 And now the argument that we presume Dr. Richardson is going  
15 to make is that the composition or some combination of all  
16 of them is a single filter. We view those as inconsistent.

17 JUDGE MCNAMARA: All right.

18 Can you please address that, Mr. Bradley?

19 MR. BRADLEY: So, Your Honor, addressing the  
20 demonstrative slides 81, 89 and 98, perhaps this will be  
21 better once we hear from Dr. Richardson, but I've put up on  
22 the ELMO the highlighting that Mr. Flanz has provided, but  
23 he skipped the very first sentence.

24 JUDGE MCNAMARA: Again, you have to reference --

25 MR. BRADLEY: I will, Your Honor. Thank you.

1 This is on page 19 of the Complainant's prehearing brief.  
2 And the first sentence in the paragraph that begins on that  
3 page, it's the one that is not highlighted up here, and it  
4 says, "An example of a filter coefficient array specified by  
5 the AV1 Specification's Subpel\_Filters is shown at," and it  
6 gives the page cite for the AV1, where it has these  
7 Subpel\_Filters.

8           When you look at in the CDX exhibits that they  
9 are complaining about, which are numbers 81, 89, and 98,  
10 those are all just as we see on the screen here,  
11 Subpel\_Filters from AV1. And if we go back to  
12 Dr. Richardson's report, he goes into detail. I'm happy to  
13 put it on the ELMO.

14           JUDGE MCNAMARA: I think you should.

15           MR. BRADLEY: Okay. By the way, Your Honor, this  
16 is his report. This is double-sided. It's big. It's all  
17 in here.

18           I will flip to paragraph 1075. I'll show  
19 Your Honor, this is page 439 of Dr. Richardson's report.  
20 Just to show Your Honor, we are in claim element 1.3, which  
21 is this long one that talks about the GFv is a composition  
22 of the MCIF and SCF filters. And I'll show Your Honor -- I  
23 just wanted to show Your Honor we're in that section talking  
24 about that very claim limitation.

25           And we move on a few pages. Now I'm on page 443,

1 paragraph 1080, and here on the screen are Subpel\_Filters.  
2 It goes on and on. This one right here in the middle of  
3 page 440 is the exact one that's on the demonstrative slide.

4 The prehearing brief refers to these in reference  
5 to the Subpel\_Filters of AV1. Dr. Richardson's report goes  
6 into detail showing all of these, and on the demonstrative  
7 slides we hone in on this particular one.

8 There's other portions of his report that say the  
9 same thing.

10 JUDGE MCNAMARA: Right, but there is an issue  
11 that they have that this was not disclosed in the prehearing  
12 brief to the extent, and I think specific language was read,  
13 each row -- well, you can read it yourself, but it's at page  
14 19 of the prehearing brief, and it was already read into the  
15 record -- each row in the array is a filter, and yStep, in  
16 parentheses, and xStep indicates how many of the filters are  
17 applied to the samples.

18 And it goes on from there, but the explanation of  
19 the objection that I heard was that previously not all the  
20 rows in combination or in any combination there constituted  
21 filters.

22 MR. BRADLEY: I see, Your Honor. I think that's  
23 a question for Dr. Richardson about what it shows. And if  
24 in real time they have an issue -- I'm hesitant to sit here  
25 and testify, because this is pretty complex stuff --

1 JUDGE MCNAMARA: It is very complex.

2 MR. BRADLEY: -- about what is and is not a  
3 filter or filter array --

4 JUDGE MCNAMARA: Mr. Bradley, as I understand it,  
5 they did not have notice of the exact theory of how, in  
6 fact, this Subpel\_Filter worked, and it's clearly in his  
7 report. There is some language in the prehearing brief.  
8 But the other side is saying that there is a new theory  
9 about meeting the claim limitation and how that actually  
10 works.

11 MR. BRADLEY: Understood, Your Honor. So we're  
12 back here on page 19 of Complainant's prehearing brief. And  
13 I emphasized at the beginning the first sentence that refers  
14 to these Subpel\_Filters, and then it says here that -- and  
15 it talks about it as an array, a filter coefficient array.

16 JUDGE MCNAMARA: Which is what you just showed  
17 us.

18 MR. BRADLEY: Correct. And then it says there  
19 that each row in the array is a filter, and that yStep and  
20 also xStep indicates how many of these filters are applied  
21 to the samples, and it goes on to talk about  
22 Multipel\_Filters, like the Subpel\_Filters -- which, again,  
23 is the focus of the slides -- contain two or more filtering  
24 coefficients, and it goes on from there.

25 Maybe I don't fully understand the objection, but

1 the notion of these Subpel\_Filters and which ones are at  
2 issue and what they are, it's been the whole subject of this  
3 patent, and these two filters, and we were specifically  
4 referencing these Subpel\_Filters earlier. A moment ago I  
5 said it was the middle one on page 443 of Dr. Richardson's  
6 report. They all look the same. It's on page 444. My  
7 point is otherwise the same. I just wanted to correct that.  
8 It's because these arrays of filters, much like we see this  
9 one example of, there's actually lots of those within  
10 Subpel\_Filters.

11 JUDGE MCNAMARA: I've seen some of this. So let  
12 me look -- let's talk about, briefly, very briefly, the last  
13 block or the last numbers of the exhibits. That's 90, 94,  
14 and 99, or the last grouping.

15 MR. BRADLEY: Yes, the last objection. Should I  
16 speak to that or Mr. Flanz?

17 JUDGE MCNAMARA: I think Mr. Flanz should address  
18 that so that we're clear.

19 MR. FLANZ: Yes, Your Honor.

20 MS. DIENES: Your Honor, would you like to hear  
21 from me on the last --

22 JUDGE MCNAMARA: Sure.

23 MS. DIENES: I agree with Respondents. I believe  
24 this slide that we showed that compared the objected-to  
25 demonstrative and the contents of the prehearing brief shows

1 that they are actually somewhat contradictory, and that  
2 demonstrative -- that demonstrative slide does appear to  
3 show a new theory.

4 I certainly don't think I had notice of it from  
5 the prehearing brief, and that's a huge problem, to be  
6 suddenly putting in what seems to be new theories at trial.

7 JUDGE MCNAMARA: So for me the question is, is it  
8 a new theory or is it an explanation of what they already  
9 agreed upon. Again, I'm not sure.

10 MS. DIENES: Either way, I don't think it was  
11 appropriately disclosed in the prehearing brief.

12 JUDGE MCNAMARA: Okay. And I have some issues  
13 here for sure.

14 Go ahead. If you could address the last  
15 grouping, Mr. Flanz.

16 MR. FLANZ: Yes, Your Honor. So representative  
17 of the last problem is, I want to make sure the whole slide  
18 is on the screen, this is slide 99 of Dr. Richardson's  
19 proposed slides.

20 Our problem is that this is the first  
21 identification of just this portion as GFH. So you can see  
22 here on the slide that they have a blue box that's drawn  
23 around a portion of the code. It's just a few lines labeled  
24 GFH.

25 Now, I think you'll see that part of that is

1 Subpel\_Filters, which we were just discussing, so it kind of  
2 goes hand in hand. But the notice issue here is that never  
3 before were we told just this portion is what GFH is. Their  
4 previous position was that, if you see here at the top,  
5 interfilter, the previous position, as we understood it, was  
6 that the whole thing is what they were best identifying as  
7 GFH. And that's why we were able to -- that was the  
8 motivation for one of our responses, which you heard in the  
9 opening, about the inappropriate inclusion of Round2, right?  
10 But now we're hearing that, oh, wait, it's just this  
11 portion. We didn't have notice of that, and we think that  
12 that's unfair.

13 JUDGE MCNAMARA: And so that is a change for  
14 sure.

15 MR. FLANZ: That's right.

16 JUDGE MCNAMARA: Yes. And, again, you're  
17 isolating from that group just slide 99, but also part of  
18 that grouping you identified 90 and 94. So how do they play  
19 in?

20 MR. FLANZ: Let me just switch --

21 JUDGE MCNAMARA: Or is that the same? 94 seems  
22 to have an overlay from the AV1 Specification, but it has  
23 the same focus.

24 MR. FLANZ: That's right.

25 JUDGE MCNAMARA: And 99 the same.

1 MR. FLANZ: What was that, Your Honor?

2 JUDGE MCNAMARA: I think 99 is the same. We've  
3 talked about 99. We talked about 94. They appear to be the  
4 same. And 90 again.

5 MR. FLANZ: Yes, Your Honor.

6 JUDGE MCNAMARA: Okay. All right.

7 Ms. Dienes?

8 MS. DIENES: Your Honor, the Staff agrees that  
9 this is a problem. Obviously I'm interested to see what  
10 Mr. Bradley points to, but from the information I got this  
11 morning, I do not see this identification in their  
12 prehearing brief such that there would be notice of it going  
13 into this hearing. So I agree with Respondents that this  
14 should be precluded.

15 JUDGE MCNAMARA: I know. I was receiving some of  
16 the MILs that it wasn't clear what the parties -- there was  
17 still some confusion about what I would say is lack of  
18 clarity in some of the theories.

19 Do you want to address this, Mr. Bradley, this  
20 last grouping, please?

21 MR. BRADLEY: I certainly do, Your Honor. Kirk  
22 Bradley.

23 Let me first describe what I understand to be  
24 going on in Mr. Richardson's demonstrative slides. What  
25 this is saying, if Your Honor looks with me on demonstrative

1 slide 99, which is the example they gave, we start here  
2 where my pen is with the for loop, which means it starts  
3 with for, and it loops. Here is just below that --

4 JUDGE MCNAMARA: Read the commentary, please,  
5 that would be helpful.

6 MR. BRADLEY: The first one says for ( R = 0, and  
7 it goes on from there. Nested within that is a second for  
8 loop that says, for ( c = 0, and it goes on from there, and  
9 a third nested for loop says for ( t = 0, and it goes on  
10 from there.

11 And then after that for loop there is a line that  
12 says intermediate ( r ) c = Round2. It says Round2  
13 function. That's the debate. And so that is what  
14 Dr. Richardson expects to elucidate.

15 Let me first refer to the deposition where  
16 Lenovo's counsel asked him about this exact point, and I've  
17 highlighted it. We're on Dr. Richardson's deposition at  
18 page 15. Right at the beginning of the deposition, they are  
19 asking him about this very nesting of three for loops, and I  
20 understand the word "for" to help recognize the grammar from  
21 the transcript, the question is three, three nests of for  
22 loops. That's right.

23 And within that -- the last of the nested for  
24 loops, the innermost for loop, there is the use of Round2.  
25 Do you see that? Intermediate ( r ( c = Round2 -- that's

1 paraphrased a little bit.

2           That's the question. And he says, do you see  
3 that? And the answer is, I see that, but it's not -- it  
4 meaning the Round2 function -- he says it's not in that  
5 for -- he says here -- in response he says, I see that text  
6 about the Round2 function, but it's not in that for loop.

7           They are talking about three nested for loops,  
8 and they asked Dr. Richardson the question, and he said that  
9 the Round2 function is not in that for loop.

10           The filter we're pointing to, to go back to this  
11 demonstrative slide using 99 as an example, shows these very  
12 same three nested for loops that were discussed at the  
13 deposition and how the Round2 function is outside the for  
14 loop we're pointing to for the filter. It was exactly  
15 addressed.

16           And if we go back to the deposition here on  
17 page 17 of the deposition just a little bit ahead, we're  
18 still talking about the Round2 function, and it's at his  
19 report at page 441 and actually continues on to 442. And  
20 when you go to his report at pages 441 and 442, it has these  
21 exact things. Here is 442. It's the exact one, the exact  
22 same series of for loops with Round2 outside of the for  
23 loop.

24           JUDGE MCNAMARA: I may not understand as well as  
25 you all do, but my understanding of the objection is that

1 that may all have been discussed but it wasn't exactly clear  
2 that that's what was explained.

3 MR. BRADLEY: I can address that as well.

4 MS. DIENES: Yes, Your Honor, that's exactly it.  
5 Nor do I think that the parties are required to retain an  
6 encyclopedic knowledge of everything that has happened in  
7 the case in order to be able to glean what the theories are  
8 in the prehearing brief.

9 JUDGE MCNAMARA: And you have to be explicit in  
10 your prehearing brief about what you're considering is to be  
11 infringement, relying, of course, on those, but you have to  
12 be really clear about that.

13 This is one of the statements that I do not see  
14 clearly as explaining that that was the theory. Okay?  
15 There are a couple of others here that I think are harmless,  
16 or more harmless, but this particular grouping especially I  
17 have a problem with.

18 MR. BRADLEY: Yes, Your Honor. I have not yet  
19 presented where this was in the prehearing brief. I was  
20 first showing how -- the background that led to this that  
21 shows that this was disclosed, and now let me show in the  
22 prehearing brief.

23 JUDGE MCNAMARA: Sure. Go ahead.

24 MR. BRADLEY: For purposes of showing the  
25 prehearing brief, I first want to remind Your Honor that

1 these for loops I was just showing on pages 441 and 442 of  
2 Dr. Richardson's report are found in the one that matters  
3 here, this one on page 442 of Dr. Richardson's report is --  
4 this is page 442. The previous page is 441. And that's  
5 paragraph 1080 of Dr. Richardson's report, that's the key  
6 thing to remember for the moment, paragraph 1080 of his  
7 report.

8           When we go to the exact same portion of what I  
9 was discussing earlier, we're in the '877 patent, we talk  
10 about these arrays and the Subpel\_Filters, and there we're  
11 citing directly to paragraph 1080 and 1081, just a  
12 two-paragraph citation. So there it's disclosed.

13           And also on page 22 of our prehearing brief we  
14 have a discussion here that I've highlighted, and here it's  
15 describing in the context of the claims that the claim is  
16 directed to a single filter GFH and GFv that perform the  
17 functions of the two composition filters, MCIF and SCF.

18           And Dr. Richardson has identified just that, and  
19 we cite his report at paragraphs 1075 through 98, which  
20 includes the 1080 and surrounding text to be complete.

21           So this was clearly in our prehearing brief in  
22 the very context of the '877 patent and the two single  
23 filters, GFv and GFH, and I've already ahead of time shown  
24 the key portions of that paragraph for the debate that they  
25 are raising, and I also showed how that generated from

1     Lenovo's own question at the deposition.

2                     So that is our -- at least part of the support  
3     that I wanted to present to Your Honor. This is not a new  
4     theory. This is something we provided.

5                     JUDGE MCNAMARA: Mr. Flanz?

6                     I'm sorry. Were you finished, Mr. Bradley?

7                     MR. BRADLEY: That's good enough, Your Honor.

8                     JUDGE MCNAMARA: Mr. Flanz? And I think I know  
9     where I'm going to go from here.

10                    MR. FLANZ: Thank you, Your Honor. Scott Flanz  
11     for Respondents.

12                    I just want to close by saying that we saw the  
13     words that were in the deposition, three for loops, one,  
14     two, three, and nowhere in the deposition or anything he  
15     just showed is there an identification of where the GF is.  
16     There's a discussion of the code. There's no discussion of  
17     where is the GF. And I think that's the overarching problem  
18     with all three of these objections, that things have been  
19     discussed in general, but when it comes to the specifics,  
20     and particularly with this patent where there is an equal  
21     sign, the specifics matter.

22                    There is no good identification of GF, SC, or  
23     MCI, and we think we're being surprised with this. It  
24     wasn't in the prehearing brief, and so it should be  
25     excluded.

1 JUDGE MCNAMARA: Okay.

2 Ms. Dienes?

3 MS. DIENES: Your Honor, I would just like to  
4 point you to your Ground Rule 7.2.1.

5 JUDGE MCNAMARA: I know what it is, Ms. Dienes.

6 MS. DIENES: Okay. It's the Staff's view that a  
7 mere citation to the expert's report does not comply with  
8 that Ground Rule, because they are supposed to provide  
9 reasoning and explanation behind that. So I would say that  
10 that disclosure has not been made.

11 Also, I don't think Complainants should be  
12 rewarded for providing unclear or the lack of disclosure in  
13 their prehearing brief because they have come and tried to  
14 fix it in their demonstratives here.

15 JUDGE MCNAMARA: Okay. Are there any of the  
16 demonstratives that you would consider harmless?

17 MS. DIENES: Your Honor, I don't think any of  
18 them are harmless, because I think they all go to the heart  
19 of the infringement allegations in this case. So I don't  
20 know that I would be comfortable saying that it's okay, just  
21 let it in, because of the nature of the allegations in this  
22 case.

23 JUDGE MCNAMARA: Are there any --

24 Thank you, Ms. Dienes.

25 Are there any that you consider to be harmless,

1 Mr. Flanz?

2 MR. FLANZ: Scott Flanz on behalf of Lenovo.

3 I think that we're in agreement with the Staff.  
4 We don't see it as harmless, but we do think there are  
5 certain ones that are more important. The ones that are the  
6 most important are the slides resembling 99, which we  
7 discussed, I believe it was 99 and 98, and then also 91 and  
8 92, which have the bubble diagrams.

9 JUDGE MCNAMARA: Right.

10 So you're not objecting to the illustration of  
11 Fig. 8 that includes just essentially the way in which  
12 Fig. 8 operates?

13 MR. FLANZ: That's right, Your Honor. They are  
14 backgrounds. To the extent that it opens the door into  
15 inappropriate infringement analysis, we would object to  
16 that, but the -- we don't want to belabor the point. The  
17 core is infringement and we don't think they should surprise  
18 us with it.

19 JUDGE MCNAMARA: So what you're saying is, what  
20 you would really like to see out is 91, 92, 95 and 98.

21 MR. FLANZ: Can you say those again?

22 JUDGE MCNAMARA: 91, 92, 95, and 98.

23 MR. FLANZ: And 99, Your Honor.

24 JUDGE MCNAMARA: Okay.

25 Here is what I'm going to do. There is clearly

1 an issue here about notice and the extent of the notice, and  
2 I think there is a clear problem between what's presented in  
3 the prehearing brief as being a clear-cut theory of  
4 infringement.

5           So I'm going to allow the other demonstratives to  
6 which the Respondents do not have the same level of  
7 objection, if you will, and if they are used for  
8 illustration purposes only of a point, but they can't be  
9 used specifically for noninfringement.

10           The other slides will be disallowed at this  
11 point, but the Complainant can make an offer of proof, and  
12 there will be response to it. And I will give you a  
13 briefing schedule on that at the end of the week.

14           MR. FLANZ: Yes, Your Honor. Thank you.

15           JUDGE MCNAMARA: Okay. I think this is where the  
16 precision of language becomes so important and a precision  
17 of being very clear of why there is infringement or not  
18 infringement, why there is or isn't invalidity and the  
19 reasoning for it.

20           Again, it's not just enough to cite to exhibits.  
21 And I've run into this before. And sometimes, again, some  
22 of these demonstratives are pretty harmless, they are just  
23 illustrations. So that's where we're going to go.

24           MR. BRADLEY: Kirk Bradley for Complainants.

25           We jotted down what we understood to be the

1 ruling, which we heard it as 91, 92, 99, and 98. I think  
2 the 99 should be a 95?

3 JUDGE MCNAMARA: I heard -- let's clarify to be  
4 sure. I had written down 91, 92, 95, 98, and 99 was added.  
5 So is that correct, Mr. Flanz?

6 MS. DIENES: Your Honor, should it be 94 instead  
7 of 95?

8 MR. FLANZ: Your Honor, Scott Flanz on behalf of  
9 Lenovo. I think it should be 94 instead of 95.

10 JUDGE MCNAMARA: Okay. So let me repeat it so  
11 that we're all on the same page: 91, 92, 94, 98, and 99.

12 MR. FLANZ: Yes, Your Honor.

13 JUDGE MCNAMARA: Ms. Dienes, is that your  
14 understanding?

15 MS. DIENES: Yes, Your Honor.

16 JUDGE MCNAMARA: Okay. And that's your  
17 understanding, Mr. Bradley?

18 MR. BRADLEY: I've written them down, yes,  
19 Your Honor.

20 JUDGE MCNAMARA: Okay. Good. And, again, those  
21 are out and you can make an offer of proof.

22 MR. BRADLEY: Your Honor, just so I'm clear with  
23 them being out but we can make an offer of proof, may I --

24 JUDGE MCNAMARA: They cannot be presented here  
25 during this hearing. I'm sorry, they cannot be presented

1 during the hearing. You can make an offer of proof  
2 following a standard method by which you make an offer of  
3 proof with your evidence and so forth.

4 MR. BRADLEY: Understood, Your Honor. Just to be  
5 clear, because they do flow in context, would we pause and  
6 make an offer of proof at the time?

7 JUDGE MCNAMARA: Just mention that you are going  
8 to be making an offer of proof consistent with the ruling to  
9 preserve your appeal ruling.

10 MR. BRADLEY: Understood, Your Honor. Thank you.

11 MR. MCKEON: Your Honor, before we begin, would  
12 it be a good time for our morning break?

13 JUDGE MCNAMARA: Let's take 15 minutes and then  
14 we'll start again, and we'll try and make up time, and I  
15 will help you with that.

16 (Whereupon, the proceedings recessed at  
17 11:34 a.m.)

18 (In session at 11:50 a.m.)

19 JUDGE MCNAMARA: Thank you, everyone. Please be  
20 seated.

21 I think at this point we're finally ready for  
22 Dr. Richardson. We're going to try to make up time. I'm  
23 going to take a quick consensus.

24 Shall we shave ten minutes off lunch today, ten  
25 minutes tomorrow, ten minutes Friday, and that should cover

1 it?

2 Ms. Dienes, are you okay with that?

3 MS. DIENES: That's fine with Staff, Your Honor.

4 JUDGE MCNAMARA: Okay.

5 MR. BRADLEY: Kirk Bradley. That's fine with  
6 Complainants, Your Honor.

7 MR. MCKEON: Fine with Respondents, Your Honor.  
8 Thank you.

9 JUDGE MCNAMARA: We'll figure out if we need to  
10 do more than that.

11 MR. MCKEON: Thank you, Your Honor.

12 JUDGE MCNAMARA: Okay. Dr. Richardson, you  
13 haven't been given the oath yet, so would you kindly raise  
14 your right hand.

15 IAIN RICHARDSON,

16 having been first duly sworn or affirmed on  
17 his oath, was thereafter examined and testified as follows:

18 JUDGE MCNAMARA: Please state your full name.

19 THE WITNESS: Iain Edward Richardson.

20 JUDGE MCNAMARA: Thank you very much. You may be  
21 seated.

22 DIRECT EXAMINATION

23 BY MR. BRADLEY:

24 Q. Good morning, Dr. Richardson.

25 First of all, Kirk Bradley for Complainants.

1 JUDGE MCNAMARA: Thank you.

2 Q. Good morning, sir. I know you've testified  
3 before Her Honor before, but would you please introduce  
4 yourself?

5 A. It's nice to meet you in person this time,  
6 Your Honor. I'm Iain Richardson.

7 JUDGE MCNAMARA: Yes. I think it was during the  
8 pandemic.

9 A. Yes, on Zoom, yes.

10 Q. Did that Zoom meeting involve video coding and  
11 decoding?

12 A. That Zoom meeting used video coding and decoding  
13 to send and receive the pictures, yes.

14 Q. Let's turn to CX-2366 in your witness binder.  
15 And do you recognize that as your CV? You can  
16 look on the screen.

17 A. Yes, that looks like a copy of my CV.

18 Q. Did you work with counsel to prepare a series of  
19 demonstrative slides to help you elucidate your testimony  
20 here today?

21 A. I did yes.

22 Q. Did you create a demonstrative that summarizes  
23 your experience and education, and is that Exhibit CDX-5C.2?

24 A. I did.

25 Q. Please briefly describe your educational

1 background and work history at least as it relates to your  
2 testimony here today.

3 A. I received my undergraduate, degree which is  
4 actually a master of engineering degree, in 1990. I  
5 completed my Ph.D. on the topic of video compression in  
6 1999.

7 And then my experience that I think is relevant  
8 to what we're discussing today, I was a professor, first a  
9 lecturer, then a reader and then a professor, full  
10 professor, at the Robert Gordon University in Aberdeen,  
11 Scotland, for over 15 years, and I taught courses relating  
12 to imaging video processing and compression. I carried out  
13 research on those topics. I wrote a lot of research papers.  
14 I founded an image compression technology laboratory at that  
15 university.

16 I have worked as a founder of actually three  
17 companies, but two are listed here, so I cofounded a company  
18 called 4i2i Communications in the 1990s. As part of my role  
19 there I was developing and implementing video codecs. I  
20 founded two companies, OneCodec Limited and Vcodex Limited,  
21 and in my work with those companies I've been engaged with  
22 research, development, and implementation of video codecs.

23 Q. Is it safe to say you have been working in video  
24 coding and decoding technologies for the last 30-plus years?

25 A. That would be correct. Around 1993 was the -- my

1 first, I think the first research paper that I wrote.

2 Q. Have you designed video coding technologies?

3 A. Yes, I designed and implemented my first software  
4 video codec in around 1993, before anyone had heard of video  
5 codec. So outside of my specialist field. And over the  
6 years I've designed, implemented, and also managed the  
7 implementation of a number of video codecs.

8 One that always sort of sticks with me is in the  
9 1990s I developed a hardware video codec with that first  
10 company I founded, 4i2i. I then left the company and didn't  
11 know sort of much about what they had done with the  
12 technology.

13 And towards 2010 I got a phone call from NASA  
14 saying can you help us fix your video codec. And so that  
15 was news to me. And it turned out that the hardware that I  
16 had developed ended up in the international space station  
17 and had been working for a number of years, and they had a  
18 problem and they saw my name in the source code, and so they  
19 looked me up and got in touch. So, yeah.

20 Q. As part of your work, have you developed video  
21 coding software?

22 A. Yes, I have, yes.

23 Q. And have you published peer-reviewed papers on  
24 video coding and decoding?

25 A. I have. In fact, a number of the, I think it's

1 80-plus papers that I've published, a number of them focus  
2 very specifically on the challenges and potential solutions  
3 when implementing video codecs in software and in hardware.  
4 So I've always been particularly focused on the  
5 implementation of these technologies.

6 Q. Do you have experience with the process of  
7 testing for conformance to video coding specifications?

8 A. Yes, I'm experienced with the process. I've done  
9 it myself, and I've written about it.

10 Q. Have you given invited lectures on topics related  
11 to video coding and streaming?

12 A. Yes, I've given a number of invited talks over  
13 the years.

14 Relevant to the local area, I've been invited to  
15 speak at the Smithsonian Institution. It was a joint talk  
16 with technical staff from there and the Library of Congress  
17 on the topic of video compression.

18 And I've given eight or nine invited lectures to  
19 Examiners at the U.S. Patent and Trademark Office as part of  
20 their ongoing program to keep the Examiners up to date with  
21 the latest developments. So, basically, every year for the  
22 last 10 or 12 years, they have asked me if I have the time  
23 to give a talk on video compression to the Examiners, and  
24 that's sort of -- that's worked out around eight or nine  
25 times.

1 Q. Turning to CDX-5C.3, do you understand that the  
2 parties have agreed on the level of skill that a person of  
3 skill in the art of these three patents would have for the  
4 purposes of this investigation?

5 A. Yes.

6 Q. And do you recognize that level of skill as  
7 what's written on this demonstrative slide?

8 A. I believe so, yes.

9 Q. As of the priority dates of the three asserted  
10 patents dating back to, I believe, 2006, did you satisfy  
11 this definition?

12 A. Yes.

13 Q. When you were forming your opinions in this case,  
14 did you apply them and look at it through the lens of a  
15 person that has this level of experience?

16 A. Yes, I did.

17 Q. Do you consider yourself an expert in the field  
18 of video encoding and decoding?

19 A. Yes.

20 Q. And do you consider yourself an expert in the  
21 subject matter of the '556, '877, and '859 patents?

22 A. I do, because I think, as we've already heard,  
23 all three relate to the coding and compression of signals  
24 such as images and video, and that's been my area of  
25 specialty for over 30 years, so yes.

1           MR. BRADLEY: Your Honor, Complainants proffer  
2 Dr. Richardson as an expert in the field of video encoding  
3 and decoding and the subject matter of the '556, '877, and  
4 '859 patents.

5           MR. MCKEON: No objection, Your Honor. Michael  
6 McKeon for Respondents.

7           MS. DIENES: No objection from Staff.

8           JUDGE MCNAMARA: Then Dr. Richardson is accepted  
9 as an expert on the subject matter for which he has been  
10 called to testify without objection.

11          MR. BRADLEY: Thank you, Your Honor.

12          Q. Dr. Richardson, I've turned to CDX-5C.4.

13                 What were you asked to do in this investigation?

14          A. So as this slide I think summarizes, I've been  
15 asked to give my opinion or render an opinion as to whether  
16 certain accused products that can decode AV1 encoded  
17 bitstreams infringe the asserted claims of the three patents  
18 at issue. And --

19          Q. And --

20          A. I'm sorry.

21          Q. Go ahead, sir.

22          A. I was going to say I was also asked to reach an  
23 opinion or provide an opinion on whether certain domestic  
24 industry products that can decode AV1 encoded bitstreams  
25 practice the asserted claims of the three patents at issue.

1 Q. Did you form an opinion about Lenovo's  
2 infringement of the '556, '877, and '859 patents?

3 A. So with respect to certain computers, phones and  
4 tablets sold by Lenovo and/or Motorola, then I did reach an  
5 opinion, yes.

6 Q. And we'll look at those products, but what's your  
7 opinion on that issue?

8 A. So for those products my opinion is that they  
9 infringe the asserted claims of the three patents.

10 Q. And did you also reach an opinion about the  
11 domestic industry products that -- we'll identify those here  
12 in a moment, but did you analyze whether they practice the  
13 asserted claims of all three patents?

14 A. So for the domestic industry products that I  
15 think we'll be talking about, it's my opinion that those  
16 products all practice the asserted claims of the three  
17 patents at issue.

18 Q. In reaching your opinions, did you analyze the  
19 AV1 Specification that's been marked as CX-247?

20 A. Yes, I did.

21 Q. Did you also analyze third-party source code and  
22 technical documentation?

23 A. I did, yes.

24 Q. If we turn to CDX-5C.5, is this a demonstrative  
25 slide you've prepared that compiles the CX numbers for the

1 source code and technical documentation that you considered  
2 in reaching your opinions?

3 A. Yes, that's my recollection, yes.

4 MR. BRADLEY: Your Honor, if I may, the parties,  
5 I believe, are in agreement that, rather than have me read  
6 these all out, we'll just provide them to Ms. Kinkade soon  
7 enough.

8 JUDGE MCNAMARA: That's correct. That works  
9 well. And just so that you know, my set of slides starts at  
10 page 18.

11 MR. BRADLEY: May we approach?

12 JUDGE MCNAMARA: Yes. Thank you.

13 MR. BRADLEY: Your Honor, we'll start with that  
14 set, and then, as you probably deduced, we'll work our way  
15 into the next set.

16 Q. In addition to the source code and documents that  
17 we see here on this slide, did you also perform testing,  
18 either tests you did yourself, or that you reviewed from  
19 InterDigital's expert, Dr. Xiong?

20 A. I did both. I performed testing myself and I  
21 reviewed testing and the results of testing that Dr. Xiong  
22 had performed.

23 Q. And did you review and rely on signed  
24 declarations from Google, MediaTek, and Advanced Micro  
25 Devices, sometimes called AMD?

1 A. That's my recollection, yes.

2 Q. If you look in your binder at CX-514C or maybe we  
3 can put it on the screen, just the cover page of it.

4 Do you recognize this declaration as the MediaTek  
5 declaration that you considered?

6 A. I do, yes.

7 Q. For Mr. Don Chen, is that correct?

8 A. Yes, that's my recollection that I considered  
9 this declaration.

10 Q. Let's turn to CX-519.

11 Is this the Google declaration that you relied on  
12 from Mr. Thomas Hodge of Google?

13 A. I recall reviewing and relying on this  
14 declaration, yes.

15 Q. And then one more, if we can pull up CX-1600C  
16 just the cover page.

17 I'll ask, is this the AMD declaration you  
18 provided signed by Mr. Kevin Murray on behalf of AMD?

19 A. I think you might have misspoken in your  
20 question.

21 Q. Perhaps I did. Let me restate it.

22 Is CX-1600C the AMD declaration that you relied  
23 on in forming your opinions, the declaration of Mr. Kevin  
24 Murray?

25 A. I recall reviewing this declaration, and I relied

1 on this declaration in forming my opinions.

2 Q. Okay. We can pull that down.

3 Moving over to CDX-5C.6.

4 Dr. Richardson, have you formed an opinion about  
5 representative products in this investigation so that we  
6 don't have to go through every single one?

7 A. I have, yes.

8 Q. And what do we see here on this slide, CDX-5C.6?

9 A. So this is a list of eight products that, in my  
10 opinion, are representative of the functionality we are  
11 discussing in this matter.

12 Q. For the accused products; is that right?

13 A. Yes, sorry, the representative for the accused  
14 products. So these are all products sold, as I understand,  
15 by Lenovo and/or Motorola.

16 Q. Do all of these products, in your opinion, comply  
17 with the AV1 Specification?

18 A. Yes, they do. I'm sorry. I might just extend  
19 that answer slightly.

20 In my opinion all of these products are capable  
21 of decoding video that has been encoded according to the AV1  
22 Specification.

23 Q. And does the decoding also occur according to the  
24 AV1 Specification?

25 A. Yes, in my opinion, yes.

1 Q. So on the screen now, CDX-5C.7.

2 What do we see here?

3 A. So this is an illustration of, if I recall  
4 correctly, one of these products, the Tab P11 Pro Generation  
5 2, and I'm also highlighting what, in my opinion, is a  
6 representative chip or chipset that is present -- chip or  
7 chipset that is representative of the chipset in that  
8 product.

9 MR. BRADLEY: Your Honor, I'll pause for a moment  
10 and say here that, with the MediaTek chip that  
11 Dr. Richardson just mentioned, the parties have reached a  
12 stipulation as concerns the MediaTek chips. And I believe  
13 we're going to be filing it soon enough, but just for the  
14 sake of the record at the moment, the stipulation, as I  
15 understand it, is that the MediaTek 6891, including the Z  
16 and CZA versions, as well as the MediaTek 5586 and the  
17 MediaTek 8797 that we see here on the screen are all  
18 representative of each other. Any of those can be  
19 representative of any of the others. And, also, that the  
20 MediaTek 5583, 56 -- 5583 is the first one, then 5691 and  
21 then 5695, and 9S and 195S, I believe it is, are  
22 representative of each other. And we'll be filing that  
23 stipulation soon enough.

24 Q. Dr. Richardson, in your opinion, with the  
25 MediaTek chips, do they all decode AV1 video in materially

1 the same way?

2 A. For the chips or chipsets that I analyzed, yes,  
3 they do in my opinion.

4 Q. Moving ahead to CDX-5C.9.

5 What do we see on the screen here?

6 A. So this is an illustration of another Lenovo  
7 product, the ThinkPad X1, which has an Intel chip or  
8 chipset. And I've also highlighted that, in my opinion, the  
9 specific Intel CPU chip with integrated graphics is  
10 representative of the chips or chipsets in this product.

11 Q. And are you referring to the Intel Core i7-1355U  
12 with integrated Xe graphics?

13 A. Yes, I am.

14 Q. In your opinion, what chips is that one I just  
15 referenced representative of with respect to AV1?

16 A. It's representative of Intel chips that are  
17 capable of decoding AV1 video, which the similar proviso  
18 that decoding AV1 video means, broadly, capable of decoding  
19 a bitstream that is encoded according to the AV1  
20 Specification and that satisfies the decoding process of the  
21 AV1 Specification.

22 Q. And do the products that use the Intel Core  
23 i7-1355U with integrated Xe graphics chip, do those products  
24 all decode AV1 video in materially the same way?

25 A. In my opinion they do.

1 Q. Let's turn and look at AMD on CDX-5C.10.

2 What do we see here?

3 A. So this is an illustration of two Lenovo  
4 products, ThinkPad X13 and the ThinkPad T16. Both of them  
5 have AMD processors or CPUs. And I've called out two  
6 representative chips or two chips that, in my view, are  
7 representative, an AMD Ryzen 5 PRO 7540U with integrated  
8 graphics, and also an AMD Ryzen 7 PRO 6850U CPU with  
9 integrated graphics.

10 Q. And does the AMD Ryzen 5 PRO 7540U, does that  
11 operate with VCN 4.0 source code?

12 A. So the VCN 4.0 source code, that's a reference  
13 to -- it's an AMD code name for video coding source code  
14 that I understand, and I think if I recall, I understand  
15 from the AMD corporate representative that that source code  
16 is representative of the source code that is instantiated or  
17 present in that particular AMD CPU.

18 Q. Understood. And I see the one on the right  
19 references the VCN 3.0 source code, and that's in reference  
20 to the AMD Ryzen 7 PRO 6850U.

21 And is the answer the same for the VCN 3.0 source  
22 code?

23 A. Yes, it is. So the VCN 3.0 source code is, or is  
24 representative of the source code that is implemented in  
25 that AMD Ryzen 7 PRO CPU.

1 Q. Are these two products we've been referencing in  
2 connection with CDX-5C.10, are those, in your opinion,  
3 representative of all Lenovo products with VCN 3.0 or VCN  
4 4.0 source code with respect to how they decode 81  
5 bitstreams?

6 A. Yes. So in my opinion all of the products, AMD  
7 products or Lenovo products with AMD chipsets that I've  
8 analyzed, that one or the other of these chipsets would be  
9 representative of them.

10 Q. And did you review the AMD source code and  
11 document production in connection with forming that opinion?

12 A. I reviewed portions of the VCN 4.0 source code  
13 and portions of the VCN 3.0 source code.

14 Q. And did you rely on testing in connection with  
15 these products in assessing their representativeness?

16 A. I did, and I personally tested these products,  
17 and I also considered and relied upon Dr. Xiong's testing of  
18 these products.

19 Q. And did you also rely on the declaration from the  
20 AMD gentleman that we referenced just a moment ago?

21 A. I did, yes.

22 Q. And do the products that use the Intel core --  
23 strike that.

24 Do the products that use the VCN 3.0 source code  
25 and VCN 4.0 source code decode AV1 video in materially the

1 same way?

2 A. In my opinion they do, at least for the purposes  
3 of my analysis in this matter.

4 Q. Let's turn to Qualcomm and CDX-5C.11.

5 What does this one show?

6 A. So this is an illustration of a Motorola handset,  
7 the Motorola Edge+ 2023, and I've also highlighted that the  
8 CPU of this device is a Qualcomm CPU, Snapdragon 8  
9 Generation 2 mobile platform with the identifier SM8550,  
10 and, as I understand it, the code name Kailua.

11 Q. And this has the Snapdragon 8 Gen 2 mobile  
12 platform CPU; is that right?

13 A. Yes.

14 Q. Do you know, are there other Qualcomm chips  
15 capable of decoding AV1 bitstreams, or is it this one?

16 A. My recollection, yeah, my understanding is that  
17 this is the only Qualcomm chip, at least that I was aware of  
18 at the time, that is capable of decoding AV1 video on the  
19 chip itself.

20 Q. On CDX-5C.12, what do we see here?

21 A. This is an illustration of the Lenovo product,  
22 the IdeaPad Pro 5i, and, as I've highlighted, this product  
23 has an Nvidia GPU, graphics processing unit, and that's the  
24 Nvidia GeForce RTX 4050.

25 Q. Is this product that you just mentioned

1 representative of all Lenovo products with an Nvidia chip  
2 having a NVDEC 5.0 and 5.2 source code?

3 A. Yes.

4 Q. And that's -- in your opinion do they all decode  
5 AV1 video in materially the same way as concerns the subject  
6 matter at issue in this investigation?

7 A. For the devices with these Nvidia chipsets or  
8 GPUs, yes.

9 Q. Let's talk about Google Chrome for a second.

10 On CDX-5C.13, are these the products you reviewed  
11 with the Google Chrome browser?

12 A. Yes. So I think at least two of them we've seen  
13 already, so these are the Tab P11 Pro, the Tab P12, the Moto  
14 G 5G 2023, and the Motorola Edge+ 2023. And as I recall,  
15 all of these have the Google Chrome browser, and all of them  
16 are, as I recall, capable of decoding and playing AV1 video  
17 via the Google Chrome browser.

18 Q. And do they all do so in materially the same way?

19 A. For the purposes of my analysis in this  
20 investigation, then I would say yes.

21 Q. If we turn to CDX-5C.14, this is an excerpt from  
22 the Google declaration that you referenced earlier, CX-506.

23 What are we seeing here? What are you showing  
24 us?

25 A. So on the left is, as I recall, the front page of

1 that declaration. On the right I've called out two  
2 sections. The first one I've highlighted "Google responds  
3 to the links to the library of open-source code relating to  
4 VP9 and AV1 are," and then there are four web links, and  
5 I've highlighted two of them at the bottom of the screen.  
6 The first one ending in libgav1 and the second one ending in  
7 libaom. And the reason I highlighted these two web links is  
8 that these relate specifically to the Google code's  
9 functionality relating to AV1.

10 Q. So in addition to CX-506 that we see an excerpt  
11 of here on the screen, do you recall, the Google declaration  
12 CX-519 that we referenced a moment ago, do you recall that  
13 it identified these same links?

14 A. That's my recollection, yes.

15 Q. Turning to CDX-5C.15, and I want to talk to you  
16 for a moment about the domestic industry products.  
17 Sometimes I refer to those as the DI products.

18 Do you understand that convention?

19 A. I'll try and remember that. I've heard it  
20 before, yes.

21 Q. You're welcome to refer however you would like.  
22 Sometimes I might say DI products.

23 Did you also consider representative products for  
24 your analyses relating to the domestic industry products in  
25 this investigation?

1           A.    I did.  I considered Apple and Vizio products.

2           Q.    And is the source code and documents you relied  
3 on in forming those opinions summarized here on CDX-5C.15?

4           A.    It is with respect to my opinions relating to the  
5 domestic industry products.

6           Q.    And just for the record, I will -- we will  
7 provide this summary later, but this is another one where  
8 there are quite a few CX numbers.

9                    Dr. Richardson, let's discuss the Vizio products  
10 first.

11                   If we turn to CDX-5C.16, what do we see here on  
12 the screen?

13           A.    So this is an illustration of two products or  
14 product series:  the Vizio 32-inch D-Series and the Vizio  
15 43-inch V-Series.  These are both television sets.  And with  
16 each one I've called out what I consider to be a  
17 representative chip, both MediaTek chips, and the first one  
18 is MT5583, and the second one is MT5691.

19           Q.    Do the Vizio M-Series, P-Series, D-Series, and  
20 V-Series all use one of the two chips, the MT5538 and the  
21 MT5691?

22           A.    That's my recollection, yes.

23           Q.    And do all of those products that use those two  
24 chips decode AV1 video in materially the same way for  
25 purposes of this investigation?

1 A. In my opinion, yes.

2 Q. And is the same true for the Vizio OLED TVs, do  
3 they also use one or the other of these two chips and  
4 materially decode AV1 video in materially the same way?

5 A. That's my recollection, yes.

6 Q. And did you verify that these chips are operable  
7 to decode AV1 video by performing testing?

8 A. I did. I tested at least -- at least two Vizio  
9 televisions, at least one containing each of these chips,  
10 according to the documents that I've already referred to,  
11 and in both cases I confirmed that the products were capable  
12 of successfully decoding and playing AV1 bitstreams.

13 Q. One more. Let's talk about the Apple products.

14 Turning to CDX-5C.17, which Apple products did  
15 you consider for your representativeness analysis?

16 A. So as this slide illustrates, I considered the  
17 iPhone 15 Pro and the iPhone 15 Pro Max, both manufactured  
18 by Apple.

19 Q. And what chip do these two products use?

20 A. So both of these products use and incorporate the  
21 Apple A17 Pro Chip.

22 Q. And in your opinion do all Apple products that  
23 use the A17 Pro Chip decode AV1 video in materially the same  
24 way for purposes of this investigation?

25 A. Yes.

1 Q. Did you verify that the A17 Pro Chip is operable  
2 to decode AV1 video by performing product testing?

3 A. So I tested each of these devices or one of each  
4 of these devices, which both -- both devices I understand to  
5 contain the A17 Pro Chip, and I confirmed for both of the  
6 devices that they were capable of decoding and playing AV1  
7 video.

8 Q. I want to turn then to the patented technologies.  
9 Before we turn to the first patent that we'll  
10 talk about, which is the '556 patent, I would just like to  
11 understand, do the patents generally relate to certain  
12 aspects of video encoding and decoding?

13 A. Yes, they do.

14 Q. And just generally, what is video encoding and  
15 decoding? You're welcome to put it in the context of AV1 or  
16 just more generally, but just to provide some background.

17 A. I think I'd like to put it more generally to  
18 start with, Your Honor.

19 So video encoding is the process of going from  
20 source video to a bitstream, a compressed or encoded  
21 bitstream. Video decoding is the process of going from an  
22 encoded bitstream back to some representation of video.

23 I think we're all familiar with the use of this.  
24 So, for example, when Your Honor and I met a few years ago  
25 via Zoom, I think it was at least on two occasions, my

1 camera on my device is capturing my video when that's  
2 happening. A video encoder in my device is encoding and  
3 compressing video into a bitstream. The bitstream is being  
4 sent over the internet as a series of packets, and at your  
5 end those packets are being received. The bitstream is  
6 being decoded, and the result is video on your screen.

7           And one of the most important aspects of all of  
8 that is that the encoding process reduces the amount of data  
9 that's required to be sent over the network, and the  
10 decoding process expands -- expands it back again.

11           And the reason we need to do that, or the reason  
12 that I've spent the last 30 years working on this, is that  
13 there's a lot of data, particularly as we're moving to  
14 high-definition and now 4K videos, it is quite common, and  
15 so there is a lot of data, network capacity is limited,  
16 storage space on, for example, mobile devices, like the ones  
17 I was just showing you, is limited, and so compressing as  
18 efficiently as possible is really important.

19           Q. Is efficient coding and decoding important in  
20 this field?

21           A. Yes. So efficient can mean a number of things,  
22 but there's maybe three main dimensions, I think, that are  
23 really helpful to remember.

24           One is the quality. We want video quality that's  
25 good enough or, in fact, as good as possible given other

1 limitations. We want the compression to be as good as  
2 possible given practical limitations. And we also want the  
3 computation to be as small as -- as low as possible given  
4 practical considerations. And these three dimensions are  
5 sort of in opposition to each other or we're trading them  
6 off.

7           So, for example, we can usually get smaller video  
8 files if we compress the data more, but that might result in  
9 poorer video quality, which we're going to be talking about,  
10 I think, today. We might also apply more processing power  
11 or perhaps and/or more memory, which I think we're going to  
12 be talking about today, in order to, again, improve  
13 compression, reduce the file size.

14           And over the years I think we'll come on to this,  
15 but over the 30 years I've been involved in the field, a  
16 number of video codec specifications and standards have been  
17 published, and each one sets out a certain, if you like,  
18 scope of ways of doing this. And I've written about quite a  
19 number of these standards and specifications in the past.

20           Q. You've mentioned codecs a few times.

21           Can you just provide a little bit of context or  
22 background on what a video codec is, and perhaps touch on  
23 what an encoder is and what a decoder and how that relates  
24 to a codec?

25           A. Okay. I'll stick with the Zoom example. I think

1 I already mentioned this, but the thing or the process or  
2 the piece of your device, or whatever it is, it can be  
3 implemented in a number of ways. The process that takes the  
4 input video and produces a compressed bitstream, that's the  
5 video encoder. The process that takes video bitstream and  
6 produces output video, that's a video decoder. You put the  
7 two together, you get the acronym codec. And so in my field  
8 we'll often use codec to describe as a sort of a shorthand  
9 for encoder and decoder.

10           They do tend to work in pairs. So if we're  
11 talking over Zoom, then both ends have a codec, and my codec  
12 is sending -- is encoding video and decoding at the same  
13 time, if it's a two-way conversation.

14           If I'm watching streaming service at home, then,  
15 on a TV such as the ones we've been talking about, so my TV  
16 at home, I can't remember, it's not a Vizio, but I think  
17 it's another model, it has a decoder in it, and the provider  
18 of the streaming service, such as Netflix, they are, at some  
19 point, encoding the video, so it's their encoder and my  
20 decoder.

21           And one of the purposes, in my mind anyway, of  
22 these standards and specifications I mentioned is that they  
23 tend to be written so that one encoder can talk to another  
24 decoder as long as they are both, loosely speaking, making  
25 use of the same specification or standard.

1 Q. One example that's particularly relevant here is  
2 the AV1 Specification, is that your view?

3 A. Yes. So my general understanding is that AV1 as  
4 a specification is intended for that type of purpose, to  
5 provide some sort of a reference so that an encoder that  
6 encodes video according to the specification and a decoder  
7 that decodes video according to the specification, that they  
8 can interoperate with each other, basically, the decoder can  
9 encode what the -- the decoder can decode what the encoder  
10 produced.

11 Q. And does that allow devices from different  
12 companies to be able to speak to each other and encode and  
13 decode video correctly because they are following the same  
14 specification?

15 A. Absolutely. So, again, at a high level, I've  
16 just been talking about chipsets from different  
17 manufacturers, Qualcomm, AMD, Nvidia and so on. If those  
18 chipsets all follow the same decoder specification, then one  
19 encoder, such as, I'm just making up a name, a Netflix  
20 encoder that uses AV1 encoding, all of those chipsets should  
21 be able to decode that same source material, even though  
22 they are, I guess, developed by different manufacturers,  
23 maybe the teams that develop them -- developed each one  
24 didn't even talk to each other, but they are all following  
25 the same specification. That's the general idea of a

1 specification such as this one.

2 Q. With particular reference here, I'll mention with  
3 decoders, can those come in different types, hardware,  
4 software, et cetera?

5 A. They can, and it can get quite complicated. You  
6 can have an AV1 decoder that does absolutely -- or the same  
7 for others -- for other specifications, such as the H.264  
8 standard or the HEVC standard, whatever -- an implementation  
9 of that can come entirely in hardware or it can be what I  
10 might call dedicate hardware, and implementation might be  
11 entirely in software, so everything is being done by  
12 software instructions executing on a processor.

13 What you also see quite a lot of is a mixture of  
14 the two. So it's possible, for example, for part of the  
15 operation of something like AV1 decoding to happen in  
16 software and part of it to happen in hardware. And  
17 sometimes we do that in my field in order to put the most  
18 computationally intensive parts into hardware and some other  
19 parts into software.

20 Q. Is Google Chrome an example of a software  
21 decoder?

22 A. So Google -- the Google Chrome browser has  
23 functionality, and one of those functions, as I understand  
24 it, is to be capable of decoding certain types of video. So  
25 when that browser is decoding AV1 video, it's my

1 understanding that it's doing -- it is doing the operations  
2 in software.

3 I think if you look inside the Google software,  
4 there are versions of certain functions that are optimized  
5 for certain types of processor, but all of the steps are set  
6 out in the software.

7 Q. You talked about efficiency and computations.

8 Does memory come into play?

9 A. Memory is very important. So, for example, I  
10 read a book about the H.264 standard, and in that standard  
11 there is a specification of essentially how much memory a  
12 decoder needs to decode a certain class of video, such as  
13 high-definition video, using the H.264 standard.

14 So for that example, if I want to manufacture an  
15 H.264 decoder that is capable of decoding high-definition  
16 video, I can work out, as a manufacturer, exactly how much  
17 memory I need for that decoding process. I can implement it  
18 in a number of ways, but the ceiling on the memory is part  
19 of the specification of that standard.

20 Q. To summarize a little bit what we've been talking  
21 about for the last bit, are all of the accused products and  
22 the domestic industry products in this case, are they all  
23 able to decode AV1 bitstreams according to the AV1  
24 Specification?

25 A. In my opinion they are, and I've explained, I

1 think, or summarized how I reached that opinion.

2 Q. So when we walk through the patents, and we're  
3 about to start here in a moment, if I refer to the accused  
4 products and the domestic industry products together as the  
5 AV1 products, will you understand what I'm referring to?

6 A. I'm happy to keep that in mind, yes.

7 Q. Okay. Let's put CDX-5C back on the screen and  
8 turn particularly to 5C.18, Mr. Campos.

9 Dr. Richardson, do you recognize this patent, the  
10 JX-3?

11 A. So, yes, this appears to be extracts from a  
12 U.S. Patent, I would call it the '556 patent, so it is the  
13 Patent U.S. 9,674,556.

14 Q. And there in the center top of the screen, do you  
15 see the title of the patent? What is it?

16 A. Methods and apparatus for in-loop de-artifact  
17 filtering.

18 Q. And, generally, generally, what is in-loop  
19 de-artifact filtering? What are we talking about here?

20 A. Probably a good idea to break it down a little  
21 bit, so there are three phrases there: in-loop,  
22 de-artifact, filtering. Artifact -- artifacts are -- I  
23 mentioned earlier this tradeoff between, for example,  
24 compression and image quality.

25 So I think we're probably all familiar with it.

1 When we turn the compression up to, you know, to the point  
2 where the image is visibly distorted, those distortions are  
3 sometimes called artifacts. In this patent, the patentees  
4 also call them noise or content noise.

5 And so artifacts in this context are disturbances  
6 introduced by the compression process itself such that, when  
7 the data is decompressed, the disturbances are visible or  
8 somehow present in the decoded images.

9 So that's artifact. And so de-artifacting, as  
10 the name suggests, is the process of trying to remove or  
11 reduce those artifacts.

12 Filtering is, at a really high level, a way that  
13 one can do that. So a filter modifies something, and in  
14 this context, so de-artifact filtering generally, I would  
15 say, covers filtering processes that remove or attempt to  
16 remove or reduce artifacts due to the processes I've been  
17 talking about.

18 And then the third phrase is in-loop. That's a  
19 little bit more complicated. There is a loop shown in  
20 Fig. 4 of the patent. There's a lot going on in it. My  
21 shorthand for what it means to be in-loop is that it's  
22 something that's done in both the encoder and the decoder.  
23 And one of the characteristics is that the filtered data  
24 ends up being stored in the decoder so that the decoder can  
25 make further predictions.

1           May I unpack that a little bit?

2           Q.    Yes, please do.

3           A.    Sure.  So the encoder compresses data, the  
4 decoder decompresses data.  I've talked about that.  One of  
5 the ways -- and I think you heard it yesterday actually --  
6 one of the ways in which a video encoder can compress data  
7 is to make predictions, and it does those typically a block  
8 at a time, a block being a small region of pixels.  And it  
9 makes those or can make those predictions based on  
10 previously decoded frames.

11                    Again, I think there were some good examples  
12 yesterday.  I can't remember the exact details.  I think  
13 there might have been a car moving along.

14                    And the idea is that, for a given block of pixels  
15 in the current frame, I can probably find a similar block of  
16 pixels in a previous frame, such as the frame immediately  
17 before.  Often due to things that are moving from one frame  
18 to the next.

19                    So to do that, at the decoder, to make that  
20 prediction, I need to store at least something, some part of  
21 that previous frame, maybe the whole frame, maybe part of  
22 it.  I need to store that previous frame, that previously  
23 decoded frame.

24                    And I'll draw Your Honor's attention in Fig. 4,  
25 the bottom center of that figure there's a reference picture

1 buffer. Again, I think you're going to hear -- well, you  
2 might hear more about today, depending on which slides I'm  
3 showing, but that reference picture buffer, generally in  
4 this context, that is memory that's going to be storing  
5 previously decoded frames.

6           So that was quite long-winded, but the point I'm  
7 trying to get to, with an in-loop filter, the output of the  
8 filter, the result of the filter goes into that buffer. And  
9 a benefit of that is that, when the decoder makes  
10 predictions from that buffer, it's making predictions from  
11 something that is being hopefully improved.

12           If the filter has done a good job, the amount  
13 of -- the level of artifacts or noise has been reduced in  
14 what's stored in that buffer, and, conceptually, I think  
15 hopefully you'll agree that, if I've got a cleaner image in  
16 that buffer, I can make a better prediction.

17           So one of the main purposes, in my mind anyway,  
18 of an in-loop filter is to improve that whole prediction  
19 process. It might have a side effect or another benefit  
20 that artifacts are reduced, so the image might look better  
21 to us as humans watching the video, but actually a key  
22 purpose of an in-loop filter is to improve that prediction  
23 process.

24           And so if, for example, I saw a de-artifact  
25 filter that wasn't in-loop, it might improve the quality for

1 the observer, for the viewer, but it wouldn't necessarily  
2 improve that process.

3 So that was quite a long answer, but I think  
4 these are topics that are going to be coming up again and  
5 again, so it's probably pretty important.

6 Q. In Fig. 4 on this slide, CDX-5C.18, I see in the  
7 bottom right a deblocking filter, a box labeled "sparsity  
8 denoising."

9 Actually, before I ask you about those, let me  
10 ask you, what problem was the '556 patent trying to solve?

11 A. So generally the '556 patent is trying to solve,  
12 in general, how to make a better in-loop de-artifact filter.

13 So I've explained why in-loop de-artifacting  
14 filtering can be very useful, and it can actually have quite  
15 a critical effect overall -- I'm not talking about the  
16 patent -- it could have a critical effect on the performance  
17 of this prediction process in video codecs.

18 Actually, I talked quite a lot a moment ago, I  
19 had forgotten I had some slides to illustrate this.

20 Q. I've shifted us to CDX-5C.19, and I realize  
21 you've described some of this, but to put it in context of  
22 what we're seeing here on the slide.

23 A. So I mentioned artifacts a few moments ago, these  
24 distortions or quantization noise that are due to  
25 compression. The patent talks about several types of

1 artifacts, and there's one that is really well-known.

2 I think if you've ever watched, like I have,  
3 streaming services, at some point, when your internet  
4 connection has gone a little bit ropey, a little bit bad,  
5 you've probably seen something like this. So it's a very  
6 characteristic artifact, not just of AV1, but of any video  
7 compression method that uses block-based coding in a certain  
8 way.

9 And this is called a blocking artifact. So I've  
10 illustrated it here with a close-up of an image, and it's  
11 got this sort of character -- to me it looks like looking  
12 through sort of glass tiles that are arranged on a grid.  
13 And this grid is actually the block grid. So it kind of  
14 gives you a clue to how this image was encoded. I can  
15 actually look at that and say these are 8x8 pixel blocks. I  
16 don't know if you can see, there's 8 pixels, I think, within  
17 each one of them.

18 So in the boundaries between these blocks,  
19 particularly when we have turned the compression up so the  
20 data is really reduced, we tend to see, at the decoder, we  
21 tend to see these -- they are basically discontinuities that  
22 show up. They are really visible. They are problematic  
23 from -- for us as humans, because, as somebody said  
24 yesterday, we tend to notice edges. They tend to kind of  
25 draw our attention. But they are also problematic for this

1 prediction process, because you can imagine, if you're  
2 trying to predict from this, you don't have a good starting  
3 point for the next video frame. So these are blocking  
4 artifacts.

5 Q. Does the '556 patent provide a solution that  
6 deals with artifacts that result from video coding?

7 A. It does. And to sort of cut to the punch line,  
8 it talks about at least a two-stage process, and one of  
9 those stages is to remove or reduce these blocking  
10 artifacts.

11 Q. And just briefly, what does the second stage do?

12 A. So the second stage is to use a sparse or  
13 sparsity denoising filter, which I think we're going to  
14 discuss a lot, and that's a second type of filter, or a  
15 second filter, it has a specific or -- it has a particular  
16 type. It's a sparsity denoising filter or a sparse  
17 denoising filter. And its purpose, according to the  
18 patentees, is to reduce or remove noise, quantization noise  
19 or artifacts, that haven't been removed or reduced fully by  
20 the deblocking filter.

21 So I think of it as a second pass, the deblocking  
22 filter goes first, does its job as best as it can, whatever  
23 is left can be reduced further, and that's what the patent  
24 is contemplating.

25 Q. Do you understand or recall that the asserted

1 claims of the '556 patent are independent claims 1 and 5 and  
2 dependent claims 3 and 7?

3 A. That's my recollection.

4 Q. Let's dive right in. Here we see on the screen  
5 CDX-5.20.

6 Is this the claim language of claim 5 broken down  
7 by its elements?

8 A. Yes.

9 Q. And you referenced it a moment ago, but in the  
10 context of the claim that we now see, it looks like claim 5  
11 talks about two successive filters, first a deblocking  
12 filter and, second, an adaptive sparse denoising filter.

13 Are those the filters you were referring to a  
14 moment ago?

15 A. Broadly, yes. I was referring to the patent in  
16 general, but I think that's consistent with what we see here  
17 when we look at a specific claim.

18 Q. What, in this context of the claim language,  
19 what's meant by successive filtering?

20 A. I would understand that to mean, in the context  
21 of the patent, that one filter is happening after the other.  
22 And it's saying here, for example, in element 5.c, I'm  
23 paraphrasing, a deblocking filter for performing a first  
24 pass, and then in 5.d, an adaptive sparse denoising filter  
25 for performing a second pass, and they are described as

1 being performed successively.

2           And if you remember that diagram I showed you a  
3 moment ago, you saw -- I think we saw two filters, two boxes  
4 there, first a deblocking filter and then an arrow to a  
5 sparse or sparsity denoising filter.

6           Q.   So is the output of the first filter the input of  
7 the second filter --

8           A.   That's --

9           Q.   -- for successive filters?

10          A.   That's my understanding of how one should read  
11 this claim in the context of the patent.

12          Q.   Is that your opinion of how it should be read?

13          A.   That's my opinion, yes.

14          Q.   Let's turn to CDX-5C.21. And we have here some  
15 of the claim language alongside Fig. 4 of the patent that's  
16 been highlighted some.

17                What are we seeing here?

18          A.   So I'm putting pieces together from the last few  
19 slides that we saw.

20                So on the right-hand side here is that Fig. 4  
21 again, and I've color-coded two of the boxes, the deblocking  
22 filter 465 and the sparsity denoising filter 444.

23                And on the left I'm extracting three of the claim  
24 elements. The first element on this slide, 5.b, recites two  
25 filters for successively performing in-loop-filtering. And

1 I'm just paraphrasing somewhat here. The second element  
2 corresponds to, in my opinion, to the first of those  
3 highlighted filter blocks, 465, and that's the deblocking  
4 filter for performing a first pass to reduce blocking  
5 artifacts. And the second -- so the final element I've  
6 listed, 5.d, corresponds, in my opinion, to the sparsity  
7 denoising block 444 in Fig. 4, and that's performing the  
8 second pass to reduce noise.

9 Q. For that phrase there in 5.d, the quote, "sparse  
10 denoising filter," closed quote, are you aware that the  
11 parties submitted competing claim construction positions on  
12 that term?

13 And you can see them here, I believe, on  
14 CDX-5C.22.

15 A. I'm aware that three proposed constructions were  
16 submitted for this term, yes.

17 Q. And what is the, just to recap, what is the  
18 meaning that the Complainants and Staff proposed for that  
19 term?

20 A. So the meaning is a filter that reduces noise  
21 based on a sparse representation of the signal.

22 Q. And there in the middle right column, do we see  
23 the Respondents' proposed constructions for this term?

24 A. Yes. And I understand -- I see that there are  
25 two constructions. The first one is a sparse denoising

1 filter for performing a second pass to reduce noise, which  
2 is not a deringing filter.

3 And a second construction, alternatively, a  
4 filter which exploits a sparse image model using an  
5 overcomplete set of linear transforms and hard thresholding,  
6 which is not a deringing filter.

7 Q. So perhaps setting aside one of those claim  
8 construction of -- argument about the alleged disclaimer of  
9 deringing filters, do any of your opinions change depending  
10 on which construction Her Honor ultimately gives to the  
11 phrase "sparse denoising filter" in these claims?

12 A. No. My opinions with respect to this patent and  
13 its asserted claims and the AV1 products, my opinions do not  
14 change.

15 Q. Let me ask you, when you formed your opinions of  
16 infringement by the accused products and practicing by the  
17 domestic industry products, did you review the patent and  
18 its specification and its prosecution history in the Patent  
19 Office for each of the three patents? Was that part of your  
20 analysis?

21 A. Yes, it was.

22 Q. And shifting just back to the '556 patent, you  
23 may have said earlier, but have you formed an opinion  
24 regarding whether the AV1 products infringe or practice the  
25 asserted claims of the '556 patent?

1 A. Yes.

2 Q. And what is that?

3 A. I have. In my opinion, the AV1 products, which  
4 we've discussed include those two categories, practice the  
5 asserted claims of this patent.

6 Q. So the Lenovo products infringe, and the DI  
7 products practice; is that your opinion?

8 A. The Lenovo and/or Motorola products infringe, the  
9 domestic industry products practice.

10 Q. Thank you for that clarification.

11 Let's jump into the element-by-element analysis.

12 When you rendered your opinions, did you look at  
13 all the elements to determine whether all of them were  
14 satisfied?

15 A. So this is claim 5. I considered all of the  
16 elements of claim 5 to determine whether they were  
17 satisfied.

18 Q. And you considered all the elements of all the  
19 claims. We're just using 5 as our walkthrough.

20 Is that right?

21 A. Yes.

22 Q. Let me ask you, does -- I'll turn to 5C.24. You  
23 can see it on the screen.

24 Does Lenovo dispute all the elements or just some  
25 of them?

1           A.    My understanding is that in this matter Lenovo  
2 does not dispute at least the first four elements here,  
3 that's element 5 preamble -- or the preamble, as I  
4 understand it, element 5.a, element 5.b, and element 5.c.

5           Q.    You understand that there is a dispute at least  
6 as to parts of 5.d and 5.e?

7           A.    Yes.

8           Q.    And do you understand that -- well, let me ask  
9 you this.

10                         In the AV1 Specification, what is your opinion  
11 that -- what thing in the AV1 Specification is the sparse  
12 denoising filter that's required in the claims?

13           A.    In my opinion the filter known as CDEF, the  
14 Constrained Directional Enhancement Filter in AV1, in my  
15 opinion, satisfies the element -- the requirement here for  
16 an adaptive sparse denoising filter that satisfies elements  
17 5.d and 5.e.

18           Q.    Do you understand in the context of element 5.d  
19 that the dispute is whether CDEF in AV1 is or is not a  
20 sparse denoising filter? Do you understand that to be a  
21 core dispute between the parties?

22           A.    I understand that to be a core dispute, and I'm  
23 not actually aware of any other disputes over element 5.d.

24           Q.    And, in 5.e, do you understand that the parties'  
25 dispute is whether or not CDEF in AV1 is or is not, quote,

1 "selectively enabled or disabled," closed quote, according  
2 to element 5.e? Do you understand that to be the core  
3 dispute on the second issue?

4 A. Yes. With the proviso that I understand, as I  
5 said, that the Respondents dispute that CDEF and AV1 is an  
6 adaptive sparse denoising filter.

7 Q. Correct. On 5.e, we continue that first dispute,  
8 but the separate additional dispute, the second dispute in  
9 5.e, is over whether CDEF is selectively enabled or  
10 disabled, is that your understanding?

11 A. That's broadly my understanding, yes.

12 Q. Okay.

13 MR. BRADLEY: Your Honor, I'm about to start  
14 walking through starting with the preamble. I'm happy to  
15 continue. It's almost 1:00. It's a transition point. I'm  
16 happy to continue.

17 JUDGE MCNAMARA: Let's break for lunch. It does  
18 seem like a good time to break.

19 So it is now 12:57. So I will see you back here  
20 in 50 minutes. We'll take off ten minutes. Thank you.

21 (Whereupon, the proceedings recessed at 12:57  
22 p.m.)

23

24

25

## 1 AFTERNOON SESSION

2 (In session at 1:50 p.m.)

3 JUDGE MCNAMARA: Thank you. Please be seated,  
4 everyone.

5 Anytime you're ready, Mr. Bradley.

6 BY MR. BRADLEY:

7 Q. Thank you. Kirk Bradley for the Complainants.

8 Dr. Richardson, I want to step back and ask you  
9 one more question that I probably meant to ask, if I didn't.10 Talking about the Intel representative accused  
11 products, do all the Intel accused products decode AV1 video  
12 in materially the same way as the representative ThinkPad X1  
13 that has the core i7-1355U with integrated Xe graphics?

14 A. In my opinion, yes.

15 Q. We're on CDX-5.25.

16 Looking at the preamble, I think you said  
17 earlier, there is no dispute about the preamble; is that  
18 correct?

19 A. I'm not aware of a dispute, no.

20 Q. And did you review documents and testimony  
21 provided by Lenovo in forming an opinion about whether  
22 5.pre, the preamble, is satisfied or not?

23 A. I did yes.

24 Q. And what did those documents and testimony tell  
25 you about whether the AV1 products infringe or practice this

1 requirement, if indeed it is a requirement, it's the  
2 preamble?

3 A. So those documents and testimony and also my  
4 testing of the physical accused products confirm to me that  
5 each of those products is an apparatus comprising at least  
6 memory and one or more processors, which are configured to  
7 do certain tasks.

8 Q. Those processors contain memory; is that right?

9 A. Well, the apparatus, apparatuses, what is the  
10 plural, the apparatuses do contain memory and processors.

11 Q. If we look at CDX-5.28, have you checked off that  
12 claim 5 preamble?

13 A. Yes.

14 Q. And the next limitation is 5.a, and it just says,  
15 decode an image region.

16 Did you analyze that?

17 A. I did, and for the reasons that I've discussed  
18 earlier, in my review of AV1, my review of the products  
19 themselves, and the documentation, in my opinion that  
20 element is also satisfied.

21 Q. And here on the screen is CDX-5C.30, which is a  
22 couple portions from the AV1 Specification.

23 Is that what we see here?

24 A. Yes.

25 Q. And is it sections 7 and 2 that you've provided

1 excerpts from?

2 A. Yes. So there's a bit of AV1 terminology here.

3 OBU stands for open bitstream, bitstream unit.

4 And it's quite hard to summarize this document, because  
5 there's a lot in it and it's quite technical, of course, but  
6 what it's saying here is that, for the general decoding mode  
7 of AV1, the input is a sequence of OBUs, and that, in my  
8 understanding, is a sequence of these data units, these open  
9 bitstream units, and each one of them contains the coded  
10 data, the coded bitstream for -- I think it's a frame or a  
11 portion of a frame, a video frame, that is, and it says,  
12 general decoding, input is a sequence of OBUs, so that's an  
13 encoded bitstream, output is decoded frames, so that is a  
14 decoded video sequence.

15 So this summary confirms what the AV1  
16 Specification describes in a lot of detail, which is that  
17 the AV1 products each decode an image region.

18 Q. And turning to CDX-5.31, what was your conclusion  
19 for the AV1 products relative to the requirement that they  
20 decode an image region?

21 A. So my conclusion is that all of the AV1 products  
22 do decode an image region.

23 Q. Moving right along, we have element 5.b, which  
24 says that the one or more processors including at least two  
25 filters for successively performing in-loop-filtering to

1 reduce at least a first and a second type of coding  
2 artifact.

3           And I know we talked some about this earlier, but  
4 did you analyze this question relative to the AV1 products?

5           A. I did, yes.

6           Q. And did you decide or determine whether these --  
7 whether this requirement is met by the AV1 products?

8           A. In my opinion it is indeed met.

9           Q. We see here on CDX-5C.33 section -- or an excerpt  
10 of section 7.4 from the AV1 process.

11           What does this tell us about the two filters, two  
12 successive filters, in AV1?

13           A. So, once again, I've picked out a short excerpt  
14 here of a very long document, but I've reviewed the entire  
15 document, went through it, and I can confirm that, in my  
16 opinion, what I'm about to describe does indeed happen in  
17 AV1 decoder.

18           And so this is part of the frame "wrapup"  
19 process. As the name kind of alludes, at the end of  
20 decoding the data of the frame, that's all the pixel data,  
21 an AV1 decoder goes through a wrapup process, a process that  
22 happens at the end of decoding one frame and before decoding  
23 a further frame.

24           And it says, on this extract here, if certain  
25 things are met, the process first performs -- and the

1 process here is the decode frame wrapup process, first  
2 performs any postprocessing filtering by the following  
3 ordered steps, and the first of those steps is described as  
4 a loop filter process and that is a deblocking filter that's  
5 specified in AV1, and the second of those processes is a  
6 CDEF process, and that is the constrained directional  
7 enhancement filter of AV1, which I mentioned earlier.

8           So two filter processes, they are happening in  
9 succession at the end of decoding a frame. The first of  
10 those processes is a deblocking filter, which, as I think  
11 we'll see, reduces or removes blocking artifacts, and the  
12 second process is the CDEF, which, in my opinion, is the  
13 sparse denoising filter, which, again, we'll look at  
14 further.

15           Q. So if we go to CDX-5C.34, what do we see here?

16           I'll mention I believe it's a graphic that  
17 largely mimics or mirrors a graphic from CX-2105, but  
18 explain what we see here on the screen, please.

19           A. So the document that you just mentioned is, if I  
20 recall correctly, a technical overview of AV1 written by  
21 some of the developers or designers of AV1. And what I've  
22 done here is I've taken one of the figures of that document,  
23 which is everything in, basically, in white or light blue,  
24 I've added the yellow boxes pointing to the deblocking  
25 filter and the CDEF. And this kind of does two things.

1           First of all, if you exclude those two boxes, and  
2 if you were to look at the original document, you would see  
3 that this is how the designers of AV1 describe or explain  
4 their processes. A decoded frame is filtered in those two  
5 passes, and then other things happen after that.

6           And the other thing that this is showing is I'm  
7 just illustrating what I just said, that, in my opinion, the  
8 first filter there, the first filter of the claims is  
9 satisfied by the deblocking filter, the second, which is  
10 also known in AV1 as the loop filter, even though there are  
11 other loop filters, the second filter of the claims is  
12 satisfied by AV1's CDEF.

13          Q.    And, Mr. Campos, if you could please pull up  
14 CX-2105.19. And then zoom in on the image on that page,  
15 please, on the top right.

16                We're looking at the actual paper now, CX-2105.

17                Dr. Richardson, is this the figure from the AV1  
18 paper you were just describing?

19           A.    Yes, it is.

20          Q.    Okay. And does it show the things you just  
21 described?

22           A.    So it doesn't have those two boxes labeled filter  
23 1 and filter 2, those were my boxes, but it shows everything  
24 else that my diagram, my slide described.

25          Q.    Great. Thank you.

1           If we go forward to CDX-5C.35, what is this  
2 describing for us?

3           A.    So, once again, this is my attempt to excerpt  
4 something from this big, complicated document that just  
5 explains or summarizes the first part of that, a loop  
6 filter, which is the deblocking filter.

7           Q.    And --

8           A.    Sorry. No, carry on, I was just collecting my  
9 thoughts.

10           And at a high level, I think it's quite useful to  
11 focus on the first two sentences, I've highlighted the  
12 second sentence, the input is the array of reconstructed  
13 samples, the output is a modified array of deblocked  
14 samples. So the output CurrFrame, C-U-R-R-F-R-A-M-E, is an  
15 array containing deblocked samples.

16           So once this process is -- this filter process  
17 has been applied, we have a version of CurrFrame, which has  
18 been deblocked where the filter has attempted to remove or  
19 reduce the blocking artifacts in the frame, if there are  
20 any.

21           Q.    So you're referring here to section 7.14 of AV1,  
22 is that what this is?

23           A.    Yes.

24           Q.    And if the output from here is CurrFrame,  
25 C-U-R-R-F-R-A-M-E, if we go to the next slide, CDX-5C.36,

1 what does section 7.15 say is the input to CDEF?

2 A. So the first sentence there explains that the  
3 input to CDEF is this same array CurrFrame, and when I say  
4 "same array," that's the array that was modified by the  
5 deblocking process.

6 And, again, I'm just excerpting and summarizing  
7 here, but if -- it's actually not too difficult to do,  
8 particularly we have a searchable version -- if you go  
9 through that specification, you can search on where  
10 CurrFrame appears and you can look at the order that the  
11 specification states things should happen in, and you will  
12 see that at the end of the loop filter, the deblocking  
13 filter, the very next thing that happens is this process  
14 here, which takes the output of the loop filter and applies  
15 CDEF, and then the second sentence says the output is the  
16 array CDEF frame containing deringed samples.

17 Q. Do the loop filter and CDEF that you've been  
18 describing, do those reduce different kinds of artifacts  
19 during the process?

20 A. They do. The first of those filters,  
21 specifically is designed to and operates to reduce blocking  
22 artifacts, and the second filter operates on what's left,  
23 and it reduces other types of artifacts, including ringing  
24 artifacts and, in my opinion, other types of coding noise or  
25 quantization noise.

1 Q. So in your opinion do the AV1 products infringe  
2 or practice element 5.b of the '556 patent?

3 A. Yes, they do.

4 Q. If we go to the next limitation, 5.c, which,  
5 again, is in the list of ones that are not disputed, it says  
6 here that at least two filters including -- include a  
7 deblocking filter for performing a first pass to reduce  
8 blocking artifacts.

9 Does the loop filter that you've described, does  
10 the first filter -- is it a deblocking filter for performing  
11 a first pass to reduce blocking artifacts?

12 A. It is, yes.

13 Q. How do you know that?

14 A. Well, I know that from my own testing. I know  
15 that from -- actually primarily from reviewing the AV1  
16 Specification, from reviewing documents such as the overview  
17 paper that I just mentioned, which actually describes the  
18 operation of this in a little bit more detail, and just from  
19 the -- from what's in the actual specification.

20 Q. So in your opinion do the AV1 products infringe  
21 or practice the element 5.c?

22 A. In my opinion the AV1 products all practice  
23 element 5.c.

24 Q. And the accused products infringe, correct?

25 A. And -- well, because the accused products

1 practice, in my opinion, all of the elements, they infringe.

2 Q. Great. So let's turn to where the first dispute  
3 is, and that's here in element 5.d, which says that an  
4 adaptive sparse denoising filter for performing a second  
5 pass to reduce noise.

6 You've already described a little bit, but what  
7 is a denoising filter in the context of the '556 patent?

8 A. So a denoising filter, as the name suggests,  
9 reduces noise. The '556 patent explains in quite a lot of  
10 detail what it means by that. It talks about quantization  
11 noise as, I think it uses that term, if I can recollect, to  
12 describe all coding artifacts, it describes blocking  
13 artifacts, as a first type of quantization noise, and the  
14 patentee says that, once you've applied the deblocking  
15 filter in a general video coding system, once you've applied  
16 a deblocking filter, what is left, according to the  
17 patentee, is quite similar to uniform noise.

18 And so that was, as I understand it, one of the  
19 motivations of the patented invention or the approach of the  
20 invention was to use denoising filters or a -- propose a  
21 denoising filter to attempt to reduce what's left after  
22 applying the deblocking filter.

23 Q. I have an easy one for you.

24 Is ringing noise a type of noise?

25 A. It is a type of noise. It's a type of creating

1 artifact and it's a type of quantization noise.

2 Q. What types of noise does CDEF in AV1 produce?

3 A. In my opinion, and based on my analysis and also  
4 on testing that I carried out, it reduces or removes ringing  
5 noise, it reduces or removes uniform noise or noise that  
6 does not necessarily have a ringing characteristic.

7 Q. Now in element 5.d, that we've talked already a  
8 little bit, and we're about to talk a lot more about sparse  
9 denoising filter, but I see here the word adaptive, adaptive  
10 sparse denoising filter.

11 Is there any dispute about whether CDEF is  
12 adaptive?

13 A. I don't believe there is a dispute over whether  
14 this -- that filter in AV1 is adaptive, meaning generally,  
15 as I recall, that its behavior changes depending on the  
16 content or depending on what it's filtering.

17 Q. And if we turn here to CDX-5C.41, we have an  
18 excerpt from CX-1608.

19 What do we see here, and particularly relevant to  
20 this term adaptive?

21 A. So this is an extract from or two extracts from a  
22 technical paper by, as I understand it, two of the authors  
23 or developers of the CDEF process itself. It's titled "The  
24 AV1 Constrained Directional Enhancement Filter (CDEF)."

25 And then in the second extract, I'm highlighting

1 that CDEF works, according to the authors, by, and I'll  
2 paraphrase, adaptively filtering.

3 So that supports my opinion that CDEF adaptively  
4 filters.

5 Q. Let's move on to sparse and sparse  
6 representation.

7 I'm on CDX-5C.42. We see the Manhattan skyline  
8 that's already been the subject of a fair amount of  
9 discussion.

10 In the context of the '556 patent, and the  
11 context of a sparse denoising filter, what does this skyline  
12 representation tell us?

13 A. So we had at least one, maybe two, explanations  
14 yesterday, that -- or illustrations that focused on these  
15 images, and I think it was well-put yesterday, so I don't  
16 want to repeat too much territory for Your Honor, but the  
17 concept here is that we have the same data, the Manhattan,  
18 basically the buildings of Manhattan, and we're looking at  
19 it here in two different representations, or the analogy  
20 here is that these viewpoints are each a representation.

21 And a sparse representation, in my opinion, is  
22 one that allows us to view or process the data in such a way  
23 that we can begin to discriminate between things, if it's  
24 filtering, we can discriminate between things that we want  
25 to filter and things that we do not want to filter.

1 Q. And with the slides that are on the screen, which  
2 come from RX-650, if you're trying to analyze a sparse  
3 representation, what does the right side image tell you that  
4 the left side image does not?

5 A. So, again, it was summarized yesterday.

6 In this illustration, if your purpose or what you  
7 want to do relates to the height of the skyscrapers, for  
8 example, identifying skyscrapers or buildings above a  
9 certain height, then the representation on the right, the  
10 viewpoint on the right in this illustration, allows you to  
11 do that more effectively than the one on the left.

12 The one on the left, as we heard yesterday, is  
13 really hard, absent other information, to distinguish  
14 exactly which buildings here are the skyscrapers, which are  
15 the lower buildings. By adopting a different -- putting the  
16 same data into a different representation, we can begin to  
17 discriminate between the two.

18 Q. And did Lenovo's expert, Dr. Orchard, or former  
19 expert, I guess, did he present this very image as a sparse  
20 representation of the Manhattan skyline?

21 A. My understanding is that he did.

22 Q. Let's turn to CDX-5C.43 so we can start to walk  
23 through an example. We'll have a few slides here. It is an  
24 important point, and we need to walk through it.

25 What do we see here on 5C.43 to start this

1 walkthrough of the sparse representation?

2 A. So I'm showing a simplistic image, but it's  
3 representative, if you like, of a image that might appear in  
4 a video.

5 So I'll suggest that this is representative of a  
6 typical single frame of a video sequence.

7 Q. And if we turn to CDX-5C.44, I see you have drawn  
8 out a red and blue square with a clear edge between them.

9 What are we showing here?

10 A. So this goes back to something I mentioned  
11 earlier. I think I mentioned blocks -- yeah, I did, in  
12 respect of deblocking -- and I mentioned that a video codec  
13 typically processes a scene a block at a time, and AV1 does  
14 that. It processes the scene a block at a time.

15 In the case of CDEF, it processes 8x8 pixels at a  
16 time. So I'm picking out one 8x8 block in this image. And,  
17 yeah, you've moved on. Sorry.

18 Q. If we move to CDX-5C.45, do you show that 8x8  
19 block of the red and blue edge of the car and sky?

20 A. Exactly. And, again, I think we saw this  
21 yesterday. On the right-hand side here I'm calling out or  
22 illustrating the actual 64 pixels that make up that block,  
23 and I've moved the illustration into monochrome because I  
24 think that's going to be easier to cover, something similar  
25 can happen for color information, but one of the things CDEF

1 does is it processes the monochrome component of video, so I  
2 think this is a reasonable illustration.

3 Q. So if we move to CDX-5C.46, we've taken that  
4 monochrome 8x8 block with the darker on top, lighter on the  
5 bottom, and you've had added a whole bunch of numbers here  
6 to the right.

7 What is this representing?

8 A. So we're seeing a block on the screen. Inside  
9 the computer that is driving this screen, or the screens  
10 that we're all looking at, there is computer stuff, the  
11 software and hardware, and in there, the pixels on the  
12 screen are represented as numbers, and color pixels is more  
13 numbers per pixels, but if we just stick to monochrome to  
14 keep it simpler, in monochrome each pixel is represented  
15 with one number.

16 The value of that number, the magnitude of that  
17 number, tells you something about the brightness of the  
18 pixel. So in this case a lower number is a darker pixel, a  
19 brighter number -- a larger number, sorry, is a brighter  
20 pixel.

21 Q. And I see here the top three rows of numbers are  
22 all numbered 102, meaning a little darker, and the bottom  
23 five rows are all 136, meaning a little brighter in the  
24 luminescence, is that what you're representing here?

25 A. That's basically it. And I've deliberately

1 chosen or, in this case, generated a block which starts off  
2 quite simple -- flat, dark gray at the top, flat, lighter  
3 gray at the bottom.

4 Q. So I realize we're working through an example to  
5 show, but it seems unlikely they would all be pure 102 in  
6 the first three rows and pure 136 on the bottom five rows.

7 So if we move to CDX-5C.47, what are you showing  
8 here?

9 A. Okay. I know I'm not supposed to disagree with  
10 you, but they might be all the same values. So that you  
11 could certainly get -- like on my screen, for instance, the  
12 background, the light background, that's all the same pixel  
13 value, but in video and images, very often we have  
14 variation, and it might be variation that was in there from  
15 the start, or it might be variation due to the coding  
16 process itself.

17 So, basically, variation that you don't want  
18 generally is what we call noise, and I've added some noise  
19 here to my original clean, flat block to make a noisier  
20 block on the right. So noise in this respect, what I've  
21 actually done is added small random positive and negative  
22 variations.

23 Q. And did you run all this in testing through  
24 MATLAB scripts that you prepared, like CX-2058, for example?

25 A. I did. So to generate these numbers and then to

1 introduce the noise, and then to do further steps, which  
2 I'll talk about, I wrote basically a program, a software  
3 program, in an environment called MATLAB, which is quite  
4 good for rapidly or easily testing things out like this,  
5 generating diagrams and so on.

6 Q. So if we move to CDX-5C.48, I see that the top  
7 three rows that were all 102s and the bottom five rows that  
8 were all 136s have now changed some.

9 What are you showing here?

10 A. Yes. So every pixel value has had noise added to  
11 it. Interesting, or I think it's interesting, some of the  
12 pixels haven't changed, because that's the nature of  
13 randomly occurring noise, is that sometimes things don't  
14 change, but most of the pixel values have changed. And the  
15 way they have changed I think is relevant. They have  
16 shifted up or shifted down. They have got slightly brighter  
17 or slightly darker, so the numbers have got slightly larger  
18 or slightly smaller.

19 So when we look at the block, we can actually  
20 really quickly see it still has a light part and a dark  
21 part, but we look at the numbers, it's a little bit harder  
22 to see that, but if you look at the numbers you can probably  
23 convince yourself that the top part still has generally  
24 smaller numbers and the lower part still has generally  
25 larger numbers, because the starting point was a dark or a

1 light block.

2 Q. If we move to CDX-5C.49, I see you have the same  
3 slide we just had, but now there's a red box around 101  
4 towards the upper left.

5 What are you going to show here? What does this  
6 represent?

7 A. So what I'm going to represent -- I'm going to  
8 pick out one pixel position, one of those 64 pixels, and  
9 just look at how CDEF works for that pixel.

10 And I'd like to just add something here. I did  
11 this for a lot of blocks, a lot of different noise  
12 characteristics, and a lot of different pixel values, and I  
13 submitted all of that in the testing exhibits that you  
14 mentioned earlier. But I'm just picking out one here.

15 The reason I'm saying that is that this will  
16 illustrate some aspects of how CDEF works, but if you wanted  
17 to look at other pixels, things behave differently, but the  
18 general principles, in my view, remain the same.

19 Q. CDEF would work the same. It's just the pixels  
20 it's filtering may change depending on what you're looking  
21 at, whether you're looking at a big white part of the wall  
22 over here or looking at the edge between the white and the  
23 brown rail; is that what you're saying?

24 A. Almost. So I mentioned that CDEF is adaptive.  
25 So CDEF is specified in AV1, and for any of those different

1 types of blocks, it will go through the same process every  
2 single time.

3           What it does to the block will depend partly on  
4 what's in the block. As we'll see in a moment, what it does  
5 to this pixel value depends on -- could we just go back one  
6 please -- depends on what's going on in the block.

7           For example, the fact that this block has a  
8 strong -- what I would call a strong horizontal feature, if  
9 you look at this, clearly there's something going on  
10 horizontally. That will affect how CDEF works.

11           A block that has something going on vertically  
12 will affect -- will -- CDEF will process that in a different  
13 way, but it will still follow the same process that's  
14 specified here.

15           I hope that's clear.

16           Q. So are you going to show us what CDEF does with  
17 the pixel using this 101 pixel as an example?

18           A. I am, yes.

19           Q. Okay. Let's move to CDX-5C.50.

20           And I see on the left side you have the 101 in  
21 the middle with the red box.

22           What are we seeing here?

23           A. Yeah, there's quite a lot going on on this slide,  
24 so I'll just take a moment.

25           Just focusing on the left-hand side of this

1 slide, the numbers that you see here are the same numbers  
2 you saw a couple of slides ago or for the last two slides.  
3 It's the top left part of that bigger block.

4           The reason I've just zoomed in on the top left  
5 part is that CDEF, for every pixel, it looks at a 5x5 pixel  
6 region. So that's why I've shown the 25 pixels on the left.  
7 That's the top left. 5x5 region of that larger block,  
8 that's the first thing.

9           The second thing that's going on is that there's  
10 some color-coding in the block on the left. There's a line  
11 of pixels color-coded green, and then there's two diagonal  
12 lines of pixels color-coded yellow. So there's 12 pixels  
13 color-coded apart from the original pixel in the middle.

14           So CDEF basically picks out -- chooses 13 pixel  
15 positions and does things to those positions. For every  
16 pixel 101, for every pixel that it's processing, it looks at  
17 13 pixel positions or considers them, if you like, and does  
18 some processes, based on what's in those boxes.

19           So in this case it's processing pixel 101, and  
20 this is like at a high level, it's processing pixel 101  
21 based on what's in the green and the yellow boxes, and based  
22 on what's in 101 -- 101 itself.

23           And just before I move away from that left-hand  
24 image, if the feature in the image was vertical, the feature  
25 in the block was vertical, all of this would be rotated 90

1 degrees, so it would be lined up. So the green line there  
2 is attempting to line up with the dominant feature in the  
3 block, which in this case is our boundary between the dark  
4 and the light pixels.

5           Moving on to the one on the right, CDEF goes  
6 through a number of steps, the first step is actually to do  
7 what I just described, to find the direction or the dominant  
8 direction and line up this pattern, this sort of -- it is  
9 like an asterisk, I think, if you sort of squint at it -- to  
10 line up this pattern based on that direction.

11           The next thing it does is illustrated on the  
12 right. It takes each of the 12 yellow and green positions  
13 and it subtracts from each of those the center position. So  
14 it's creating or calculating difference values. And you can  
15 see that if you move to the left of the 101 you've got a  
16 block that -- a pixel that is 102.

17           The difference between 102 and 101 is plus 1.  
18 Move one left again, you've got a value that is 97. 97 take  
19 away 101 gives you minus 4.

20           If I point to this one, does that help? So for  
21 each of these positions, we're looking at what's the  
22 difference between the pixel position over here and the  
23 center pixel. And if you do that for every one of the 12,  
24 you end up with 12 difference values.

25           And I'll just draw your attention to one more,

1 which is the difference value on the right in the green.  
2 And you'll see that the original pixel value over there was  
3 101. Our center pixel also happened to be 101. That's just  
4 because of the random variation. So the difference is zero.

5           So this is the differencing step. And I've  
6 called this in the title "difference domain," and this is my  
7 opinion, but, in my opinion, this is -- what we see on the  
8 right is a transformation of what was on the left, a  
9 representation in a difference -- in a different domain,  
10 which is this difference domain.

11           Q. So when we think back to the Manhattan skyline  
12 photos, and thinking that you have the top-down bird's-eye  
13 view versus the East River view that you can actually see  
14 the height of the buildings, that transformed into a  
15 different viewpoint, how does that relate or not to what's  
16 CDEF does with, as you say here, transforming the values  
17 into the difference domain? Is it looking at it from a  
18 different perspective like the Manhattan skyline?

19           A. It is doing exactly that. It is taking all of  
20 the data that I highlighted on the left and representing it  
21 in a different viewpoint or a different way of looking at  
22 that data in what I'm showing on the right.

23           And it's all still there, which might require a  
24 bit of explaining, but we've moved -- we've shifted, from  
25 the processing point of view, we've shifted the way that we

1 look at the data and, therefore, the way that we process the  
2 data by calculating these difference values, and that, in my  
3 view, is analogous, directly analogous, to shifting the  
4 viewpoint from bird's-eye view of Manhattan to a sideways  
5 view of Manhattan.

6 Q. And that's what CDEF does, is that your opinion?

7 A. This is one of the things that CDEF does. And  
8 it's not just my opinion. It's in the process in AV1, and  
9 these are the results that I didn't just kind of make up, I  
10 calculated them by applying the CDEF process in my test  
11 simulation, my MATLAB software.

12 Q. And we'll look in just a moment what we do next  
13 with this information, but first let me ask you two  
14 questions.

15 One, if I use the pointer on the screen, still  
16 looking at CDX-5C.50, if we look at this negative 4 value  
17 here, relative to the 101 pixel value of interest, can you  
18 get back to the original dataset, meaning, is this  
19 difference domain calculation, is that reversible?

20 A. It's completely --

21 (Clarification requested by the court reporter.)

22 A. -- completely reversible, and that's really,  
23 really important. Sorry. It is completely reversible.

24 So you can step through quite straightforward, I  
25 think. If you start with minus 4 and add 101, you get 97.

1 So you can quite literally work backwards. You can work  
2 backwards from minus 4 and 101 to 97. If you start with the  
3 next one, plus 1, you can work backwards from plus 1 and  
4 101, add them together, you get 1.

5 So if I wanted -- I don't know why I want to --  
6 but if I wished, if I had the data on the right and I knew  
7 how it was generated, I could reverse that process and get  
8 back to the original data. And, again, by analogy, I  
9 haven't leveled any buildings in Manhattan, I haven't  
10 touched the buildings, I've just moved my viewpoint of the  
11 buildings. All of that information is still there, but it's  
12 in a different domain or a different representation.

13 Q. And I said we're going to look at what happens  
14 next in this process, and I had two questions. That was the  
15 first one.

16 The second question I had for you is: Does CDEF  
17 use an overcomplete set of linear transforms?

18 A. In my view it does. So a linear transform is a  
19 transform that is, among other things, reversible.  
20 Overcomplete, and these are kind of quite big concepts, but  
21 overcomplete, as the name suggests, is more transforms than  
22 you need.

23 So in the example that I've just sort of walked  
24 you through, I can get back to the original data with just  
25 one of these pairs, but if we look at it another way, I can

1 get to 101 with any one of these difference values and the  
2 original value. Because it works that way as well.

3 So if I go through all 12 of these, I can get  
4 back to 101 by adding 4 to 97, I can get back to 101 by  
5 subtracting 1 from 102, by adding 5 to 96, or by adding 0 to  
6 101. So any one of these four gets me back to the original  
7 value.

8 So in my view, that is an overcomplete  
9 representation. Each one of those differences is a simple  
10 linear transform. We don't actually need all 12 to get back  
11 to the original data. We can get back to it from any one of  
12 the 12. So in my view that makes this set overcomplete.

13 Q. So if we pick up on the slides where we were, we  
14 have what you've called the difference domain on the right,  
15 so let me move to CDX-5C.51, and it looks like we're picking  
16 up with the process. I see that difference domain on the  
17 left.

18 What's going on here?

19 A. Okay. In retrospect, I should have maybe broken  
20 this down, because there is quite a lot going on in here.  
21 We'll focus on it one piece at a time.

22 The difference domain, I think I've walked  
23 through in quite a lot of detail, that's the one on the top  
24 left of this slide.

25 The other two pieces of this slide are

1 illustrating what happens next in CDEF. And I'm trying to  
2 illustrate a process that's implemented in software or  
3 hardware. But I'm just trying to illustrate what happens  
4 next.

5           So what happens next, once we have the values in  
6 the difference domain, for all of those values except for  
7 the middle one, so for all of those color-coded yellow and  
8 green values -- by the way, I'm going to introduce a term,  
9 offsets, offset locations. I should have done that earlier.

10           So I'm going to say that all of the yellow and  
11 green highlighted values on the left are offset locations,  
12 12 -- always 12 locations with CDEF.

13           So what CDEF does next is it looks at what is in  
14 those offset locations. And if the numbers in those  
15 locations, if the scale of those numbers is above a certain  
16 level, it ignores them. If the scale is below a certain  
17 level, it uses them in the final step.

18           And I've illustrated that in the middle diagram  
19 with the X's. So in the middle you'll see -- well, let's go  
20 to the left. You'll see that some of the numbers are  
21 larger, 39, 36, 32, 36, and the magnitude of those numbers,  
22 whether they are positive or negative, they are a long way  
23 from zero.

24           Other numbers are much closer to zero, the  
25 magnitude of those numbers is smaller. So CDEF makes a

1 decision based on the magnitude of those numbers.

2           If the magnitudes are above a certain level, such  
3 as in this case 32, 36, or 39, I've put X's through those  
4 values to illustrate that CDEF ignores those values from  
5 this point on.

6           Q. Let me ask you, if I may, just to break this down  
7 a little bit before you keep going with what's shown here,  
8 because there's a lot on this slide.

9           First of all, in the difference domain that  
10 you've shown, the far left side that we see here on slide  
11 CDX-5C.51, is that difference domain a sparse  
12 representation?

13           A. In my view the difference domain is a sparse  
14 representation. It's a different viewpoint or a different  
15 representation of the data, and it has made it possible for  
16 this filter to discriminate between data that it wants to  
17 take into account when filtering and data that it wants to  
18 ignore when filtering. And -- sorry.

19           Q. Were you here yesterday -- you were here  
20 yesterday for the openings, right?

21           A. I was.

22           Q. I don't have a slide on it, but do you recall the  
23 piano chord that was played, three large spikes for the  
24 notes, and then much smaller near zero values for the  
25 audience members that were murmuring in the background?

1           A.    Yes, and those yellow smaller values, as I  
2 recall, were representing noise, background -- audio noise  
3 in that example.

4           Q.    And let me ask you, are there instances there  
5 where perhaps you're interested in discerning those three  
6 large values and getting rid of the noise in the crowd?

7           A.    Yes, and like I think -- it was a good  
8 illustration yesterday where you might have a recording of  
9 that concert, or you might be streaming it live, and you  
10 want to try and make the piano sound better and try and  
11 reduce the noise. And that is something that you do in  
12 audio processing. You can try and reduce the noise and keep  
13 the dominant components, which in this case are -- would be  
14 the frequencies of the piano chord that's being played.

15          Q.    Could you or would you apply a threshold and, for  
16 example, get rid of all the noise below the threshold, just  
17 leaving the three dominant?

18          A.    You could, and I think that was explained  
19 yesterday. Again, I think that's a good illustration of, in  
20 a different but related context, how you can use filtering  
21 such as a threshold to remove noise and keep the things that  
22 you don't want to filter. So in that example you're  
23 filtering the noise, you're not filtering the large dominant  
24 values, you're leaving them unchanged.

25          Q.    And kind of the inverse of that same example with

1 the piano, I think yesterday was mentioned that perhaps the  
2 FBI would want to hear some plot going on in the crowd, and  
3 try to remove the piano, the dominant piano sounds.

4 So could that threshold be applied and, for  
5 example, filter out the large values and leave just the  
6 smaller, near-zero values?

7 A. You could do that, yes, in the example that was  
8 given, that would be a different type of filter, but it  
9 would be -- still be using that representation, that  
10 viewpoint of the data, the frequency domain in that example,  
11 it would be using the representation as a tool to filter one  
12 thing and leave the other thing, and it depends on what  
13 you -- again, you can do that with the audio. You have  
14 filters that will basically turn up the noise and squash the  
15 signal.

16 Q. With those concepts in mind and perhaps with  
17 reference to CDX-5C.51, why does CDEF in AV1 put data in the  
18 difference domain? Why does it do that? What's the point?

19 A. So the point, and it's taken a little while to  
20 get here, but the point is to -- is to try and get a good  
21 effect on that pixel in the middle. The point is to filter  
22 that pixel in the middle.

23 And what's happened here is the result of the  
24 filter, the final step, and I'll cut to the punch line, it's  
25 made that pixel value slightly lower. So if you remember

1 our kind of brightness values, the brightness of this pixel  
2 has got slightly less. It's gone a little bit darker.

3 How it's done that, by going through this  
4 process, it's ignored those values that I put crosses  
5 through, and what's left are the values that are small  
6 negative and positive values up here, and the filter -- this  
7 is exactly -- actually what it does -- it uses those values  
8 and then it tries to adjust the middle position based on  
9 those values. And what it's doing here is adjusting that  
10 middle position to make it more similar to those values.

11 Could we actually go back one slide? I think  
12 that might be helpful, just briefly.

13 Q. Yes, back on CDX-5C.50. Please continue.

14 A. So going back to our original block, you look at  
15 the 101, look at the yellow and green values that are not  
16 ignored, the ones on the same row and the ones above, and  
17 just try and focus on those 1, 2, 3, 4 -- eight values round  
18 about it. Most of those values are slightly lower. Most of  
19 them are in the 90's.

20 So when we just consider that part of the data,  
21 if we're trying to reduce the noise with just that data in  
22 mind, then we want to make 101 slightly smaller. And the  
23 result of that is that it gets a little bit more similar to  
24 those other values.

25 Two things going on here. One is that, if you

1 think about doing that for every single pixel, then those  
2 pixels are going to get a little bit more similar to each  
3 other, and that is what CDEF does. It reduces the noise.  
4 It reduces those random variations. It makes them a little  
5 bit more similar to each other.

6 The reason, though, that we had to exclude the  
7 pixels at the bottom, so one more, please --

8 Q. Yes, sir.

9 A. -- 4 pixels at the bottom that we excluded, if  
10 you look at the numbers there, they are quite large. So if  
11 we haven't excluded those numbers, they would actually  
12 result in the opposite effect to the one we want. They  
13 would make 101 larger.

14 So if we go on, Kirk.

15 Q. If you would, just continue that same thought. I  
16 think it's helpful, perhaps, in reference to the middle part  
17 with the X's, the middle and right.

18 A. Okay. So, yes, again, we've picked our window,  
19 we've picked our offsets, and then we're going to consider  
20 those offsets, exclude the ones whose values are  
21 particularly large, or I could have shown it the other way  
22 around, CDEF will also exclude values that are particularly  
23 low in the negative regions and leave behind everything  
24 that's quite close to zero, small, plus or minus values.

25 And then filter that middle value based on those

1 small plus or minus values. The effect of that is to bring  
2 all of those unexcluded values closer together.

3           Again, what would happen if we didn't have this  
4 difference domain constraint before doing that filtering,  
5 those big values, those large values, they would influence  
6 the filtering. They would make 101 get larger. Visually  
7 that would actually make our edge get fuzzy. Hopefully you  
8 can see that this was the darker part of the edge, and we  
9 want to make it similar in the dark region, we want to  
10 reduce the noise in that region. What we don't want to do  
11 is actually have this unwanted effect of a little bit of the  
12 light region bleeding into the dark region.

13           So if you think of this as really hard to do  
14 without kind of a video and stepping through many blocks and  
15 pixels, but hopefully you can get this general idea that, if  
16 we didn't do this constraint in the CDEF, the filtering  
17 would not have this effect of smoothing out the small  
18 variations and leaving that edge or that feature, it would  
19 actually -- it might smooth out the variations, it might get  
20 it wrong for the reasons I discussed, and quite crucially  
21 that edge would no longer be an edge, it would be kind of a  
22 blurred line.

23           And then one more thing, and then I'll let you go  
24 on, sorry, one more thing is, remember we're using this for  
25 prediction. The whole point is to improve the prediction.

1 So if we have that edge of the car in the next frame, if we  
2 can keep that edge and smooth out the noise, we're going to  
3 get a good prediction of that edge wherever it is in the  
4 next frame.

5 Q. And on the bottom of the slide is the -- what is  
6 that?

7 A. Okay. Unless you're in my world, that's quite  
8 scary, even for me, the first time I saw it, it took me a  
9 while. That is a big equation.

10 The CDEF paper that I referred you to a few  
11 minutes ago, that describes the CDEF process. It describes  
12 overlay of three or four pages of step-by-step text. And  
13 then it says, and I'm paraphrasing, this equation represents  
14 the whole thing. So that equation is a mathematical  
15 representation of the whole CDEF process. Actually the  
16 process after we've found our direction and we've picked  
17 our -- we've picked our offset values.

18 Just quickly, because this might be relevant  
19 later on, I've color-coded the equation to try and match it  
20 up to what you just heard. So I'm not going to go through  
21 the maths, but I will go through -- nothing up my sleeve --  
22 this equation is explaining -- what I've explained is  
23 basically what this equation tells us.

24 And with an equation like this, you start in the  
25 middle and work outwards, or that's the way I'm going to do

1 it, in the middle is  $x_i$  plus  $m$  minus  $x_i$ .  $m$  is the offset, a  
2 shorthand for going sideways in the green or up diagonally  
3 in the yellow.

4 So what that middle part is saying is basically  
5 the first part that I showed you. Put it into the  
6 difference domain. So when you do that for all of the  
7 offsets, you end up with the 12 offset positions that I  
8 showed you.

9 So  $m$  is basically one of 12, and this happens for  
10 all 12 positions. So you end up with all of those 12  
11 difference domain values.

12 Going out, this is like a Russian doll, I'm going  
13 to unpack the doll, the next layer of the doll is a function  
14 called  $f$ , and you see it's got an open parentheses and at  
15 the end of the line it's got a closed parentheses. So  
16 everything inside those parentheses is  $f$ .  $F$ , according to  
17 the CDEF paper, also the AV1 Specification, is a constraint  
18 function.

19 And what  $f$  does is the piece in the middle. It  
20 excludes large positive or small negative differences. And  
21 by small I mean like minus, in this case, let's say,  
22 anything below minus 30, anything below minus 40 is  
23 excluded. So large magnitude differences are excluded by  $f$ .

24 Q. And this equation is from CX-1608, the paper; is  
25 that right?

1           A.    Absolutely.  Absolutely.  I'll just very quickly  
2 go through two other pieces.

3                    There is a weight here, which is -- I think  
4 that's meant to be an orange color-coding, but it doesn't  
5 really show up very well.  And so the weight is applied to  
6 the output of f, so to everything that's not excluded, there  
7 is a summation here, which means adding things up  
8 mathematically showing, basically, if I can paraphrase, take  
9 the remaining values, multiply each of them by some sort of  
10 a factor, and then add them all together.  And that has the  
11 effect, in this example, of coming up with a negative value,  
12 which is finally added to our actual pixel.  And that's what  
13 you saw.

14                   We came up with a negative value from the  
15 offsets, because, overall, they are negative.  We added a  
16 negative value, subtracted something from the center value,  
17 and we ended up making the output, that's the 99 here,  
18 slightly smaller, and that's the filter.  That's how this  
19 complicated-looking equation works.

20           Q.    Thank you for that, Dr. Richardson.

21                    You've described now in quite a lot of detail the  
22 CDEF and its operations.

23                    With the filter, and the way you've described it,  
24 and putting the values into the difference domain, which you  
25 said is a sparse representation, in your opinion is CDEF and

1 AV1, is that an adaptive sparse denoising filter for  
2 purposes of the '556 patent claims?

3 A. Yes. And I can explain or remind you very  
4 quickly, based on this one slide, it's adaptive at least  
5 because this pattern changes direction based on what's in  
6 the block. It's a sparse denoising filter, because it's  
7 reducing the noise, the variation, and it's using or basing  
8 that on the difference domain, which is a sparse  
9 representation. And it's a denoising filter, because the  
10 result of using that difference domain is a filtered pixel,  
11 which has the effect of reducing that noise variation.

12 And I didn't mention constraint in my answer,  
13 but, without the constraint part in the middle, we wouldn't  
14 have excluded the pixels. So we need to get into the  
15 difference domain and then do something, and that -- or to  
16 something. The first one is to exclude some values and the  
17 second one is to calculate a filtered output.

18 Q. Now you've described CDEF in this example with  
19 the pixel value of 101, but does CDEF do the operations  
20 you've described of putting the information into the  
21 difference domain, which is sparse representation, does it  
22 do that as it's tasked regardless of which pixel it's  
23 actually operating on?

24 A. It does. And I've -- I'll be honor here, I've  
25 deliberately chosen an example where things are being

1 excluded, because that helps you to get the general idea.

2           The same process will be applied to other parts  
3 of the image, which might not have anything to exclude. All  
4 of the difference domain values might be small, positive and  
5 negative. It's still a sparse representation. It's still  
6 using that to control its operation and to reduce noise.

7           Q. I wanted to ask you about that very point,  
8 because yesterday during the openings there was a  
9 suggestion, not by us, that CDEF might not be a sparse  
10 denoising filter depending on the pixels being filtered,  
11 meaning that sometimes the representation might be sparse  
12 and sometimes not, depending on what the pixel values are.

13                   Is that right, or is CDEF doing its job  
14 regardless of which pixels it comes across?

15           A. In my opinion that's a completely incorrect  
16 interpretation, because CDEF is always going through this  
17 process, whether or not there's big edges or big  
18 discontinuities to exclude, it's still going through the  
19 same process.

20                   If I might make an analogy to the piano and the  
21 removal of noise in the background, if I'm the engineer  
22 setting that up, I'm going to set up my filter to remove  
23 noise. If the audience is really, really quiet, my filter  
24 is still a denoising filter. It's still working to reduce  
25 noise, even if the noise isn't there.

1           Another little analogy is the deblocking filter.  
2   Sometimes there are blocks where there are no  
3   discontinuities to remove. It's still a deblocking filter.  
4   It's just that it basically does nothing if there's nothing  
5   for it to deal with. Just like here, if there are no edges  
6   to exclude, it doesn't exclude edges, but it's still going  
7   through this process, it's still, in my view, a sparse  
8   denoising filter for the reasons that I suggested.

9           Q. Let me turn to one other thing I heard yesterday,  
10   and I think we'll hear from Lenovo going forward.

11           Are you aware of an argument where they say that  
12   CDEF does not qualify as a sparse denoising filter because  
13   it does not generate 12 difference values all at one time?  
14   Are you aware they argue that?

15           A. I recall that argument, yes.

16           Q. And I believe they rely on the pseudocode for  
17   that theory.

18           Do you recall that?

19           A. I do, yes.

20           Q. Let me move forward to CDX-5C.52.

21           What do we see here on this slide?

22           And this is from CX-247.337.

23           A. So actually this is really quite -- it's another  
24   representation of what I just showed you. You remember that  
25   scary-looking function, the things highlighted here is, I

1 think, if I remember correctly, another way of looking at  
2 that function.

3 This is pseudocode. Pseudocode is not software.  
4 Pseudocode is, in this case, a set of logical statements.  
5 This pseudocode comes from the AV1 Specification.

6 A quick step back. AV1 specifies as completely  
7 as it can a decoding process. And part of that is written  
8 in text. Sometimes the authors go into pseudocode or use  
9 pseudocode when it's, in their view, I guess, more compact  
10 to just specify a series of logical steps.

11 To a person of skill in the art, this looks like  
12 software, but it's not software. So to run CDEF in  
13 software, you have to implement source code in software or  
14 in hardware based on this, that follows these logical steps.  
15 Because that actually, at a high level, the specification  
16 says you have to do this, you have to follow these logical  
17 steps, it doesn't say how you put it into software or  
18 hardware.

19 So this is pseudocode. This is not the actual  
20 software.

21 Q. And did you review the actual source code?

22 A. I did, for all of the source code that I listed  
23 earlier, I reviewed the actual software or hardware source  
24 code that carried out the CDEF operation.

25 Q. So when they are relying on the pseudocode and

1 you looked at the actual code, who did you decide is right  
2 about this issue?

3 A. The question, as I understand it, is what the  
4 products do, not what the pseudocode does, and if there is a  
5 dispute or if we disagree about the functionality, and it  
6 actually depends on how the software or hardware implements  
7 this functionality, then I would say the software or the  
8 hardware itself is the thing to look at and to rely on.

9 And I based all my opinions that I've given you  
10 on the software and the hardware, not purely on the  
11 pseudocode.

12 Q. If we move to CDX-5C.53, does this slide  
13 summarize the actual code that you've relied on relative to  
14 the products?

15 And I'm speaking specifically about CX-1604C,  
16 CX-1967C, CX-1674C, and CX-2021C.

17 A. It does with respect to the products containing  
18 Nvidia chipsets, the products containing Intel chipsets, the  
19 products containing MediaTek chipsets, and the top one, AMD,  
20 I forget whether that's version 3.0 or 4.0, but I've  
21 reviewed the relevant hardware source code for both those  
22 versions and relied on it upon my review for both those  
23 versions.

24 Q. Sir, you've described how CDEF is an adaptive  
25 sparse denoising filter, and you've explained why my friends

1 here are wrong in those theories.

2 What did you ultimately conclude regarding claim  
3 element 5.d of the '556 patent?

4 A. So in my opinion this element is satisfied by all  
5 of the AV1 products. When they decode video according to  
6 the AV1 Specification, they go through the steps that I've  
7 showed you, and for the reasons that I've explained, in my  
8 opinion, they satisfy an adaptive sparse denoising filter  
9 for performing a second pass to reduce noise.

10 Q. Let's move on to the last limitation of claim 5,  
11 which we said earlier the debate is over whether CDEF is  
12 selectively enabled or disabled.

13 Did you form an opinion on that issue?

14 A. I did.

15 Q. And what happens in AV1? Is CDEF selectively  
16 enabled and disabled -- enabled or disabled?

17 A. CDEF is selectively enabled or disabled in AV1.

18 Q. And how do you know that?

19 A. So, for example, the AV1 Specification, I think  
20 we might have it on the next slide -- I can't remember -- if  
21 not, I can just sort of summarize.

22 The AV1 Specification tells us that certain  
23 elements or certain parameters in the bitstream itself can  
24 enable or disable CDEF. What I mean by that is that if  
25 certain flags or values are set to a certain value, CDEF is

1 applied by the decoder. If these values are set to a  
2 different value, CDEF is not applied by the decoder.

3 Q. Does the claim say what selectively enables or  
4 disables CDEF, or does the claims say that the sparse  
5 denoising filter is selectively enabled or disabled?

6 A. Well, the plain language of the claim, as I see  
7 it, is that the claim element 5.e says that the adaptive  
8 sparse denoising filter is selectively enabled or disabled.  
9 It doesn't say which portion of the system needs to do that.

10 Q. And I think my friends here say that, of course  
11 it's selectively enabled or disabled, but they say it's done  
12 by the encoder, and they say this is a decoder claim.

13 What do you say to that?

14 A. I agree with the first part. So the selective  
15 enabling and disabling is done by the encoder. And I know  
16 that because the AV1 bitstream says that the bitstream is  
17 produced by an AV1 encoder, and that's actually how it  
18 defines the encoding process, the thing that produces the  
19 bitstream.

20 I disagree with the second part, and my reading  
21 of the claim is that the claim -- the other claim elements  
22 after the first one talk about what the apparatus does --  
23 decode an image region, perform in-loop filtering, perform a  
24 first pass, perform a second pass. Element 5.e says  
25 something about whether or not the filter is enabled or

1 disabled.

2           So it's a different way of using the language,  
3 and so I disagree that the decoder has to be the one doing  
4 element 5.e.

5           Q.   So, sir, have you walked us through the entirety  
6 of claim 5?

7           A.   I have.

8           Q.   In your opinion, do all the AV1 products satisfy  
9 claim 5?

10          A.   Yes, for the reasons I've explained.

11           MR. BRADLEY: Before I move on, I do want to go  
12 on the confidential record. I wanted to cabin it to one  
13 part.

14                   (Whereupon, the hearing proceeded in confidential  
15 session.)

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 JUDGE MCNAMARA: Thank you.

3 MR. BRADLEY: I apologize.

4 JUDGE MCNAMARA: Thank you.

5 BY MR. BRADLEY:

6 Q. Thank you for that indulgence, sir. I was asking  
7 what is the '877 patent generally directed to.

8 Please proceed.

9 A. It is generally directed to video coding, video  
10 compression and decompression.

11 Q. Does it talk about specific ways to perform  
12 prediction and reconstruction of frames?

13 A. It does. And, Your Honor might see a little bit  
14 of a connection here. We were talking, when I talked about  
15 the previous patent, I was talking about predicting blocks,  
16 and so this patent is -- the previous patent was talking  
17 about filtering, which had an influence on prediction. This  
18 patent is, in my view, talking about an aspect of the  
19 prediction process itself.

20 And, in particular, it's talking about how the  
21 decoder creates that prediction block. So, again, reminding  
22 everyone of the context, the context was that we want to  
23 efficiently encode and compress one block of a current  
24 frame, and it was well-known before this patent to do that  
25 coding, involving making a prediction from a previously

1 coded frame, it could be the immediately preceding frame, it  
2 could be another frame, but it's one that's already been  
3 decoded. And this patent is about ways of making that  
4 prediction block.

5 Q. So if we turn to CDX-5C.61, it's a slide entitled  
6 "Adaptive Resolution."

7 Just briefly, what are we seeing here and how  
8 does it relate to the technology and the invention of the  
9 '556 -- of the '877 patent?

10 A. So adaptive resolution is a technique in video  
11 coding that has certain advantages or can have certain  
12 advantages, and I think this set of images was explained to  
13 you yesterday. I created them, so I'm happy -- I'll quickly  
14 explain them again.

15 Again, something that we're all quite familiar  
16 with, we're having a Zoom call and the internet doesn't cut  
17 off, but the speeds of the internet or the bandwidth goes  
18 down, it happens to us all, I think.

19 And at that time you might find that these blocks  
20 appear. We talked about the blocks. The compression has  
21 got, if you like, gone overboard and the image becomes very  
22 blocky-looking, for the same kind of reasons I discussed  
23 with respect to the '556 patent.

24 One solution to that is adaptive resolution. So  
25 the top three images show the example of the middle image is

1 representing part of the video that has had this -- has  
2 suffered this problem. The bottom three images are  
3 representing sort of an overall solution or potential  
4 solution to that problem, which is that, when you get into  
5 the period when your internet connection is not very good,  
6 that's the middle picture, reduce the resolution of the  
7 image.

8           So at the encoder you could do that by -- words  
9 you will hear a lot -- downsampling, resampling the image,  
10 reducing, which generally means reducing the numbers of  
11 pixels in the image. So if we started off in  
12 high-definition, cut it down to standard definition or  
13 smaller. And then when the internet gets better, bring the  
14 resolution back up.

15           You might not notice it as the viewer because the  
16 screen might kind of fill the screen either way, but the  
17 actual resolution, the sharpness, the number of pixels in  
18 the image goes down in the middle part.

19           So that sounds good as an idea, but it creates  
20 certain potential problems with the whole prediction  
21 process.

22           So this patent, in my view, makes this process  
23 practically feasible and makes it better by improving the  
24 prediction process.

25           Q. So just to make sure I'm following along, what we

1 see here on the screen, slide 61, is the top row is a  
2 problem, the bottom row is a solution.

3 Is the '556 patent -- excuse me -- is the '877  
4 patent a solution to what we see on the bottom here?

5 A. It's a way of making what we see on the bottom  
6 practical in a way that wasn't available before, because  
7 what we have on the bottom looks like a good idea, but it  
8 has certain consequences. And before the '877 patent there  
9 wasn't a good solution to those consequences.

10 Q. So if we move forward, here we're looking at  
11 CDX-5C.62, and we have the '877 patent, Fig. 3, and an  
12 excerpt.

13 What are you showing here with the '877 patent  
14 problem that they identified?

15 A. So if you look at the text that I've highlighted  
16 at the top right, this is from the patent, when they're  
17 talking about the adaptive resolution method, so that's this  
18 kind of concept I illustrated in the previous slide, that  
19 you change the resolution of your images, we would say on  
20 the fly, as you're going along, the encoder changes the  
21 resolution.

22 But this text is identifying a problem or a  
23 problem with that concept, which is that at the time of this  
24 patent the only way that people, I would say, knew how to do  
25 that was to save lots of versions of the previous frame.

1           So that's kind of illustrated in Fig. 3. It's  
2 not the most user-friendly figure, but it's all in there.  
3 I'll use the pointer again.

4           So the top of figure 3, there's a line of  
5 illustrations of video frames or coded video frames, 0, 1,  
6 2, 3, 4, 5. This is quite close to that previous slide of  
7 mine. 0 and 1 are at full resolution; 2 and 3, the encoder  
8 wants to reduce the resolution, like we discussed; 4 and 5  
9 have gone back up to a larger resolution.

10           The problem occurs when you think about frame 4,  
11 for example, how does the decoder make these prediction  
12 blocks for claim 4, where does it get those blocks from.

13           Because for frame 4, frame 4 is at full  
14 resolution, but the previous frame was actually a lot  
15 smaller. And before this, the way we did things, the way  
16 people in the industry did things was to try and predict  
17 from frame 3 by making a bigger version of frame 3 in the  
18 decoder. And that's called an upsampled reconstructed image  
19 or reconstructed reference image.

20           I should have said below the line is showing  
21 reference images in the DPB. We saw the DPB earlier, the  
22 decoded picture buffer. That's where the decoder stores a  
23 frame that it's decoded so that it can be used for later  
24 predictions.

25           So the patent is kind of looking at what ends up

1 in the DPB, is it a problem, it is, according to the  
2 inventors, how do we solve that problem.

3           And so to predict frame 4, we need a full-scale  
4 version of frame 3, and that's shown as 3 prime, at the  
5 bottom of the column headed with 4.

6           So imagine a block at frame 4 is predicted from a  
7 block in the immediate preceding frame, frame 3. What this  
8 is saying is that the prior art method was to take the  
9 decoded frame 3 that we had already decoded, that's in the  
10 reference picture buffer, in white, and also create an  
11 upsampled version of that frame, a bigger version, store  
12 that in the DPB, the decoded picture buffer as well.

13           Slight complication I haven't introduced yet in  
14 my earlier discussions is that, in many modern-day video  
15 codex, including AV1, frame 4 can be predicted or blocks in  
16 frame 4 can be predicted not just from frame 3 but from  
17 earlier frames as well, maybe two frames in the past.

18           So we want to predict blocks of frame 4 also from  
19 frame 2, which happened two frames ago. Sometimes there are  
20 good reasons for that depending on the way things change  
21 from one frame to the next.

22           So now the decoder, to decode frame 4 has to  
23 store original size frame 2, that's the small version in  
24 this example, bigger size version of frame 2, original frame  
25 3, and bigger version of frame 3.

1           So you can see there is a lot more requiring to  
2 be stored in this decoded picture buffer. And that works  
3 for all of these frames.

4           It's actually worse than this figure shows,  
5 because as the patentee explains, if you have not just two  
6 possible resolutions but, say, 16, you need to decode -- you  
7 need to upsample and store all of those in the decoded  
8 picture buffer, and that's actually what the patent says.  
9 For as many versions as we have -- we have to store as many  
10 versions as we have possible resolutions.

11          Q. Thank you for that. I've shifted to CDX-5C.63,  
12 which, using your soccer or football, perhaps, example, it  
13 shows the prior art method.

14           Can you just kind of put your discussion you just  
15 gave into the context of these pictures to help make it a  
16 little less abstract?

17          A. Sure. And I hope Your Honor will allow me to  
18 call this football, because this is the Scotland women's  
19 team, so I think football is the right word to use.

20           So I've illustrated this prior art, the problem  
21 of the prior art. There's a reference frame, which I've  
22 shown at the top left here, that was the small resolution  
23 one that I talked about. So, I'm sorry, reference frame,  
24 that's a frame that has been decoded previously, it's been  
25 decoded and stored in the decoded picture buffer, and we

1 call that -- AV1 I think calls it a reference frame.

2 So that's at a lower resolution than the current  
3 frame, which is the one on the right.

4 And so what the decoder wants to do, block by  
5 block, for the yellow block, which conveniently contains the  
6 ball, very important, where's the ball, the yellow block  
7 contains the ball, the decoder wants to make a prediction  
8 for that block.

9 It wants to create that prediction from the  
10 reference frame. But the reference frame was the wrong  
11 size. So the decoder, according to the prior art method,  
12 blows up the whole frame, resamples the whole frame,  
13 upsamples it, and saves that version in the DPB. And now  
14 the decoder can do the job it wants to do. It can take the  
15 large version of the ball, the scaled-up version of the  
16 square with the ball, use that for prediction, and predict  
17 the current frame.

18 I would just like to point out one thing, and  
19 this is going to go all the way through, and it is actually  
20 quite relevant, I think, to some of the questions Your Honor  
21 might be having to address.

22 That prediction block, that's not the ball.  
23 That's a prediction of the ball. And the ball is still  
24 there. These are a few frames apart, but the same principle  
25 applies. It's the same ball, of course. It's the same game

1 of football. It's like a few frames, one frame or a few  
2 frames in the past, but it's not the same image. The images  
3 have changed. So this is a prediction block. It's  
4 different from the block of the current frame.

5 But we try and find the best prediction, it might  
6 not be perfect, it's not perfect, but it's something that  
7 matches the current ball.

8 I'll say one other thing. Those two blue arrows  
9 here, these are both, once again, these are filters. These  
10 are different filters. Going to talk about filters, but  
11 these are doing a different job.

12 The patent talks about two filters involved in  
13 this process. The first one is called a resampling filter,  
14 and the claim and the patent uses the terminology SCF. And  
15 the second filter is called an interpolation filter, and the  
16 claim uses and the patent uses the acronym MCIF, motion  
17 compensated interpolation filter. I'll say that again.  
18 Motion compensated interpolation filter.

19 I want to try and go through my explanations  
20 without getting too much into why and how we do that, but I  
21 think it's enough to know that part of the motion  
22 compensation process, which I don't think I really explained  
23 much earlier, the process of finding the ball and then  
24 shifting that so that it overlaps the current one as closely  
25 as possible, which I think opposing counsel actually

1 explained pretty well yesterday. So I'm glad they did that  
2 job for me.

3           That process of shifting motion compensation  
4 process typically involves interpolation. And I'll try and  
5 explain that in the next few slides, but, basically, we  
6 don't know where that ball came from, it's probably not  
7 moved an exact number of pixels, and we can often get a  
8 better prediction if we can interpolate, generate half  
9 pixels and quarter pixels that don't actually exist and  
10 point our offset to those positions, which always sounds a  
11 little bit counterintuitive, but it does improve the  
12 prediction.

13           Q. So on this slide 63 that shows the prior art  
14 method, I see in the bottom left you've shown here that the  
15 resampled version is stored in the DPB memory. If we move  
16 forward to the next slide, CDX-5C.64, I see it says, no  
17 resampled version is stored in the DPB memory, and the title  
18 is that it's the patent's improved method.

19                   What's going on here?

20           A. So the invention of this patent, I think, is  
21 extremely elegant and it solves several problems at once.

22                   In the previous slide we had two separate filter  
23 steps. We had a resampling filter and an interpolation  
24 filter. The invention of the patent, as I understand it,  
25 combines those filters into a single step, the acronym is

1 GF, I think it's grouped filter, and that GF does resampling  
2 and motion compensation -- motion compensated  
3 interpolation -- in one step.

4           So in terms of generating our prediction block,  
5 the starting point overall is the same. We're finding the  
6 position of the ball in the previous frame. But we're  
7 finding it in this example a smaller frame. So everything  
8 is kind of zoomed out a bit.

9           And then instead of resampling first and then  
10 interpolating, the patent proposes to do resampling and  
11 interpolation in a single filtering step. So we end up with  
12 the same prediction block that we had in the previous slide,  
13 but now we've done two things. The first thing is we've  
14 combined the filters. That saves a filter step. That saves  
15 processing, among other things. And the second thing is  
16 that there is now no need to store a resampled version of  
17 this reference frame in the DPB memory.

18           The reason for that is that the frame is always  
19 there, and if we are resampling it as we need it for the  
20 current frame, if we need to resample by 2, we resample by 2  
21 and we interpolate it. If we need to resample by 4, we  
22 resample or scale up by 4 and interpolate. Because we do  
23 that in a single step, pointing -- in a way we're cutting  
24 out the middle man, we're pointing straight to the  
25 original-sized reference picture. We don't have to store

1 the same amount of data in the buffer. And we don't have to  
2 do two filters; we can do just one.

3 Q. In the method and apparatus of the '877 patent  
4 where no resampled version is stored in the DPB memory, is  
5 that a big deal? Is storage space in the DPB memory at a  
6 premium or doesn't matter much? What's your opinion?

7 A. In my opinion, Your Honor, it's a really big  
8 deal. So a little bit of context.

9 Each standard, I mentioned the H.264. In the  
10 standard it specifies how much memory a decoder needs.  
11 That, in turn, affects the size of chips, the cost of chips,  
12 the power consumption of chips.

13 And remember that I said a couple of slides ago  
14 that, without this, if you have 16 different resolutions,  
15 you need to store 16 different extra versions of this  
16 reference frame in the DPB. So the size of the DPB,  
17 everything else becoming equal, is increased by a very  
18 substantial amount.

19 I mean, you can do the sums, and it depends on  
20 the number of -- but, again, a different Russian-doll  
21 analogy. Instead of storing one Russian doll, I've got to  
22 unpack it into all the different sizes and put all of those.  
23 If you wanted to put that in your cupboard, you need a much  
24 bigger cupboard basically.

25 So, yes, it's a big deal. Memory, working within

1 limited memory sizes is one of the big constraints of video  
2 codec design and chip design for video codecs, and these  
3 chips are in so many consumer devices, as we've seen. So if  
4 we can save that much memory, that's a big deal in my view.

5 MR. BRADLEY: Your Honor, I'm at a point where  
6 the next bit of questioning might take a little while. Is  
7 now a good time for an afternoon break?

8 JUDGE MCNAMARA: Sure. So it's 3:57 now. I'll  
9 see you back here in 15 minutes.

10 (Whereupon, the proceedings recessed at 3:57  
11 p.m.)

12 (In session at 4:13 p.m.)

13 JUDGE MCNAMARA: All right. Thank you,  
14 everybody.

15 Anytime, Mr. Bradley.

16 MR. BRADLEY: Thank you, Your Honor.

17 BY MR. BRADLEY:

18 Q. Dr. Richardson, I've turned to CDX-5C.65 titled  
19 "Single Filters Are Applied Successively to Reference  
20 Block," and it shows Fig. 8 of the patent.

21 What are we looking at here? What does this tell  
22 us?

23 A. So on the right-hand side of the slide I have  
24 taken Fig. 8 of the patent and I've taken the liberty of  
25 graying out some pieces, but I don't believe that changes

1 the meaning of what I've left behind.

2           And what Fig. 8 is showing in the pieces that I  
3 have effectively highlighted is a reference block at the top  
4 left, and if I can just orient Your Honor, I was talking  
5 about 8x8 pixel blocks earlier, so what the patent here is  
6 illustrating is consistent with the 4x4 block.

7           So it's a smaller, four along, four down, but  
8 video codecs, such as AV1, or other video codecs, can use in  
9 some instances smaller or bigger block sizes, and 4x4 is one  
10 of the block sizes that we might have in a reference  
11 block -- a reference frame, sorry.

12           And then the patent talks about GF, the grouped  
13 filter that I mentioned earlier. And I sort of simplified  
14 things somewhat, because the patent does describe in detail  
15 that one of the things you can do with a filter, the filter  
16 GF overall, its job is to process a block in two dimensions,  
17 horizontally and vertically, and with the right choice of  
18 filter you can split those tasks up into a horizontal  
19 filtering step and a vertical filtering step.

20           And I think I might be kind of extrapolating  
21 here, but I think the patent says that you can do them in  
22 either order. I think one of ordinary skill in the art  
23 would understand that this type of so-called separable  
24 filter, you can do the horizontal first and then the  
25 vertical. If you switch the order, you can still do that.

1           So here it's showing horizontal first and then  
2 vertical. So it's showing one of these GF filters or it's G  
3 in the diagram. And, first of all, the reference block is  
4 filtered horizontally. And if I could just use the laser  
5 pointer again, what that means is that the first horizontal  
6 filter operates on these four pixel values, which are still  
7 in black here, and fills in values in between.

8           And so, as a result of that, if we do that for  
9 all four rows of pixels in the block, the pixel has four  
10 rows, we do that for all four rows, we end up with a  
11 temporary block here, that is the same height as the  
12 original, but is now twice as wide or more or less twice as  
13 wide, because we filled in those pixels.

14           The patent then discloses that, if we do the same  
15 thing in the vertical direction with the vertical filter,  
16 now we're taking this temporary or intermediate block as the  
17 input, and the end result is that the vertical pixels get  
18 filled in so we get more pixels in the vertical direction.

19           So by doing first applying GF and then applying  
20 GV or GF -- sorry -- first applying GFH and then applying  
21 GFv, as the patent says, the result is that the prediction  
22 block, the upsampled or resampled or filtered prediction  
23 block has been generated.

24           Q. On this slide it shows an excerpt from the '877  
25 patent specification that says, the filters GFH and GFv are

1 then successively applied as shown in Fig. 8 to determine  
2 the prediction block, which is the prediction in the bottom  
3 right of the Fig. 8.

4           What is that showing about the filters GFH and  
5 GFv being successively applied?

6           A. This is the excerpt from the patent  
7 specification, the text that I've highlighted, that's  
8 explaining what it means in the context of the patent to  
9 successively apply horizontal and vertical filters, it means  
10 as shown in the Fig. 8.

11           So in a situation where you start with an  
12 original block, the reference, you generate an intermediate  
13 or temporary block with the first filter, and then the  
14 second filter is applied on that intermediate or temporary  
15 block or supplied to that intermediate or temporary block to  
16 create the prediction block. That's the final version in  
17 the lower right.

18           Q. So if we move to CDX-5C.66, earlier you mentioned  
19 creating in between values, and I see that here on this  
20 slide. What is this showing?

21           A. So the question or what I'm trying to illustrate  
22 here is how this type of filter, which, again, I'll remind  
23 you is a different type of filter than the ones we've  
24 discussed with respect to the '556 patent, just how this  
25 type of filter can generate these new values, which are

1 illustrated in the figure with white dots or dots with white  
2 in the middle.

3 JUDGE MCNAMARA: And you're referring to the  
4 temporary block?

5 A. I'm referring at the start to the temporary  
6 block. The same explanation would apply to going from the  
7 temporary to the prediction block.

8 So I have shown what I would describe as a pair  
9 of filters here. And I'll just take a little bit of time to  
10 show you what I'm showing in this image at the top left.

11 I'm showing two original pixel values, and I've  
12 given them numbers, 8 and 4. Again, we've been through  
13 this, pixels can have numbers. I've chosen small numbers to  
14 make the sums easier.

15 So we have two original values. And I'm showing  
16 a way of generating missing or an intermediate or in between  
17 value. And I'm showing that diagrammatically with these  
18 arrows and red blobs or red circles. And the arrows  
19 represent a filtering operation, and 8 in this example is  
20 just copied. The pixel is not changed.

21 So the arrow goes from the 8 at the top to the 8  
22 at the bottom. And the weight or multiplier -- these things  
23 are all kind of synonymous -- the weight of the multiplier  
24 or the coefficient of that filter is just a 1.

25 The next value, the 6, which I've highlighted in

1 blue to show that it's a created value and in between value,  
2 that's generated with in this case with a filter that has  
3 multipliers or weights or coefficients of one half and one  
4 half.

5           And the reason it's got 2 is it depends on 2  
6 pixels. So the 8 is multiplied by a half, the 4 is  
7 multiplied by a half. Not too difficult to do that -- 8  
8 times a half is 4, 4 times a half is 2, and then those two  
9 are added together. And that's multiply and add.

10           We actually saw a little bit of that in the '556  
11 patent, that sum with the weights, so this is a weighted  
12 sum, if you like, of 8 and 4 to give us 6.

13           And then the third pixel at the bottom, the 4, is  
14 just copied again, so that has a filter that has a weight or  
15 a coefficient to a multiplier of 1.

16           Q. Are you just explaining how to generate those in  
17 between values, for example, we'll call them the white dots  
18 in the temp and in the prediction, Fig. 8?

19           A. That's right. So if you followed, accept what  
20 I've said so far, if I do that for every pair of pixels  
21 along the first of those horizontal lines, as in the filter  
22 will have generated the first row of white values. So  
23 that's why I zoomed in -- I should probably have zoomed in  
24 on the bottom part. I don't know if I've done that in a  
25 blowup of the slide.

1           But I took two pixels here and generated one in  
2 the middle. If I do the same thing moving along, I take 2  
3 pixels and generate an extra one. If I do that all the way  
4 along, every line, I end up with a temp array.

5           Q. If we move to CDX-5C.67, what do we see here on  
6 the right side of the screen? And you don't need to walk  
7 through the details, but what is that showing?

8           A. Okay. So the '877 patent is yet another quite  
9 complicated patent with a lot of equations in it.

10           What I'm trying to show here is that my simple  
11 but I would say accurate example is a version of filters  
12 similar to those that are expressed in the patent.

13           So I've picked out two filters that are actually  
14 disclosed in the patent. And the first one, and this is  
15 maybe a little bit difficult to follow, but let's  
16 concentrate on the first one, and imagine that first line  
17 was just a 64 divided by 64.

18           Do you see what I've highlighted there? 006400  
19 and then all of that divided by 64.

20           So if we ignore the zeros -- and I'm not going  
21 into territory we discussed earlier; I'm just asking for the  
22 purpose of following this through -- then what we have is 64  
23 divided by 64, and that's just 1. So that top filter, I  
24 would suggest, is a copy filter, just like the one I had  
25 shown here on the left.

1           I ignored the zeros and I just put the 1 in  
2 brackets. In the patent it has this extended notation.  
3 What that notation means to a person of ordinary skill in  
4 the art reading this patent is that, when I'm applying that  
5 filter, conceptually I could take into account 5 pixels,  
6 that's why there are five entries in that array, but it's  
7 saying ignore four of them and just use 1 pixel and copy it.

8           Q. I think that -- is there more you wanted to say  
9 about that?

10          A. Just that the second line is a more complicated  
11 filter, but it's the same general idea. I've deliberately  
12 chosen for simplicity a simple, what's known as a bilinear  
13 filter, for that middle step where we're generating a new  
14 pixel.

15          Q. Let's move to CDX-5C.68, which I see is "Prior  
16 Art Resampling." I guess it shows the SCF, which is the  
17 resampling filter on the top, and the MCIF on the bottom,  
18 and it looks like you've highlighted in green the SCF.

19                   What are you showing here?

20          A. So if you recall, before the break I showed you a  
21 slide where we had a smaller source image, a smaller  
22 reference image rather, and a larger resampled reference  
23 image, which we're going to use for prediction. And there  
24 was a first filter, and that first filter was labeled SCF,  
25 and it stands for -- actually, yeah, sampling something

1 filter. I can't remember at this time of the day.

2 But so this is showing how we can use that simple  
3 filter I showed you, the bilinear filter, on a row of  
4 pixels, so this could be the top row of that reference  
5 block, and we generate or the filter generates a new row of  
6 pixels with the in-between values filled in. So that is an  
7 upsampled row of pixels.

8 So this is representing for one line of pixels in  
9 one block of that reference image in my previous slide how  
10 this filter would generate an upsampled version of that  
11 line, and that line would ultimately be stored in the  
12 decoded picture buffer.

13 So if you can imagine that previous image and one  
14 little line of pixels, this is what that prior art method  
15 does to that line of pixels. It applies this filter or  
16 these filters over and over again.

17 JUDGE MCNAMARA: I'm sorry. When you're  
18 describing, so it's clear in the record, rather than saying  
19 "here" or "there" --

20 A. Oh, I apologize, Your Honor.

21 JUDGE MCNAMARA: -- give us the color coding or  
22 however you want to describe it as long as there are  
23 references.

24 A. Thank you for the reminder, Your Honor.

25 So I've highlighted part of this diagram, my

1 diagram, in green, and I'm using that to represent the SCF,  
2 the first stage sampling filter. And within that  
3 highlighted box you'll see a whole series of these filters I  
4 introduced a slide or two ago.

5           So pixel 8 is copied, because the multiplier or  
6 coefficient is 1. Pixel 6 highlighted in blue is generated  
7 by multiplying 8 by a half and 4 by a half and adding them  
8 together. Pixel 4 is just copied. The pixel with value 8  
9 is generated by multiplying 4 by a half, 12 by a half,  
10 adding them together. Again, half of 4 is 2, half of 12 is  
11 6, add them together you get 8. And if you keep doing that  
12 across the line you get a resampled version of that line of  
13 pixels.

14           Q. So if we go to the next slide, the same slide,  
15 but now you've highlighted in green the MCIF. Is MCIF doing  
16 the same type of operation that you just described in  
17 relation to SCF, the scaling filter?

18           A. It is. And I've actually chosen to use the same  
19 filters here. They don't have to be the same. It could use  
20 different, more complicated filters, for example, but I'm  
21 choosing, again, the simple bilinear filter and copy filter.

22           And what this is illustrating, this green  
23 highlighted area, MCIF, this is illustrating the second  
24 stage of filtering in the prior art, and this does a  
25 slightly different job that looks very similar. It's

1 generating the yellow pixels in the bottom line to fill in  
2 half-pixel positions. It's increasing the density of the  
3 pixels, if you like. So it's doing a slightly different job  
4 even though I'm using the same filter structure in this  
5 example.

6           And, again, the pixels we generated previously  
7 are copied, so that white 8 is copied to the white 8 at the  
8 very bottom. The blue 6 is copied to the blue 6 at the very  
9 bottom. In between them we're generating another sample  
10 value, half-pixel position, and we're doing the same  
11 operation, but this time with 8 and 6. So the white 8 and  
12 the blue 6 on the left in the middle line are each  
13 multiplied by a half and then added together. 8 times a  
14 half is 4, 6 times a half is 3, add them together you get 7.

15           And if you do that for every position, we end up  
16 with another line of pixel values or interpolated values at  
17 the bottom of the screen.

18           So in two stages we've gone from the pixel values  
19 8, 4, 12 and 4, to the new or the pixel values 8, 7, 6, 5,  
20 4, and so on. So this is illustrating, for one line of  
21 pixels in our reference image, how we get to the resampled  
22 version and then the motion compensated interpolated version  
23 using two stages of filters.

24           Q. So with these two stages, and the next slide  
25 we're going to look at the invention relative to what we're

1 looking at now, this is the prior art, and you've described  
2 it as two stages with a C in the middle that says resampled  
3 version stored in the DPB.

4 Is that the same thing you were describing  
5 earlier about the prior art?

6 A. It's the same thing. The only thing I'm not  
7 showing is the vertical version of this, because we have to  
8 do all of this horizontally and all of it vertically, but  
9 I'm describing simple filters that correspond or illustrate  
10 the prior art method.

11 Q. Okay. So let's compare what we're looking at  
12 here on slide 69, which is the prior art, where you have two  
13 stages and a resampled version stored in the DPB.

14 Let's go to slide CDX-5C.70 entitled "'877  
15 Patent's Grouped Filter," and what do we see here including  
16 that text on the left?

17 A. So I'm now showing a GF that does the same job in  
18 a single stage as those two stages of filters in the  
19 previous slide.

20 And I'll spoil the plot by telling you that the  
21 line of pixels at the bottom is identical, but we got there  
22 or the filter got there in a slightly different way.

23 And it's probably easiest if I just step through,  
24 once again. I'll start with the pixel 8 at the left. The  
25 pixel 8 is copied. It's got a 1 in that circle. If we look

1 at the pixel 7 next to it, which is highlighted in yellow,  
2 that has two input sources, 8 and 4, but now we've changed  
3 or now the filter has different coefficients or weights.  
4 The weight coming from 8 is three quarters, the weight  
5 coming from 4 is one quarter. 8 times 3 quarters is 6, four  
6 times a quarter is 1, 6 plus 1 is 7.

7           The next one, the blue weights of one half and  
8 one half. Half of 8 is 4, half of 4 is 2, 4 plus 2 is 6.

9           And then the next pixel along moving to the right  
10 is a yellow 5, and the weights are one quarter and three  
11 quarters. One quarter of 8 is 2, three quarters of 4 is 3,  
12 3 plus 2 is 5. And then the pixel 4, we just use a single  
13 input of 1, so 4 is just copied.

14           So what this filter has done in a single step is  
15 generate the same output values but without having to go  
16 through the intermediate step. So even though the numbers  
17 at the bottom are the same, what's happening to get there is  
18 not the same. We don't generate the numbers in the middle.  
19 We don't need to store the numbers in the middle. And so  
20 this represents or, in fact, this is a simple illustration  
21 of the GF combined filter of the patent.

22           I'll just point out one more thing, is that, if  
23 you look along the diagram and follow the arrows and the  
24 circles and so on, you'll see that there are four unique  
25 sets of operations. You can copy with a 1, you can multiply

1 and add with three quarters and one quarter, you can  
2 multiply and add with a half and a half, or you can multiply  
3 and add with one quarter and three quarters. And then you  
4 go back and just repeat.

5           And I've illustrated those four filtering  
6 operations, which is -- this is what they are -- with the  
7 numbers in parentheses on the right. 1 is just sitting on  
8 its own. The next possibility is three quarters, comma, one  
9 quarter. The next possibility is one half, comma, one half.  
10 And the final possibility in this example is one quarter,  
11 comma, three quarter. And each of these is a filtering  
12 operation applied to 2 pixels or 1 or 2 pixels, rather, to  
13 generate an output pixel.

14           Q. So is it correct to say that the group filter of  
15 the invention combines the two-stage filters into single and  
16 doesn't require saving the resampled version in the DPB?

17           A. That's exactly correct. There are two benefits  
18 from this I see at least. One is that we've done the  
19 filtering in a single step so that can be a computational  
20 saving, and the second one there is no intermediate value,  
21 nothing needs to be stored in the DPB.

22           Q. If we move to CDX-5C.72, do you recall that  
23 claims 1 and 7 are asserted in this investigation?

24           A. Claims 1 and 7 of the '877 patent, yes.

25           Q. And claim 1 is a method claim and claim 7 is a

1 device claim; is that right?

2 A. That's my understanding, yes.

3 Q. And you've analyzed these claims and considered  
4 infringement and whether the domestic industry products  
5 practice; is that right?

6 A. I have indeed.

7 Q. And what was your conclusion?

8 A. My opinion is that the accused AV1 products and  
9 the domestic industry AV1 products infringe or practice  
10 respectively all elements of each of these two claims.

11 Q. And I know you studied these claims. Is the  
12 there any material differences between them apart from being  
13 a method or a device?

14 A. As far as the analysis I went through to reach my  
15 opinions, there is no difference.

16 Q. Is that also true for the disputes between the  
17 parties, no difference as between the two claims to your  
18 understanding?

19 A. It's my understanding that any differences in  
20 language between the two frames, two claims, sorry, does not  
21 affect -- does not affect any of the disputes between the  
22 parties about these claims.

23 Q. Okay. Let's walk through claim 1. We have here  
24 on the screen on slide CDX-5C.73. We have the preamble --  
25 we have the whole claim -- but we have the preamble

1 highlighted. Do you understand the preamble is not disputed  
2 by the parties?

3 A. I understand that, yes.

4 Q. And let's walk through it rather quickly.

5 I've moved to slide 5C.74, CDX-5C.74. What is  
6 this showing in connection with part of the preamble  
7 language?

8 A. So I've included part of the preamble language at  
9 the top right of this image, this slide, sorry, said  
10 reconstructed reference image stored in a decoded picture  
11 buffer.

12 I have extracted and highlighted part of the AV1  
13 Specification below that. And I've identified part of the  
14 specification that calls out seven different reference  
15 frames. So reference frame -- sorry -- ref frame is a  
16 structure described in AV1, the AV1 Specification. There  
17 are seven of these structures -- sorry -- eight of these  
18 structures, and each of these can contain a reconstructed  
19 reference image stored in a decoded picture buffer.

20 And at the foot of the lower right of this slide  
21 I've, again, highlighted part of the AV1 Specification. A  
22 variable ref specifying the reference frame contents is set  
23 as follows, and in context that's talking about the  
24 reference frame that is going to be used to decode or  
25 predict and decode the current block.

1           And at the lower right it says that ref in  
2 certain situations is set equal to FrameStore (RefIdx). And  
3 in AV1, in my opinion, FrameStore is the decoded picture  
4 buffer. RefIdx is an index that identifies one reference  
5 frame within the decoded picture buffer in the AV1  
6 Specification.

7           Q.    Let's move on to CDX-5C.73. This addresses  
8 another part of the preamble language that says, quote,  
9 "from a reference block of a reference image reconstructed  
10 at a different size from the size of said current image,"  
11 closed quote.

12                   What are you showing here with AV1 section  
13 7.11.3.3?

14           A.    So with respect to that text from the preamble of  
15 the claim, I am showing an extract from section 7.11.3.3 of  
16 AV1 titled "Motion Vector Scaling Process."

17                   As the highlighted text at the bottom points out,  
18 the sampling locations are also adjusted to compensate for  
19 any difference in the size of the reference frame compared  
20 to the current frame.

21                   So if you look at this section and go through the  
22 whole of the section, it is describing generating prediction  
23 samples using motion compensated interpolation, but as part  
24 of that process (excuse me) the sampling locations are  
25 adjusted to compensate for size differences.

1           So that's basically summarizing the fact that  
2 this process is doing both motion compensated interpolation  
3 and any rescaling of the reference frame in the way that I  
4 described earlier.

5           Q.    And it says here from AV1 that it does this to,  
6 quote, "compensate for any difference in the size of the  
7 reference frame compared to the current frame," closed  
8 quote.

9                    Did I read that correctly?

10          A.    Yes.

11          Q.    And if we move to the next slide, CDX-5C.76, I  
12 see you have section 7.12.3. It says "Reconstruct Process."

13                   What are you showing here in reference to the  
14 preamble phrase "reconstruct a current block of a current  
15 image"?

16          A.    I'm showing here that there is a process in AV1  
17 that reconstructs a block of a current image or current  
18 block of a current image, and down at the foot of this  
19 extract it says the outputs of this process, that's the  
20 reconstruct process, are reconstructed samples in the  
21 current frame CurrFrame, and this is done for each  
22 transformed block.

23                   So this process is basically describing  
24 reconstructing a current block of a current image and  
25 putting that reconstructed block into CurrFrame. And we

1 actually saw CurrFrame earlier, Your Honor. That is the  
2 reconstructed current image after decoding that then gets  
3 processed by the loop filter and CDEF.

4 Q. So on CDX-5C.77, I see there's a checkmark next  
5 to the preamble. Did you analyze the entirety of the  
6 preamble language and compare it to the accused products and  
7 the domestic industry products and reach a conclusion?

8 A. I did, and my conclusion is that the preamble of  
9 claim 1 of the '877 patent is satisfied for the AV1  
10 products.

11 Q. Let's move to CDX-5C.78, which highlights in bold  
12 claim language -- claim element 1.1, "Motion Compensating  
13 Said Reference Block," and it goes on from there.

14 Did you analyze this, sir?

15 A. I did.

16 Q. If we go to CDX-5C.79, what are you showing here  
17 with AV1 section 7.11.3.4?

18 A. So this section is the block inter prediction  
19 process, and I've highlighted that this process takes as  
20 inputs a variable that specifies which reference frame to  
21 use, the locations of a block, single block, of that -- of  
22 the reference image, and produces as its output an array, a  
23 two-dimensional array, that's a block, of called pred, which  
24 contains inter predicted samples. So this is a prediction  
25 block that is used in the reconstruction step that I

1 mentioned a few minutes ago.

2           Sorry. To summarize, this is summarizing and the  
3 specification is describing in detail motion compensating a  
4 reference block or the reference block of the reference  
5 image that was discussed -- I discussed a few minutes ago.

6           Q. If we move to CDX-5C.80, I see this pertains to  
7 the claim language saying, "motion compensating said  
8 reference block of said reference image," and it has AV1  
9 section 7.11.3.3.

10           What are you showing here?

11           A. So I've shown this section before. This is  
12 summarizing the actual motion compensation that's done to  
13 produce that reference block that I just mentioned, and  
14 that's the process that I explained a few moments ago where  
15 samples in the reference frame are computed based on the  
16 motion vector, and the sampling locations are adjusted to  
17 compensate for any difference in size of the reference frame  
18 compared to the current frame, and the result is the  
19 locations of that -- of the block in the reference frame  
20 that we're going to use to generate our prediction block.

21           Q. If we turn to CDX-5C.81, which your slide is  
22 entitled "AV1 Subpel\_Filters," how do you know that the AV1  
23 Specification describes applying a single horizontal filter  
24 GFH and a single vertical filter GFv successively on the  
25 lines and on the columns of pixels of the reference block?

1           A.    So the detail of the AV1 Specification describes  
2 just that. I've highlighted at the lower right here a part  
3 of the, if I recall, the descriptive -- a descriptive part  
4 of the specification.

5                    It says, when talking about constructing a  
6 prediction block, first a horizontal filter is used to build  
7 up a temporary array, and then this array is vertically  
8 filtered to obtain the final prediction (excuse me).

9                    And in the AV1 Specification it describes exactly  
10 how these horizontal and vertical filters are to be used and  
11 applied. And I'm highlighting in the center here an extract  
12 from an array specified in the standard, a very large array  
13 called Subpel\_Filters. And each line of that array that  
14 I've highlighted here, that, in my opinion, specifies one  
15 filtering operation.

16           Q.    Is there a particular name of the filter shown on  
17 5C.81, which is from CX-247.278 or .275?

18           A.    The filters are specified by the array  
19 Subpel\_Filters.

20           Q.    Does the '877 patent describe how its single  
21 filters are applied and what they're applied to? And I'll  
22 turn to CDX-5C.82.

23           A.    It does, and we've seen this modified or  
24 annotated figure before. The '877 patent describes that,  
25 starting with a reference block, filters GFH and GFv are

1 successively applied as shown in Fig. 8 to determine the  
2 prediction block, bP.

3 Q. Are you aware that Lenovo has a different  
4 understanding of how the '877 patent's claimed filters are  
5 applied?

6 A. I have seen a different interpretation, yes.

7 Q. And my understanding of their position is that  
8 the horizontal and vertical filters must both be applied to  
9 the same block, the reference block, both of them going back  
10 to that same block.

11 Is that what Fig. 8 shows?

12 A. So that's also broadly my understanding of a  
13 position that I believe Lenovo has put forward. That's not  
14 what Fig. 8 shows. That's not what the text accompanying  
15 Fig. 8 shows.

16 The figure and the text state that what the  
17 patent means by successively applying these two filters is  
18 as shown in Fig. 8, i.e., applying a first filter to a  
19 reference block to generate a temporary block shown in the  
20 figure, applying a second figure -- second filter to the  
21 temporary block to generate a prediction block also as shown  
22 in the figure.

23 Q. And does element 1.1 of the '877 patent refer to  
24 applying a single horizontal filter, GFH, and a single  
25 vertical filter, GFv, successively on the lines and on the

1 columns of pixels of said reference block?

2 A. Yes.

3 Q. And just to be clear, does that mean each one is  
4 independently applied to the reference block or is it -- are  
5 they successively applied starting with the reference block?

6 A. So the claim language, it uses the word  
7 "successively," and my understanding, as a nonpatent lawyer,  
8 is that one should read the claims in the context of the  
9 specification. The specification says exactly what in my  
10 view the inventors meant by "successively applied" in this  
11 instance.

12 Q. I've pulled up CDX-5C.83, which -- what are you  
13 showing here?

14 A. So I'm trying to illustrate here what, as I  
15 understand it, Lenovo are suggesting with this particular  
16 position. As I understand -- if I understand it, I think  
17 they're suggesting that the claim is saying apply GH to the  
18 reference block, for example, to generate a horizontally  
19 filtered block like the one I've highlighted as temp here,  
20 and also to apply GV to that same reference block, which I  
21 would imagine would generate a separate vertically filtered  
22 block like the one I've illustrated in red on the left of  
23 this slide.

24 Q. Now they say, well, the claim says successively  
25 apply them on the lines and on the columns of pixels of said

1 reference block. If you read the patent claims in light of  
2 the specification, is it talking about what's shown here  
3 with their theory, or is it talking about what Fig. 8  
4 actually shows?

5 JUDGE MCNAMARA: Pardon me. When you say "here,"  
6 you have to be specific about what you're referencing.

7 MR. BRADLEY: Thank you, Your Honor. I'll start  
8 the question over.

9 Q. Dr. Richardson, the claim's element 1.1 says that  
10 the two filters are applied successively on the lines and on  
11 the columns of pixels of said reference block.

12 Does that mean you apply each of the filters  
13 separately to the reference block, as Lenovo says, or does  
14 it mean you apply them successively as Fig. 8 shows?

15 A. I believe it means you apply them successively,  
16 and I believe that's consistent with Fig. 8 and with the  
17 specification of the patent.

18 Q. And that's your opinion; is that correct?

19 A. That's my opinion.

20 Sorry, Your Honor. Could I just take one minute  
21 to clear my throat?

22 JUDGE MCNAMARA: Sure. Do you need a break?

23 THE WITNESS: No, that's fine.

24 (Brief interruption.)

25 MR. BRADLEY: That was the quietest, most polite

1 throat-clearing I've ever heard.

2 Q. Dr. Richardson, what was your ultimate conclusion  
3 about element 1.1 of the '877 patent?

4 A. In my opinion, for the reasons I've explained,  
5 element 1.1 of the '877 patent is satisfied and is infringed  
6 by the accused products and practiced by the domestic  
7 industry products.

8 Q. Let's go quickly through element 1.2 of the '877  
9 patent. Do you understand this one is not disputed?

10 A. That's my understanding.

11 Q. Let's look at it very briefly anyway. If we go  
12 to slide CDX-5C.86, we have an excerpt from the AV1  
13 Specification, section 7.12.3, what is this showing us about  
14 the reconstruction process?

15 A. So I've highlighted language from the claim or  
16 included language from the claim at the top of this slide.  
17 This is an excerpt of the reconstruct process of AV1.

18 And perhaps if we just skip to the bottom of this  
19 slide, there is an equation -- this is an example of an  
20 equation, a relationship, that is set out in English  
21 language words rather than a strict mathematical equation,  
22 but it's saying a relationship, it's saying the CurrFrame,  
23 and then it specifies a block within the frame using the y  
24 plus y,y, x plus x,x language, if you like, it is saying  
25 that that is set equal to.

1           And then there's a few things happening, but the  
2 most important ones are another CurrFrame and plus a  
3 residual, and that CurrFrame on the right, just to be  
4 confusing -- but this is in AV1; this is the way it  
5 specifies it -- that's a portion of the prediction that's  
6 being created, it's a prediction. It's CurrFrame, again, y  
7 plus y,y, x plus x,x. So that's a prediction block for the  
8 current block. The residual is the difference between that  
9 prediction and the actual block that we want to decode.

10           On the left-hand side of the whole relationship  
11 is another CurrFrame, but this is basically taking a  
12 prediction block, taking a residual block, and putting them  
13 back into CurrFrame to create a decoded block. So that  
14 satisfies in my view the claim element we're talking about.

15           Q.   And that's element 1.2; is that correct?

16           A.   Yes.

17           Q.   If we move to CDX-5C.88, we see element 1.3,  
18 which starts off by saying, "wherein said single vertical  
19 filter GFv," and it goes on from there.

20           Do you see that?

21           A.   I do.

22           Q.   In your opinion do the AV1 products have a single  
23 vertical filter, GFv, that's recited here in element 1.3?

24           A.   Yes, they do, in my opinion.

25           Q.   Let's turn to CDX-5C.89.

1           What does this show us about the AV1  
2 Subpel\_Filters being a single filter?

3           A.    So Subpel\_Filters I've mentioned before.  It's  
4 defined in the part of the AV1 spec that specifies this  
5 process of -- that applies motion compensated interpolation  
6 with a factor to account for any difference in frame scale.

7           It uses filters from the large Subpel\_Filters  
8 array, and it applies those -- it applies filters from this  
9 array horizontally and it applies filters from this array  
10 vertically to the block of pixels that are being processed  
11 -- sorry -- to the block of residual samples that are  
12 being -- sorry.

13           I do apologize, Your Honor.  I've been talking  
14 for a few hours now.

15           These filters are applied in the horizontal  
16 direction and in the vertical direction to a block of pixels  
17 in a reconstructed reference frame to create a prediction  
18 block, and I think I've explained all of that earlier.  The  
19 single vertical filter in the example here, again, in AV1  
20 would be a single line of this filter array.

21           So, for example, at the very top line,  
22 0001280000, that is one example of a single vertical filter  
23 that is applied in AV1.  Each of the other lines are also  
24 examples.  And depending on the filter, depending on the  
25 pixel position that's being generated, one of these lines

1 will be selected or is selected by AV1, and the filtering is  
2 applied in the vertical direction.

3 Q. When it says here on slide 89, you have a  
4 notation in relation to the Subpel\_Filters from AV1, you  
5 have a notation that says, "GFv is embedded in the bilinear  
6 filter."

7 Can you just explain a little bit more what  
8 that's referring to?

9 A. So I'll unpack that a little bit. AV1 specifies,  
10 I think, is it seven or eight different possible filter  
11 sets. I'll call this a filter set. So just to explain what  
12 I mean by that, this array here, this has a total of 16  
13 lines in it. You can count them, if you like. Each one of  
14 those is a filter.

15 And this set of filters is a set of filters that  
16 is used for bilinear filtering. Bilinear filtering is an  
17 option that is transmitted in the AV1 bitstream, it's one of  
18 the filter types. The AV1 encoder can choose one of these  
19 filter types. This is one of them.

20 So when I said GF -- I think I said this in my  
21 expert report, I can't remember -- where I said GFv is  
22 embedded in the bilinear filter, what I mean by that is,  
23 when AV1 uses this particular filter, the bilinear filter or  
24 the set of bilinear filters, the decoder for each pixel  
25 position uses one of these filters, one of these lines to

1 filter pixels from the reference block to produce upsampled  
2 motion compensated interpolated filters of the prediction  
3 block.

4 Q. And is the bilinear filter of the AV1  
5 Specification one of the options of Subpel\_Filters?

6 A. It is indeed. It's one of seven or eight  
7 options.

8 Q. And earlier you described in your testimony the  
9 bilinear filters and how the -- it works to create the  
10 additional pixel values, the interpolated values; is that  
11 correct?

12 A. I did, yes.

13 Q. And that was for the bilinear filters as shown  
14 here, for example, that's part of AV1?

15 A. My testimony earlier was for my example filters  
16 with coefficients or multipliers of 1, a half, one quarter,  
17 three quarters, and so on.

18 It's my opinion that -- and those are bilinear  
19 filters -- it's my opinion that, as the AV1 Specification  
20 states, these are also bilinear filters, and, in fact, these  
21 are filters of the same form as the ones I described  
22 earlier, but the numbers are larger.

23 Q. If we go to CDX-5C.90, it's titled "Block Inter  
24 Prediction Uses Subpel\_Filters," and I see you have some, I  
25 guess it's the pseudocode on the screen. Is that what we

1 see here?

2 A. This is an extract of AV1. Once again, this is a  
3 portion of pseudocode, so this is another part of the  
4 specification where it's describing the logical steps that a  
5 decoder should take and it's describing them in this  
6 pseudocode.

7 Q. I see here a reference in the middle of the  
8 pseudocode to yStep and to Subpel\_Filters.

9 Can you just explain a little bit more what we're  
10 seeing there, what those mean?

11 A. YStep -- there is quite a lot going on here. At  
12 the very top it's saying select one of the Subpel\_Filter  
13 sets that I talked about, interp filter. And then once we  
14 got down to this code, this is now talking about a single  
15 pixel position that we want to create in the upsampled  
16 motion compensated prediction block. That position is  
17 basically identified by yStep.

18 Now if you think of x and y as horizontal and  
19 vertical axes, yStep tells the decoder which pixel position  
20 in the vertical direction that it's going to apply this  
21 filter to. And yStep, as we did see a little while ago, as  
22 it says here, is set equal to a version of yScale, and  
23 yScale specifies the vertical size of the reference frame  
24 relative to the current frame.

25 So a lot going on here, but there is this link at

1 the bottom of the page to the changing of size of the block  
2 depending on the reference frame size relative to the  
3 current frame, so that's our scaling.

4           yStep also takes into account our motion  
5 compensated interpolation, and then Subpel\_Filters, which  
6 I've highlighted in the pseudocode, is used to create for  
7 that pixel position an upsampled motion compensated  
8 interpolated prediction sample.

9           Q. I don't have it here on the screen, I think it's  
10 in the next section, but is there also similar pseudocode in  
11 AV1 for xStep, for the horizontal direction?

12           A. There is. So this pseudocode, I should have  
13 said, is looking at the vertical direction of filtering.  
14 There is filtering in the vertical direction and there's  
15 filtering in the horizontal direction.

16           This is the vertical filtering code -- the  
17 pseudocode, sorry -- the horizontal filtering pseudocode  
18 looks very similar, but it's just -- everything is aligned  
19 in the horizontal direction to filter across a line of  
20 pixels rather than to filter up and down a vertical column  
21 of pixels.

22           Q. Does this pseudocode and the corresponding  
23 pseudocode for xStep, do those indicate to you that AV1 will  
24 perform both motion compensated interpolation and  
25 resampling?

1           A.    Yes.  These filters indicate that motion  
2    compensated interpolation and resampling is being performed  
3    in AV1 in the horizontal and vertical direction, and in each  
4    case it's being performed in a single filtering step, which  
5    is basically the calculation of based on Subpel\_Filters that  
6    I've highlighted here.

7           Q.    Looking back at the top of this slide, CDX-5C.90,  
8    some of the claim language is quoted there, and it quotes,  
9    is such that  $GFv(S) = MCIFv(SCFv(S))$  where MCIFv is a  
10   vertical motion compensation interpolation filter and SCFv  
11   is a vertical resampling filter.

12                    Do you see that?

13           A.    I do.

14           Q.    What does that first expression,  $GFv = MCIFv$   
15    ( $SCFv$ ), what does that expression mean in the context of the  
16    claims of the '877 patent?

17                    JUDGE MCNAMARA:  Pardon me.  Before you answer,  
18    just reading the realtime script, I think you left out of  
19    the MCIF -- I'm sorry -- left out of the SCF script the H.

20                    MR. BRADLEY:  I did, Your Honor, because I  
21    believe that H on the screen is a typo.

22                    JUDGE MCNAMARA:  Okay.  I just want to be sure.

23                    MR. BRADLEY:  That's why I paused and I fixed in  
24    my head.  Thank you very much for calling that out.  I  
25    apologize.  I think we have a typo on the slide.

1           So it does end with vertical motion compensation  
2 interpolation filter, and SCFv is a vertical resampling  
3 filter.

4           Q.    Dr. Richardson, what does that expression mean in  
5 the context of the claims of the '877 patent?

6           A.    Sorry, just to clarify, do you mean the  
7 expression that looks like an equation?

8           Q.    Yes, sir, that one.

9           A.    Okay.  So, Your Honor, yet another equation.  
10 It's not my fault.

11                  So this is a -- this is set out as an equation.  
12 The patent actually explains -- the patentee explains what  
13 they mean by this type of equation.  They call it a  
14 composition, and they call it a composition of two filters,  
15 SCFv and MCIFv.

16                  And in my opinion what this expression that looks  
17 like an equation actually means is it means -- it means that  
18 the two filters -- sorry.  Let me pause for a second.  I  
19 don't want to -- because I think this is quite important.

20                  It means that GFv carries out the functions of  
21 two filters:  SCFv and MCIFv.

22           Q.    Earlier when you were walking us through the  
23 bilinear filter, you explained how the MCIF filter would  
24 work and the SCF filter would work, and you described the  
25 invention of the GF filter.

1           Is that, in your opinion, is that what's being  
2 represented here by this equation, if you will?

3           A.    To put it the other way around, my example where  
4 I walked through an SCF filter, a simple bilinear filter,  
5 followed by an MCIF filter, another bilinear filter, with an  
6 intermediate row in between the two, and then I showed you  
7 another slide where I showed you a single filter that in a  
8 single step carries out the job of both of those filters,  
9 that is entirely consistent in my view with this expression  
10 or equation here that begins GFv(S).

11          Q.    And through your analysis have you shown and  
12 satisfied yourself that the result of the GFv filter is the  
13 same as when SCFv and MCIFv are combined?

14          A.    Just so I'm clear, are you referring to my  
15 example that I provided earlier?

16          Q.    Referring to the claim.

17                JUDGE MCNAMARA:   Just a moment.

18                MR. MCKEON:   I think that question goes a little  
19 too far.  We don't have support in the expert report on that  
20 one.

21                JUDGE MCNAMARA:   He has already testified with  
22 respect to slide -- I just matched up slide 69, which he  
23 also was showing us an illustration of how the filters work  
24 and essentially what the patent does, as I understand, the  
25 changes it made.

1 MR. MCKEON: I thought, Your Honor, we had a new  
2 question.

3 JUDGE MCNAMARA: I'm sorry?

4 MR. MCKEON: The question that was just asked --

5 JUDGE MCNAMARA: I know. All he is doing is  
6 tying this equation to what he has already provided  
7 previously.

8 MR. MCKEON: Okay. We'll see where it goes,  
9 Your Honor.

10 JUDGE MCNAMARA: That's what I'm hearing is that  
11 he is just taking the equation and the language of the  
12 patent and tying it to the earlier explanation that's  
13 already in evidence where he showed the GF, if that's  
14 correct, on slide 70, and showed again the changes from the  
15 prior art.

16 MR. MCKEON: Okay. We will proceed, Your Honor,  
17 and we'll see where we go here.

18 JUDGE MCNAMARA: Is that what you were doing,  
19 Mr. Bradley?

20 MR. BRADLEY: Yes, Your Honor.

21 JUDGE MCNAMARA: Okay. Thank you.

22 Q. Continuing looking at CDX-5C.90, do you see near  
23 the bottom of the pseudocode there is a line that says --

24 (Clarification requested by the court reporter.)

25 Q. -- pred [ r ] [ c ] = Round2 (S, enter Round 1).

1 Dr. Richardson, do you see that?

2 A. I do, yes.

3 Q. What is that referring to?

4 A. If I could go a couple of lines above, I think we  
5 might have heard this earlier, I'm not sure, something that  
6 I would call a for loop, there's a line that begins for (T =  
7 0, T is less than 8, T++ -- ++ means keep increasing T --  
8 and that's immediately followed by a line that begins S+ =.  
9 And a POSITA reading this source code would understand that  
10 this logical description says do the S+ line 8 times.

11 So to answer your question, immediately before  
12 that, as I read this pseudocode, the S+ line is carried out  
13 8 times and each one -- each time the variable S is having a  
14 pixel value multiplied by a weight from Subpel\_Filters and  
15 added to the total S.

16 Once all of that is done and S -- the total of S  
17 is complete, then there is this line that you asked me  
18 about, and Round2 is a function that's defined in the AV1  
19 Specification, and it does two things to its inputs. It  
20 changes the magnitude of the input and it rounds the result,  
21 as I recall, to an integer number.

22 Q. Let me back up just a moment. Before we were  
23 talking about the Round2 function, when counsel objected, I  
24 realize I didn't get an answer to the question I had posed.

25 So let me back up. Is that okay, sir?

1 A. Sure.

2 Q. Through your analysis, have you shown and  
3 satisfied yourself that the result of the GFv filter is the  
4 same as when SCFv and MCIFv are combined?

5 A. So in the example that I walked Your Honor  
6 through earlier, I think I showed exactly that for filters  
7 SCF and MCIF. And I showed you that there was a combined  
8 filter GF or a filter that combined the operations of both  
9 of those filters and produced exactly the same result.

10 Q. And that's true for both the vertical side and  
11 the horizontal; is that right?

12 A. That would be true. I could go through the same  
13 or similar examples in the vertical direction or in the  
14 horizontal direction and we would get to the same result.

15 JUDGE MCNAMARA: And those were in slides or the  
16 examples that were provided, that Dr. Richardson explained,  
17 were to be found in CDX-5C, slide 69 and 70, correct?

18 MR. BRADLEY: Yes, Your Honor. CDX-5C.68, 69,  
19 and 70.

20 Q. Shifting back to the Round2 function that you  
21 were just describing, does the Round2 function ensure that  
22 the filter outputs remain within computer hardware  
23 constraints?

24 A. Yes. An operation such as the Round2 function  
25 specified in AV1 ensures that the magnitude -- that's the

1 possible minimum and maximum values of S in this case --  
2 remain within a certain range, and we need to do that in  
3 computer implementations to make sure that numbers don't get  
4 too large for the particular hardware to handle.

5 Q. Does the single horizontal and single vertical  
6 filters of the AV1 Specifications block inter prediction  
7 process result in a prediction or motion compensated  
8 reference image that has been motion compensated,  
9 interpolated and resampled as claimed regardless of  
10 rounding?

11 A. In my opinion, yes.

12 Q. Does the pseudocode you've referenced in AV1  
13 confirm that for you?

14 A. It does, yes.

15 Q. Is the Round2 function inside of or outside of  
16 the third nested for loop that you've been discussing?

17 MR. MCKEON: Now we're getting, Your Honor, into  
18 what was excluded this morning. We're getting into defining  
19 the filter in a very specific way, whether it includes  
20 Round2 or not, and that's not in his report. It's never  
21 been disclosed to us.

22 So what he is doing here is going to the slides  
23 that were excluded and just going right through them without  
24 the slide on the screen.

25 JUDGE MCNAMARA: Mr. Bradley, you're not doing

1 that now, are you?

2 MR. BRADLEY: Correct, Your Honor, I'm not. I'm  
3 asking him about the Round2 function.

4 JUDGE MCNAMARA: What he has already done did not  
5 get into the Round2 function, and the slides that were  
6 already in evidence were being explained, as I understood  
7 it, by the slide to which, ultimately, there was no issue  
8 with, but he can't go any further.

9 MR. MCKEON: Yes, Your Honor, I agree with that.  
10 The question here we have now is the slide that was excluded  
11 this morning, the box about what was in the function and  
12 what was not in the function. Remember --

13 JUDGE MCNAMARA: I do, that's why I'm saying,  
14 that's not what he is doing at this point, Mr. McKeon.

15 MR. MCKEON: I think that question, Your Honor,  
16 is exactly what he is doing.

17 JUDGE MCNAMARA: You stood up and objected, and  
18 my response to Mr. Bradley was you're not going to go into  
19 slide 91 or any of the excluded slides that contain the  
20 information that was excluded and that's subject to an offer  
21 of proof.

22 MR. MCKEON: Okay. If that's what's happening  
23 here, Your Honor, then I submit to that. I was unclear  
24 about the question. It seemed like he was asking the very  
25 question that was --

1           JUDGE MCNAMARA: I think he was about to, but he  
2 stopped. That's why I asked him, Mr. McKeon, are you going  
3 into questioning that's been excluded because of the  
4 exclusion of the slides this morning that will be subject to  
5 an offer of proof.

6           MR. MCKEON: Understood, Your Honor. Yes, if  
7 this is part of the offer of proof, then we can proceed on  
8 that basis.

9           JUDGE MCNAMARA: Well, this morning what I said  
10 to you is that, for today, slides 90 -- this is what  
11 everybody agreed upon, where I ruled on this morning, and I  
12 don't want to keep doing this --

13          MR. MCKEON: Sorry, Your Honor.

14          JUDGE MCNAMARA: Slides 91, 92, 94, 98, and 99,  
15 we agreed those were the slides that were objected to this  
16 morning, and then what I said was that they would be subject  
17 to an offer of proof.

18                 So the offer of proof, if you want to spend the  
19 time now, my understanding the way these offers of proof  
20 have been done in the past, they can be done two ways  
21 procedurally. One is to offer the proof today and bound it  
22 as an offer of proof, and the other is to actually to do it  
23 in writing, which is what I was expecting to be done.

24          MR. MCKEON: Yes, Your Honor. Believe me, I'm  
25 not asking that we do this offer of proof here. My

1 objection is that the slide that was excluded, the reason we  
2 excluded it is because there was no opinion in this case  
3 about --

4 JUDGE MCNAMARA: That's why there is going to be  
5 an offer of proof, Mr. McKeon, not right now, and he is not  
6 going to be permitted to go into any of the information that  
7 is on the slides that were excluded this morning and would  
8 be part of an offer of proof.

9 MR. MCKEON: Okay. Let me hear the question  
10 again, Your Honor, and see what we got on that.

11 MR. BRADLEY: Your Honor, on point, I conferred  
12 with my team at the break and actually we don't need those  
13 slides. It's not a big deal. We're making the proofs and  
14 getting the evidence we need regardless. So I appreciate so  
15 much Your Honor's offer to let us make an offer of proof.  
16 I'm just previewing that I don't think we're going to need  
17 one. I don't expect that we're going to file it at the end.

18 MR. MCKEON: Your Honor, this makes my point,  
19 though. What was excluded was a matter of disclosure in the  
20 case, and now we're getting into --

21 JUDGE MCNAMARA: Mr. McKeon, where are you going?  
22 He has already been told he can't proceed.

23 MR. MCKEON: Okay. Okay. If we're done with  
24 that line of questioning, Your Honor, I'll sit down. I'm  
25 sorry. I thought there was a question here about Round2.

1           JUDGE MCNAMARA: He has not been allowed to  
2 answer it. Again, Mr. Bradley, he is not going down the  
3 road that was precluded this morning, unless it's made an  
4 offer of proof, and that's where we are.

5           MR. MCKEON: Okay. Your Honor, I'm sorry. I  
6 wanted to just make clear that the question is being  
7 withdrawn, as I understand your order here.

8           JUDGE MCNAMARA: Mr. Bradley, are you ready to  
9 proceed? Do you understand what I have instructed?

10          MR. BRADLEY: I am, Your Honor. I think this is  
11 much ado without nothing. I'm moving on.

12          JUDGE MCNAMARA: That's right.

13          Q. So skipping the slides that have been excluded,  
14 let's move on to CDX-5C.95.

15                 What is this, Dr. Richardson? What are we  
16 looking at? And I see it references CX-2112 specifically at  
17 1 to 2.

18          A. So I recognize this as a technical paper written  
19 by authors who include developers who, as I understand it,  
20 were involved with the development of the AV1 Specification.  
21 The title of the paper is "In Loop Frame Super-Resolution in  
22 AV1." And I've highlighted a few sentences. And these go  
23 directly to some of the issues that I've been talking  
24 through with respect to the '877 patent.

25                 The first highlighting is, and this is talking

1 about AV1, any inter frame could be predicted from  
2 references that are at different resolutions. And my  
3 understanding is that's talking about at a high level this  
4 idea of having a reference frame at a different resolution  
5 predicting blocks of a current frame from that differently  
6 sized reference frame.

7           The second highlighting is it would be more  
8 compute efficient to combine such rescaling with subpel  
9 interpolation for motion compensation, and that is what AV1  
10 does.

11           And then the final highlighting, the  
12 interpolation for scaled prediction can be implemented  
13 simply as separable filtering in each dimension.

14           So the second and third highlighted extracts  
15 there are, in my view, both describing what AV1 does, which  
16 is to combine rescaling for this purpose with sub-pixel  
17 interpolation for this purpose in order to generate samples  
18 of a predicted block.

19           Q. Let me ask you a few follow-up just to make sure  
20 some of the key points are on the record.

21           You said "this paper," excuse me, CX-2112, is by  
22 several of the developers of AV1 at Google; is that right?

23           A. Yes. I recognize at least three of the names --  
24 Joshi, Mukherjee, and Grange. I certainly recognize those  
25 three as being involved in the AV1 development effort.

1 Q. So this paper, this is not InterDigital talking;  
2 it's not Lenovo talking; it's the developers of AV1. Is  
3 that right?

4 A. That's my understanding, yes, based on the names  
5 and the context.

6 Q. And just to highlight in particular the middle  
7 quote here from the paper, it says, quote, from the Google  
8 folks, quote, "It would be more compute efficient to combine  
9 such rescaling with Subpel interpolation for motion  
10 compensation," and that is what AV1 does. That's what they  
11 wrote?

12 A. That's what they wrote. And, again, as I recall  
13 and understand it, they are describing the combination of  
14 rescaling of a reference picture or blocks of a reference  
15 picture, combining that with subpixel interpolation, both of  
16 which I described earlier, and saying that it would be more  
17 compute efficient, which I interpret as more computationally  
18 efficient, to combine those two processes into a single  
19 step.

20 Q. Sir, what is your opinion about whether the AV1  
21 products practice or infringe the element 1.3 of the '877  
22 patent?

23 A. In my opinion they do.

24 Q. And 1.3 is for the -- on the vertical side of the  
25 two filters; is that right?

1           A.    Yes.  Everything in 1.3 refers to the vertical  
2 dimension as you can see for the -- by the subscript v.

3           Q.    And moving to CDX-5C.97, now we're on element  
4 1.4.

5                     Sir, is that the same limitation but now written  
6 for the horizontal direction instead of the vertical  
7 direction?

8           A.    So apart from the fact that 1.4 refers to the  
9 horizontal direction, the rest of the limitation is  
10 essentially the same.

11           Q.    So your testimony and explanations and documents  
12 you relied on, the sections of AV1 that you pointed us to  
13 for the vertical GF, does that apply equally to the  
14 horizontal GF filter of the patent?

15           A.    Yes, with the proviso that the pseudocode that I  
16 showed Your Honor a few slides ago, the portion of  
17 pseudocode that I relied on for this piece is a different  
18 portion, but it is almost identical.

19                     I don't know if we need to show it or not, but  
20 it's almost identical.  And certainly in respect of  
21 calculating the positions, selecting a Subpel\_Filter from  
22 those arrays and applying that filter, the steps are the  
23 same.

24           Q.    The pseudocode -- earlier you showed us the yStep  
25 pseudocode for the vertical direction, but I think you might

1 have said, I want to make sure, there's similar pseudocode  
2 for the xStep horizontal; is that right?

3 A. For the x direction or the horizontal direction,  
4 yes, there is, Your Honor.

5 Q. And, sir, is it your opinion that the AV1  
6 products practice or infringe element 1.4 of the '877  
7 patent?

8 A. It is, yes.

9 Q. Now let's turn to the last limitation of the '877  
10 patent, and this one, we've already talked a lot about it,  
11 but it says, "wherein no resampled version of said  
12 reconstructed reference image is stored in the decoded  
13 picture buffer."

14 Have you already described that?

15 A. I have described the concept, yes.

16 Q. Okay. And, sir, do the AV1 products store a  
17 resampled version of the reconstructed reference image in  
18 the decoded picture buffer?

19 A. They do not. Specifically the products, as I've  
20 explained and as my analysis has shown, the AV1 products do  
21 not change the scale of the reference image. They leave the  
22 reference image at its original decoded scale, and then one  
23 block at a time they identify a block of pixels in that  
24 reference image and scale and motion compensated interpolate  
25 those pixels in a single step without generating or storing

1 any intermediate resampled version of that reference frame.

2 Q. Moving to CDX-5C.102, which is an excerpt of the  
3 AV1 Specification section 7.20, I see the title here that  
4 says, "Only Decoded Images Are Saved to the DPB."

5 What are you showing hear?

6 A. So I'm extracting and highlighting a couple of  
7 pieces from the AV1 Specification. So these are from  
8 section 7.20, "Reference Frame Update Process."

9 Your Honor might be delighted to note the first  
10 sentence. This process is invoked as the final step in  
11 decoding a frame. So we have reached the end of the loop.  
12 This is the end of the decoding loop. And it says the  
13 inputs to this process are the decoded samples for the  
14 current frame LrFrame. And LrFrame is basically that  
15 CurrFrame that we mentioned, modified by the loop filter  
16 that we mentioned a long time ago, modified by the CDEF  
17 filter that we also mentioned earlier, modified by one more  
18 step called the loop restoration filter, hence the  
19 subscript -- or hence the title "LrFrame."

20 So we've reached the end of the decoded process.  
21 And what this says is, further down in the second extract,  
22 FrameStore, and I'll paraphrase slightly, is set equal to  
23 LrFrame. And if you read all of the subscripts in those two  
24 statements that I've highlighted in the lower part of the  
25 slide, what it is actually saying in this logical language

1 is that that LrFrame, that reconstructed decoded frame, is  
2 placed into FrameStore. And FrameStore is AV1's terminology  
3 for the decoded picture buffer.

4 So this is where in AV1, after we've gone through  
5 all of the steps of decoding the frame, we put it into the  
6 decoded picture buffer, FrameStore, so that it can be reused  
7 the next time we go around or the decoder goes around the  
8 decoding loop.

9 Q. A few more questions on this term. So I think  
10 you showed a number of times in the background and in the  
11 earlier discussions about the prior art method that saved  
12 the resampled version in the DPB and how the patented  
13 invention does not. Do you recall that generally?

14 A. Yes.

15 Q. Okay. And this requirement of the claim says,  
16 quote, "wherein no resampled version of said reconstructed  
17 reference image is stored in the DPB," right?

18 A. Yes.

19 Q. Okay. And is it your opinion that, indeed, no  
20 resampled version of the reconstructed reference image is  
21 stored in the DPB in all the AV1 products?

22 A. That is absolutely my opinion, yes.

23 Q. Now let me ask you this. So when we look at the  
24 final step and you get the decoded image, is that stored in  
25 the DPB?

1 A. Yes.

2 Q. Okay. Has that been both resampled and motion  
3 compensated interpolated MCIF?

4 A. Well, actually that decoded image is made up of  
5 blocks. Each block in that decoded image was predicted from  
6 an upsampled motion compensated interpolated block from a  
7 reference picture, but each block is predicted potentially  
8 in a different way from a different reference picture.

9 So it's not -- it doesn't come from one reference  
10 picture anyway, and it's decoded, which means the residual  
11 has been decoded and added to all of those prediction blocks  
12 to make up the decoded frame.

13 Q. Is the core point captured by this limitation, is  
14 it that none of that intermediate resampled version is  
15 stored in the DPB, isn't that the whole point?

16 A. Well, that's the -- a key purpose, as I see it,  
17 of the proposed invention is that those -- a resampled --  
18 those resampled versions in gray, you remember from a few  
19 hours ago, they are not generated, they are not stored in  
20 the DPB.

21 Q. Just two more slides on this patent. We see  
22 CDX-5C.103 titled "Decoded Frames Are Not Resampled Versions  
23 of Reference Frames."

24 What are you showing here?

25 A. I'm showing at the top an illustration of the

1 prior art as a reference frame. It is resampled using  
2 horizontal and vertical filters. You can see I've  
3 illustrated that stretching and then upsampling that we  
4 talked about before. A resampled version is generated.  
5 It's stored in the DPB. And in the prior art one version  
6 was stored for every single possible resolution change.

7           And then in the prior art, from that resampled  
8 version of the reference frame, motion compensated  
9 interpolated prediction blocks are generated in the  
10 horizontal and vertical direction.

11           And actually that MCIF step is done a block at a  
12 time. I've perhaps oversimplified it here showing it for  
13 the complete frame.

14           Q. And on the bottom of this slide 103 you have an  
15 excerpt from column 6 of the '877 patent and I'll read the  
16 excerpt. It says, quote, "No resampled reference image,  
17 i.e., over or subsample, is stored in the DPB memory in  
18 addition to the reconstructed reference image," closed  
19 quote.

20           What is that describing?

21           A. It's describing, basically, that, according to  
22 the patent and the method of the patent and the claimed  
23 invention, that red arrow in the middle showing the prior  
24 art's generating and storing a resampled version of a  
25 reference frame, that does not happen.

1           Q.    And I think you said earlier in your testimony in  
2 this patent, but is that a big deal, to save that storage  
3 space in the DPB?

4           A.    It's a big deal.  For example, if my opinion is  
5 correct, and I think it is, AV1, outside of this, has 16  
6 different resolutions.  So according to the prior art  
7 method, to use this approach a decoder would have to store  
8 all 16 resolutions.  They would have to be available for  
9 every decoded frame.

10          Q.    Moving to CDX-5C.105, I see here a checkmark in  
11 element 1.5.  Is that your opinion that the element 1.5 is  
12 satisfied by all the AV1 products?

13          A.    It is.  And I'll just, just to be totally clear,  
14 AV1 specifies exactly what you can and can't do with  
15 FrameStore.  It says in the specification what data can be  
16 written into FrameStore and when it can be written.  And the  
17 only thing that's written is that decoded reconstructed  
18 reference frame in the portion that I highlighted.

19                    So a decoder operating according to this spec  
20 can't write other things into that buffer because then it  
21 would not be decoding in accordance with the AV1  
22 Specification.

23          Q.    One last question, which I think is the same  
24 place we began.  In your opinion do all the AV1 products  
25 infringe both claims that are asserted of the '877 patent?

1 A. They do.

2 Q. Let's shift gears.

3 Yes, ma'am.

4 JUDGE MCNAMARA: It is past 5:30 now,  
5 Mr. Bradley. Do I have that right?

6 MR. BRADLEY: You do, Your Honor. I'm just  
7 surprised. Time flies.

8 JUDGE MCNAMARA: I think it's time that we stop  
9 for the evening and we'll pick up tomorrow where we are.  
10 We'll pick up some time. So just make sure when you are  
11 submitting the time for this evening, please, again, you  
12 calculate what each side has used. I read your stipulation  
13 beforehand. Apparently you are ascribing to the side who  
14 loses a particular objection time and you're deducting from  
15 their time. You decided this. That's not in my realm.  
16 That's what you decided. So I'm sure I will hear a dispute  
17 about that -- or I may, I'm hoping not.

18 So we'll pick up here tomorrow. Let me know what  
19 time remains or what you have calculated as time remaining  
20 as of the close of the day and if we have to pick up a  
21 little more time.

22 MR. MCKEON: I think we're doing pretty well.  
23 We're going to not call Mr. Clarke, who was a domestic  
24 industry expert. So we're not going to call him. So we  
25 will pick up some time there. We didn't allocate a lot of

1 time for his testimony, but we'll pick up time in light of  
2 that.

3           So I think -- and, of course, after  
4 Dr. Richardson, we have Dr. Schonfeld will be our witness.  
5 So I think, despite the long days here, and I appreciate  
6 Your Honor going over, I think we're on track to get this  
7 trial completed in time.

8           JUDGE MCNAMARA: Okay. Good to know. Again,  
9 it's up to you who you call and who you don't call. I'm  
10 not --

11           MR. MCKEON: Of course. I realize that,  
12 Your Honor. I just wanted to give notice to the Court to  
13 have an idea where we're headed here.

14           MR. STEVENS: That's right, I completely agree  
15 with Mr. McKeon on this, I think we're on good course.

16           We did not receive an order from Your Honor  
17 before the trial setting time allocation, so we don't  
18 actually have that. Obviously we can count up, but we can't  
19 tell you how much we have left because we don't have that  
20 set.

21           JUDGE MCNAMARA: Normally what the parties do is  
22 the parties themselves determine how much time is allocated.  
23 I give you the number of days. Typically the calculation  
24 is, say, reduced by lunch hours and reduced by the 15  
25 minutes we take each day morning and afternoon.

1 MR. MCKEON: Six and a half hours a day.

2 JUDGE MCNAMARA: Pretty much.

3 MR. MCKEON: And we calculate from there. That's  
4 what we normally do, Your Honor.

5 JUDGE MCNAMARA: That's exactly right. I never  
6 set the time limits on each side except once.

7 MR. STEVENS: It must have been one of my trials.

8 JUDGE MCNAMARA: No, no, this is long before your  
9 time, Mr. Stevens, on this, and it was where I made a  
10 mistake, and I think the parties halfway through realized  
11 that they had misallocated time and the Respondents needed  
12 more time to be fair, and I added a day on and I said blame  
13 me. And it all worked out, what can I tell you, it all  
14 worked out.

15 MR. STEVENS: Thank you, Your Honor.

16 MR. MCKEON: Thank you, Your Honor.

17 JUDGE MCNAMARA: All right. Everybody, have a  
18 good evening, and I'll see you tomorrow morning.

19 //

20

21 (Whereupon, at 5:42 p.m., the proceedings  
22 adjourned, to reconvene the following day, August 15, 2024,  
23 at 9:30 a.m.)

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C O N T E N T S

INDEX OF WITNESSES

WITNESS	DIRECT	CROSS	RE- DIRECT	RE- CROSS	STAFF
RYAN N. HERRINGTON.....		314	352	353	349
IAIN RICHARDSON.....	391				

OPENING STATEMENTS

AFTERNOON SESSION.....	432
CONFIDENTIAL SESSION.....	316 - 317
CONFIDENTIAL SESSION.....	321 - 323
CONFIDENTIAL SESSION.....	327 - 330
CONFIDENTIAL SESSION.....	337 - 338
CONFIDENTIAL SESSION.....	350 - 351
CONFIDENTIAL SESSION.....	475 - 501

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

TITLE: CERTAIN ELECTRONIC DEVICES, INCLUDING SMARTPHONES,  
COMPUTERS, TABLET COMPUTERS, AND COMPONENTS THEREOF  
INVESTIGATION NO.: 337-TA-1337  
HEARING DATE: August 14, 2024  
LOCATION: Courtroom A  
NATURE OF HEARING: Evidentiary Hearing

I hereby certify that the foregoing/attached transcript is a true, correct and complete record of the above-referenced proceedings of the U.S. International Trade Commission.


Date: August 19, 2024  
Signed: LaShonne Robinson  
ss//



~~Signature of the Contractor or the Authorized Contractor's Representative~~

I hereby certify that I am not the court reporter and that I have proofread the above-referenced transcript of the proceedings of the U.S. International Trade Commission against the aforementioned court reporter's notes and recordings for accuracy in transcription in the spelling, hyphenation, punctuation and speaker identification and did not make any changes of a substantive nature. The foregoing/attached transcript is a true, correct and complete transcription of the proceedings.

Signed: David Sisson  
ss//



I hereby certify that I reported the above-referenced proceedings of the U.S. International Trade Commission and caused to be prepared from my record media and notes of the proceedings a true, correct and complete verbatim recording of the proceedings.

Signed: August 16, 2024



ss// \_\_\_\_\_